

UNITED STATES OF AMERICA

+ + + + +

FEDERAL COMMUNICATIONS COMMISSION

+ + + + +

SPECTRUM POLICY TASK FORCE

+ + + + +

SPECTRUM RIGHTS AND RESPONSIBILITIES
PROTECTION PUBLIC WORKSHOP

+ + + + +

FRIDAY,
AUGUST 9, 2002

The workshop was held at 9:00 a.m. in the Commission Meeting Room of the Federal Communications Commission, 445 12th Street, S.W., Washington, D.C.

Present:

Michael Calabrese, New America Foundation
Martin Cave, Warwick Business School (U.K.)
David Farber, University of Pennsylvania
Michele Farquhar, Hogan & Hartson
Bruce Fette, General Dynamics
Joe Gattuso, NTIA
Tom Hazlett, Manhattan Institute
Thomas Krattenmaker, Mintz, Levin, Cohn, Ferris,
Glovsky & Popeo
Michael Kurtis, Kurtis & Associates
Larry Miller, LMCC/AASHTO
Peter Pitsch, Intel
Charla Rath, Verizon Wireless
David Reed, Reed.com
Gee Rittenhouse, Lucent
Steve Sharkey, Motorola
David Siddall, Paul, Hastings, Janofsky & Walter
Steve Stroh, Focus On Broadband Wireless Internet
Access
Victor Tawil, MSTV
Jennifer Warren, Lockheed-Martin
David Wye, AT&T Wireless

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

Present From the FCC:

Michael Powell, Chairman
Kathleen Abernathy, Commissioner
David Furth, SPTF Member
Paul Kolodzy, SPTF Director
Lauren Van Wazer, SPTF Deputy Director

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

I-N-D-E-X

Introduction, Opening Remarks	4
Introductory Overview: An Historical View of Spectrum Rights and Responsibilities, Thomas Krattenmaker, Mintz, Levin, Cohn, Ferris, Glovsky & Popeo	20
Panel I: New Technologies and Spectrum Usage Rights Moderators: Charla Rath, Verizon Wireless; and Paul Kolodzy, FCC	57
Panel II: Modeling Licensed and Unlicensed Spectrum Usage Rights Moderators: Michele Farquhar, Hogan and Hartson; and David Furth, FCC	179
Adjourn	243

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

P-R-O-C-E-E-D-I-N-G-S

(9:10 a.m.)

MS. VAN WAZER: Good morning. My name is Lauren Van Wazer and I'm Deputy Director of the Spectrum Policy Task Force. Welcome to the fourth in a series of four workshops on spectrum policies. This workshop will address issues related to spectrum rights and responsibilities.

We are fortunate this morning to be joined by Chairman Powell and Commissioner Abernathy who will deliver some opening remarks, but first I wanted to mention that we have the availability of sign language interpretive services for anyone who needs them and if you could identify yourself, we'd appreciate it.

With that, I'd like to introduce Chairman Powell.

CHAIRMAN POWELL: Good morning. Welcome to all of you. I want to take this opportunity to thank all of you for your participation and thank you in advance for your public service which is desperately needed and I also want to thank Lauren Van Wazer and Dr. Kolodzy and the others, leaders of the task force who have put this function together and have continued to be

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com

1 invaluable assets as at least this branch of
2 government continues to struggle to try to make
3 some sense of spectrum management reform. It seems
4 to me that's kind of what we're all trying to do.

5 I thought about my first point in my
6 talk this morning. It's sort of what is it all
7 about? I have never worked on an issue that has so
8 much smoke and nobody can find the fire.

9 (Laughter.)

10 Since Professor Kolodzy's first similar
11 article, we have had academic conferences, economic
12 papers, academics debating the merits of FCC
13 spectrum policy and only a modest amount has ever
14 changed and I think that that is a great
15 frustration to many of us who continue to see the
16 obvious merits of the need for change, but yet the
17 inability to somehow transform mere academic or
18 conceptual thought into pragmatic changes in policy
19 and in the markets.

20 But I think that somehow as we all sit
21 here this year, there's a sense, a feeling that
22 somehow the stars may have aligned and I think that
23 I believe that as well. I think things have
24 finally started to come together in a way that
25 presents a unique and important opportunity to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 exploit some of the changes that have provided a
2 chance to put some of those concepts into practice.

3 Those changes have been in the
4 technology and in the markets and politically. I
5 think there is a sense that with the explosion of
6 wireless services, a certain mass consumer
7 acceptance and growing demand for new and
8 innovative wireless services, we suddenly have a
9 fourth man on the field and that man is the grass
10 roots consumer who increasingly screams out not
11 only to their neighbors which are often me in my
12 neighborhood why can't my phone do this, why does
13 my WiFi network do that? But as a grassroots
14 constituency increasingly are a powerful element in
15 trying to energize the political process in the
16 Congress to be much more intently focused on issues
17 dealing with wireless spectrum and I think that has
18 been a very important development that suddenly
19 wireless is not a foreign thing to the average
20 consumer. It's becoming an indispensable thing to
21 the average consumer and that changes minds and
22 changes policy. I think that's really, really
23 important.

24 We also finally have what I think is
25 unequivocally a market environment of strong

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 competition and strong growth. There is a very
2 serious and aggressive amount of innovation going
3 on, competition going on, all of the factors are
4 very positive and I think that's brought a lot of
5 wireless services to the attention of many of the
6 people in the know. And I also think that the pace
7 of innovation in wireless technologies is
8 accelerating, that is, even in the five years that
9 I've been here, I have been astonished about the
10 number of breakthroughs in the area of spectrum
11 just in that short period. I remember hearing that
12 satellites could never provide video services on an
13 effective basis until they started doing it. I
14 heard frequently that you couldn't do broadband or
15 wireless connections. It was physically impossible
16 in certain ways being done on a commercial basis
17 today. Devices like the Ipac sitting here on the
18 desk that are receiving signals or wireless
19 networks, all of this is stuff that's come into our
20 knowledge horizon only recently and I think that
21 again that accelerating innovation gives a sense of
22 excitement and in political and in policyspeak that
23 means in a sense of momentum and I think it is
24 momentum that brings about change in the political
25 process. And you've seen that manifested,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 obviously. You've seen that manifested in a number
2 of Congressmen and women and Senators who suddenly
3 want to be spectrum management reform guys. The
4 problem is they don't really know what that means.

5 And then an Administration who
6 increasingly is focused on it as well. I think we
7 have an NTIA in the Commerce Department who is
8 extremely focused and active and aggressive in
9 trying to bring about change in this area and of
10 course, here at the Commission as well.

11 But going back to the point about
12 everybody wants some spectrum management reform,
13 but they don't really know what that is. I spend
14 many days talking about legislators and they want
15 to champion this and then you say Senator, what do
16 you mean? I don't know, but something is wrong,
17 right? Yes, something is wrong and they realize
18 that the mission of both this task force and all of
19 the other entities that are working on this which
20 is to begin to give some meaning and understanding
21 to what we're talking about, help define what the
22 spectrum management reform exercise is in the first
23 place, not only in the sense of what incrementally
24 needs to change, but with some focus on what much
25 more boldly and dramatically needs to change. And

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 then to try to convert those concepts and
2 principles which are sort of at a 50,000 foot level
3 at many of the conferences I go to into practical
4 principled solutions and proposals for specific
5 kinds of changes. You can't go to a legislator and
6 say well, there ought to be more market based
7 policies in this way. You have to say here's the
8 language, here's what ought to change, here's what
9 words you change in Section 309(j)(4), whatever.
10 And that's what we have to start to do is convert
11 policies and principles and theoretics into
12 pragmatic principles, specific proposals for
13 change. It's one of the reasons we founded the
14 task force. It's one of the reasons we're excited
15 about it and if this group does its job, which it
16 seems well on course to do, we will begin to have
17 grist, something to focus the debate and discussion
18 that hopefully transforms into things we can
19 actually propose and hopefully advocate.

20 And then we also need to build the
21 institutions and the platforms for which those
22 changes will be launched. It is still somewhat
23 murky to me, exactly where reform comes from. It's
24 clear to me that it will require some legislative
25 change. Will there be a congressional major

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 initiative. Will there be an Administration-
2 sponsored initiative. Will the FCC be the champion
3 of it. The FCC will be the champion of it, but
4 always curtailed and constrained by the legal
5 regime in which it operates. So clearly it will
6 have to partner. It will have to partner with
7 other aspects of the government to make anything
8 happen in a more bold and dramatic way that will
9 continue to work incrementally.

10 And we somehow have to figure out how
11 to do this by resisting the pressures of self
12 interests, but quite bluntly. Let me tell you
13 something which everybody knows. Companies don't
14 like competition. It's the biggest red herring and
15 garbage I've ever heard in my life. They like to
16 not have to compete. They like to be able to sit
17 quietly where they are and go home at 4 if they can
18 get away with it, cash their check and go to the
19 golf course. I like that world too, if I could
20 achieve it.

21 And so you will find constantly a lack
22 of principle at times in the context of the course
23 of this debate. I've seen many both companies and
24 policy makers are taking very principled positions
25 at the academic conference until it's time to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 change the rule and it moves from the academic to
2 the self-interested and suddenly principles of
3 competition and market use a spectrum that seems so
4 meritoriously are suddenly the end of the universe
5 as far as that particular company or set of
6 companies are concerned.

7 That's not to be disparaging of them.
8 It's only to be challenging to those of us in
9 policy to try to look past that and if you really
10 mean change you're going to look past the short-
11 term self-interest of people whose change will
12 ultimately provide difficulty or compromise or
13 nobody likes change. And so that will be
14 difficult.

15 So I don't know. What does it consist
16 of. That's what I and others will turn to you, but
17 I thought I'd give to you at least four points that
18 seem to me to be meaningful.

19 More efficient use of what we've got.
20 I start with this and not with more spectrum. I
21 think the time has come to realize there ain't a
22 whole lot of spectrum in the closet back here that
23 we have at the FCC that hasn't been put out yet.
24 If there was, I assure you, we'd roll it out here
25 and get it out of here. The problem I think

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 increasingly is the demand and the kinds of
2 innovative uses that are coming and far outstrip
3 the amount of spectrum available and just like IP
4 technology and burstiness, the real challenge is
5 how to get more use out of spectrum that 80 percent
6 of the time lies fallow.

7 And I think that the answer there
8 relies on the empowerment of technology that will
9 allow for more innovative uses of existing
10 technology like software-defined radios, like
11 perhaps receiver standards, like perhaps other ways
12 to use technology to use the same amount of
13 spectrum in a better way.

14 Sharing. We have had a major
15 ideological struggle this year with very different
16 constituencies, Department of Defense and others
17 about the basic notion that somebody can be in your
18 backyard and that is okay, as long as you can
19 protect against the kind of technical interference
20 which often is true, but often is a huge red
21 herring which really masks the objection to the
22 basic principle that anybody would have to share my
23 stuff. It's important to remember it's the
24 public's stuff at the end of the day.

25 And the unlicensed band which has been

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 an incredible font of not only innovation, but a
2 way of showing us a vision of the way, alternative
3 ways that spectrum can be used that are outside the
4 traditional service provider or command and control
5 model.

6 Secondly, there is no question we have
7 a problem that we need to be able to deal with
8 unpredictable and dynamic change. How do you get
9 spectrum once used for one thing to a higher and
10 better use quick enough to be meaningful in the
11 market and to consumers? Right now, the laborious
12 process of government command and control which has
13 served the country well up to a point is just
14 futilely too slow to rapidly move things to new and
15 better and innovative uses. I don't think this is
16 ideological, to then say you have to look at market
17 mechanisms which is the only thing in the history
18 of the world that I've discovered in my reading of
19 history that has been effective in dealing with
20 rapid changes in uses and moving things more
21 quickly to new uses. So it necessarily means more
22 market-based mechanisms and less command and
23 control.

24 Third, unquestionably, the government
25 and the commercial sector have to improve both the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 balance and the processes used for reconciling
2 critical governmental uses with commercial uses.
3 There has to be at a minimum better process for the
4 management of those challenges. I think there has
5 to be a more unified consensus about what the
6 concepts and principles of the use of that spectrum
7 are. Is sharing off the table or part of what will
8 always be a legitimate consideration? I think we
9 have fought for the principle that has to be part
10 of a general governmental understanding that
11 sharing is not an
12 off-the-table thing, for example. But that has to
13 be improved and I would applaud NTIA who I think
14 has taken on some nasty challenges in the last
15 year, everything from 3G to ultra-wide band and I
16 have been in those meetings and there's blood on
17 the floor in an effort to find the handle and a
18 process to improve that. I think that needs to
19 continue.

20 And finally, where I always like to
21 end, with hopefulness about the future, there
22 always has to be air for innovation. There has to
23 be oxygen for the things that none of us can
24 predict right now, have no ability to foresee and
25 as sure as I'm standing here, before my next

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 birthday, somebody is going to have something we
2 hadn't quite thought of and there always has to be
3 a home for that person, that kid in the garage has
4 to be able to come out and find a place and I think
5 that we need to think more aggressively about how
6 we accommodate that in a system that has a tendency
7 to move toward established users and I think we
8 have to have a serious consideration of everything
9 from how do you expand and exploit the values of
10 the unlicensed band, as we've seen in some ways or
11 maybe even other newer and innovative ways to
12 promote innovation that we have yet to think of.

13 If we don't do that, I think that all
14 you do is freeze yourself in time to the detriment
15 of the market, the technology and our citizens. So
16 that always has to be at the top of our list too.

17 So we're really excited. I thank Paul
18 and all of you for coming. I really, really look
19 forward to reading the product of this group and I
20 look forward to being a champion for what it
21 proposes to change.

22 Thank you very much and have a great
23 day.

24 (Applause.)

25 MS. VAN WAZER: Thank you, Chairman

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Powell, for sharing your vision with us. We
2 certainly do have some tough challenges ahead. I
3 was happy to find out that we do have a few months
4 before your next birthday, so I think we have time
5 to make some headway.

6 I'd like to introduce Commissioner
7 Abernathy.

8 COMMISSIONER ABERNATHY: Thank you very
9 much. It's always a pleasure to be here talking
10 about spectrum issues. As I look out at everyone
11 who's been intimately involved in these issues for
12 so long, I think well, why are we in such a mess
13 today and why are we really needing to revise and
14 revamp the way we look at spectrum? And I think
15 it's because, I used to think it's because it's
16 mostly guys.

17 (Laughter.)

18 I thought well that is the problem. If
19 we were in charge of it -- but then the Chairman
20 comes out and he lays out this great vision --

21 CHAIRMAN POWELL: That's my feminine
22 side.

23 (Laughter.)

24 COMMISSIONER ABERNATHY: And he creates
25 this task force which is fabulous. He's got Lauren

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and Paul working on it. So I have hope now that we
2 will be able to address many of these issues.

3 As you know and as the Chairman
4 mentioned, spectrum policy continues to challenge
5 this Agency to be at our best, to work our hardest,
6 to be our most innovative and I appreciate that
7 spectrum is so critical to the jobs that all of you
8 are out there trying to perform because for much of
9 my career I have worked in businesses that rely on
10 spectrum to survive, to compete. The first
11 satellite and the then the wireless phone business
12 without spectrum, there simply was no product,
13 there was no service to offer. And both of those
14 traditional spectrum-based services which are very
15 much with us today are only part of the challenge.

16 Today, unlicensed services have begun to assume an
17 even more prominent role in the lives of Americans.

18 I can remember when he'd give speeches about
19 unlicensed devices and it was baby monitors, pretty
20 much, and garage door openers. That was about it.

21 And now we know there's just so many other
22 products and services out there that are beneficial
23 to consumers.

24 Now over the past few months, I've laid
25 out my views on the future of spectrum policy and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the licensed and the unlicensed bands and there's
2 widespread agreement, I think, in this Agency that
3 flexibility and allocations and service rules
4 absolutely advance the public interest. And the
5 Commission has substantial discretion in
6 formulating the bundle of rights that are
7 associated with that flexibility.

8 In developing these rights, however,
9 interference protection remains one of our most
10 paramount concerns because once the allocation of
11 service rules have been developed, consistent with
12 interference protections, we then have to determine
13 how to distribute that bundle of rights and that's
14 when I think it's safe to say we've got the
15 heaviest lobbying from all parties because everyone
16 wants a piece of the pie.

17 So what should be our licensing goal as
18 an Agency? I think it is to maximize the
19 efficiency of commercial spectrum used by promptly
20 getting as many rights as possible into the
21 marketplace while protecting the licensed user from
22 harmful interference. And I think when you look at
23 this distribution of rights, the spectrum can be
24 analyzed as a continuum between two paradigms.
25 We've got the full property-like rights model to a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 pure commons model on spectrum and I think the
2 private

3 property-like model is basically a lawyer's dream.

4 It's a distribution of all spectrum rights like
5 any other piece of property that we might have.
6 Ideally, this occurs mostly in a secondary market
7 with limited government intervention. The
8 Commission has, in recent years, utilized the
9 flexibility granted in the Act to move towards a
10 quasi-property rights model and under this
11 approach, maximizing flexibility and service rules
12 and allocations serves the public interest by
13 allowing the property to be developed to the
14 greatest degree. And there's limitations on this
15 model because of statutory language that goes back
16 to who actually owns the spectrum and it's never
17 owned by the licensed entities. But it's a model
18 that we've used and I think it's been very
19 effective.

20 In contrast to the private property
21 approach, there is the pure commons approach and
22 this is more of an engineer's dream. These are the
23 unlicensed bands, and as you know, they do not
24 provide for any real interference protection or for
25 any exclusive licensee rights to the spectrum.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 It's a big free-for-all in some respects. So
2 guided by technical limitations, the bands are open
3 to all comers, so long as they operate approved
4 equipment. And this openness eliminates the entry
5 barrier that can be created by an auction price,
6 but it also creates a different kind of barrier by
7 imposing more detailed technical rules on common
8 use of the spectrum. So that's what we've been
9 using in the past.

10 And in light of these two kinds of use,
11 what's our regulatory response, what are we
12 supposed to do? I think at this point, we're well-
13 served by utilizing both the property-like rights
14 approach and the commons model. It's no different
15 than a city that has private land that's linked
16 together by common roads and parks. So I think
17 too, that the spectrum community can enjoy and
18 fully utilize both the property, the private
19 property approach and a commons approach. But the
20 key to making this work is an effective regulatory
21 regime that defines and vigorously enforces the
22 spectrum rights and the responsibilities and
23 creates a framework for allocating this very
24 valuable resource. And that's why the work that
25 all of you are doing today is so very, very

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 important to us. We need to improve on that. We
2 need to get more rational about how we do it and as
3 the Chairman mentioned, there are limitations in
4 the Act. We may end up needing some legislative
5 help too.

6 I look forward to hearing more about
7 what you guys accomplish today. You can rest
8 assured that the product of these sessions will
9 significantly influence and shape my consideration
10 of future spectrum issues because it's one of the
11 most critical areas that we are addressing as a
12 Commission. So thank you very much for taking a
13 lot of your free time, on a Friday in August, to
14 work on these issues.

15 Thank you.

16 (Applause.)

17 MS. VAN WAZER: Thank you, Commissioner
18 Abernathy for your thoughtful remarks.

19 And now I'd like to introduce Tom
20 Krattenmaker of Mintz Levin who will be giving us a
21 historical overview of spectrum rights and
22 responsibilities.

23 Tom?

24 MR. KRATTENMAKER: Thanks, Lauren. Mr.
25 Chairman, Commissioner Abernathy, nice to see you

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 all here this morning.

2 I'm not sure why I was selected for
3 this task, although of course, I will try to rise
4 below it.

5 (Laughter.)

6 I've always wanted to begin addressing
7 a crowd in Washington with the phrase "I am not now
8 and never have been", so I will do that. I'm not
9 now and never have been an electrical engineer.

10 (Laughter.)

11 My capacity or my credentials in
12 electrical engineering extend to the fact that I do
13 know how to turn my television set. My wife claims
14 I don't know how to turn it off. But I still can't
15 figure out how those little tiny football players
16 get inside the tube. So I'm not going to try to do
17 that.

18 And as I guess I've already showed, I
19 just don't have the Chairman's capacity for staying
20 in touch with my feminine side, so I'll have to try
21 to play to some other strength. Therefore, what I
22 thought I would do is I do think I know something
23 about FCC regulatory history and something about
24 the economics of telecommunications policy. So I'm
25 going to try to suggest some basic principle that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 history and economics teach us about spectrum
2 policy and since I did also used to work at the
3 Federal Trade Commission, that means you've now
4 been warned. A lawyer has gotten up and said he's
5 going to talk to you about economics and history.
6 So here goes and you'll be the judge.

7 A little bit about history. How did we
8 get where we are? Well, the way I think about
9 this, spectrum policy, together with just about
10 every other policy the FCC enforces, began in 1912.

11 And I think that's why when you walk inside the
12 Commission you see these big roiled waters that are
13 -- and you say why is that? That's the wake of the
14 Titanic when it went down.

15 (Laughter.)

16 In 1912, the Titanic sank and the
17 government seized the airwaves. The story that
18 went out and it may be true, I don't know how we
19 could verify it, is that the Marconi Wireless
20 Telegraph Company received signals of distress from
21 the Titanic, but was unable to relay those signals
22 to public safety personnel because there was so
23 much interference along the East Coast from nascent
24 commercial broadcasters who had heard about this
25 wreck and started putting out some chatter on the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 airwaves and so the rescue signals were to some
2 extent drowned out. As a result of that, whether
3 that story is true or not, Congress believed it and
4 they passed the Radio Act of 1912 which laid down
5 this very fundamental principle that no one could
6 broadcast without a federal license.

7 At the same time, I think the Radio Act
8 of 1912 inaugurated a series of spectrum policy
9 traditions that continued to the present day. Let
10 me mention a few of them.

11 The first several I want to mention, I
12 think we've come to regret, but not all of them.
13 One thing that traces all the way back to 1912 is
14 the fact that spectrum policy is largely reactive,
15 not planned. Again, both the Chairman and
16 Commissioner Abernathy have already sounded this
17 theme and I'm not going to apologize for repeating
18 some of the things they're saying. Maybe it would
19 help to underscore the wisdom that I believe they
20 brought to this matter.

21 For example, although it was a spectrum
22 crisis in common carrier type operations that led
23 to the Radio Act of 1912, by the time World War I
24 was over, all the spectrum policy issues were about
25 AM broadcasting. It has always been a reactive and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 never a planned system, spectrum policy in this
2 country.

3 Secondly, spectrum rules have been
4 typically been command and control rules. Do this,
5 don't do that, do it this way, don't do it that
6 way, use this kind of an antenna, point it in that
7 direction, not a rule that specifies you have a
8 certain kind of right or you have a certain kind of
9 duty not to interfere with someone else. Again,
10 Commissioner Abernathy, I think, already sounded
11 that theme.

12 Third, ever since the Radio Act of
13 1912, we've had an awkward and not carefully legal
14 worked out split between administration of the
15 spectrum for private sector needs and for
16 administration for public safety and national
17 security needs. It's still, at best, a very
18 informal, ad hoc, not legally structured process
19 for determining which spectrum gets used in which
20 of those two type baskets or three, if you prefer
21 to think of it that way.

22 Fourth, at least at the beginning a
23 complete disdain for markets. The Radio Act of
24 1912 had nothing to do with trying to facilitate
25 markets and spectrum and indeed, that tradition

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 carried right through. In 1927, 75 years ago,
2 almost -- I forgot to look at the date, if today
3 were the date, I hope it's not the Chairman's
4 birthday, but it could be the birthday of the
5 Federal Radio Act, the drive to create to Federal
6 Radio Commission was largely on the backs of
7 incumbent broadcasters who wanted a federal agency
8 that would seize authority over AM radio and
9 prevent expansion of the AM radio band. That is,
10 spectrum policy was producing too much competition
11 in 1927 and we needed to use spectrum policy to put
12 a stop to that.

13 Finally, in my litany of stuff to trace
14 my way back, the 1927 Act added the pretense, if
15 not the reality of uncertainty. Instead of
16 licenses being stable and secure, licenses outside
17 the public safety national security area realm for
18 commercial transition, excuse me, for commercial
19 transmissions were to be of very limited, shall be
20 to revocation according to a broad and imprecise
21 standard.

22 Many of these policies still today
23 remain in some form or other and I think we've come
24 to regret each of them. There are a couple other
25 traditions that I think trace back to 1912 that I

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 think are very positive, but I'd like to mention
2 them for a minute. One is a willingness, notice I
3 said willingness, not eagerness, a willingness, if
4 not eagerness over time to innovate. The
5 Commission has, for example, allocated spectrum for
6 narrow specific purposes or for broad flexible use.

7 The Commission has tried several different ways to
8 assign licenses for allocated spectrum. Among them
9 are comparative hearings, unlimited sharing,
10 mandated sharing, first come, first serve,
11 lotteries, auctions, and in what I call a spectrum
12 policy oxymoron, the Commission is even authorized
13 unlicensed services. So I think that there is in
14 this 90-year history a rich variety of innovation,
15 sometimes it wasn't always the Commission's idea,
16 sometimes it dragged kicking and screaming into it,
17 but there might be an awful lot of information we
18 could glean by looking backwards.

19 Another positive part of the tradition
20 that's now been with us for 90 years, I think, that
21 deserves note is that this spectrum policy has been
22 carried out first by the Navy, then by the Federal
23 Radio Commission, but since 1934 by the Federal
24 Communications Commission, with the almost complete
25 absence of scandal or self-dealing. This is an

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 area that is fraught with danger for scandal or for
2 misbehavior and I think it is noteworthy and
3 important to say that although the Commission may
4 have made mistakes, they have been made in good
5 faith by women and men of integrity and I think
6 that everybody who works for the Commission and
7 I've been privileged on two different occasions to
8 be such a person, should in my view be proud of
9 that fact and I would hope that the task force will
10 take note of that fact that spectrum policy has
11 been conducted with integrity and will pay
12 attention to the need to make sure that that is
13 something that continues as part of what its final
14 report will note.

15 So much for my historical look back.
16 What about the economics? What are some of the
17 lessons we've learned in the past 90 years? Why do
18 we reject many of these early policies, as I
19 suggested we have?

20 Well, I think the most important lesson
21 we have learned is that Nobel laureate economist
22 Ronald Coase was largely correct, although I'm sort
23 of tempted to say Commissioner Abernathy is largely
24 correct because she described a set of rules that
25 would have made Ronald Coase very, very happy and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 like her, I agree with it.

2 In my words, not his, Professor Coase
3 said what we need are first of all clearly defined
4 spectrum property rights, very much like the rights
5 a business or a person might have to a piece of
6 real property, like the land on which you put an
7 antenna or the rights which you might have to a
8 piece of personal property like that antenna. You
9 should have the same kind of rights in spectrum as
10 you do in real or personal property.

11 Secondly, it should be a right to be
12 free of interference from others with the use of
13 that property. The basic right is to be free from
14 interference with the exercise of the right.

15 And third, you should be able to hold
16 those rights with security so that people are
17 willing to invest in those properties and in order
18 to implement these kind of rights, finally, accept
19 where market failure is predictable we can leave
20 the rest to bargaining in spectrum rights markets.

21 If we lay out the principle that we create
22 spectrum property rights in the same way that we
23 create other kinds of property rights, that this is
24 largely a right to be free of interference from
25 others and a duty to be free of interference with

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 others and that we hold these in a secure fashion
2 so that people are willing to invest in the
3 technologies that ride on them. We can then
4 largely turn to markets.

5 But the other lesson I think we learn
6 from economics and I think this is why the Chairman
7 described this as such a complicated area and one
8 that has attracted so much attention from so many
9 people, there's a second lesson that qualifies the
10 first, I think, from this little quick trip through
11 economics and that is it doesn't mean that one can
12 go immediately to uncontrolled markets in feasible
13 spectrum rights. One reason is that the United
14 States has clearly defined obligations under
15 international law that we're bound to respect and
16 international law doesn't always rest on these
17 kinds of principles. Another reason is that
18 markets may not always work well, although as both
19 the Chairman and Commissioner Abernathy suggested,
20 I think we have to resist the tendency to be
21 constantly concluding that oh well, the market
22 won't work this time, rather, there ought to be a
23 presumption that they will, but certainly, for
24 example, where one group holds the transmitters and
25 another group is going to hold the receivers, it

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 can be difficult to make markets work.

2 It could also be difficult to make
3 markets work where one use is particularly well
4 suited for a particular piece of the spectrum,
5 particularly if another use is located side by side
6 with it. That makes it very, very difficult too,
7 to simply rely on markets. And then we are in a
8 transition period. Since we didn't start with
9 markets, you can't immediately go to them or you've
10 got to be careful about immediately going to them
11 because you may create problems retroactively.

12 So it's not a simple matter, but Dr.
13 Coase, I think, laid down a path by which we could
14 get there.

15 Well, with Coase establishing a
16 framework, and using history as a guide, can we
17 discern some hard and fast rules for sensible
18 spectrum policy? I think we can. I'll take the
19 Chairman up at his challenge or suggestion to start
20 with the easy and go to the hard or to start by
21 noting some things that I think we've probably
22 achieved and then try to what did you want me to
23 do, think boldly? Let me turn off the tape for
24 that part.

25 What I've got here are six possible

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 rules for spectrum policy and my goodness, I know
2 there to be others as well and I've got them in
3 increasing order of the difficulty of implementing
4 them. So the easiest is first.

5 Number one, whenever possible, let
6 markets, rather than the Commission determine who
7 are suitable providers of particular authorized
8 services. Auctions aren't perfect, but unless the
9 best is to be the enemy of the good, they should be
10 here to stay, I think. I think that's a policy
11 that's easy to implement because it's largely
12 enshrined in law and one that I think the
13 Commission is in touch with already.

14 Next, most difficult, but I think a
15 good basic principle is that for newly authorized
16 spectrum, you should put as few restrictions as
17 possible on the use to which the assignment can be
18 put. I think we've learned that we're not well
19 served by having a Commission decide what is the
20 use for which this spectrum will be made as opposed
21 to leaving it to the flexibility of the licensees
22 over time, as markets, technology and consumer
23 demand change.

24 Third, basic principle I would suggest
25 and now it gets a little harder because we may have

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to and I didn't take the Chairman up on his
2 suggestion, I'm sorry to draft the statute and show
3 you exactly where it goes, but I would be happy to
4 do that at a subsequent time.

5 I think that another policy should be
6 that in specifying the licenses to be granted, we
7 should focus not on what one may do or transmit,
8 but on the extent to which one must refrain from
9 interfering with others and is entitled to be free
10 of interference from others. The catch phrase for
11 that would be that you don't focus on inputs. You
12 don't have rules about what antennas to use, but
13 you focus on outputs. You focus on okay, this is a
14 license that says you're entitled to be free of
15 this amount of interference and you're entitled to
16 create no more than this level of interference to
17 anybody else. I think moving away from command and
18 control licenses, and I noticed from reading what's
19 been going on, that these kinds of issues have
20 already begun to be discussed, for example, in the
21 context of software-defined radio and other issues
22 before this panel and I would applaud that.

23 Fourth, adopt the policy that is
24 planned, not reactive. This will not be easy to
25 do, partly because there are so many issues on the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 table at any one moment. But if we are going to
2 dig out of the apparent morass of issues that are
3 staring us right in the face, I suggest the only
4 way to do it is either to put them behind us or at
5 least have a group, hopefully, it will be this one
6 that puts that behind us and looks at trying a
7 planned spectrum policy that looks at least a
8 decade down the road as to where we hope to go.
9 And what uses we hope to put spectrum to.

10 Fifth, and getting terribly difficult,
11 I would hope that the Commission would adopt as a
12 principle that when spectrum is allocated it has a
13 plan for what to do if it doesn't work after the
14 licenses are granted.

15 Now, of course, I wish the Commission
16 did this all the time. I wish every Commission
17 rule had at the end of it here is a statement of
18 what we're trying to achieve and if the following
19 things don't happen, we'll repeal the rule.

20 The Code of Federal Regulations in
21 Volume 47 would shrink substantially were that
22 done. For example, but what I mean here is if a
23 new service is proposed and spectrum is freed up
24 for the service, I think the Commission would be
25 well served for it to identify clearly what should

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 happen and when if the service doesn't materialize,
2 whether that's for technical reasons or economic
3 reasons. The most important question to address
4 before the question is in front of you is if that
5 kind of failure occurs is the Commission going to
6 try to quote fix it by finding more or better
7 spectrum for the existing service or by authorizing
8 new service for that spectrum or will it leave the
9 quote fix to flexible use licenses? I think
10 thinking out those problems when you are
11 authorizing the service in the first place is the
12 way to avoid the politicization of some of these
13 issues. I know it's a very difficult thing to do.

14 Finally, and most difficult to
15 implement, but I think a basic principle that would
16 serve the Commission well is take responsibility
17 only for the spectrum, not the service. This is
18 the hardest rule of all to implement, not because
19 it requires a change in the law, but because it
20 requires a change in attitude and violating a
21 cardinal tenet of Agency practice. That tenet is
22 that you do not ever concede that you are not
23 omnipotent.

24 (Laughter.)

25 You do not ever concede that you can't

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 fix any problem. On the other hand, we all know
2 that we are not omnipotent and not even the Federal
3 Communications Commission, where I've already told
4 you I've been proud to be an employee here on two
5 different occasions.

6 So when confronted with a new
7 technology that appears capable of interjecting
8 happiness into the lives of consumers or huge
9 efficiencies into the balance sheets of producers,
10 or preferably both, I think the Commission would be
11 well advised to promise that service to no one, to
12 make spectrum decisions that permit the service to
13 materialize should it turn out to be economical and
14 practical and to make it clear that we can have the
15 service when and if we're willing to pay for it and
16 if we're not, we won't. I know that will be a hard
17 one to implement, but I suggest it would be.

18 In any event, those are Krattenmaker's
19 six principles for the panel to think about. I
20 decided to leave for the end the title of my
21 remarks because I thought it would make more sense
22 at the end. I've decided that this should be
23 entitled "Thank Goodness Dr. Coase was not on the
24 Titanic."

25 (Laughter.)

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Thank you and good luck.

2 (Applause.)

3 MS. VAN WAZER: Thank you, Tom. Tom
4 was my anti-trust law professor more years ago than
5 I'd care to admit and you were a tremendous
6 professor then and it's a privilege to continue to
7 learn from you today.

8 Now we've got his lecture on tape, so
9 if my notes aren't good enough, I can review the
10 tape.

11 With that, I'd like to introduce Dr.
12 Paul Kolodzy, Director of the Spectrum Policy Task
13 Force.

14 DR. KOLODZY: Good morning. And I
15 guess I play dual role today. Usually, I'm up
16 there talking about what the task force is about
17 and passing it on to the moderators. Today, I'm
18 going to do a little bit of both. I'm going to
19 actually help in the moderation task.

20 First of all, I'd like to thank both
21 Chairman Power and Commissioner Abernathy and
22 Professor Krattenmaker for their great remarks this
23 morning. They teed up a lot of the issues that
24 we're trying to deal with. In fact, today's panel,
25 excuse me, today's workshop on rights and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 responsibilities actually tries to address a lot of
2 those issues and I hope that we have some lively
3 discussions today and I hope to hear a lot from the
4 audience for their comments.

5 The Spectrum Policy Task Force, for
6 those who do not know, is trying to take a future
7 look at spectrum policies and trying to understand
8 exactly where we're going. So we're trying to
9 actually address one of those issues that, in fact,
10 came up which is how do we look forward and how do
11 we actually try to be more proactive versus
12 reactive? And so the Task Force is focusing on
13 that and in fact, since this is a large activity,
14 we took four workshops to actually pull off all of
15 the information, try to pull all the information
16 together. This is the last of those workshops and
17 for those who do not know, you can actually go on
18 the web, on the FCC website and actually get a
19 whole of these workshops and actually review them
20 at your leisure. And I recommend you do that if
21 you have any questions in the sense of those four
22 areas. If you remember, we had areas on license
23 and experimental use. We also had things, a
24 workshop on interference, on spectrum efficiency
25 and then finally this workshop.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I'd also like to take a few moments
2 here, this is the last workshop. We pulled off
3 four workshops in 8 days. I think that's a record
4 in somebody's books here at the Commission and I
5 think it really comes -- the reason we were able to
6 do that was because of the hard work of Lauren Van
7 Wazer. I think my Deputy did an unbelievable job
8 to try to pull all of this off and all of the
9 support people that were -- that helped her put all
10 these pieces together and I think that we couldn't
11 do the things we're doing today without their
12 dedication and help, so I'd like to thank them
13 personally for all their help.

14 I also would like to try to tell you a
15 little bit about the schedule what we're on. Right
16 now, we are on the fourth workshop as we've been
17 saying. We're going to be trying to put together
18 recommendations and putting out a report probably
19 by the end of October. That's the goal. And
20 hopefully, we'll be having interactions between now
21 and then for certain folks, but the idea is to
22 actually put out a report for recommendations to
23 the Commission in that time frame.

24 Today, this panel, the panel is
25 entitled "New Technology in Spectrum Usage Right"

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 is asking really two basic fundamental questions.
2 One is what's happening in the technology area and
3 how is it impacting, what kind of rights we may be
4 wanting to put together. Or second of all, is new
5 technology really an answer to those rights,
6 meaning do you even worry about the rights, you
7 worry about the technology. So should it be
8 technology focus with the rights impacting the
9 technology or should it be that the technology
10 impacts the rights?

11 And I am pleased that I have a
12 co-moderator, Charla Rath, from Verizon Wireless,
13 who's going to help me out today. In fact,
14 hopefully, she's going to take a lot of the lead.
15 I can sit back and listen because one of my roles
16 here is to actually listen to most of the
17 commentary and try to help formulate new ideas.

18 We're going to start off basically by
19 going across the panel and letting them introduce
20 themselves. I've asked each one of them to
21 probably spend no more, like a minute or so,
22 talking a little bit about who they are and what
23 their perspective is, because again, what we're
24 trying to get accomplished today is to actually
25 have the interaction between the panelists and the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 audience and so please when we break every so often
2 to ask for the audience participation, that is your
3 opportunity to actually come forward and ask some
4 questions. Or make some comments. Either if you
5 have disagreements or commentary that you'd like to
6 bring forth to the panel.

7 With that, I'd like to start off with
8 Peter Pitsch from Intel.

9 MR. PITSCH: First, thanks for inviting
10 me and I want to say I followed a number of the
11 panels and I found them very educational. As Paul
12 said, I am now at Intel, but I did spend 8 years
13 under the black lights of the eighth floor at the
14 FCC and I've thought about these issues for a while
15 and I'll probably be giving you some of my personal
16 views as well.

17 I'm going to try to set a good
18 precedent on the one minute. I want to do
19 basically just give you a gist of what I'm going to
20 say, plant a few seeds and then come back to these
21 ideas in the Q and A.

22 First, I want to incorporate by
23 reference an awful lot of what Professor
24 Krattenmaker said and Tom was a professor for me
25 too, I have to say.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 (Laughter.)

2 First, I want to say a word about
3 problems, a word about causes and then two reforms
4 that I want to press and give you an idea,
5 hopefully over the course of the morning, some
6 concrete practical ideas about how to go forward.
7 Just a word about problems at the outset.
8 Fundamental problem, artificial scarcity of the
9 spectrum. It's man-made. All things are -- many
10 things are scarce. Most things are scarce, but the
11 problem here is that we have scarcity due to
12 mistakes.

13 Secondly, the cause, again, a lot
14 people have referred to it. The spin I want to put
15 on it is yes, this process is cumbersome and
16 inflexible and so on, but it fundamentally lacks
17 two elements that markets have which is producing
18 objective, decentralized information that can be
19 used in a very decentralized people by people who
20 have an incentive to use it. We'll get into that
21 later.

22 And the reforms, I think the Commission
23 needs to ironically create more flexibility and
24 freedom in two very different ways. It needs to
25 create more spectrum that can be used in commons or

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 explore this opportunity; and two, it needs to
2 create through something I'll explain in more
3 detail, a simultaneous exchange that defines rights
4 and creates voluntary opportunities for spectrum to
5 be more to higher valley uses. I'll get into that
6 in more detail. But basically, I think these are
7 complementary ideas and the Commission needs to
8 move forward on these quickly.

9 DR. FARBER: The name is Dave Farber.
10 I'm a Professor of Telecommunications at the
11 University of Pennsylvania and also a faculty
12 member of the Wharton School. I guess I should
13 comment also in my past that I served for a
14 marvelous year at the FCC as Chief Technologist
15 which probably forever distorted my point of view
16 on things for the better.

17 When I came here I was a technologist
18 who had sort of an interest in public policy and
19 now I find myself totally confused to whether I'm a
20 person, a policy wonk or a technical nerd and
21 hopefully a bit of both. And I commend that that's
22 probably an important thing in the future. As a
23 side bar, I'll be going to CMU for a year where my
24 task is to get the nerds to talk to the wonks.
25 It's going to be interesting.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 My point of view on spectrum policy is
2 I think well outlined in the submission that Gerry
3 Faulhaber, also of Penn, and I submitted to the
4 FCC's on-line system, copies are available on
5 request. So I won't go over that, except in the Q
6 and A. Let me just make some brief comments
7 outside of that paper.

8 I think one of the most interesting
9 events of the last several years is the tremendous
10 surge of interest in the unlicensed spectrum, 802,
11 the WiFi systems. And that's had several important
12 things. First, it's become a keystone in the way
13 computer deployment is done nowadays. When I was
14 over in Tokyo a little while ago, Sony now makes a
15 TV set that talks to the bay station over a WiFi
16 link and you can carry the TV set with you. You
17 don't have to carry everything else with you. It's
18 just becoming ubiquitous, access points are now the
19 size of a pack of cigarettes. That's done two
20 things. It's made wireless something that every
21 citizen sees, I hate the word consumer, every
22 citizen sees and it's turned on a whole generation
23 of young kids who never thought that there was
24 anything interesting in the radio space and
25 suddenly you're beginning to see kids who now think

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 of things like agile radio and software-defined
2 radios as an interesting thing to look at as high
3 school kids and maybe as career. And that
4 certainly is productive for all of us to do.

5 Agile radios, the software-defined
6 radios, I think are going to be an extremely
7 important technology in the future and one of our
8 opportunities is to make the policy make the
9 technology in these areas.

10 I have two additional brief points.
11 Security is becoming a much more important part of
12 our life after certainly 9/11, but it's been that
13 way for quite a while. Current attitudes towards
14 it in the airways, to put it mildly, amateur day
15 and getting secure, reliable, robust technology is
16 going to be increasingly more important. Many of
17 the new technologies allow us to do much better in
18 that area. We have to make sure that our policy
19 doesn't stop it which it has occasionally in the
20 past, not FCC as much as other policies.

21 And finally, I can't resist a comment
22 that I think the Chairman said that I have to
23 slightly amplify and that's the Congress. When I
24 was here, I remember a marvelous visit to the Hill
25 where a Senator, I won't mention who, called me up.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 He wanted to become the internet Senator, so I
2 went up and spent two hours and he started with
3 "now tell me what is the internet?"

4 (Laughter.)

5 MR. SHARKEY: I am Steve Sharkey. I'm
6 with Motorola. I'm the Director for Spectrum and
7 Standard Strategy in the Washington Office here. I
8 admit that I also spent some time at the FCC, 11
9 years, winding my way through various bureaus and
10 working on spectrum issues. I'm seeing it now from
11 the other side and an interesting perspective to go
12 back and forth between the two, but I know a lot of
13 difficult issues that the FCC is dealing with and
14 they are difficult issues.

15 One thing that I think we are seeing in
16 a lot of the Commission's or Chairman's comments
17 hit on is the need for greater flexibility of
18 services and that is a good thing to allow
19 different services to develop and not put a lot of
20 constraints on the type of services or technologies
21 that are implemented.

22 One of the things I think we need to
23 keep in mind though is these have to be done in a
24 coherent, technical framework that helps to limit
25 interference between the services and provide some

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 certainty about the operation of a licensee. That
2 will also help ensure some efficient use of the
3 spectrum, that there's not a lot of the spectrum
4 that's use for guard bands are wasted, kind of
5 protecting yourself against incompatible neighbors
6 or large changes in neighbors.

7 Also, one of the things that do need to
8 be addressed is the need to clearly define the
9 licensee rights and a number of the previous
10 speakers touched on that, but again a certainty to
11 drive the investment in deployment of large-scale
12 services really goes to that need to define the
13 licensee's rights, to be protected from
14 interference and while also allowing some evolution
15 of services.

16 The Chairman also hit on one of the
17 keys here too and I think Peter's comment about the
18 artificial scarcity of spectrum is a good one, the
19 need to work more closely and align our policies
20 between NTIA and FCC and that we have a system now
21 that is a difficult system to work with, no real
22 coherent way to work between the two agencies and
23 no consistent policies between commercial and
24 government uses of spectrum. So that is certainly
25 an area that we need to address and I know the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Commission and NTIA have both made a lot of strides
2 in working together. I think we have a long way to
3 go to get beyond some of the difficulties the
4 agencies have in moving past the -- you know,
5 protecting their constituencies and kind of going
6 off in the corners to do that protection and to
7 look for new ways to share spectrum and to make the
8 most efficient use possible of that. So I look
9 forward to discussing these and the panel. Thanks.

10 MR. TAWIL: Thank you, Victor Tawil,
11 Senior Vice President of the Association for
12 Maximum Service Television. It is a technical
13 trade association. I've been there for 14 years.
14 Prior to that, I worked for the Commission in
15 various bureaus, primarily in the wireless service
16 and in the OET.

17 I have a small statement. I think that
18 Federal "Titanic" Commission did extremely well for
19 the past 90 years. It stayed afloat and that's
20 good. And I hope it will stay afloat the next 90.

21 In terms of focus, my focus today will
22 be primarily on responsibilities, spectrum
23 responsibility. I'm not going to deal that much
24 with spectrum rights, but I do believe spectrum
25 responsibility is the key. Interference mitigation

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 is important. I do think flexibility is the key
2 for innovation.

3 That's it, thank you.

4 DR. KOLODZY: Thank you. Actually, we
5 jumped a bit from that side in.

6 Bruce?

7 DR. FETTE: Good morning. My name is
8 Dr. Bruce Fette. I'm with General Dynamics in
9 Scottsdale, Arizona where I'm the Chief Scientist
10 at General Dynamics. We have recently developed a
11 software-defined radio and have been delivering
12 that to the Department of Defense.

13 In addition, I sit on the Board of
14 Directors of the SDR Forum and I am a large company
15 representative on the SDR Forum Board of Directors
16 and am the Executive Chair of the SDR Forum's first
17 conference to be held on software-defined radio
18 technologies in November in San Diego and we look
19 forward to seeing many of you participate in that
20 conference coming up.

21 Relative to SDR technology, I'd like to
22 say that we have developed the SDR technology with
23 the expectation that it can accomplish dramatically
24 more in functionality than a traditional radio and
25 that in fact we expect that it will be able to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 demonstrate for the Department of Defense some of
2 the principles that we're trying to expect when we
3 begin to talk about spectrum commons, non-
4 interference, the kinds of protocols that would
5 enable the principles that we're going to be
6 talking about today.

7 Thank you.

8 DR. KOLODZY: Gee?

9 DR. RITTENHOUSE: I'm Gee Rittenhouse,
10 Director of Wireless Technology at Bell
11 Laboratories. To Professor Farber's point I freely
12 and completely admit that I'm a technology nerd and
13 that I have absolutely no experience with the
14 policy, so I actually am really looking forward to
15 this panel session and describing some of the
16 technologies.

17 I do spend quite a bit of my time
18 developing the technologies to make spectrum
19 efficient, both in terms of multiple antenna
20 systems, as well as wireless systems and we've also
21 spent a great deal of time in some of the
22 unlicensed technologies as well. So from that
23 point of view, I think I can contribute a bit.

24 I also thought it was very interesting
25 with Paul's point to see the disposition of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 technology and policy. My personal view is that
2 those two actually go hand in hand. In order to
3 make spectrum efficient and to have efficient use
4 of that spectrum, you have to have policy rights as
5 well.

6 Thank you.

7 MR. SIDDALL: I'm Dave Siddall. I have
8 also have worked a lot with regulation and
9 technology, putting the two together, actually. I
10 spent the first 13 years of my working career down
11 on Capitol Hill where I specialized in
12 communications at an organization called the
13 Congressional Research Service. That meant that I
14 was the resource for any question coming into any
15 Senator or Congressman or committee staff
16 regardless of parties or nonpartisan organization.

17 If they didn't know how to answer it or wanted to
18 have expert advice, they often referred it to the
19 Congressional Research Service. If it had
20 something to do with communications, it came to my
21 desk.

22 I think I would date my initiation to
23 this subject to that time, two decades ago. One of
24 my clients was the -- and often in touch with me
25 was the Chairman of the Senate Subcommittee on

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Communications and there was a period during which
2 he was sending me these constituent letters that
3 kept coming in about I have this new idea, this new
4 service, the FCC is a roadblock, they're not
5 allowing me to find some spectrum to initiate my
6 service. And we had back and forth with the FCC
7 staff and with his staff and I met with his
8 constituent. Finally, after about a year, he
9 called me up one morning. We didn't have Caller ID
10 in those ideas so I actually answered the phone and
11 he said I just got this letter from the Chairman of
12 the FCC and this had been going on for two years
13 now and the letter says there's no more spectrum.
14 And if I can find it, my constituent can have it.
15 So what are we going to do, David?

16 Actually, one of the things that did
17 come out of this a year or two later was Section 7
18 of the Communications Act which was I think the
19 first attempt to actually address this issue. And
20 it was put in by the Senator behind the scenes
21 because it was an Omnibus Budget Reconciliation Act
22 of 1982 that inserted it. And it said that the FCC
23 shall rule on any requests for new technology
24 within one year and if it doesn't rule, the
25 technology shall be authorized. Easy said. We

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 kind of look at it back then as this will be
2 interesting and the history of that is it's very
3 difficult to implement.

4 Ten years later, I came here to the
5 FCC. Ten years later, actually, I was the Chief of
6 Spectrum Allocation and in some regard in charge of
7 making sure we complied with that very statute, so
8 every good deed is returned.

9 (Laughter.)

10 We also have the pioneers' preference
11 and I think that would be the second major blip on
12 the historical chart of attempts to find ways of
13 getting technology out to the marketplace. I
14 cannot take any responsibility for that. I was in
15 charge of administering it. I came into my job one
16 month after the Commission had adopted the rules on
17 that. So I had nothing to with its formation, but
18 I had everything to do with trying to carry out
19 that rule.

20 And as many of you know, during my 13
21 years here at the Commission, as I spent 13 on the
22 Hill, 13 here at the Commission, the job from which
23 I retired was the wireless advisor, media advisor
24 to Commissioner Susan Ness and again, we dealt with
25 spectrum.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And again, in case you want to put too
2 much weight on anything I say this morning, I have
3 to tell the story that when I first met her to
4 brief her on the PCS which we were in the middle of
5 a rulemaking on Personal Communications Service,
6 she was asking me how this all operated. This is
7 not a job interview, but a regular, you know, I'm
8 going to be a new Commissioner type interview.
9 When I explained it to her, I said there's one
10 thing to remember, in spectrum decisions, there's
11 50 percent of the parties are going to be really
12 mad and 50 percent really happy. So what you want
13 to do as a Commissioner is we'll brief you on it,
14 but we'll take the hit on the staff, we'll do it as
15 a staff-delegated action with your knowledge of
16 what we're doing. You'll never have to deal with
17 it and you don't want to because it's very messy.
18 And because I was very wrong with that and now the
19 spectrum issues are way up in elevation.

20 I wanted to say two points and then
21 I'll shut up and turn it over. One is if the
22 results of this task force is something like one
23 size fits all, I can guarantee you it's wrong.
24 There is strength in diversity. There are many
25 different types of services, many different uses of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 spectrum and the real trick here is to somehow
2 accommodate all the different uses under some
3 regulatory scheme at 10,000 feet, but to make sure
4 that when you get down into the details, that the
5 diversity is still there and I think that requires
6 some differences in regulation.

7 I would draw the direct analogy to
8 property rights. I own a house out in Great Falls.

9 I wouldn't be here today. I would be really
10 retired and a multi-millionaire if I could -- it's
11 a two acre piece of property. If I could just take
12 that one acre and put a McDonald's on one end
13 because we don't have a fast food restaurant within
14 10 miles of where I live that's decent, if we could
15 put McDonald's on one end and maybe townhouses on
16 the three quarters remaining acre, I'd be very
17 rich, but there's zoning requirements that go with
18 that property. There's rights of way. I have to
19 be careful when I dig because there's electrical
20 lines and gas lines and cable TV lines going
21 through that property. So when we talk about
22 property rights in the abstract, it sounds very
23 good, but when you really look at the details of
24 property rights that are what we have today, there
25 are different rules that apply and I think the same

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 thing probably will apply to spectrum in the end.
2 And we just have to keep that in mind because some
3 people use the property rights rubric to mean no
4 regulation at all.

5 Now at least my experience in land
6 ownership or at least I inhabit some land that my
7 mortgage company owns, is that there's a lot of
8 restrictions on what I can do. I hope the spectrum
9 property rights actually will be a little less
10 restrictive than my property rights.

11 The second thing is when you get all
12 done your recommendations, I would urge you to take
13 one last look at the package and see if there is a
14 self-adjusting mechanism so that changes in
15 technology and spectrum use can be accommodated
16 with either minimal or no additional regulatory
17 action because it's very easy to lose that point,
18 to come out with a lot of different proposals, but
19 when you do the final look at it with that in mind,
20 you say well, what have I done? I've just written
21 a new set of regulations that fit today's
22 technology. The paradigm shouldn't be to fit
23 today's technology or yesterday's technology or
24 even tomorrow's technology. The paradigm should be
25 I don't know what's coming down the line. Is there

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 a way that those who use the spectrum can adjust to
2 the new technologies without the delay that is
3 inherent in governmental action.

4 Thank you.

5 DR. REED: Hi, I'm David Reed and I'm
6 not currently full-time with anybody. I'm an
7 independent consultant, although I do have
8 affiliations with the MIT Media Lab and with
9 several other organizations.

10 I'm basically a systems designer,
11 mathematician, computer scientist and a sometime
12 person who's taught himself economics, at least as
13 far as it applies in my field. My career started
14 out at MIT as a student and professor and wandered
15 through 10 years in the personal computer industry
16 where I, among other things, was Vice President and
17 Chief Scientist at Lotus Development for 7 years.

18 In my student days at MIT, I was
19 involved in the initial design of the internet
20 protocols which was a distributed process across
21 the country and I represented MIT in that effort
22 and I probably am best known in that time for some
23 architectural principles that have characterized
24 the internet, in particular, the end to end
25 argument which I co-authored with Jerry Salzer and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Dave Clark.

2 I think this is a very interesting
3 proceeding. I was especially hardened by
4 Commissioner Pell's remarks where he seemed to put
5 everything on the table and recognized a tremendous
6 economic opportunity that we face or economic
7 challenge and my feeling is that the challenge we
8 face is very similar to the challenge we faced in
9 the early days of the internet back in the 1970s,
10 25 years ago when I was involved, recognizing that
11 we didn't know what the best applications were, but
12 we knew that this new architecture was going to
13 support a very rapidly growing activity and one
14 that it would be foolish on our part to try to
15 predict what was going to happen. Instead, we had
16 to open up the opportunity for lots of innovators
17 and lots of developers. And the end to end
18 argument was part of the architectural argument to
19 enable that very flexible model which I would point
20 out had nothing to do with property rights.

21 I'm a great fan of Coase, but not
22 because of his FCC paper per se although it's well
23 reasoned, given what he knew about at the time, but
24 I am a great fan of what he won his Nobel prize for
25 which is the argument about when you introduce

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 transaction costs everything flies out the window
2 and it's that part of Coase's argument that I
3 support and I think it turns out in the long run
4 and I will argue that his argument about the FCC,
5 while historically interesting was incorrect in the
6 technical basis for it and therefore needs to be
7 revised.

8 I think at the same time, Claude
9 Shannon who is one of the greats formulated the
10 problem much more, in a much more interesting way.

11 He recognized that spectrum was not the resource.
12 Wires were not the resource. Bits between
13 communicated entities was the resource that needed
14 to be managed or increased and it turns out that
15 many years, now about 70 years or not quite 70
16 years after the current 1934 Act was based on an
17 incorrect understanding of how a radio works, we
18 are finally starting to understand how to apply
19 Shannon's understanding of information to radio
20 networks and discovering that, in fact, there not
21 only is scarcity artificial from regulation, but
22 the scarcity of communication capacity and other
23 economic utility in the spectrum and has very
24 little to do with spectrum as a resource and has a
25 lot to do with architecture and innovation.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 In particular, the notion of
2 interference, that we know and love, it's been
3 enshrined in the law is extremely poor and even
4 Coase recognized that in his famous example of the
5 confectioner and the dentist where he talked about
6 the idea of a dentist that was disturbed by the
7 neighbor which was a confectioner generating large
8 amounts of vibration that made it very difficult
9 for him to carry out his activity. What Coase
10 pointed out in some of his writings was that it
11 wasn't just the confectioner that was responsible
12 for that interference. It was the dentist for
13 choosing to locate himself where he was and he
14 could equally well take the burden of minimizing
15 that interference. And that's very analogous to the
16 receiver exercise that we talked about earlier.

17 So with that, my main point is and I
18 will stand up for it today that the idea of a
19 commons based architecture where the market is in
20 the equipment and tool providers space is a much
21 better model for regulating radio than the model
22 that somehow all the goodness of radio coming from
23 the electromagnetic ether and therefore all
24 economic returns should go back to those who hold
25 artificial licenses. So thank you.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. RATH: Okay, we're now going to
2 move to the interactive portion of the morning. I
3 just want to state for the record that I am a wonk,
4 not a nerd, but -- Paul will be the nerd in the
5 moderating session.

6 (Laughter.)

7 What I'd like to do is begin with a
8 sort of an over arching question, that basically is
9 the question of this workshop which is how does the
10 so-called lack of access to spectrum, not
11 necessarily spectrum, spectrum scarcity, but lack
12 of access to spectrum impede technology
13 development? And the basis for that is we sort of
14 talked through this issue is that some contend that
15 all valuable spectrum has already been assigned,
16 has already been licensed and thus is an impediment
17 to the development of new technologies that might
18 be seeking a corner of spectrum. Others have
19 actually contended that, in fact, this very
20 scarcity drives people to innovate and drives for
21 more spectrally-efficient use of the spectrum and
22 may actually, in fact, lead to some sorts of
23 technology innovation. But then as you sort of
24 look on the new frontier and we talk about things
25 like SDRs, you talk about possibility of spectrum

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 holes and the ability to fill spectrum that may not
2 even be used even though licensed.

3 What I'd like to do first is just ask
4 Dr. Reed and Dr. Farber to sort of talk briefly
5 about this, with maybe some follow-up by Dr. Fette
6 and Rittenhouse and then obviously can join in that
7 they want to, but I wanted to sort of start with
8 the two of you on sort of defining the question.

9 DR. REED: Sure. Actually, there are a
10 couple of things I'd like to point out. First of
11 all, the idea that there's a possibility of
12 spectrum holes, is a funny way to phrase it, in
13 fact, if you actually look at the available
14 capacity of the spectrum, even with today's
15 technology, there's a huge amount of capacity
16 wasted by very high powered transmitters and a
17 variety of other technologies that might have been
18 the best you could do in their time.

19 We have, if you -- there's the famous
20 example if you take a spectrogram of the radio
21 spectrum in any point in the United States, you'll
22 find that it's 99.999 percent unused by anybody and
23 actually, if you look at a second order point, is
24 that if you actually look around for places where
25 the spectrum is used, and you look at the --

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 whether there are any receivers there, for example,
2 to receive the signal, you'll find that there's
3 almost no receivers there. So what we actually
4 have is a vast desert. It's all hole and very
5 little use.

6 Nonetheless, if you try to use any of
7 it you run into government-granted rights that will
8 be used against you if for nothing else, as Dr.
9 Powell heard, Commissioner Powell mentioned, to
10 keep you from being a low-cost competitor. So
11 that's the first point.

12 The second point is that we've in the
13 last 10 years including technologies such as ultra-
14 wide band which I had a little bit of involvement
15 with back at Interval Research, software-defined
16 radio which several on the panel know a great deal
17 about and radio networking which started out with
18 packet radio networks developed by DOD and have
19 evolved well beyond that which provide a kind of
20 gain called cooperation gain. That is if you house
21 several transmitters and receivers cooperating in a
22 system, you can get a lot more effective bit
23 capacity.

24 All of those things mean that we're in
25 the current situation getting almost no effective

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 communications out of our totally allocated
2 spectrum and since the technology is available now
3 to do that, we need to find ways to enable that
4 technology.

5 MS. RATH: Dr. Farber?

6 DR. FARBER: Thank you. It's always
7 difficult going after Dave. He says a lot of what
8 I wanted to say, but let me emphasize two things.
9 I remember talking to Paul Baron once sitting in
10 his living room as he was scanning the spectrum.
11 For those of you who don't know Paul, he was a
12 force in many, many areas of both radio and
13 probably the one who originated packet networks.
14 And the spectrum is largely empty. And part of our
15 problem is it's like going to parts of the United
16 States back in the old days where nobody was
17 around, the land was empty, but there were barbed
18 wire fences all over the place and if I dared walk
19 into your property, I'd have to go through the
20 barbed wire and once I got there, somebody might
21 shoot at me. As opposed to the world that exists
22 in other parts of the world where I have the right
23 to walk across your property, provided I don't
24 meaningfully interfere with you. And I think that
25 term "meaningfully interfere with you" is a key

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 word. We talk about interference in some abstract
2 sense. I guess if there's nobody in the forest who
3 will hear the falling stone, if there's nobody
4 using the spectrum, and I use it, I'm not
5 interfering with anybody provided I get out fast
6 enough when they want to use it.

7 We're at an era where the technology
8 allows that and I think that's the key. It's a
9 combination of software-defined radios which give
10 us the flexibility. A lot learned from the
11 internet. The internet and its development taught
12 us a lot about how to deal with cooperating, almost
13 friendly, sometimes hostile units working together
14 for a common good. There's a lot to be derived out
15 of that which has not been applied to radio space.

16 There are some examples in the past and
17 if you separate technology from commercial success
18 I aim you at a system that again Paul Baron built
19 called Ricochet for Metricom which was a marvelous
20 example of a very efficient use of a limited
21 bandwidth with cooperating radios and in fact,
22 probably was the first example of mesh radios in
23 existence.

24 We have the technology. I think we
25 have an understanding of how to apply it. But it's

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 not an overnight thing. We've ignored this area
2 for a long, long time. There's been precious
3 little research done in the area.

4 DR. FETTE: I'd like to open with the
5 following observation. First of all, many folks
6 have paid dearly for a chunk of spectrum for which
7 they expect to be granted a certain quality of
8 service and I think the reason those people defend
9 that chunk of spectrum is that they feel that they
10 have the responsibility to protect the customers
11 that they serve with a certain degree of quality of
12 service.

13 An example that's particularly
14 illustrative there might be the public safety
15 service sector in which while the spectrum is not
16 used highly, when the need arises to use the
17 spectrum to communicate, they certainly don't want
18 to have interference.

19 The example of the software-defined
20 radio which could in principle do a CSMA type
21 collision recognition and recognize opportunities
22 to use available spectrum implies that such things
23 are possible as spectrum sharing.

24 It's important in such cases to be able
25 to get off the air as soon as the spectrum is

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 required by its primary user and to assure that the
2 quality of service is not degraded in any way for
3 those users.

4 The example of public service is
5 perhaps a little bit easier to deal with than the
6 examples of satellite communications where it's
7 difficult to recognize when communications is
8 actually going on.

9 The principles of an SDR-type system
10 with specified set of protocols and I think we can
11 expect that the protocols will advance in
12 sophistication and complexity and that the SDRs
13 that implement them will advance in sophistication
14 and complexity as time marches on.

15 I'd like to, in particular, point out
16 that the technology advances both by virtue of a
17 resource need and in the case of spectrum a
18 resource need has been recognized, but also for
19 other reasons other than spectrum resource. In
20 this case, the SDR advances because the technology
21 allows it to advance to the point where we can do
22 so much more than was originally expected of a
23 radio, for example. We can do multimedia source
24 coding, web browsing and such things and because
25 the technology allows it and allows it to become

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 economical at some point, then those who recognize
2 that point of inflection jump in with an attempt to
3 demonstrate those technologies and subsequently to
4 demonstrate business opportunity deriving from
5 that.

6 MS. RATH: Gee?

7 DR. RITTENHOUSE: Yes. I take somewhat
8 of a different view, although I freely acknowledge
9 that unlicensed spectrum and interference avoidance
10 has its place for a crucible and the test tube of
11 new technology development. I also want to
12 acknowledge the fact that in the property rights
13 model, because of the expense that has been put
14 into that spectrum, we have also seen an evolution
15 in spectral efficiency. In my field of expertise,
16 the cellular communications, we are seeing a
17 constant migration from amps to digital to now
18 we're just rolling out 3G technologies and beyond.

19 And so the fact that there are -- that spectrum is
20 a finite resource or high quality spectrum is a
21 finite resource also puts economic pressures to
22 push towards higher, more spectrally efficient
23 solutions detect and collision avoid type methods
24 in the internet also allows for a multiplexing gain
25 among users. And so within a particular spectral

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 band, you do get a packing efficiency. But if you
2 look at some of the WiFi, particularly the more
3 recent ones, type technologies, they are horribly
4 spectrally inefficient compared to the 3G
5 technologies and the corresponding shared high
6 speed data channels and those type of technologies.

7 To Professor Farber's point of
8 Ricochet, Ricochet largely failed, not because of a
9 technology point of view or an efficiency point of
10 view, but from a coverage point of view and the
11 lack of ubiquitous service. A provider has to be
12 able to predict in that present value of their
13 deployment of the infrastructure that goes into
14 that spectrum. And if they're not guaranteed that,
15 or not able to predict it, then rolling out such a
16 service is very difficult.

17 Thank you.

18 MS. RATH: I think a couple other
19 people wanted to comment and then we'll go to the
20 audience for some questions.

21 Steve?

22 MR. SHARKEY: Dr. Farber used an
23 interesting term and looking at meaningfully
24 interfering with an incumbent. And I think that's
25 a key to this is when you're allowing new

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 technologies and we do have to have room for new
2 technologies, but that's what a lot of the debate
3 has been about is when are you interfering with the
4 incumbent and more often we see in the FCC
5 rulemakings the term "harmful interference." So
6 whether you're talking about harmful interference
7 or a meaningfully interfere, it's often a very
8 different idea of what that means, depending on
9 whether you're the incumbent or the new service
10 provider or the new entrant.

11 And that's probably one of the key
12 areas that I think the Commission can really work
13 on is to try and provide a better definition of
14 what "harmful interference" or "meaningfully
15 interfere" is to better define those, the rights of
16 the incumbents, to provide the certainty. That was
17 a lot of the debate about the introduction of
18 ultra-wide band technologies is whenever there is
19 any introduction of a new technology that's going
20 to overlay or impact or use the same spectrum or
21 adjacent spectrum, there's going to be some impact
22 so I think getting the certainty about what level
23 of impact an incumbent has a right to expect or
24 must be expected to live with is one of the
25 critical things that would ease the path of the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 introduction of new technologies and get around
2 some of the debate that goes on. And that allows
3 some of the innovation that Gee was talking about,
4 the certainty for innovation in license services
5 while also allowing introduction of some of the new
6 services or new innovations.

7 MS. RATH: Thanks. Peter?

8 MR. PITTSCH: First, I want to say Intel
9 does support creating more common spectrum and I
10 think the noninterfering easement idea that
11 Professor Farber has suggested has merit and I am
12 going to argue that these approaches, the rights
13 approach and the commons approach are
14 complementary. Not only do they co-exist, they are
15 complementary. But as the Commission looks at the
16 issue of commons versus rights, it needs to look at
17 three factors: first, scarcity; second,
18 transactions, costs; and third, practicality. I
19 think the third point has been woefully ignored.

20 Professor Farber and Faulhaber have
21 laid out the importance of transactions costs and
22 scarcity. When we talk about scarcity it isn't
23 enough to say well there will be no interference.
24 The 2.4 gigahertz allocation had a very low
25 opportunity cost for low power uses, right? We all

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 know why, microwave ovens. Similar arguments were
2 made at 5 gigahertz. The nonlicensed PCS spectrum
3 had a much higher opportunity cost, okay? So as
4 the Commission thinks this through, it needs to
5 consider scarcity. Transactions costs cut
6 differently too. You can make a very compelling
7 argument for ultra-wide band that the transactions
8 costs, buyers and sellers getting together, are
9 quite high. So the Commission was quite right to
10 do that. For agile radios and mesh networks, the
11 transactions costs arguments are much weaker.

12 Now just briefly on the proctocolitis
13 point, again, I think the Commission was wise to go
14 forward with ultra-wide band, but let's realize
15 that we live in a real world here and that that was
16 a very long process and many people believe came up
17 with very conservative criteria. What if the
18 alternative for agile radios, the efficiency
19 alternative is not to limit them to two
20 microseconds. Maybe it's different. Maybe
21 equipment costs or the quality of service could be
22 much higher. What if that happens much faster if
23 you have a rights alternative for radio technology
24 to be deployed? What if it happens in a much more
25 efficient way? So again, complementary.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Also, nontrivial questions about
2 squatters' rights. What if we create agile radios
3 and yes, they have to look before they transmit and
4 then get off and then we give flexibility to the
5 incumbent user and they come up with a new
6 technology which means that they're occupying the
7 spectrum much more often and we have all these
8 agile radios up there counting on the fact that
9 they've in the past always got access or got access
10 quite often and no longer can. Is the Commission
11 going to have the credibility to deal with those
12 problems?

13 So we need to be very pragmatic in how
14 we move forward here as well, consider those
15 considerations.

16 MS. RATH: One more and then that's it.

17 DR. FARBER: I just wanted to add one
18 thing. Talking about technology for a moment. I
19 think we're going to see a very interesting thing
20 happen over the next year or so as cell phones come
21 out with almost everything in them. Qualcomm, the
22 chip now has Bluetooth, WiFi, everything and the
23 kitchen sink in it which gives you an interesting
24 environment, that one phone is very agile in a way
25 and how that develops in the marketplace is going

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to be amusing, I think, is a nice term. You might
2 get some indication by the fact that DoCoMo is
3 going to spread WiFi all over Tokyo so they assume
4 a technology there and believe there is an
5 interesting market. How that develops I think may
6 give us a lot of insight.

7 DR. REED: Yes, I have a very brief
8 comment, since it will probably come up quite
9 often. This notion that there needs to be
10 certainty in order to support innovation is proven
11 wrong in several different ways. I'd like to point
12 out that in the semiconductor industry where huge
13 investments get made in the billions and nearly
14 trillions of dollars in new fab capacity, that is
15 based on a bit and based on a reasonable
16 expectation that somehow those things will be able
17 to be used, but it's not based on a guarantee of
18 return, especially not one provided by some kind of
19 government grant of rights to a market.

20 So I think that might be a red herring.
21 And in general, and my experience with the
22 internet leads me to believe this, the most
23 efficient economic architecture is the ones that
24 actually support the most innovation, are the ones
25 where there's the most uncertainty about the future

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 payoff, so while it may not be comfortable for
2 people to invest in either licenses or new
3 technologies without certainty, that's the game
4 we're in especially with the technology rate of
5 change and the government shouldn't try to make
6 those investment payoffs. Let the investors do
7 that.

8 DR. KOLODZY: Thanks. What I'd like to
9 do is we're on the area -- there are spectrum
10 scarcity issue to begin with and the second
11 question, this is a follow-up sort of was asking
12 the area of technology and how does technology
13 impact that? I'd like to turn it over to the
14 audience if there's any questions or comments
15 basically in that area or anything that the
16 panelists have commented on up to this point?

17 (No response.)

18 Well, if there isn't -- you do?

19 MR. SNYDER: Jim Snyder, New America
20 Foundation. A comment and then two follow-up
21 questions.

22 The transaction costs seem to be a
23 favored concept that economists have been using
24 frequently at this conference and I think it's a
25 good word, but I would encourage you to use

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 switching costs a little bit more which is a
2 variant on that idea. And why I like that better
3 is I think it explains a little better why
4 incumbents and I think the FCC are terrified about
5 the implications of software-defined radio for
6 spectrum policy because of the impact on switching
7 costs. The last thing an incumbent wants is to
8 make it very easy for, I think, consumers to
9 comparison shop and shift around and SDR offers
10 that in heretofore inconceivable way and also as to
11 specificity, it's always been associated with
12 telecommunications and spectrum policy where assets
13 are closely tied to spectrum and SDR disentangles
14 the two with I think really revolutionary
15 consequences for thinking about spectrum policy.

16 So my first question to you is really
17 what are the implications of SDR for spectrum
18 policy? I certainly don't think the FCC has
19 remotely grappled with those implications.

20 And secondly, what is the political
21 analysis of SDR? Why does there seem to be such
22 resistance to thinking through the implications?
23 Is this just because it's a novel technology or
24 it's economics are not -- poorly understood? Or is
25 there some political dynamic that mitigates against

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 it, efficient use of technology to eliminate
2 scarcity.

3 DR. KOLODZY: Okay, does anybody want
4 to take those on? I think the second question I
5 want to push back a little bit, it's a political
6 question and I don't know if that's a bunch of
7 technologists that we have here and being an
8 technology oriented panel, we might not be able to
9 address that, but I think the first question is a
10 darn good one. Does anybody want to address that?

11 I'm looking at Bruce.

12 DR. FETTE: Actually, I'd like to take
13 on the second question a little bit.

14 DR. KOLODZY: Okay.

15 DR. FETTE: The service provision of
16 cellular telephony, for example, requires a
17 tremendous infrastructure that hides behind the
18 cell phone. We all see the device that fits in the
19 shirt pocket or hangs on the belt clip as a very
20 small device and yes, it's true that when you have
21 a software-defined handset, it's possible to
22 provide that handset with a wide variety of
23 functionality and provisions, but the
24 infrastructure behind that is really what the
25 customer is paying for when he pays the monthly

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 bills. And it's very easy to forget that the
2 investment associated with the infrastructure
3 behind the cell phone is truly a remarkable
4 investment and while our cellular providers have
5 rolled that out rather quickly, the fact is that
6 they expect a return on that investment and
7 sometimes that return on investment takes a very
8 long time and because it takes a very long time and
9 the technology evolves during that time, an SDR is
10 actually a way that the infrastructure can keep up
11 with what people are expecting to get in the way of
12 service provision at their handset. So I would
13 like to share that idea with you.

14 The more sticky problem of how the FCC
15 grapples with the implications is -- back to you,
16 Paul.

17 MR. SHARKEY: You know, I guess, your
18 point on companies being afraid of this new thing
19 is a competitive aspect. I haven't heard that when
20 -- in discussions on SDR and I think one of the --
21 it seems like it's been more of an implementation
22 issue on technical interference which obviously can
23 also be used to to mask competitive reasons, but I
24 think the reality is SDR technology, there are many
25 levels of it and while radios are developed and are

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 here, I don't think consumers are going to be to
2 the store and making a choice between SDR and the
3 cell phone any time soon. The costs are very
4 different for an SDR radio right now and you're not
5 going to put it in your shirt pocket. I think that
6 there are very different expectations from what a
7 consumer wants from a cell phone and driving the
8 costs down, getting it out to as many people as
9 possible and deployed as widely as possible, then
10 you would get from an SDR, at least in the near
11 future.

12 MR. SNYDER: If I could just interject.
13 When I use SDR, I'm talking about something much
14 more ambitious than I think you have in mind. I'm
15 thinking of Vanu Bose's zero to 2.5 gigahertz
16 system. And when you think about some of the
17 oppositions, just think of number portability.
18 You're in the cell phone business and the
19 resistance of the cell phone companies to number
20 portability. People have been talking about it for
21 decades. The last thing Sprint or Verizon or
22 anybody else wants is for you to easily be able to
23 switch from one cell phone company to another so
24 there's this infinite resistance and this is a
25 trivial element of switching costs. We're talking

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 about a complete revolution where you could go up
2 and down the dial and buy the cheapest bit. I mean
3 no incumbent is going to, I think, want that type
4 of scheme because it would make it so much more
5 efficient and they'd lose their market power.

6 MR. SHARKEY: And I don't think that
7 that technology is ready to be deployed in that way
8 either.

9 MR. PITSCH: Could I jump in?

10 (Laughter.)

11 I want to answer the second question
12 to. Intel is a great fan of SDR. I don't know if
13 Mike Shardier is here. There he is. He's on the
14 forum as well with Bruce.

15 MR. SHARKEY: Motorola is a big fan of
16 SDR too.

17 MR. PITSCH: Okay. And I think we
18 need, what the Commission needs to do is come up
19 with mechanisms. As I said commons and rights
20 approaches will actually, could enable SDR and
21 other technologies much more quickly than we have
22 in the current environment. But to respond to your
23 second point, that goes to the practicality
24 question because can curse the darkness or we can
25 light a candle. Okay? I mean people have rights.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 The United States is a country of laws and people
2 have a constitutional right to come in and tell the
3 Commission you can't allow this new service and
4 they can raise lots of legitimate interference
5 questions and guess what? They can be secretly in
6 the dark of their heart motivated by fear of
7 competition reasons, right? Okay. But how do we
8 solve that problem? I mean we can blithely say
9 well, oh let's just impose a noninterfering
10 easement over all the spectrum or we could blithely
11 say let's propertize, if that's a word, everything.

12 But those things aren't going to happen easily and
13 in the near term. So let's be practical, okay? In
14 the next five years, let's look rigorously and
15 practically at creating some easements, creating
16 more common spectrum, getting more five gigahertz
17 on license spectrum and let's also look at creating
18 a simultaneous exchange where we can create
19 flexibility, define property rights, use voluntary
20 mechanisms which guess what, are going to be
21 politically easier to do than simply going in and
22 taking things away from people. Let's look at
23 both.

24 DR. FARBER: Yes. I was spitting and
25 spattering. All my instincts say that if you

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 create the marketplace, you'll find a software-
2 defined radio in my pocket within a very small
3 amount of time. Right now the marketplace isn't
4 there to really push it and that's something the
5 FCC by changing its rules can encourage.

6 There may be actually, a little aside,
7 one of the big problems that a lot of us see is
8 that a lot of the spectrum is controlled by our
9 friends over across the river in the Pentagon and
10 that spectrum is a very valuable space for them.
11 On the other hand, they hardly ever use it,
12 especially in the continental U.S. Their problem
13 is when they want to use it, they don't have to
14 have to negotiate with anybody to use it and that
15 seems like, in fact, an ideal place for innovation
16 for software-defined radios, for agile radios who
17 can get, who can use space, but get out of the way
18 when the owners need it. And it's probably an area
19 where, in fact, one could do some meaningful
20 research and meaningful application as opposed to
21 challenging say a TV company whose main value is
22 the alleged value of the spectrum quite often.

23 One other thing and I'll shush. No, I
24 won't --

25 (Laughter.)

1 I've mentioned the word research. One
2 of the big problems I see coming down the road is
3 that we have very few places to do advance research
4 now in this area. The economic situation, the
5 decline of almost every major research laboratory
6 in the United States is going to have a big impact
7 on our ability to move. As a sidebar, I point out
8 that, in fact, a broad -- some research labs are
9 growing fast. Ours are declining. Somehow we have
10 to respark the research that got us largely where
11 we are and that's a nontrivial job.

12 DR. KOLODZY: I'll just make one
13 comment. Actually, one of the things that you
14 mentioned there about the technology with the
15 defense world is that actually there's some
16 projects going on at DARPA right now that people
17 can look into and actually try to address some of
18 those questions.

19 Dave, you had one quick question or
20 comment?

21 DR. REED: Yes, just a quick comment on
22 software-defined radio in the cellular space which
23 you raised. It's very clear that software-defined
24 radios that can support at least the agility among
25 all the different types of cellular technology and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 all possible bands that we might bring into use in
2 the future are there today at the infrastructure
3 level and what's interesting is the argument that
4 Bruce made that what seems to be the economic
5 barrier there is just the spectrum. In fact, we
6 could have a lot more competition for the same
7 handsets and so forth technologically just by
8 allowing an operator to operate a software-defined
9 bay station network that could handle all kinds of
10 things and then capital investment of the operators
11 could be much lower. And I think that type of
12 thing would benefit, would immediately benefit
13 everybody if the regulations enabled that and they
14 do block it in many ways today.

15 DR. KOLODZY: Okay, now we have lots of
16 questions coming up there. Ed?

17 MR. THOMAS: Yes, I have a question for
18 anybody in the audience or the panel, vis-a-vis
19 software-defined radios. Is there anything in our
20 rules right now that are inhibiting to their
21 development, especially when you look at the
22 flexibility that's in the unlicensed rules and a
23 couple of a years ago we did, in fact, authorize
24 software-defined radios? So is there any big
25 obstacles in our rules right now that inhibits the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 development?

2 DR. REED: The main thing is licensing
3 by use that Commissioner Powell referred to which
4 is the tying of specific uses to licenses.

5 MR. THOMAS: Okay.

6 MR. STEVENSON: Carl Stevenson, Ager
7 Systems and I had a question for Mr. Rittenhouse.
8 Did I hear you or mis-hear you when I thought I
9 heard you make the comment that Wi-Fi was
10 spectrally inefficient?

11 DR. RITTENHOUSE: It's spectrally
12 inefficient compared to the shared channels that
13 you find in 3G systems.

14 MR. STEVENSON: Okay, I think my
15 colleagues 802 would probably draw and quarter me
16 if I went home without refuting that. We've
17 constantly improved our spectral efficiency and our
18 data rates. We've gone from 1 megabit to 11
19 megabits to 54 megabits in the same spectral mass.

20 The spectral efficiency also comes into play
21 because of the low power and the very, very small
22 cell sizes which allow an incredible amount of
23 frequency use, so I would disagree vehemently with
24 your contention that Wi-Fi is spectrally
25 inefficient.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 DR. RITTENHOUSE: Yes. Those peak data
2 rates certainly do go up, no doubt about it, but
3 just the multiple access schemes tend to be very
4 inefficient with respect to a shared channel
5 scheduler, for example. So the average throughput
6 is -- would be the more appropriate, not the peak
7 data rate.

8 DR. KOLODZY: Questions?

9 MS. ARBAGAST: Rebecca Arbagast with
10 Legg Mason. Now as I've been listening to the
11 comments this morning, I've been struck oftentimes
12 by tensions or at least potential tensions between
13 various goals and objectives that people seem to
14 have and that's not a criticism. I think my
15 experience at the FCC was that that's just a fact
16 of life that makes the job much more difficult.

17 One of the tensions that I'm wondering
18 if people could speak to is the desire on the one
19 hand to have more precise definitions of rights and
20 on the other hand having a regime, a regulatory
21 regime that allows for greater flexibility and the
22 ability to evolve across time. In my experience in
23 trying to draft rules that was to me the hardest
24 thing that we grappled with. And I guess I have a
25 two-part question. The first is when folks are

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 saying that they would like to see greater
2 precision and certainty in definitions of rights
3 which I think we would all agree is a goal and now
4 in my job trying to persuade investors that there's
5 a place to invest in this industry, I think one
6 question I have is what else are you talking about
7 besides a definition of harmful interference? Are
8 there other aspects of that property right
9 definition that are important to you all?

10 The second question is if you're
11 talking about definitions of freedom from
12 interference and an acceptable ability to give off
13 interference, is there a way to do that without, in
14 effect, curbing the range of uses that a particular
15 spectrum can be put to. Those are my questions.

16 MR. SIDDALL: Rebecca, I guess to try
17 to answer that, let me first of all back into it by
18 answering Ed's question on software-defined radio.

19 Let me use the example of personal communication
20 service. There is no technical standard. You can
21 put anything in that band and provide PCS. I'm not
22 the -- I'm a lawyer for this purpose. I'm not sure
23 what the technical aspects would be, but at least
24 15 or 30 megahertz you can aggregate by buying your
25 neighbor's as shortly the spectrum cap comes off or

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 will come off. So in rural areas you can do that.

2 So I'm not too sure that your rules are inhibited
3 that way. PCS specifically was not defined by the
4 service provided, nor did it adopt any technical
5 standards.

6 Rebecca, with regard to the flexibility
7 property rights thing, I guess I would see the
8 Commission would be beneficial to move to something
9 that I call the constitutional model and that is in
10 the U.S. Constitution there are many provisions
11 written by our forefathers 250 years ago. Around
12 the edges we're still arguing about what some of
13 that language means.

14 (Laughter.)

15 I guess every day down in the Supreme
16 Court, but you can define areas so people
17 understand without getting so specific as to
18 constrain future options and allow things to move
19 in the natural way and to the extent the Commission
20 can define spectrum rights in a way that can be
21 interpreted and flexible, not too specific, but not
22 so amorphous that nobody knows what the heck you're
23 talking about, I think that's the model that should
24 be followed and would resolve some of these issues
25 because it would have meaning today, but there's

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the flexibility that it would still have meaning
2 through some interpretation in the future.

3 MS. RATH: Do you have an example in
4 mind?

5 MR. SIDDALL: With regard to?

6 MS. RATH: I'd like to actually
7 understand that piece a little bit better and I
8 don't want to jump ahead to the solution sections
9 of our questions, but that's -- it's a really
10 wonderful thing to say, but then to give an example
11 of how you would actually do that, I think that's
12 the challenge to the Commission.

13 You could do it, if anybody could.

14 MR. SIDDALL: Well, in fact, I tried to
15 do it 10 years ago which is why I used the PCS. If
16 you look at the PCS rules and I think this is an
17 example that already exists. You have certain
18 limitations with regard to the power that you put
19 out. That actually defines what the interference
20 rights are, assuming that the spectrum owner of the
21 spectrum licensee has exclusive use of that
22 spectrum. Now with that information, you have a
23 geographic area. You have a right to emit up to
24 certain powers. They're limited at the boundaries
25 of that geographic area. That's what I would call

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 a constitutional solution, to be honest. You can
2 put in any technology, including software-defined
3 radios with or without repeaters. Do it any way
4 you want. So it has the possibility of evolving
5 with technology. And yet everyone knows what that
6 is today. It can be something very different 20
7 years from now.

8 MR. PITSCHE: I would build on David's
9 points. They're excellent points and PCS is the
10 model and you only need to contrast it to the early
11 days with cellular, where the Commission got so
12 many things wrong and in PCS they got so many
13 things right.

14 This is an excellent question. If
15 we're going to be serious about this, we do need to
16 define rights. I give all the credit here to Evan
17 Kwerel and John Williams and people before me who
18 worked on this, these ideas. But there is an
19 opportunity to identify a swath of spectrum and the
20 Commission would have to go in first on the
21 interference questions and focus on outputs as
22 Professor Krattenmaker said which means emission
23 set boundaries, geographical and spectrum and PCS
24 took that approach.

25 The other kinds of definitional things

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that have to be cleared up have to do with not
2 having exhaustively assigned spectrum. UHF is a
3 great example. The Commission has on a demand
4 basis allocated and assigned a lot of spectrum to
5 areas, so if you look at the spectrum today, you
6 have holes. The Commission has to assign the swiss
7 cheese part, right?

8 And the point here is by doing that,
9 creating those rights and defining them better, you
10 allow for efficient transactions to occur. Because
11 if you don't have good output restrictions, if you
12 don't exhaustively assign a spectrum, then you're
13 not going to enable voluntary efficient
14 transactions to occur where they should occur. And
15 just to lay out and complete the idea where which
16 I'll want to discuss some more in the solution
17 section is the Commission could do this under
18 current law, an awful lot of this. I'm not today
19 going to say what 300 megahertz the Commission
20 ought to identify, but it could do that. It could
21 say we are going to create a simultaneous
22 exchange. We are going to give people on this 300
23 megahertz flexibility. You can voluntarily
24 participate or not. And if it were to do that,
25 there would be many benefits. The most important

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 would be it would dramatically reduce the scarcity
2 of the spectrum and I would argue it's that what
3 would drive SDR. That's what's holding SDR in new
4 technologies.

5 MR. TAWIL: No. What I'm hearing from
6 Peter is reasonable in the sense that you need to
7 use the spectrum more efficiently, but one issue
8 here is once you define the property rights and
9 interference rights, especially the interference
10 mitigation rights, I think you could do a lot.

11 The key is you have to make sure that
12 you keep enforcing the interference rights and
13 that's what's been happening in the past. In the
14 broadcast band, we had interservice sharing rules
15 in the 478512, the interference boundary was
16 defined. Both services are working more or less,
17 but the problem right now is people are relaxing
18 those interference rights. But interservice
19 sharing, once you to define the property, both
20 services have flourished. And the key is to define
21 them and enforce them. Enforcement is a very
22 important part.

23 DR. KOLODZY: Any other questions?

24 MR. LEWIS: I had one, Paul, which is
25 for the whole panel. I heard Jim Lewis of CSIS.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 People talk about commons and of course from a
2 historical point of view, the problem with the
3 problems is it guaranteed inefficient use of
4 resources. And so the issue is how do you
5 transition to a better use of the resource or a
6 more productive use of the resource? And that sort
7 of is issue 1.

8 Issue 2 would be you've talked about a
9 system designed for AM radio and that's bad because
10 the technology has changed. Yet, we seem to be
11 focusing on an SDR so the question I've had is you
12 take those two things, the problem with the commons
13 is how do you transition to more efficient use?
14 The question I'd ask is how do you not only
15 transition to SDR, but how do you have a process
16 that will let you transition out of SDR when it's
17 time to do that?

18 DR. REED: I'd like to just comment, a
19 couple things. One is the commons model is
20 actually, although popular, is kind of a misnomer
21 because the traditional definition of a commons is
22 a fixed resource that needs to be shared and in
23 fact, the capacity of the spectrum appears to have
24 no particular limits, if properly understood
25 Shannon's law -- Shannon's work and what's built on

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 top of it in
2 multi-user information theory indicates that it's
3 at least feasible and looks like the developments
4 are at our doorstep to make a situation where the
5 commons is such where the sheep bring their own
6 grass, as we mentioned in an earlier session. That
7 is, the more sharers in a region of spectrum, the
8 more capacity if they organize their activities
9 right. And that's quite different. That means
10 that manufacturing spectrum is possible by end user
11 investment or intermediaries that they pay.
12 Manufacturing capacity. They can't manufacture
13 spectrum. So the commons model is basically
14 applying the idea of everyone sharing to a resource
15 that is not limited as the commons so we probably
16 should call it something different.

17 The second thing that relates to that
18 is how do you make a transition. I think there is
19 a danger in the transition and this is something I
20 tried to emphasize even though I strongly think we
21 should make the transition that the first -- it's
22 sort of the potential for what I might call
23 carpetbaggers invading the truly unlicensed space
24 who decide that they're going to use old, badly
25 designed radio system architectures, transmit at

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 infinite power and act, in general, badly. In the
2 long run, those kinds of things won't be a problem,
3 technologically, because in fact, there are ways to
4 isolate even bad actors as long as they don't form
5 the majority of users, but that relies on
6 technology advances we haven't seen yet and we need
7 to sort of ease the transition into that space and
8 I think the kind of ease of transition that's
9 important is some kind of certification of
10 software-defined radio, a certification of network
11 protocols that is lightly imposed, not used as a
12 tool of competitive economic challenge, but such
13 that it continues to allow that process to pass.

14 MR. TAWIL: The reason I've been quiet
15 is I haven't figured out how to get myself to
16 define radio and broadcasting. We use our
17 spectrum. We transmit on the spectrum. We
18 probably transmit it with a very high powered
19 transmitter and we transmit all the time on all
20 that spectrum. We don't have holes.

21 DR. REED: Actually, maybe I should
22 comment on broadcast because there's an assumption
23 that broadcast needs to be high power. We do
24 broadcasting on the internet today with internet
25 broadcasting through a network architecture

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 approach where there are repeaters distributed
2 through the network and so forth and in fact,
3 experimentation has happened in the past with what
4 was called single frequency networks which allow
5 spectral re-use, even though the content is
6 literally broadcast to the end points and that
7 single-frequency network uses a lot lower power and
8 so forth. You can do the same thing with ad hoc
9 mesh networks in the long run where, in fact, the
10 bits of the broadcast are constantly being made
11 available to the end users without transmitting all
12 that energy and interfering with other users.

13 So in the long term, I'd like to see us
14 evolve away from these legacy architectures that
15 were great when radios were really expensive, but
16 are pretty inefficient, given the state of the art.

17 If we were to try to build a broadcast
18 network today for typical commercial television
19 content, we wouldn't build it the way we do.

20 MR. TAWIL: I don't disagree with you
21 on that, but the fact it has been built, it was
22 built for 50 years and the question is you need to
23 transition it. That transition will take time.

24 Obviously, flexibility in the way you
25 assign that spectrum for that broadcasting would be

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 able to transition to that. But you have to
2 realize one of our biggest problems is we have a
3 legacy issue. We have a 250 million sets out there
4 that have the legacy issue and sometimes we always
5 are very much interested in moving, but we have the
6 problem that you've got to build the receiver end
7 of it. You have got to worry about the receiver
8 end of it because you don't own that portion of it.

9 DR. REED: Right. That is analogous to
10 the PC world, for example, where we have natural
11 evolution of the architecture. We don't still use
12 DOS machines used 30 years ago to do our work and
13 the customer expects that. I think a combination
14 of changing customer expectation around the value
15 of their legacy sets and realizing that even if we
16 were to pay off every owner of a television set
17 \$100 to switch to something new, that's a tiny
18 fraction of the kind of cost we're talking about
19 imposing on the future, on our children in terms of
20 innovation costs. So that's worth thinking about,
21 if not definitive an answer.

22 MR. TAWIL: Again, I do not disagree
23 with you, but you have to realize it's a very, very
24 mammoth effort here.

25 DR. FARBER: You also don't -- we're

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 talking about technology, guys. You don't have to,
2 even though it would be pleasant to throw
3 everything up in the air at the same time, I think
4 it's reasonable to not do so in practice here in
5 broadcasting because of the large number of legacy.

6 It's going to take time to wiggle its way into the
7 future. That doesn't mean that one should use as
8 an excuse for doing nothing, with the rest of the
9 space.

10 MR. TAWIL: I have to disagree with you
11 on doing nothing. I think the broadcast industry
12 and -- we have done a lot. I mean we have done a
13 lot. If you look at the history of spectrum and 50
14 years ago, we actually operated on 500 megahertz of
15 spectrum. Today, in the next 5 to 7 years, we'll
16 be operating on 280 megahertz of spectrum. We did
17 a 40 percent reduction. We're moving from analog
18 to digital and we're doing it.

19 DR. FARBER: I just can't resist. You
20 should come and visit me some time and watch the
21 terrible interference that my receivers get from
22 stations that just dramatically interfere with each
23 other.

24 MR. TAWIL: I'm sorry, could I go on
25 more?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 You have to understand this is an open system,
2 broadcast to transmit, and it's an issue of who
3 built the receiver. And if the receiver doesn't
4 have the proper immunity, you've got some problem.

5 So let's make sure when we put the blame on here
6 is we -- broadcasting is an open system. We
7 control one part of that system. The second part
8 of it is not controlled.

9 Receiver standards are important. I
10 think there's a lesson, historical lesson here. We
11 have for the past 50 years, probably developed
12 building a receiver out there and guess what, they
13 don't perform any better than the first receiver
14 that was built in 1952. It's too late now, but I
15 think there's a lesson to be learned here. You
16 can't only look at the transmitting end and forget
17 about the receiving or the collector end of it.
18 That's how we're going to deal with interference.

19 DR. KOLODZY: Questions?

20 AUDIENCE MEMBER: Listening to the
21 course of the conversation, it becomes easy for me
22 as a nerd to accept the fact that the technology is
23 such that the frequencies space is largely unused
24 and not very limited. Now that I'm a self-admitted
25 nerd, attempting to think like a wonk for a second

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 here, I examine why there might be inertia to
2 giving up portions of allocated frequency space.
3 First of all, I will admit that I'm not familiar
4 with how long these allocations are in effect or
5 whether there's a fixed cut off date or how someone
6 can lose that space other than through direct FCC
7 decree, but it seems to me that one of the economic
8 reasons for that inertia may not be so much --
9 certainly there's a possibility of the
10 unwillingness to accept competition, but it seems
11 to me that some previous statements that were made
12 about the costs associated with existing
13 infrastructure provide a viable service now even
14 with a company that's a good player is a factor
15 here. The question I have is is there any type of
16 in conjunction with defining what is a
17 inappropriate interference or incorrect
18 interference, purely from a technical point of view
19 should there be some type of economic set of
20 models with respect to those infrastructure costs
21 that are also taken into account in the equation
22 when you make that type of decision that might be
23 played into the rules for allocating frequency
24 spectrum in the future.

25 MR. PITSCH: Actually, you raised a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 number of good points and I'll try to be very
2 brief, but we talked a lot today about the problem
3 of incumbents opposing change for economic self-
4 interest reasons. Part of the irony is that their
5 economic interest is not properly defined. Part of
6 the problem with narrow definitions, we don't have
7 this so much in PCS anymore, but in the traditional
8 use is that in one sense the licensee views the
9 opportunity costs of the spectrum as zero. To
10 society, we know it's quite high, but their choice
11 is I use it for this narrow purpose or I turn it
12 back to the government. Well, you can imagine then
13 that inefficient uses endure long beyond new
14 technologies and so on. Now if you move
15 flexibility in place and that's why flexibility has
16 become more and more a part of the Commission's
17 allocation process, then suddenly the opportunity
18 costs becomes much larger. Now the PCS operator
19 thinks about new technologies, thinks about new
20 uses and now let's transfer this to UHF television.
21 I'm just going to throw this out for illustration
22 purposes. What if the Commission initially created
23 on the 400 megahertz of UHF television 10 40
24 megahertz nationwide assignments and said okay, and
25 said okay, we'll have four 10 UHF broadcasters.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Initially, we would have had probably something
2 closer to 10 networks and they would have made all
3 of the internal co-channel and adjacent channel two
4 boot decisions themselves and they would have been
5 internalized and then guess what? Ten years later
6 we decide, let's put flexibility in place on these
7 guys and suddenly they decide that they want to do
8 PCS, okay? And that's what we're talking about
9 here. We need to put in place incentives that
10 channel market forces to move new technology and
11 new uses in place and it isn't just new
12 technologies. It's new uses as well. And people,
13 and Victor makes a good point. I mean you can't
14 look at bits per hertz per second or whatever.
15 Bits value are valued differently. Hertz are
16 valued differently and so it's a very complicated
17 process.

18 DR. KOLODZY: Questions?

19 MR. SNYDER: I'd like to respond to Ed
20 Thomas' inquiry about the policy implications of
21 software-defined radio. I think one of the most
22 important implications is it creates the
23 possibility of having micro licenses. Until now,
24 the FCC has generalized licensed in terms of years
25 or even decades and I think the underlying economic

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 reason why that made sense as because of high acid
2 specificity. If you're going to invest a lot of
3 money in the business, you need to get a return.
4 But that whole logic changes with software-defined
5 radio and a lot of the talk on software-defined
6 radio focuses on the receivers rather than the
7 transmitters, but you can have flexibility on the
8 transmitter side as well.

9 So I guess my question here is what do
10 you think about micro licenses? You can imagine
11 that any incumbent would utterly hate the idea of
12 micro licenses because in effect you're saying
13 well, you're going to buy your license on the free
14 market. I'm talking about a minute by minute
15 license possibly, geographically flexible. It's
16 essentially like saying I'm going to take your
17 license away. We're not moving necessarily to an
18 unlicensed regime --

19 MS. RATH: Just a little clarification.
20 Who's actually selling the licenses or is it the
21 FCC distributing it or -- how do you determine
22 that?

23 MR. SNYDER: Well, it could be through
24 the private market. I would suggest that the FCC
25 become an information broker. Instead of making

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 these licensing decisions so rarely, it doesn't --
2 in a free market environment, it becomes an
3 information broker of licenses, so the FCC
4 distributes micro licenses minute by minute on a
5 bit basis. There are a lot of ideas like this out
6 there, but we separate the equipment business from
7 the ownership of spectrum. And you can imagine why
8 incumbents would dislike micro licenses. So I
9 think that's a major implication. This is not an
10 unlicensed idea, but it's sort of neither the
11 traditional licensing or a license -- we're here
12 talking about what does the license of the future
13 look like? We talk a lot about interference rights
14 and what not. We're not talking about the time and
15 duration and other things which become possible in
16 the new era.

17 DR. KOLODZY: Next comment? Any other
18 questions?

19 Steve, I'm sorry?

20 MR. SNYDER: I wanted to ask your
21 opinion of micro licenses, if anybody --

22 DR. KOLODZY: I'm sorry.

23 MR. SHARKEY: I was actually going to
24 address that. I was going to come back to this,
25 but I mean innovative ways to do licensing, I

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 think, is good. I mean the technology is there to
2 do that type of thing. I think that's good. But
3 on the economic model, there are a lot of things
4 that I think that you can do to encourage the
5 innovation and a lot of ways to encourage it. I
6 think economics is a great way to do it. And there
7 are a lot of like four in the PCS band, I think
8 they've got a lot of economic incentives. I mean
9 there are some -- the spectrum has been auctioned.

10 Not that we're for trends of auctions and what
11 that does to the cost of spectrum, but that's a
12 real economic driver for making efficient use of
13 that. I think applying some sort of economic model
14 across the board to -- and more evenly across the
15 spectrum that's used, whether it's federal
16 government, commercial or other licensees is a good
17 way to help drive up that. And the other side is,
18 I think some of the things that Peter's talking
19 about too, the carrot of providing incentives to
20 licensees to be allowed to trade spectrum or
21 licenses so that it is -- they realize some
22 economic gain when they do that.

23 But you brought up the consideration of
24 infrastructure too. I think that there is a role
25 sometimes for the FCC to take a more directive view

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 towards things and again, back to FCS where there
2 was a decision of we're going to move fixed uses
3 above 3 gigahertz and that that was in everybody's
4 interest to do to make room for this new service
5 and the economic interest of those licensees were
6 taken care of, the costs were paid, so it was a
7 transaction that worked for them as well as for the
8 new licensees. I think we're seeing that model
9 applied. The recent Martin Cave report on making
10 available 3G spectrum that will, where the
11 incumbent will be reimbursed for their costs and
12 for transitioning their systems, I think is a good
13 one to really make that -- make implementation of
14 new services reality while considering the
15 infrastructure costs being imbedded in
16 infrastructure.

17 DR. KOLODZY: Does anyone want to
18 comment on the micro licensing?

19 MR. SIDDALL: Actually, I will. I'm
20 not sure -- if the software-defined radio, assuming
21 as the FCC has been going that the equipment and
22 the software possibilities for it have been
23 approved through the device authorization
24 procedures at the FCC lab, i.e., the spectrum is
25 defined in which it can roam and what its power and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 antenna gain are, if that's the case, I don't know
2 why you'd need a license and I think if the concept
3 of software-defined radio is followed to its
4 natural end and actually is involved, I think that
5 you will move to more and more unlicensed spectrum
6 structure and there would just be no need for a
7 micro license.

8 DR. KOLODZY: Bruce?

9 DR. FETTE: I'd actually like to
10 amplify a little bit on your concept here. First
11 of all, by saying that one has to recognize that
12 whether you call it micro licensing or cost of
13 spectrum, second-order sharing and so forth, there
14 will need to be an infrastructure to support the
15 hand off and the micro transactions associated with
16 that kind of activity and there's a cost for that
17 infrastructure that would be not unlike the cost of
18 the infrastructure we have today for commercial
19 cellular.

20 So as an alternate, I suggest the
21 concept that we saw in the development of the
22 internet in which the communications infrastructure
23 was essentially a free resource to the development
24 environment with the exception of the cost to the
25 routers that were provided by the government during

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 those early days and that by providing that free
2 infrastructure, significant evolution of technology
3 created a marketplace today and that in a sense
4 similar sense I think that if software-defined
5 technology results in a commons capability, an RF
6 commons capability that we will see that create an
7 interesting and exciting infrastructure in the
8 future.

9 DR. REED: Yes. Let me point out, I do
10 think that micro -- at one point in time I was very
11 interested in this idea of micro licensing, as you
12 call it or the idea that somehow one could clear
13 the rights for different kinds of transmissions,
14 rapidly and efficiently. There's a problem with
15 that. It takes two parts, a technological problem
16 and an economic problem. The technological part is
17 that if we look at the kinds of architectures that
18 lead to the most spectral efficiency, and cellular
19 is kind of a first stage in that, but there's a way
20 to -- a lot farther you can go, the kinds of
21 architectures that support that are what I call
22 cooperative architectures. That doesn't mean
23 friendly cooperative architectures, necessarily,
24 but architectures where, in fact, messages often
25 carried either on multiple hops or through the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 cooperation of an infrastructure that understands
2 its interference environment and understands what
3 else -- what the rest of the demand is on the
4 shared medium and negotiates to get all the signals
5 through more efficiently. And you can go to my web
6 page and see a lot of details of those kinds of
7 emerging architectures.

8 Those architectures have enormously
9 better scalability than ones where you have a
10 transmitter transmitting directly to its ultimate
11 receiver. The problem with that in economic terms
12 -- so the micro transactions architecture would
13 have to be much more complicated because it
14 involves not just clearing the right for one
15 transmission, but clearing the right for a whole
16 set of cooperative activities that are competing
17 with a whole set of other cooperative activities.

18 That in economic terms raises the bar.
19 It basically means that if you take the property
20 rights model, every transaction involves not just
21 operating on one person's land, but involves
22 negotiating with nearly everybody in the system.
23 It's what's often referred to as the tragedy of the
24 anti-commons. And the transaction costs tend to go
25 up exponentially in terms of negotiating clearing

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to rights when the whole system needs to clear the
2 rights in every round of negotiation. It's not
3 analogous to the stock market. It's just not
4 reasonable to take those architectures and try to
5 map them into peer-wise transactions.

6 So you need a system that self-
7 organizes and does that kind of stuff. Probably
8 won't
9 self-organize around peer-wise transactions
10 efficiently.

11 MR. SNYDER: I have one quick response
12 to that. If the spectrum goes into the existing
13 telecom network, I think you could avoid a lot of
14 the complexity that you're suggesting. I mean it's
15 just that last little section --

16 DR. REED: That's basically a short
17 term solution to a specific problem, but if we're
18 talking about the general problem of enabling all
19 kinds of wireless communications, many of which we
20 can't anticipate, then you're basically optimizing
21 for one thing, last-mile bypass, which we optimized
22 for AM radio. Is that the next thing or should we
23 do a more general job?

24 DR. KOLODZY: Okay, I want to get back
25 to the audience a little bit because there were a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 lot of questions that were out here a few minutes
2 ago and I don't want to pass that -- Dave, do you
3 want to make a quick comment?

4 DR. FARBER: Yes, just quick comment.
5 I feel obliged to repeat something I said earlier,
6 that one of the issues in the future is going to be
7 security and I don't mean this just in the national
8 defense issue. The spectrum is going to be used
9 for a lot of applications, most of which we don't
10 understand now, but some of them are going to be
11 critical applications to at least the individual.
12 And unless we design the security into those
13 systems, especially software-based systems, we're
14 going to be in deep, deep trouble, even if our
15 spectrum space is available, so I think we have to
16 pound on that and it's not something that my
17 experience at the FCC says that they worry about
18 all that much.

19 MR. STROH: My name is Steve Stroh.
20 I'm editor of "Focus on Broadband Wireless Internet
21 Access." And one of the things that Chairman
22 Powell said this morning really struck me. He
23 would really like to hear concrete proposals for
24 how we get to the ideal of more of a spectrum
25 commons model, flexible use and away from the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 private ownership model.

2 One thing that strikes me is that Mr.
3 Tawil stated that they had gone down to using 288
4 megahertz of TV spectrum and what frustrates a lot
5 of the techies and I've watched the 2.4 gigahertz
6 thing band evolve very incredibly, long-range, very
7 high bandwidth, many users, very dense deployments.

8 They're making all that work in 83 megahertz of
9 spectrum with some really onerous rules like very
10 low power and they're making it work in that little
11 chunk of spectrum in a very bad part of the
12 spectrum for things like tree foliage.

13 The TV broadcasters have a total of 288
14 megahertz of spectrum available in the prime part
15 of the spectrum and yet in any market, there's a
16 handful of those channels that at most that are in
17 use, 20. I'll be charitable and say 30. Why not
18 evolve a model that lets a radio use the channels
19 that are not being used for broadcasting and the
20 radio has got to have a very specific limitation
21 that it listens on a particular channel and if it
22 hears TV broadcasting it just positively locks that
23 up. There's no possibility of override. The radio
24 just cannot go there if it hears a TV broadcast.

25 But the 75 percent of the other

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 channels that aren't in use, that's legal, and it
2 listens on a periodic basis every 10 minutes and
3 that will encompass the ability to hear low powered
4 TV stations, even somebody who's using one of these
5 little rabbit transmitters that transmit on Channel
6 3 or 4 inside a house, it wouldn't interfere with
7 those. That's a way to get -- that's a way to at
8 least start the transition into a more flexible use
9 model. It's frustrating to hear the idea that that
10 broadcast spectrum can't go there, no way, no how.

11 MR. TAWIL: Let me answer that one. In
12 fact, I didn't say that. I think we're limited
13 obviously if you use less spectrum, we will. But
14 there is something called the legacy issue. It's
15 something called a television receiver, you have in
16 your home that when you use your idea, even though
17 I'm transmitting on my 6 megahertz channel and
18 giving you that service, that TV set receives all
19 signal and guess what, when you put that low
20 transmitter or even if you have five channels, it
21 disrupts that TV set. So the issue is not actually
22 the transmission, it's the reception and for the
23 past 40 years there are no attempt to actually deal
24 with the receiving component of it.

25 MR. STROH: Wasn't the decision just

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 yesterday in five years the TV receivers will be
2 required to receive digital and if it's not a
3 digital TV transmission, it simply won't be
4 displayed?

5 MR. TAWIL: That is correct, but guess
6 what, they still haven't decided on what the
7 receiver performance is or what the interference
8 is. It's still the same TV set. You still have
9 the same interference immunity with that spectrum
10 that you have in the analog world.

11 MR. STROH: If an interference is being
12 encountered, isn't that incentive for the TV owner
13 to go buy a new one? If you're interfering with --

14 (Laughter.)

15 MR. TAWIL: I'd love them to buy a TV
16 set that actually operates only on the 6 megahertz
17 it transmits and doesn't -- and leave the other
18 spectrum for other use, but it's not. The issue
19 here is the chicken and egg issue. You're trying
20 to be on the transmitting -- interference occurs
21 two ways. It occurs because the transmitter is
22 spreading spectrum outside its band or the receiver
23 is not selective enough to deal with the
24 interference.

25 If you only deal with one end of it,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 there's no way you're going to get there. You have
2 to deal with both ends of it to be able --
3 broadcasters are not against more efficient use of
4 the spectrum. Broadcasters are not against
5 flexibility. What they're against is having --
6 against disrupting the service and they don't have
7 control over it. That's what they do.

8 It's something that you would like to
9 move forward and we can go up there. It's an open
10 system. We can't go up there and buy a TV set and
11 give it to the consumer and make sure that it works
12 properly and it's interference-free. That has to
13 be done from the consumer end.

14 DR. KOLODZY: Bruce?

15 DR. FETTE: I'd like to observe that
16 again on the subject of software-defined radio, if
17 you recognize that it's conceivable to define wave
18 forms which are sufficiently orthogonal to the
19 video and audio tracks of TV channels that you can
20 define a wave form that is sufficiently orthogonal,
21 that it will not interfere, even with TV sets that
22 have moderately poor design of the RF front end and
23 mixers. In fact, that's a subject of research at
24 this time as to how multiple types of wave forms
25 can be designed which are sufficiently orthogonal

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to each other to provide essentially overlapping
2 spectral utilization without interfering with each
3 other.

4 MR. SIDDALL: I want to address the
5 broadcast issue just briefly, because I think
6 there's a little misunderstanding of what the FCC
7 rules and the statute provides for today.

8 First of all, TV spectrum is already
9 shared. There are millions of medical devices and
10 hospitals all over the country that are on TV
11 channels, as a matter of fact.

12 Second of all, there's public safety
13 services in 13 cities around the country that also
14 use certain TV channels, but I'm not here to defend
15 broadcasting at all. But I do think it's important
16 to understand it is in a transition to digital.
17 When that transition is over, there are no more UHF
18 tabus. The digital transmission system has been
19 designed to allow the use of adjacent channels and
20 when the analog turn off, at least when I left the
21 Commission, the intent was that there would be
22 decisions on whether the interstitial channels
23 would be auctioned for broadcast use or for other
24 uses. But we're in the middle of that transition
25 now. And I think that that is recognized. The

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 more important public policy issue that is involved
2 is to what extent will the statutory provisions
3 allowing broadcasters flexibility will be
4 implemented. In 1997, Congress amended the Act and
5 provided that broadcasters transmitting a digital
6 signal need only provide one video channel.
7 Otherwise, they have flexibility to provide
8 anything they want within -- that can be provided
9 using that digital system. It's subject to a fee
10 if it's a subscriber based service.

11 The question is will broadcasters move
12 to that model and use that excess capacity of the
13 digital for other services or is there no excess
14 because the demand and the economic model dictates
15 that they provide high definition which requires
16 more bit rate. They can even provide two high
17 definition channels, signals within the 6 megahertz
18 actually through compression techniques and it will
19 probably be 4 in five years the way compression is
20 working. And Congress already answered the
21 question about broadcaster flexibility. So what
22 you see today, don't assume that that is tomorrow.

23 That's been addressed and I think that needs some
24 time to work out.

25 The other -- because I think there is a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 lot of flexibility built in there for a lot more
2 spectrum efficiency. That was one of the things
3 really addressed and I hope to see that. I just
4 want to put that on the table. The one last
5 comment, because maybe being the second person in
6 this room that has ever put a wire on a receiver
7 from 0 to 2 gigahertz and looked at what's there, I
8 can tell you, I can give you two different results.

9 I can do that right here in this room. You will
10 find 95 percent of the spectrum unused.

11 I can go up to the roof of this
12 building, connect to that log periodic antenna that
13 the Comm's Room uses here at the FCC. And in fact,
14 there is one of these receivers in the Comm's Room
15 right here in the building for those FCC staff that
16 want to look at it and I will show you very heavy
17 spectrum use through most of the spectrum. It
18 depends where you do it and it can be very
19 deceiving these little things.

20 In cities is where the problem -- I
21 think from a policy standpoint, the better issue to
22 address, the more important issue is rural versus
23 urban. In urban areas when I put a receiver on a
24 decent gain antenna, there's a lot of usage. When
25 I go out into rural areas there's almost no usage

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and to the extent that services are required in
2 rural areas, I think there is a policy issue about
3 trying to make one size fit all.

4 I was out with some of the FCC folks in
5 Arizona back a couple of years ago and they were
6 talking about bringing cellular service and they
7 laughed because I said look, there's a lot of
8 surplus analog cellular systems out here. You guys
9 don't have phones. Get some of the surplus analog
10 stuff, stick it out here. Yeah, it's a spectrum
11 hog, but spectrum -- you've got all the spectrum
12 you could possibly need. It would actually be a
13 very good thing to do and very cheap to bring phone
14 service all around here. You don't need the
15 digital services to start with perhaps. One size
16 doesn't fit all and I go back to what I said at the
17 beginning. I hoped that the recommendations of the
18 policy force -- policy task force will recognize
19 that in different areas of the country, different
20 policies should apply and for different services,
21 different policies should provide. I'm sorry, but
22 I had to try to set the record straight on what the
23 digital rules are since I was here and had quite a
24 bit to do with them along with a lot of other
25 people sitting in this room.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. VAN WAZER: Hi, my name is Tom Van
2 Wazer. I work at a private law firm. Following up
3 on what David said, one of the problems about the
4 spectrum flexibility that does exist for digital
5 broadcasters is I don't even think Congress
6 understands that there's digital flexibility. If
7 you've read anything in the last two years about
8 some of the networks' plans to develop their
9 digital spectrum, any time any one has suggested
10 that they're going to do something other than
11 broadcast pictures, they've been punished one way
12 or another, either by Congress or by others. And
13 maybe one of the major contributions of this task
14 force would be to recognize that flexibility needs
15 to be something that the Commission embraces
16 everywhere and not make it such a terrible thing to
17 even think about because if you want companies to
18 invest in more efficient distributed transmissions
19 or single frequency networks, etcetera, you need to
20 have incentive to do so and you can't -- the
21 spectrum that's currently allocated to these
22 companies, not just broadcasters, needs to be --
23 they have to have some incentive to do so and so
24 flexibility has to be recognized.

25 The only other point, I've been

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 interested in watching the debate between Mr. Reed
2 and or the debate or the points that Mr. Farber and
3 Mr. Reed have made versus others about property
4 rights and following up what David said, how he was
5 lamenting the loss of all these research labs and
6 how everyone is sort of failing to invest in
7 research labs like they were, it seems to me that
8 that's an outgrowth of this what I view at least
9 academic view of the commons that's unlimited,
10 where the sheep bring their own grass. The problem
11 is there isn't a sufficient incentive for the
12 companies to invest in these research labs to
13 develop the technology that you're interested in.
14 So I'd like to hear your comment.

15 DR. REED: Actually, I'll make a quick
16 comment since you addressed it to me.

17 The return on the kinds of research
18 that I'm talking about is a rich and vigorous
19 equipment market that would -- and what you might
20 call software tools and protocols. What is going
21 on and it's sort of exemplified by the experience
22 of Interval Research which got started on ultra-
23 wide band back in 1993 or 1994, and participated by
24 funding a whole lot of policy activity here at the
25 FCC to try to get ultra-wide band addressed,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Interval also spun off a company, Phantasma
2 Networks which developed a lot of that early
3 technology. That company was put out of business
4 because its investors finally said you know, we
5 just can't wait any more. We can't wait for the
6 flexibility. We're just going to sell off the
7 assets and so a lot of good people went. The
8 assets were ultimately bought by a company called
9 Xtreme Spectrum so we may see some of that value at
10 some point, but in fact, the investment market is
11 not about spectrum. I really think that's
12 important to make. The investment return --
13 because someone can hold spectrum and make money on
14 it without ever doing anything unless the FCC takes
15 it away from them. The investment is in the new
16 technology and the pay off is in the equipment.

17 DR. RITTENHOUSE: I would like to also
18 make a comment on research in general and in
19 particular, the industrial labs. Research
20 continues in the industrial labs, particularly in
21 these types of areas because of the popularity of
22 wireless technologies and trying to investigate, it
23 is done in collaboration now which I think is a
24 very positive thing with a lot of academic labs as
25 well. So instead of expanding a lot of the labs

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and the research in the labs, to the extent that it
2 can collaborate with other labs and academics is a
3 very good thing. So we do get a lot of that
4 sampling now through the collaboration as well.

5 MR. PITTSCH: I wanted to jump and sort
6 of give a spin on your question which is that I
7 think these two approaches, concrete rulemakings,
8 looking at creating noninterfering easements and
9 more commons, 5 gigahertz and so on, and also
10 creating a simultaneous exchange, are complementary
11 for two reasons. One, I've heard some people say
12 well, from the commons side well, we can't do that.

13 That will entrench people and so on. The kind of
14 thing we're talking about, incumbents have got the
15 stuff already, right? And just do a little thought
16 experiment. Imagine your most hide-bound spectrum
17 holder. Don't say names out loud or anything, but
18 now ask yourself will they will be more hide-bound
19 and more inflexible if you give them flexibility or
20 if you keep them the way they are? Okay?

21 The second point I'd make is that if we
22 move forward on both fronts, on the market-base
23 side we're going to facilitate aggregation,
24 relocation and so on. That's going to make it
25 possible for some of these market-based solutions

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 for the new technologies that people are talking
2 about.

3 The third point is huge which is that
4 any reduction in scarcity helps both approaches.
5 If the commons approach reduces scarcity, then it
6 becomes easier and the incumbents have less reason
7 to oppose market-base reforms and vice versa and I
8 already suggested that there's a potential benefit
9 to new technologies because if you bet your whole
10 wad on noninterfering easements or commons
11 approach, you may be foreclosing in terms of time
12 and efficient result some opportunities that could
13 be pursued on the market front.

14 DR. KOLODZY: That was one heck of a
15 question.

16 (Laughter.)

17 DR. FARBER: Well, can I?

18 MS. RATH: Go ahead.

19 DR. FARBER: I was stuttering and
20 sputtering, etcetera with the comment that people
21 don't invest in research because structural,
22 whatever it was. My experience is a lot of
23 companies don't invest in research because it's
24 deferrable and when things are tight, they defer
25 right off the end.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 The telecommunications industry has
2 done this, not all places, but large numbers of
3 them but I point out just endlessly, that there are
4 companies who see a future and maybe it's the
5 environment they live in, maybe it's other things,
6 but look at DoCoMo which has almost doubled the
7 size of their research lab over the next year. The
8 question is, to use military terms, 6.1., 6.2, or
9 6.3 money is still a question, but the only way
10 you're going to move this field is to do the
11 investment now in basic research which will pay off
12 in 5, 6, 7 years. It's not going to pay off
13 tomorrow, but if you don't do it, it certainly
14 isn't going to pay off.

15 DR. RITTENHOUSE: Fortunately, there
16 are some companies that remain that continue to do
17 the basic research, right.

18 MS. RATH: Actually, one question I had
19 is as I listen to all this, as an industry, is the
20 wireless industry underperforming in terms of its
21 research, development and technological innovation
22 as compared to other industries?

23 DR. FARBER: My own view from some
24 experience, I should give a little bit of
25 experience, I was on AT&T's advisory board for a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 number of years and I think it under performs in
2 the advanced research side of the house. It does
3 very, very well in the -- what we call advanced
4 development area. And doesn't do what it needs to
5 do and there are exceptions, all cases, but I don't
6 think it devotes the long-term research it needs to
7 different ways of doing its business.

8 MR. SHARKEY: I'm not sure I would
9 agree with that. We certainly invest a lot of
10 money in research and continue to develop new
11 technology, new products and I think one of the
12 things that you see in the cellular and PCS
13 industry though is that it's kind of similar to the
14 broadcast industry. There's a large incumbent
15 base, so whenever you're looking at making changes
16 and it is -- it's got to take into account that
17 base, and the new technology has got to accommodate
18 that and it's a more gradual transition probably
19 and the technology has got to be very well proven
20 before it can be actually implemented in a large
21 scale in that type of service.

22 MR. SIDDALL: There's one thing that
23 has been brought up several times in different
24 contexts that we really haven't directly addressed
25 and that is receivers and the necessity of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 receivers being in some way addressed by the FCC.
2 I mean historically, the Communications Act
3 specifically declined to give the Commission
4 authority over receivers generally which is why you
5 have these provisions sprinkled throughout.
6 Section 302 allows the addition of circuitry to
7 prevent interference from what was CB transmitters
8 or other transmissions. Section 303 has certain
9 provisions that related to only TV receivers or the
10 V-chip, the closed captioning, the All Channel
11 Receiver Act.

12 Otherwise, pretty much the FCC doesn't
13 have authority there and maybe there will be
14 someone to address should the FCC have more
15 authority over receivers.

16 I'll start it by trying to put a little
17 bit of controversy on it and saying traditionally
18 it's worked that you regulate the transmitters and
19 the receivers are left to themselves because if
20 they don't get the intended transmission, they'll
21 be thrown away and some manufacturer will succeed.

22 That can receive it successfully. So it's not
23 obvious to me that at least in some context and I'm
24 thinking of the broadcasters example that was
25 brought up earlier, it's not obvious to me that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 there need be authority and regulation of receivers
2 as something that would be an extension of
3 authority over an area that traditionally has not
4 been within the FCC's purview, but if others have
5 other thoughts in a different context.

6 DR. FARBER: Just an aside, it's been a
7 number of years, but I think a counter-example
8 would be the FAA which does, in fact, strictly
9 regulate the receivers and that's the way they've
10 been able to move that technology much, much
11 faster, because otherwise you'd have the Wright
12 Brothers complaining about the fact that their
13 radio can't receive that new standard.

14 DR. KOLODZY: David?

15 DR. REED: I just wanted to comment.
16 It's really -- it's not a good idea to break
17 receivers off from transmitters because, in fact,
18 they're both parts of the same system. They both -
19 - my best model of the shared medium that we're
20 dealing with is something like a pond. We're all
21 living in the same pond and every little wiggle
22 that we introduce and every little attempt to
23 demodulate it -- to some extent it interacts with
24 every other one and drawing strict boundaries
25 doesn't necessarily work.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 The problem with regulating receivers
2 and I agree with you, is that in some sense what
3 you really want as a regulation of receiver is a
4 limitation on the right to complain. That's the
5 form of regulation, not what kind of receivers can
6 be built, but if you buy them and they don't work,
7 what right does the manufacturer have to complain
8 and so forth. We've seen that recently, for
9 example, that the XM -- the satellite radio guys
10 are saying gee, we really ought to -- we're
11 complaining because the spectrum or the rules we
12 got aren't good enough to protect us from say
13 802.11. This is a hypothetical argument. I don't
14 know if it's true in practice. But in some sense,
15 the FCC could just say and in a quite reasonable
16 way well, tough, that's what you accepted and if
17 stuff leaks into your band you've got to deal with
18 it, but there is this sense that they're allowed to
19 complain and that gets into the whole question of
20 what is interference and interference is a much
21 more complicated notion that is encoded in policy
22 or worse yet and this is where I fear that we're
23 going to get into trouble, in both the courts and
24 in the Congress, we've sold this rather -- this
25 idea that interference can be understood by any

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 human being by just thinking about things messing
2 each other up and in fact, interference is only a
3 phenomenon that happens in the receiver design.
4 Other receiver designs won't experience the same
5 difficulties and the interference happens in the
6 system design as Bruce mentioned earlier. You can
7 create transmitters that create wave forms that by
8 the FCC rules would be interfering but which would
9 interfere with absolutely no radios out there. So
10 the lack of knowledge and understanding about these
11 basic principles of what is interference and so
12 forth, I despair that our legislative or judicial
13 process can resolve them and that's one of the
14 reasons why I think we need to leave it to the
15 industry to resolve by cooperating and solving
16 those problems among themselves, trying to create a
17 rights regime to finalize that, to create a rights
18 regime where you've got property rights. Well,
19 where are property rights ultimately enforced?
20 They're enforced in the courts.

21 I can't imagine trying to -- bringing a
22 court case maybe you'd do it in small claims court
23 for a fraction of a second, so and so interfere
24 with so and so by some subtle definition of
25 interference and escalate that to the Supreme Court

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 where the Supreme Court will try to decide what
2 interference means and come up with some reference
3 back to my childhood in Illinois when my friend
4 threw a rock at me at my house and the window got
5 broken and therefore that's the precedent, the
6 legal precedent we're going to base this all on.

7 (Laughter.)

8 MR. PITSCH: I feel you're directing
9 this to me, David.

10 DR. REED: Actually, it's not.

11 MR. PITSCH: Actually, the other David,
12 I think this receiver question is a great question,
13 a great issue because I think it implicates all
14 these interference issues.

15 First off, you can't abstract away from
16 the interference problem. We could be incredibly
17 conservative about it, at great trade off in costs
18 and efficiency and consumer welfare, right? In
19 terms of the resolution of it, there are sometimes
20 we use courts that are expert, we do that in
21 certain legal areas. I have fundamentally no
22 problem with the FCC being the body to determine
23 these issues.

24 But the issue of receiver standards, I
25 think, is worth drilling down on for a second

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 because it raises this issue of how we define the
2 rights because in the PCS space, I would argue that
3 you see receiver improvement, a lot of times I
4 suspect the Commission doesn't see it for
5 proprietary reasons. No one has to come to the FCC
6 to get the imprimatur any more, so they don't hear
7 about it, but it's going on.

8 But in the broadcast space, we have
9 some problems and I think a lot of that is due to
10 improperly defined interference rights. And one
11 last wrinkle I'll put on it is the credibility of
12 the FCC on interference. I mean you want the
13 interference criteria to be output. You want them
14 to be
15 objective-defined, so you have transactions. You
16 want them to be enforceable which gets into the
17 dispute resolution issues and I'll tweak the
18 commons folks a little bit because that's where you
19 get the tragedy of the anti-anti commons and -- but
20 then you have credibility. Will the Commission
21 follow through when someone builds receivers that
22 foreclose uses and there are all these folks out
23 there squatting and I think that's a real important
24 issue. The Commission has to develop credibility
25 and if it can't I think that's the best argument

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 for receiver standards, default receiver standards.

2 MS. RATH: Basically, we're running out
3 of time and I think this is about the quietest I've
4 ever had to be as a moderator and I appreciate
5 everybody's participation. I don't know if there's
6 anybody who has any sort of parting thoughts from
7 the panel here as we close out or even -- I thought
8 I saw somebody raise their hand in the audience.
9 Yes, somebody is coming around.

10 MR. STEVENSON: Yes, I think the issue
11 of receiver standards and how it affects the issue
12 of who's to blame for lack of a better term for
13 interference is something that's necessary for the
14 Commission to address in order to promote spectral
15 efficiency. Otherwise, you have the situation
16 where legacy receivers with poor performance and
17 high susceptibility to interference are permitted
18 forever and other uses of the spectrum that could
19 be possible, if there were receiver standards that
20 would eliminate unnecessary interference were
21 enforced, you end up precluding new uses and it's
22 just sort of because they're there and I don't
23 think we can afford that any more. I think this is
24 something that contributes to this artificial
25 scarcity of spectrum is that we're not exploiting

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 all of the possibilities for sharing and for
2 frequency radios.

3 MS. RATH: Any comments? Bruce?

4 DR. FETTE: Yes. Earlier there was a
5 comment about what happens after SDR that I wanted
6 to come back to and just speak to briefly and I
7 believe it was you.

8 I wanted to address it in the following
9 way. As SDRs begin to be deployed into the -- both
10 commercial and defense environment, amongst other
11 things you'll see them implementing legacy receive
12 functions first, so that they're interoperable with
13 existing standards, but then they will begin to be
14 upgraded by people who are willing to provide
15 software for those SDRs to implement new functions
16 and fact to the extent that the technology supports
17 it, they will continue to evolve to new
18 capabilities until it runs out of horsepower,
19 somewhat like the Intel model, right?

20 So you'll see the new generation
21 followed by the new generation followed by the new
22 generation followed by the new generation. And so
23 as long as the SDR is capable of having new
24 functionality installed into it, you'll receiver
25 performance improve, new transmit wave forms and so

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 forth that will give a continuing and interesting
2 evolution of the functionality and I think what
3 we're about here is making sure that we can
4 accommodate that.

5 DR. KOLODZY: Well, thank you. Well, I
6 see it's 12 o'clock. I would like to keep things
7 prompt here with the task force as much as we can.

8 So first of all, what I'd like to do is say thank
9 you to all the panelists for taking out of their
10 valuable time and to be able to bring some unique
11 insight into this problem. I think we've had views
12 from every perspective possible here and I think
13 that's important in a sense to bring everything,
14 bring all possible ideas out into the open.

15 I also want to thank the participants,
16 the audience that actually came out today. This is
17 actually one of the cooler days we've had for the
18 Task Force. The last three have been in the upper
19 90s, but I appreciate your interaction and some of
20 your viewpoints and comments I think were very
21 useful and hopefully we can take those into
22 consideration as we move forward with some of our
23 recommendations.

24 So again, thank you and what I'd like
25 to also let you know is that we're going to start

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 up again at 1 o'clock this afternoon. For those of
2 you who are not familiar with the Commission, if
3 you want to have lunch here you need to go up one
4 floor to the courtyard and you can go out to the
5 courtyard leaving your badge and then coming back
6 and getting your badge and having lunch and then
7 we'll reconvene here at 1 o'clock.

8 I want us again to say thank you to the
9 panels and have a round of applause for all the
10 hard work.

11 (Applause.)

12 (Whereupon, at 12 noon, the meeting was
13 recessed, to reconvene at 1:00 p.m.)

14

15

16

17

18

19

20

21

22

23

24

25

1

2

3

4

5

6

7

8

9

10

A-F-T-E-R-N-O-O-N S-E-S-S-I-O-N

11

(1:08 p.m.)

12

MR. FURTH: Good afternoon and welcome

13

to our second session of today's workshop. It's a

14

beautiful August day out and less than 90 degrees

15

and I'm impressed to see so many people who haven't

16

chosen to hit the highway early and head to the

17

beach. We will be talking this afternoon about

18

modeling of licensed and unlicensed spectrum usage

19

rights and I hope that we will have a discussion

20

that builds on the very interesting discussion that

21

we had this morning.

22

Let me introduce myself. I'm David

23

Furth. I'm senior counsel with the Wireless

24

Telecommunications Bureau and a member of the

25

Spectrum Task Force. On my right is my co-

NEAL R. GROSSCOURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 moderator, Michele Farquhar of Hogan & Hartson, and
2 we will be leading this discussion today, but I
3 think that most of the interests and excitement and
4 heat and light will be generated by those folks to
5 my right and left.

6 What I would like to do, first of all,
7 is tell you that we're going to be focusing on a
8 number of issues. We have a lot of ground to cover
9 between now and approximately 3:15. Since we're
10 starting a little late, we might run a little bit
11 longer than that. We will be talking about
12 defining, trying to really come up with definitions
13 that can actually be employed, practical
14 definitions that can be employed for defining
15 spectrum rights and responsibilities and looking at
16 different models, both the unlicensed commons model
17 that we've heard about at some length this morning,
18 and in prior sessions, as well as various licensed
19 approaches to spectrum; variations on exclusive or
20 property rights that many people have talked about.

21 We'll be trying to talk about how you
22 actually come up with the basic building blocks of
23 a rights model. We'll also be talking about
24 transition mechanisms. How do you get from where
25 we are to where we want to go. So we're doing

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 something better than what Yogi Berra talked about
2 which is if you see a fork in the road just take
3 it. We're going to actually try to have some sense
4 of direction in where we go.

5 What I'd like to start with is to ask
6 each of the panelists today to introduce themselves
7 and I hope in no more than a minute describe both
8 their background and their particular perspective
9 on the spectrum rights issues that we'll be talking
10 about today. I'm going to start on my right, at
11 the extreme right, Michael, why don't you tee off?

12 MR. CALABRESE: Okay, thanks David. I
13 am Michael Calabrese, director of the Public Assets
14 Program at the New America Foundation here in
15 Washington which is a nonpartisan public policy
16 institute. The questions that have been framed for
17 this panel are just right on the mark, particularly
18 as a wrap up because as we look at the future for
19 licensing and how it can coexist with unlicensed
20 and a commons model, you know, it will be
21 particularly important to talk about the bundle of
22 license rights, the transition to these new
23 licenses with service and market flexibility and
24 then what ongoing role for the FCC.

25 And I just want to make a couple quick

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 points which is that the bundle of license rights,
2 you know, we believe, and I should mention, I filed
3 comments that were also on behalf of Consumer
4 Federation of America, Consumers Union, and a
5 number of -- Media Access Project -- and a number
6 of other public interests groups. And what we
7 wrote was that the bundle of license rights -- it's
8 very critical that they not be permanent,
9 exclusive, or fixed beyond the period of the
10 license because even if Congress were to change the
11 law to allow some sort of permanent rights in
12 frequencies, it would be both bad policy and
13 unnecessary.

14 Bad policy, because as we've heard on
15 all the other panels, the Commission will
16 periodically need to refashion license rights to
17 accommodate technological change and changing
18 social need. We don't want to freeze a zoning
19 system that was made around analog technology. We
20 don't want to freeze that in place forever and
21 unnecessary because we can clearly define a bundle
22 of rights with service and market flexibility that
23 are also for limited periods and are changeable
24 over time, particularly with respect to
25 interference.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And the last point is just with respect
2 to the transition, we would oppose any retroactive
3 and cost free giveaway of valuable new licenses to
4 incumbents for the same two reasons. It's bad
5 policy, because as CTIA, I believe AT&T Wireless,
6 Nokia, and other companies wrote in their comments,
7 in addition to violating the Communications Act, a
8 windfall to incumbents would be unfair to business
9 competitors, to the public, and would fail to
10 internalize opportunity costs efficiently.

11 And finally it's unnecessary again
12 because a number of auction and leasing fee methods
13 are available to accomplish the flexibility that
14 we're looking for. For example, incumbents could
15 be given an option to convert to these new licenses
16 with complete flexibility in return for paying a
17 market base spectrum user fee and so that would
18 just be one of several options that I could mention
19 later and which are in our comments.

20 MR. GATTUSO: My name is Joe Gattuso.
21 I'm with the National Telecommunications and
22 Information Administration at the Department of
23 Commerce. One thing I always like to say for those
24 who know or those who don't know is that NTIA has
25 two functions when it comes to spectrum management.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And like Mike Marcus was saying just moments ago,
2 those are simultaneous functions. Mike was saying
3 that it's like the way of, nature of a radio wave
4 or a light wave itself, both a photon and a wave
5 but the same time. I don't think I ascribe to
6 that. But, in fact, our two functions are
7 separate, but exist at the same time; one function
8 being one that is more high profile. That is, the
9 Agency is the manager of the federal government's
10 use of spectrum, and we host the Interdepartment
11 Radio Advisory Committee which the group of federal
12 agencies that determines how spectrum is to be
13 used. We are also though the Executive Branch's,
14 the President's principal advisor on all
15 telecommunications matters. And through our
16 Assistant Secretary, Nancy Victory, through the
17 Secretary of Commerce -- we are located in the
18 Commerce Department. We have an interest in
19 developing good policy including spectrum policy
20 that affects not just federal users but all the
21 users.

22 And that is also my interest. I work
23 for NTIA's Policy Office. I think we talked about
24 this question before. You know, what's our
25 interest here, what do we hope to add? I actually

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 hope we can think through on the panel today some
2 of these questions about what it means to have a
3 right, what rights are, and what that means. And
4 even though NTIA and the Department of Commerce has
5 its own efforts going on right now on spectrum
6 policy, we had a spectrum summit a couple months
7 ago. We have not drawn conclusions, and I say even
8 though that's a prelude to saying that I'm here
9 mostly talking about ideas that represent how I
10 view things, not my Agency or the Administration,
11 but I think this is what the workshop, what these
12 workshops have come down to because already
13 offering one of my own views, the spectrum I would
14 propose doesn't even exist. The spectrum is a
15 representation of something, and that's a range of
16 frequencies.

17 In some ways, if you look at a spectrum
18 chart, the spectrum itself is a representation of
19 various rights that are held by different parties
20 or operationally. And it comes down to a matter of
21 what are the rights today. Are they defined? Can
22 they be defined, and if you define them, how do you
23 use that then to be more efficient in serving the
24 public interest. So that's where I see the
25 discussion here and that's where I am. Thank you.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. STROH: My name is Steve Stroh and
2 I edit a small newsletter about the broadband
3 wireless industry that I call Focus on Broadband
4 Wireless Internet Access. I'll disclose that I'm
5 not an engineer. I'm not a lawyer. I'm not even a
6 former FCC staffer.

7 (Laughter.)

8 My view is that spectrum is entirely a
9 creation of technology. The spectrum that we
10 natively are equipped to use is a relatively narrow
11 band of frequencies in the audio range and the
12 visual range, somewhere between infrared and
13 ultraviolet. Everything else we have to have tools
14 to make use of that spectrum. And the better the
15 radio, the more spectrum that there is. It's
16 totally useless to us until we have better radios,
17 and we are at the threshold now. We've crossed the
18 threshold actually of being able to make radios do
19 literally anything we can imagine that we can want
20 them to do.

21 We've got ample digital signal
22 processing. We can engage new modes that were just
23 not possible when, that were not practical that we
24 could only do with super computers and now we throw
25 just as many cheap processors as we need to to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 accomplish that. And I watch the license exempt
2 bands pretty closely and I'm just in absolute awe
3 of the innovation that's going on there. You want
4 long range, fine, you can have it. If you want
5 very high speeds, fine, you can have it. If you
6 want very high densities, fine, you can have it.
7 All living quite happily within the UNII Part 15
8 Rules. So I'm just watching what's happening there
9 and it just seems like it's a shame not to apply
10 those lessons more widely. That's what I would be
11 advocating.

12 I think that the most brilliant thing
13 that the FCC has ever done, I think it's a very
14 under appreciated piece of phraseology as the Part
15 15 rule that says "this device must accept
16 interference even when that such interference
17 causes undesirable operation". That phrase
18 assures, it absolutely casts in concrete that the
19 spectrum that that particular device is operating
20 in cannot stay static. It has to evolve. More and
21 more things can use it and if you want to keep
22 using it, you've got to adapt. You've got to buy
23 better devices. It just cannot -- it's not allowed
24 to stay static.

25 MR. WYE: My name is David Wye. I'm

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 with AT&T Wireless based here in Washington, D.C.
2 I guess my list of disclaimers, I'm not an
3 engineer. I'm not a lawyer. I'm not an economist.
4 But I am an FCC ex-staffer. So I'm not sure how
5 that matches up. And to complicate it another way,
6 I started out working for a research agency of the
7 U.S. Congress, as David Siddall did. I worked for
8 OTA which was a longer term think tank, if you
9 will, that was disbanded a few years ago, then
10 moved to the FCC under the good graces of Michele
11 Farquhar was her technical advisor for a couple
12 years. And now I have transitioned in my life to
13 the private sector, so I have this kind of very
14 weird, lots of different things going on.

15 I thought that actually this morning's
16 panel was quite instructive and perhaps one of my
17 favorite ones that the FCC has put together so far.

18 There were a lot of great ideas. One of the
19 things that struck me, and this is kind of, you
20 know, encapsulating what we've heard for the last
21 couple weeks, is the idea that this really is kind
22 of a mixed model. It's not a pure property rights
23 model, it's not a pure commons model. You've got a
24 little of both. It's not clear to me that you're
25 going to go in one direction or the other. I see

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 in some sense a lot more of the same, and the
2 question I know is what's the balance, what's the
3 interplay between the two.

4 Obviously, I come from the license side
5 of the world. That is what I know the most about
6 and I would agree with Michael that the bundle of
7 rights that licensees have is absolutely critical.

8 My company holds licenses. In some cases, we paid
9 a good deal of money for those licenses. And we
10 thought we knew what we were getting. And as the
11 world has kind of played out in the last couple
12 years, it's becoming I think less clear perhaps
13 what exactly those rights really are and obviously
14 that concerns my senior management, I think,
15 especially in terms of interference. We've talked
16 about that all the way through these panels. It
17 keeps coming up and certainly I think that's the
18 preeminent issue that the task force is going to
19 have to deal with going forward as given these
20 conflicting models and many conflicting uses and
21 conflicting services, how do you treat
22 interference. How do you define rights associated
23 with and responsibilities associated with
24 interference. And so I'll be breathlessly awaiting
25 Paul Kolodzy's report when it comes out in late

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 October.

2 And finally, and I was making this
3 point earlier today with some folks. If we think
4 about it, this goes to the last portion of our talk
5 today, it's all about transition. We're not
6 starting from scratch. There's no clean slate here
7 that we're working from. And this goes back to the
8 first point I made. So you know to talk about
9 these things in isolation at a very theoretical
10 level doesn't strike me being a somewhat practical
11 person perhaps that that's necessarily all that
12 useful all the time. I appreciated Peter Pitsch's
13 comments that you have to be very practical about
14 how you go about this, and I certainly would agree
15 with that. And I'll stop there.

16 MR. FURTH: We'll work our way again
17 from the outside coming in. Martin?

18 DR. CAVE: I'm here from Europe and
19 I've been completely fascinated. Sometimes it
20 feels almost like I'm from Mars or something --

21 (Laughter.)

22 As I witness the sophistication of the
23 debate which I'm afraid we aren't tabled to match
24 in Europe to date. The reason I'm here is that I'm
25 the author of a report. I'll hold it up like the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Shopping Channel. It's 261 pages. Weighs about
2 two kilograms. It's probably a lethal instrument
3 in the physical sense, I suppose, rather than the
4 more metaphorical sense and it's a report which I
5 prepared for the British government, finishing up
6 in March of this year, as an independent review of
7 frequency management. And the British government
8 is now considering its recommendations and I hope
9 they will announce their decisions in the next two
10 weeks or so. The communications bill, which is now
11 going through our Parliament.

12 Just to relieve the suspense, I'll give
13 you two paragraphs of what I recommend. Basically,
14 I have proposed in the report a dual-track approach
15 in which a distinction is made between on the one
16 hand commercial spectrum, and on the other hand,
17 spectrum which is reserved for public services. As
18 far as commercial spectrum is concerned, the report
19 recommends the abandonment of most use restrictions
20 and the use of market mechanisms, auctions for
21 initial allocation or assignment of spectrum and
22 secondary trading.

23 This doesn't exclude the possibility of
24 unlicensed spectrum. That's a matter that's
25 discussed briefly in the report because it has not

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 yet assumed in Europe the same significance as it
2 has in the United States, and I look forward to
3 coming back to that later.

4 As far as public service spectrum is
5 concerned, the report proposes maintaining for the
6 next 5 or 10 years the system in which the
7 government can reserve a spectrum for specific
8 uses. However, in order to encourage economy of
9 use on the part of public services, it proposes
10 that administrative charge be levied for that
11 spectrum. And economies that departments of
12 government can make in use of spectrum will yield
13 savings which will be available to them to spend in
14 order to provide some sort of incentive for
15 economy.

16 The two tracks that I've described and
17 linked to the extent that I propose in the report
18 that public service spectrum should actually be
19 available for leasing across the boundary. So that
20 if, for example, our Ministry of Defense has some
21 spectrum which it will not require for five years
22 or so, it should be entitled to lease it to a
23 commercial organization and to keep the revenues
24 from that. Now, this is I recognize an entry
25 measure, this dual tracked approach.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 It would be possible, of course, to
2 extend it into a more fully market base system in
3 which the public and the private sector compete for
4 the use of spectrum. But I felt that at this
5 stage, the European environment wasn't ready for
6 such a radical step or as I think it is ready for
7 the introduction of the market base reforms that
8 I've recommended. Thank you.

9 MR. KURTIS: My name is Michael Kurtis.
10 I'm the president of Kurtis and Associates PC.
11 Since we're doing disclaimers, unfortunately, I am
12 an attorney and I'm an engineer. So my perspective
13 though is quite narrow. It's from that of the
14 rural telecommunications carriers providing
15 commercial mobile radio service in the nonurban
16 areas such as a PCS and cellular. From our
17 perspective, there's been a lot of talk about going
18 with someone acquiring all the spectrum and then
19 privately managing it. And I guess I'm hearkened
20 back to paraphrase the words of Winston Churchill,
21 in that the FCC is a very bad way to regulate
22 spectrum usage, but I fear the others are much
23 worse.

24 And the situation that we are primarily
25 concerned about is going down a track of one size

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 fits all. That urban versus rural area is set with
2 the same implementation of rules and not only from
3 the standpoint of what meets the needs of the urban
4 versus what meets the need of the rural, but also
5 the consideration of the interplay between them.
6 For example, just this week, the FCC announced a
7 plan to sunset the analog standard for cellular,
8 which you know there were a lot of comments filed.

9 But we need to see what the order says because
10 while there is a need for greater spectrum
11 efficiency in the urban areas, what the rural
12 carriers had filed concern about is we are a rural
13 carrier and the urban market to the left of us
14 deploys one technology such as CDMA.

15 The urban market to the right of us
16 deploys the other technology, TDMA. The analog
17 standard is what allows all of my subscribers to be
18 able to receive service in both of the markets and
19 the concern that we have is even if we decided to
20 build both technologies in our market, we still
21 don't have a radio we could sell to a customer who
22 wants to travel to both of the urban markets.

23 So the concern that we have is in
24 developing a new spectrum model. We keep in mind
25 that there's been a lot of money paid for licenses

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 already in this particular service, that there was
2 a situation that extreme amounts of money that have
3 been spent to develop networks and that we are
4 meeting the needs of customers nationwide that are
5 spending a considerable amount of money to purchase
6 hand sets and I think have an expectation of being
7 able to continue to have the right to utilize those
8 handsets and to get service on a going forward
9 basis.

10 MS. WARREN: Hello, my name is Jennifer
11 Warren and I'm senior director for Trade and
12 Regulatory Affairs at Lockheed-Martin Corporation
13 and I'm an ex-FCC staffer and I am a lawyer. But
14 while I was at the FCC, I served in both the
15 International Bureau and the Wireless Bureau, so
16 bringing both the satellite and the wireless
17 perspective. And coming from Lockheed-Martin,
18 which has historically has been viewed as a
19 satellite services, a company with satellite
20 services by us. My portfolio has expanded
21 considerably over the last few years to where it
22 now incorporates interest as a business licensee,
23 as an experimental licensee, as an aeronautical
24 services provider, as a system integrator recently
25 entering into the public safety arena.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 So I have a very marked interest, and
2 with the outcome of the special policy task forces,
3 because it will basically affect every aspect of
4 some of our businesses. And so that's why I'm here
5 and I'd like to introduce into this discussion,
6 while we've been focusing on spectrum rights, we
7 really haven't focused on responsibilities. And
8 when I raise responsibilities, I don't mean what
9 are our responsibilities to protect either our
10 neighbors or those with whom we share the band, but
11 what are the responsibilities that are imposed on
12 the licensees; licensees versus users'
13 responsibilities in the spectrum.

14 MR. MILLER: Hi, I'm Larry Miller. My
15 background started in civil defense, public safety
16 communications about 23 years ago; from there into
17 transportation, and for the last 12 years I've
18 worked for one of the FCC certified frequency
19 coordinators, and I can appreciate the reference to
20 Winston Churchill. You know, frequency
21 coordination is a process that receives a
22 significant amount of criticism and it probably is
23 a very, very bad system but it's better than
24 anything else that anyone has ever come up with.
25 And so my basic experience is with shared use, how

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to limit technical and operational parameters to
2 new licensees so that they can coexist with the
3 existing incumbents in the band.

4 MR. HAZLETT: Hi, my name is Tom
5 Hazlett and I am a former FCC Chief Economist where
6 my primary function was to be research assistant to
7 Evan Kwerel.

8 (Laughter.)

9 And I'm currently a Senior Fellow with
10 the Manhattan Institute and my views on spectrum
11 reform are laid out in a 4-page filing in this
12 proceeding attached to which is a 20-page paper
13 that was written last November and advocated that
14 the FCC set up a spectrum policy task force and now
15 that the Commission is following my instructions, I
16 expect forward progress will be substantial. I
17 also have a 200-page plus paper that is available
18 on my website and published last year also on the
19 website by the Harvard Journal of Law and
20 Technology.

21 In less than 200 pages, let me
22 summarize the top 10 points. One, current spectrum
23 allocation policy is ultra-conservative, creating
24 large social losses. The task force should pursue
25 a better balancing of costs and benefits for

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 wireless entry and innovation.

2 Two, competitive markets will
3 accomplish this if permitted to.

4 Three, the path to this market solution
5 is via deregulation. Rules limiting flexible use
6 of frequencies assigned to licenses should be
7 removed. Laws and procedures blocking access to
8 under utilized bands by new entrance should be
9 eliminated.

10 Four, the primary function of the law
11 is to allow spectrum users clear control of
12 frequency space with liability for damages
13 incurred. The regulatory function is not to (a)
14 create markets; (b) settle all interference issues;
15 (c) find the perfect path to liberalization.

16 Five, interference dispute resolution
17 now a detailed ex ante Commission determination,
18 inefficiently front loads the regulatory process
19 paying incumbents to stretch out real arguments.
20 Interference adjudication should move to a
21 liability framework.

22 Six, deregulation is not a windfall.
23 Nations that grant substantially more rights to
24 wireless operators see lower license bids at
25 auction. Liberalization will result in wipeouts

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 for many operators and licensees which should not
2 be compensated.

3 Seven, do not take broadcast TV
4 spectrum off the board on public interest grounds.

5 On public interest grounds, the arguments are
6 overwhelming that much greater social value would
7 result where the airwave is redeployed. Markets
8 can do that.

9 Eight, spectrum scarcity continues to
10 be a problem in both licensed and unlicensed uses,
11 and rules that reduce coordination problems are the
12 goal of proconsumer public policy.

13 Nine, shared use does not have to be
14 unlicensed. The most successful application of
15 spread spectrum technology, for example, is
16 codivision multiple access via licensed broadband
17 PCS. Flexible rights promote investment,
18 technology, and spectrum sharing.

19 Ten, a free and competitive market in
20 wireless bandwidth will allow entrants to
21 expeditiously gain spectrum access by paying the
22 marginal cost of bandwidth. That is the public
23 policy optimum. Thanks.

24 MR. FURTH: Well, I think the
25 introductions have touched already on a number of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 issues that we'll be coming back to and I expect
2 that there will be some very interesting discussion
3 of those issues. I wanted to start off with what I
4 might call a clean sheet of paper question, and we
5 actually asked the panelists to think about this
6 question ahead of time and it's based on a
7 hypothetical. In order to perhaps get some sense
8 of where it is that the people on this panel would
9 want to ultimately go with respect to defining
10 spectrum rights and responsibilities, and the
11 hypothetical is as follows. Assume that you have
12 essentially two spectrum use models at your
13 disposal. Assume that you are in the role of the
14 regulator, you're in the role of the FCC, except
15 perhaps with some plenary powers that even we do
16 not have.

17 The two models, one is an exclusive
18 rights licensing model that looks more or less like
19 our PCS rules, just to take an example. The second
20 model is an unlicensed model that looks
21 surprisingly like our Part 15 rules to take another
22 example. You have the choice to apply either model
23 to any spectrum from 300 megahertz to 300
24 gigahertz. If you would like you can also reserve
25 spectrum for specialized uses that you don't want

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to license or assign or allocate under either
2 model. Assume that you're dealing with today's
3 technology and assume, at least for the sake of the
4 initial hypothetical, that you don't have any
5 incumbents. This is the last time you're going to
6 be able to make that later assumption by the way.

7 And the question I'd like the start
8 with is which model would you use or would you use
9 both and why? How would you decide which model to
10 use in any particular band of spectrum? What types
11 of spectrum uses, if any, would you reserve
12 spectrum for and not apply either model to them?

13 Anybody want to take a crack at that?

14 MS. WARREN: Sure.

15 MR. FURTH: Jennifer, go.

16 MS. WARREN: I'll be the target for
17 everyone else's comments. I guess I would first
18 say that I wouldn't pick a band. I'm going to talk
19 more generically than that, but I'm going to take
20 about models and I would have both models. I would
21 have an unlicensed model. I do think there's
22 obviously great merit in the unlicensed. It is
23 innovative and all the things we've heard over the
24 last three days from all the unlicensed speakers
25 that have been here. But I do think there are

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 responsibilities that the licensed uses offer.

2 There's certain customer
3 responsibilities, consumer responsibilities if you
4 like, that go with being a licensed user,
5 particularly if you're CMRS or some of the other
6 categories. And I think there's, unless we're
7 assuming away public interest obligations of the
8 FCC which you did not address, I'm assuming there
9 are responsibilities beyond just a market approach.
10 And I don't equate public interest with market
11 based spectrum management.

12 So I would have both, recognizing as I
13 said that there are interests in both. I would not
14 reserve -- I'm not really sure what you mean by
15 reserve, but if you mean allocate and just don't
16 put out for assignment purposes. Yes, I probably
17 think it's helpful to allocate spectrum for
18 services to give product developers an indication
19 of where they might build to and explore, know what
20 they're sharing if any sharing environment, or what
21 their exclusive rights might be. But I would
22 allocate and then when there's a petition or
23 license request upon then proceed with assigning.
24 I wouldn't artificially withhold and I wouldn't
25 artificially throw out there with no proponents for

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 use. And we've seen both situations and neither
2 one has produced great results.

3 MR. GATTUSO: What kind of system would
4 let a guy like me make a decision that's so
5 important which is, of course, I'm being facetious,
6 but not entirely because I think one of the
7 essential things I'm talking about is how the
8 system works, how the rights work, and how the
9 system makes decisions like this. And does it come
10 down to putting a decision like that in the hands
11 of somebody who works for the government? And a
12 lot of people argue that government is the only
13 place that can make the decision or is the
14 government's role slightly different?

15 And I think that's part of our debate
16 here because if there are certain rights, if there
17 are certain things in place that lead to an
18 efficient outcome, there may be more of a framework
19 that the government establishes rather than
20 decisions. Now, if I did have to make the
21 decision, the first thing I'd say is it's too easy
22 to say I'd use them both because I like to balance
23 things, I'd use them both. But I think one of the
24 things I'd want to look at is what decision would
25 be most likely to accommodate the best result over

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the long term, and I would ask if you went to one
2 or the other of these, are there exclusive rights
3 or the shared one? Is that something that could
4 evolve into a different system?

5 Sometimes I think if we maintain the
6 concept of spectrum with a consistent idea of
7 rights starting with the type of titling rights and
8 then going to a type of spectrum use rights, you
9 could almost think of the commons approach as
10 something where the title is held with the
11 government, and in fact, there's an exclusive title
12 with the government and the government has chosen
13 to open this up for a commons uses. So you could
14 actually argue, I'm stretching this, but I could
15 argue you could actually have an exclusive rights
16 model that could accommodate either one at least in
17 terms of the ultimate title.

18 MR. CALABRESE: I would -- I think
19 especially given the assumption that given today's
20 technology, that we would certainly need to have a
21 version of each of these. But what I'd want to
22 make sure, I think above all, is that the former
23 does not constrain the later. In other words, that
24 exclusive, for as long as we have licensing, that
25 the exclusive rights and flexibility do not

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 constrain the development of the unlicensed
2 technology that can dynamically share.

3 And to understand that I think it's
4 important to make a distinction that has been
5 somewhat lost in some of these conversations, and
6 that is when we talk about unlicensed Part 15 type,
7 I think most people think about today's technology
8 based on, you know, WiFi technologies, 802.11 and
9 so on which really are our means to share wire line
10 connections using a hub and spoke architecture. It
11 operates on a channelized basis. But what David
12 Reed and some others have been talking about, for
13 example, in the last panel, called open spectrum is
14 something very different. I mean that is really
15 three to five years off, but it is more of an
16 ultra-wide band technology that creates a potential
17 for ad hoc meshed user controlled networking that
18 dynamically shares spectrums and serves as
19 repeaters for traffic between those. So it's way
20 beyond WiFi.

21 Okay, so when we look at the word
22 unlicensed we can't just think about today's
23 technology. We have to make sure that the bundle
24 of rights and the type of flexibility allows room
25 for the evolution of interference standards and so

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 on in order to unleash the potential technologies
2 that are still on the drawing board.

3 MR. KURTIS: Starting out with the
4 clean sheet of paper, I had all kinds of great
5 ideas, but I kept settling back to the concept that
6 there is a need for a bifurcated regime. We do
7 need to have spectrum that has property rights and
8 I would go so far as to say a standard of usage.
9 And what I keep boiling down to is if I'm using my
10 cell phone, I want to be able to use it as I
11 travel. If I move from Indiana to Virginia, I want
12 to make sure that someone broadcasts television
13 signals that will work on the TV set that I bought
14 in Chicago for the technology that that particular
15 TV station chose to put out.

16 Market place is fine and there are
17 always applications where a market-driven spectrum
18 usage is going to have its needs and I think we've
19 seen that in the Part 15 where you can have very
20 different flavors of noncompatible wireless
21 handsets that are talking to the base station that's
22 plugged in in the family room. But I think once
23 you get to other items that are intended to allow
24 common usage over the airwaves, I think you have to
25 back down from that market place model, and there

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 are certain items that we need to have a body such
2 as the FCC to make sure that the industry grows,
3 that the market place that fosters the development
4 of the high quality television set that's available
5 for purchase because the people manufacturing it
6 know that there will be a market for a period of
7 time for that technology. So I very much favor
8 keeping the split approach.

9 MR. STROH: I don't favor keeping the
10 split approach, but I recognize that the licensed
11 allocations are a necessary evil for the time being
12 because they're not going to get blown away. And
13 we're constrained to some extent. For example,
14 we're not going to rebuild the highway system in
15 some better model to support trucks and cars and
16 bicycles, ideally. We have to live with what is
17 there. What I do think is that it's the new
18 digital technology, the software-defined radio,
19 spread spectrum, very low power operation signal
20 processors have made it possible for us, for
21 licensed exempt users, to piggyback on licensed
22 spectrum that's not being used. And I use the
23 example of the television broadcasting spectrum
24 that's pitifully under utilized in rural areas at
25 this point.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Why not a radio that could take
2 advantage of that fallow spectrum in rural areas to
3 provide broad band services without the necessity
4 of completely rebuilding copper infrastructure or
5 putting up with the irritating delays of satellite
6 broadband? The industry that I watch most closely,
7 the wireless ISP industry is doing this now.
8 They're making it work with 2.4 gig spectrum but
9 there are places they can't go. There are cost
10 points they can't meet, people they cannot service
11 because of the limitations of the technology. But
12 if they were permitted to buy equipment that could
13 make use of that spectrum now, and the MMDS
14 spectrum is even worse in how pitifully
15 underutilized it is. They could provide much
16 greater services including voice.

17 MR. HAZLETT: The goal of the
18 Commission, I believe, should be a cheap spectrum
19 policy. This has been lost, it's certainly with
20 license auctions on the table the last decade or
21 so. People talk as if you're trying to maximize
22 those rents you can extract through high prices for
23 licenses. It's, of course, the wrong approach and
24 the way to get to a cheap spectrum policy is not to
25 do it through artificially suppressing the price

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 signals that people face. It's to actually allow
2 lots of competing exclusive use licenses, whether
3 it comes through what you want to call band
4 managers or exclusive use licensees, or even to
5 some extent unlicensed users who could have, and in
6 fact, do exercise property rights effectively even
7 under current unlicensed rules.

8 But the thing that has to be remembered
9 is that coordination amongst these various users is
10 still important. You just read through this
11 record, the filings here, or any of the other
12 proceedings that are similar on spectrum policy,
13 licensed or unlicensed. And you have all kinds of
14 demands on the Commission to impose a standard.
15 We've heard about seven of them so far. To impose
16 rules, to impose use restrictions on various
17 alternatives. Seems rather late date to have to
18 argue that this is why God created competitive
19 markets, not the portals, okay?

20 The portals should be used for
21 something useful, and it's not to micromanage these
22 markets. Now the useful function is to get lots of
23 competing and flexible spectrum assignments out in
24 the market place so all kinds of uses, shared,
25 unshared, it's hard for me to think of an unshared

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 use, but if you want to call it that. Then to get
2 out there, but do it in a way that the
3 transaction's costs of putting coordination
4 together, amongst all the shared use can be handled
5 reasonably.

6 And again, there's no contradiction
7 between these sort of open entry environments and
8 exclusive use licensing by the FCC. In fact, if
9 you have a number of competing band managers or
10 band owners in the marketplace, they will, in fact,
11 invest to bring the traffic in, to bring the shared
12 use in, and to manage and coordinate new
13 infrastructure amongst those multiple users to
14 limit these conflicts. And all these examples,
15 like the TV spectrum that can't be used, that's a
16 tragedy of the commons, not of exclusive use
17 licensing.

18 The commons is, in essence, the
19 socialization of the spectrum through the
20 regulatory process. If, in fact, there was
21 ownership in the market for those unused rights, of
22 course you can have these kinds of contracts.

23 It's important also to understand that
24 the great thing about unlicensed is the "un." And
25 the places where it's most effective is where the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 real cost of spectrum is low; not artificially low,
2 but where it is low and it will probably stay low
3 for some time, particularly in environments where
4 there will not be as much competition or scarcity.

5 For example, in rural environments some of these
6 wireless ISPs are doing very well there and there's
7 a lot of aggressiveness there.

8 Local area networks, where property
9 owners assert de facto control in the coffee shop
10 or the airport waiting area or what not. These
11 sorts of areas can be, in essence, licensed
12 exclusively through the unlicensed process. In
13 fact, they are being used that way today so
14 coordination can take place. This is what the FCC
15 should look to, how you can get these decentralized
16 decisions and all the flexibility that that
17 entails. It was said that one size fits all is
18 wrong. That's absolutely correct. One size fits
19 all is what you get when you regulate and
20 micromanage from Washington the diversity and
21 variety that comes through decentralized decision
22 making in allowing the market to come up with
23 various uses and to maximize traffic because you as
24 the rights owner of the bandwidth can do that.
25 That's where you get the variation that will

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 maximize consumer welfare.

2 MR. CALABRESE: I thought I'd interject
3 in order to really confuse everybody since Tom, who
4 I agree with completely redeploying broadcast
5 spectrum, but when he says that the broadcasters or
6 the broadcast spectrum is a commons, you know, I
7 would think that quite the opposite is true which
8 is that actually the broadcast spectrum would be
9 the perfect home for a commons and that, in fact,
10 the commons, when we talk about unlicensed devices,
11 dynamically sharing, that that's the ultimate
12 market solution because what that does is it takes
13 the bureaucrat, whether government or corporate out
14 of the middle.

15 What it does is it allows the equipment
16 manufacturers and the software manufacturers to put
17 more sophisticated devices directly into the hands
18 of individual citizens, and then they can decide,
19 you know, how and when they want to communicate.
20 An open spectrum imagines that on a peer to peer
21 basis. So I think the most important point in all
22 this is to not
23 -- we obviously have to continue these two models,
24 you know, the licensing and the commons together
25 for quite some time.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 But we should be sure that the former
2 is not impinging on the development of the later,
3 because we're really in a major historic evolution,
4 I mean from analog to digital, from dumb devices to
5 cognitive radio, from narrow, from screaming over
6 narrow bands to whispering ultra-wide band, from
7 exclusive to sharing, from scarcity ultimately to
8 abundance. And so we also have to change from this
9 sort of zoning exclusive rights zoning model to
10 more and more and more of a commons model.

11 MR. FURTH: Let me ask a question here
12 because I'm hearing a number of people talking
13 about wanting to use both models, either because
14 they think it's correct as an ultimate policy goal
15 or because they see it as a practical necessity
16 that we're not going to get rid of one model at the
17 expense of the other ultimately. But I think I
18 want to go back to a point that Joe made which is,
19 is this really a decision that he or I or us at the
20 FCC should be making? Is it inevitable that the
21 FCC has to make this decision or is there some way,
22 in other words, through writing rules, or is there
23 some way in which we can set up a structure of
24 spectrum policy that allows this decision to be
25 made in the market place and by the market place?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 And if so. how would that happen? What would be
2 the rules that we would write in order to make that
3 happen?

4 MS. WARREN: Could I just say one
5 thing? First of all, you would rewrite the
6 Communications Act to get rid of public interest.

7 MR. FURTH: Why is that?

8 MS. WARREN: Because I think Part 15
9 when we talk about unlicensed devices, for example,
10 the gentleman down there pointed out the caveat in
11 Part 15 on licensed uses which is no expectation
12 that this device will not operate or what was the
13 exact language that you used?

14 MR. STROH: Must accept interference
15 even when it causes undesirable operation.

16 MS. WARREN: Whatsoever. Do we want
17 the customer, consumer, to have no rights and to
18 give that much control, in some ways, to a greater
19 upper hand to the manufacturers? I don't know.
20 It's a question I put because Michael said
21 something about putting the customers in control,
22 the consumers in control because they'll just keep
23 purchasing different devices as things improve.
24 But I mean we have competing manufacturers and
25 unlicensed devices, some rules, but Darwinian rules

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 is what I've understood -- everybody has said over
2 the last three session. So where does the consumer
3 come out in this?

4 MR. STROH: He has greater choice. He
5 ultimately achieves greater choice.

6 MS. WARREN: He has greater choice or
7 he's forced to constantly change?

8 MR. STROH: If you go into Target, you
9 can walk up and down the aisle and there's 20, 30,
10 40 different cordless phones. You take your copy
11 of Consumer Reports which has done the test and
12 buy on the basis of which one Consumer Reports says
13 operates the best.

14 MR. KURTIS: But the key is no matter
15 which one of those you select, you can plug it into
16 the jack and it's going to work. I submit to you
17 that if you say, you know, let's throw it all open
18 you're in a situation where you're walking down the
19 aisle. There are 12 different models to pick from
20 and there's only one that works with your
21 particular landline telephone network.

22 To stretch the analogy, suppose you
23 bought the WorldCom compatible toll phone and then
24 something happens and WorldCom is not there and you
25 can't move that phone to another competitor or you

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 have an AT&T TDMA phone that AT&T is phasing out
2 and you're stuck with -- you're perfectly happy
3 with it, but AT&T says sorry, can't use that
4 anymore. But without defending AT&T which is a
5 position I'm particularly uncomfortable with --

6 (Laughter.)

7 I am not aware, and David is probably
8 in a better position to say this, that AT&T said
9 turn off all your phones today because we're no
10 longer supporting it because that gives the
11 consumer the incentive to go out and shop around, I
12 think there's going to be some type of a transition
13 that recognizes the fact that that has been an
14 adopted standard, that that unit is out there and
15 they'll make it in their customers' best interest
16 to migrate as they want them to migrate.

17 The customer always has the choice, but
18 they have an underlying compatibility that they can
19 rely on. Right now, for example, that phone would
20 work analog. So they could use it in an analog
21 mode.

22 MR. WYE: And at the risk of actually
23 representing AT&T wireless --

24 (Laughter.)

25 Thank you to Michael for doing that for

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 me actually, it was very well done. Certainly
2 we're in the middle of managing a transition now.
3 I mean, my company at this point runs analog, TDMA,
4 GSM, CDPD, GPRS. We've got a bunch of stuff going
5 on and it doesn't make sense for me to go out and
6 strand my customers. When we migrate them, they
7 have the opportunity to migrate.

8 Now I will immediately point out the
9 difference perhaps between Michael and AT&T
10 Wireless. We actually were a little disappointed
11 that the Commission took five years to sunset the
12 analog rule.

13 We are trying to manage a transition
14 now to greater speeds, higher use of digital
15 technology, and you know, we believe that that is
16 going to hinder our ability. I fully understand
17 Michael's position. He certainly kind of lives in
18 a slightly different world than we do. But you
19 know, to go back to maybe the original question a
20 little bit, clearly I think there's somewhat of a
21 consensus, I think, on this group that you're going
22 to have to have both even in a kind of clean sheet
23 environment. I think you can see the benefits of
24 having both types of models working together.

25 How do you decide how much of one and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 how much of the other? Off the top of my head, I
2 frankly am not smart enough to know that you can
3 just throw that open to the market and that somehow
4 the market would say you know, 50 percent needs to
5 be licensed and 50 percent needs to be unlicensed
6 or commons or what have you, which is why I
7 actually do think that the government has a role to
8 play there in helping to make that decision.

9 So going forward, is it both? Yeah, I
10 mean we're not in -- as I've said I tend to be too
11 practical sometimes, but I think the answer is
12 certainly both and the government has a role to
13 figure you know how much is right.

14 MR. FURTH: I'd like to ask if Martin
15 has any perspective to lend on this from his
16 experience in the U.K. and then I'd like to throw
17 it open for a few minutes to the audience if they
18 have questions on this topic as well.

19 DR. CAVE: Well, essentially we've had
20 to address this question with even fewer facts than
21 you have since it's only the past three weeks that
22 the U.K. government has changed the rule in
23 relation to unlicensed spectrum to permit the
24 provision of services to the public rather than
25 just

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 self-provision. As a consequence of that, the
2 demand on unlicensed spectrum has been curtailed.

3 We have, however, been very worried
4 about the prospect of congestion in the light
5 particularly of possibly misleading horror stories
6 that we've heard from this side of the Atlantic.

7 And that has predisposed me personally
8 to favor the hybrid solution in many cases which
9 you've identified, which is the use of band
10 managers, will be able to bid on a competitive
11 basis for spectrum and then try and pile in as many
12 possibly low value users as can actually be
13 accommodated within the band. This is just simply
14 driven largely by the difficulty of doing the risk
15 analysis. Clearly, it would be a disaster if whole
16 swathes of spectrum became effectively sterilized
17 as a result of congestion and their availability
18 disappeared.

19 However, there may be certain areas in
20 which unlicensed spectrum can survive and for that
21 reason I'd be reluctant to see it abandoned
22 completely. But my own preference would be to sort
23 of stick roughly to the line that Tom has
24 identified and acknowledged that unlicensed
25 spectrum has a zero price but a competitive

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 spectrum market can actually produce prices which
2 are probably pretty close to zero in certain
3 contexts.

4 MR. FURTH: Questions from the
5 audience? We've got mikes in the back. Stand up
6 and identify yourself and direct your question to
7 us, thank you.

8 MR. REED: Yes. David Reed. Well,
9 actually more of a comment than a question on the
10 particular question you raised earlier about how we
11 might practically decide how to balance between
12 "unlicensed" or commons, both of which are bad
13 terms or the inclusive license market approach.
14 And what I think probably best thought about in
15 this space is two things, one responding to Martin
16 Cave's point which is that in fact we have no
17 congestion. We are so far from congestion in the
18 spectrum other than by regulatory limits that the
19 likelihood that we'd have congestion in the next 5
20 to 10 years, if we freed it all up, is very low
21 even if they allowed people to use it for terrible
22 reasons.

23 The practical fact of the matter is
24 that the old regime, which is neither of these two,
25 has been the most inefficient of all. As far as

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the new types of ideas, these spectrum auctions,
2 secondary markets, versus the other, I think we
3 should have a horse race. And I put all my money,
4 and I think I would recommend to all my investor
5 friends, to put all my money on the unlicensed
6 side. But it's fine, a perfectly reasonable
7 strategy would be to basically have either a
8 regulatory proceeding or a congressional. I'm not
9 sure who gets to do it.

10 But it basically says for every new allocation of
11 spectrum to a new use, half of it goes to auction
12 and half of it goes to unlicensed, both primary
13 users. If all the economic value migrates into one
14 thing or the other, we'll know our answer.

15 If we hobble one of those approaches by
16 unreasonable rules that basically then we won't
17 find our answer and I think now is the time to get
18 the answer.

19 MR. FURTH: Do you want to comment,
20 David?

21 MR. WYE: Yes. Throughout all these
22 workshops, one thing that I've noticed is there
23 seems to be a tendency to kind of if you will tar
24 one model or the other with kind of the sins of the
25 past if you will. I am the first to admit that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 some of the, we won't say broadcasting -- some of
2 the broadcasting spectrum probably isn't as
3 efficiently used as it could be. That doesn't mean
4 that all licensed spectrum is being used
5 inefficiently. I actually happen to think that
6 AT&T Wireless uses its spectrum pretty darn
7 efficiently.

8 On the other hand, we all recognize
9 that there are, at least I thought, one of the
10 things I thought I knew as a truth, and anybody can
11 correct me if I'm wrong, is that the reason we keep
12 going kind of from 900 to 2.4 to 5 is because at
13 least the reports that I've heard or seen in the
14 press is that it's because the bands keep getting
15 congested. Now, that's not to say that that can't
16 be solved through better use of technology. I
17 think that's maybe what David Reed was just saying.

18
19 But I just would perhaps offer a
20 cautionary note that just because we did it wrong
21 in the past doesn't mean we're going to continue to
22 do it wrong in the future. And I think that's the
23 whole point of what this task force is all about is
24 not to throw the baby out with the bathwater, but
25 how do we make things better? How do we make the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 licensed regime better? How do we make the
2 unlicensed regime better? How do we make them
3 better together, and so maybe we could carry that
4 forward.

5 MR. STEVENSON: Carl Stevenson.
6 Jennifer asked what I thought was actually a very
7 good question and that was what happens to the
8 customer of the unlicensed device where the current
9 rules say you must accept any interference you
10 receive from anything else. Period. End of story.

11 And then Mr. Wye's comment also about the apparent
12 congestion and things that started out in 900 and
13 went to 2.4 and now are going to 5. I'd like to
14 make a couple observations on that.

15 First of all, when Part 15 Spread
16 Spectrum Use first started and IEEE 802 started
17 developing standards for computer networking, the
18 environment was very different. The use of these
19 things has grown to such an extent that we do find
20 ourselves needing more spectrum. Part of it is a
21 problem that Mr. Wye seemed to at least allude to
22 or point to a little bit is that there are no
23 standards. It's basically a free for all. You
24 have a mixture of things like cordless phones and
25 baby monitors and so on and so forth that don't

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 look out for each other, don't use the spectrum
2 cooperatively. And this causes a lot of the
3 interference that does exist in the Part 15 bands.

4 And I would submit that, as I mentioned
5 the other day, that if the Commission were to take
6 a look at the National Technology Transfer Act, at
7 least a very strong encouragement that federal
8 regulatory agencies take open industry consensus
9 standards into account. I think we're at the stage
10 where the 802 standards have become so ubiquitous
11 and have become so important to society that they
12 actually have enough public interest value that
13 they really should have their status in some sense
14 upgraded so that the users do have a little more of
15 an expectation of better performance.

16 In terms of technology transfer, all
17 the way along the line we've retained backward
18 compatibility. We haven't stranded users. I think
19 the standards organizations have done a pretty good
20 job. Some of the problems that we face in the Part
21 15 bands are due to other systems that aren't
22 cooperative, that don't work together well. So
23 some way of dealing with that issue is something
24 the task force should consider.

25 MR. FURTH: Comments.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. WARREN: Yes. I just wanted to
2 respond to something Carl said which was about
3 unlicensed perhaps having the need to be able to
4 afford greater protection to the consumer. I may
5 be paraphrasing what he said. But I think that
6 then argues for unlicensed uses to perhaps have
7 their own unencumbered spectrum rather than sharing
8 because it's very difficult because while the
9 manufacturer understands that it is under Part 15,
10 the consumer doesn't read the last line of the
11 instruction manual too closely as the gentleman on
12 session one panel a week or so ago acknowledged.

13 So unless there is some way to fully
14 notify so that the consumer can't miss it like on
15 the device that you have no expectations or your
16 expectations have to be limited with the way this
17 device operates, it's very difficult for shared use
18 and there's obviously a proceeding in play right
19 now that raises that issue directly.

20 MR. FURTH: Ed?

21 MR. EDGAR: I just want to ask the same
22 question I asked at the unlicensed workshop we had
23 almost two weeks ago. I'm hearing two conflicting
24 views here. Cut it open, let it be Darwinian. And
25 the other one is we need some rules. And I'm

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 talking about the unlicensed spectrum.

2 My question is, is it broke and we have
3 to fix it today or are we anticipating problems in
4 the future?

5 I'd appreciate anybody who wants to
6 comment on that.

7 And I also have a second question.
8 Most of the day today has been on unlicensed, which
9 I've found interesting. And that's fine because if
10 that's what you want to talk about, by all means
11 talk about it. But I do have a question about
12 shared use of spectrum in terms of rights and
13 responsibilities.

14 What about things that those of you are
15 familiar with -- the north points of the future.
16 Or what the responsibilities of incumbents to keep
17 their technology? Let me put it this way. What
18 are the obligations, or what should the obligations
19 of incumbents be to keep their technology current,
20 either in the unlicensed spectrum or in the
21 licensed spectrum?

22 MR. FURTH: Comments on that because I
23 think that's a good segue on where we want to go on
24 the next sort of section of our discussion,
25 defining the rights better as David talked about

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 and under both models.

2 Does anybody want to comment on Ed's
3 questions?

4 MR. HAZLETT: Yes. I think the
5 assumption is there is an unlicensed model and we
6 should get the rules right and make sure that
7 people cooperate. The assumption implicit is there
8 is a need for coordination. There is a scarcity
9 problem. You can't interfere. It's costly not to
10 interfere. There's a need for some coordination,
11 some protocols and some etiquette and that needs to
12 be coordinated. That's right, but again the
13 regulatory model is wrong.

14 That is to say this is a competitive
15 market function and just suppose, just get crazy
16 and suppose that the 1996 proposal by Senator
17 Pressler to issue overlay rights covering the
18 entire broadcast TV spectrum, 402 megahertz, and
19 that that proposal had gone through and we had
20 given out several licenses, 580 megahertz licenses
21 or some larger number of smaller allocation or
22 whatever. But you had gotten those licenses with
23 complete flexibility into the market place, and
24 they had to respect the incumbent broadcaster
25 rights, you know, to protect the three or four

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 American households that don't subscribe to cable
2 or satellite.

3 The use of all unused, somebody said in
4 rural areas TV spectrum is slightly underutilized.

5 That's going down as the understatement of the new
6 century. So these flexible rights competing
7 against one band manager competing against another,
8 you could have all kinds of economic activity. It
9 could see mobile services, very close to what we
10 have today. You could see fixed wireless
11 broadband, close to what we see today. You could
12 see all sorts of stuff is cutting edge. You could
13 see all sorts of stuff we haven't seen yet.

14 Different rules, different coordination
15 mechanisms, different architectures certainly could
16 be proposed. And that's the trial and error you
17 want. You want these competitors in the market
18 place to be able to offer their various solutions.

19 In general, those will be shared solutions if you
20 want to speak in those terms, but just as cellular
21 and PCS systems are shared systems. But you will
22 have an opportunity to actually have competitive
23 rivalry between these solutions and the consumer
24 interests are clearly on the side of that rivalry.

25 If you're at the target and you're

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 walking down the aisle with a telephone and you
2 think that the FCC is giving you this compatibility
3 of everything at 900 megahertz, you're in the wrong
4 aisle. Go over to the software aisle. There's no
5 FCC to protect you on software and there's lots of
6 compatibilities and by the way there's lots of
7 incompatibilities. But that's a better market.
8 It's much more progressive, lots more innovation,
9 and lots more great, new stuff and lots more
10 welfare created for society because of the dynamics
11 of that process, despite the fact there is a cost
12 associated with being stranded on an eight-track
13 stereo tape or a Commodore computer.

14 MR. CALABRESE: I think to some degree
15 the answer to both of Ed's questions can be
16 informed by remembering, and I just want to
17 reiterate what I said earlier the distinction
18 between the two types of unlicensed technology that
19 we're talking about. You know, today's 802.11 type
20 technology which is channelized and the future of
21 unlicensed, which is going to stretch out all
22 across the spectrum across both licensed and
23 unlicensed bands on an underlay basis.

24 And so the Commission's unlicensed
25 policy making needs to proceed on two very

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 different, but parallel tracks, with respect to
2 that. And I think that in both cases we will need
3 rules. There is an ongoing role for the
4 Commission, but the rules are of a very different
5 type than the licensing. So for example, when
6 Martin talks about licensing a band manager for
7 unlicensed devices, that is probably totally
8 unnecessary. Imagine if we did that on the
9 internet, if we had a bandwidth manager for the
10 internet. I mean why not instead you know have
11 open protocols and etiquettes and so you have
12 compliance-like, compliance licensing for devices
13 that can share that space.

14 And you know, the same thing would
15 probably be true with respect to the underlays.
16 And then on the second question concerning
17 interference standards, Dale Hatfield, I know, has
18 been blue in the face talking about the need to
19 regulate receiver standards because interference,
20 if we allow these fragile old dumb devices to lock
21 up the spectrum, it's really standing in the way of
22 innovation and efficiency.

23 And so what we need to do, and that's
24 one of the main reasons against any permanent,
25 vested interest in frequencies because the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Commission will need to continue a role in evolving
2 the interference standard. And I think we're going
3 to go to talk about that.

4 But it's very important, if we're going
5 to redefine license rights, as a bundle that on one
6 hand has complete service flexibility, but on the
7 other hand limits interference both in terms of
8 what you can impose and what you must receive, then
9 that standard, that interference standard has to
10 evolve with technology. You can't just say these
11 are your fee simple property rights forever and
12 leave it at that.

13 MS. FARQUHAR: I think we've already
14 segued into the second part of our panel and so let
15 me pick up there with respect to defining basic
16 spectrum usage rights and where Ed started and
17 where Michael just picked up in particular.

18 Our frequent criticism is that noted of
19 spectrum usage rights is that they're not clearly
20 defined by the FCC's rules right now. So one part
21 of the question is in what sense are they imprecise
22 or not clear at how or why does that need to be
23 fixed? And also, should there be time limits or
24 term limits if government, for instance, does
25 address these issues and set some limitations?

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 Should we recognize that technology evolves?
2 Should there be an indefinite period of time for
3 which say 5 or 10 years for which these rules are
4 effective and then you automatically revisit it?
5 Do term limitations or something else? Or should
6 there be some other mechanism to revisit this over
7 time?

8 Let me start with Martin to give him a
9 chance to think about this and then we'll take
10 comments from others at the table.

11 DR. CAVE: Naturally, these are the
12 questions we had to address as well in writing the
13 report and let me focus particularly on the
14 duration question because I think that's really
15 quite difficult. In essence, the conclusion we
16 came to was that you could either adopt a band
17 specific policy which would, in essence, mean that
18 you would have to look at each band and decide how
19 the technology was going to change and adjust the
20 duration on the basis of that.

21 But as we know, that's a pretty fragile
22 basis upon which to base decision making because we
23 don't know how the technologies are actually going
24 to develop. So in conclusion I think we came to
25 the view that it was probably best to have infinite

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 duration and licenses but with some kind of reserve
2 power for the government's compulsorily to purchase
3 the licenses at some kind of market evaluation
4 where that was necessary, if the system which I've
5 described appeared to generate particularly severe
6 market failures and strategic behavior. But we
7 were still a bit unhappy with that because nobody
8 wants to give governments or regulators the powers
9 to remove other people's property compulsorily.

10 So I think this is a very open question
11 and really is one for the purposes of my report we
12 sort of handed on to the next line of people who
13 are going to have to frame the legislation.

14 MS. FARQUHAR: Joe?

15 MR. GATTUSO: I'd like to comment on
16 this. It seems to me in listening to the other
17 workshop sessions and also in knowing about
18 spectrum management generally, sometimes I wonder
19 if we have advanced to a point over the last 70 or
20 80 years of having radio where we think we know the
21 rights to a certain point and we make decisions in
22 spectrum management thinking we know a certain
23 amount about rights and responsibilities, but we
24 have a lot of uncertainty back a step that we would
25 not tolerate in other areas.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 The analogies in spectrum management
2 come fast and furious. You've always got the
3 property rights analogy, the real property. But
4 you can have intangible rights analogies. You have
5 the highway analogies. In every one of those
6 cases, I think of okay, I believe in analogies so
7 I'll throw out some. You think about are there
8 certain principles that have developed in terms of
9 real property you've had six, seven hundred years
10 of development where it's already established in
11 law, certain things are established. In real
12 property you've got title. I've mentioned that
13 before.

14 You've got a certain sense that as a
15 general principle a purchaser of a right would have
16 a certain rights for -- they fall into certain
17 classifications and there are certain things under
18 those classifications you can do. There's a
19 developed body of law with respect to newcomers
20 versus existing users of the rights and you have
21 both time and you have nuisance law. And I think
22 of the equivalent in spectrum and it's like not
23 knowing if you're getting an oil and gas lease how
24 long it's going to last or what does it mean when
25 you have an oil and gas right. Well, we know that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 in oil and gas. And it means like if you want to
2 use the highway example, we know that as a general
3 principle everywhere in the United States that a
4 car entering in the highway, its wheels are already
5 on the highway. We know that.

6 But it seems that we are constantly
7 debating and through the analogies very simple
8 things like who owns the spectrum? One person says
9 there's no ownership. True. The other person says
10 well the analogy goes a certain way. We haven't
11 established that. We're asking a basic question --
12 how long does the right last? Well, you can argue
13 that some ways given practice since the Federal
14 Radio Commission and given court decisions and
15 broadcasting elsewhere, the right does continue
16 indefinitely in certain areas.

17 Real question is should it or not and
18 that's why I think Martin Cave had the difficult
19 analysis of saying well, which is better? Do you
20 want something -- do you want the ability to go
21 back and revisit that and do you institutionalize
22 that or do you have a system where that's there?
23 So I think that these fundamental questions should
24 be addressed and there are especially with usage
25 certain things with respect to what the party

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 holds, what incumbents hold, and what they're
2 allowed to do with those secondarily.

3 MS. FARQUHAR: Comments from the people
4 in the panel?

5 Mike?

6 MR. KURTIS: I think the current model
7 that you have in CMRS is an indication of how this
8 can work properly. There is an expectation of a
9 license renewal that is subject to being taken away
10 if you haven't met certain standards. You know,
11 you don't want to be in a situation where the
12 person who holds the license in a particular
13 technology especially like CMRS that requires a lot
14 of time and a lot of money to deploy, that that
15 license does not have an ongoing expectation of
16 being able to renew. That's an absolute way to cut
17 off all capital available for building a costly,
18 complicated expensive network.

19 But you do maintain at the Commission a
20 safeguard from that spectrum lying fallow or not
21 being properly used in methods that have
22 construction requirements at the end of that
23 period. Other people can come in and take over and
24 apply for licenses that have not been properly used
25 if the carrier is not acting appropriately,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 although there was an expectation of renewal, it's
2 not an absolute right. But to the extent that the
3 carriers are doing the right thing, there has to be
4 the expectation that their license is going to be
5 continued, if you want to be able to get full use
6 of that spectrum.

7 MS. FARQUHAR: That's a good point with
8 respect to -- and please, chime in and raise this
9 issue too. Jennifer mentioned earlier consumers
10 expectations with respect to devices, products.
11 Michael just noted that expectations of the capital
12 market and investors. Are there other expectations
13 out there that fall into this realm when you think
14 about it as well?

15 David?

16 MR. WYE: Yes. Obviously, I would tend
17 to agree with Michael on that. My company spends
18 billions of dollars building out its licenses.
19 This year alone we'll spend over five billion
20 dollars trying to improve our coverage and our
21 capacity and everything else. If I think that in
22 three years that's going to go away, why would I
23 ever spend that money? And although I agree
24 theoretically that you know the licenses have a
25 renewal expectancy, I certainly believe that they

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 should. I think one thing that has not perhaps
2 been one of the Commission's shining moments in the
3 past is that when licensees have not lived up to
4 their obligations, they have not taken the licenses
5 back.

6 And I think if we're going to make this
7 system work, and I think it works well now, the
8 Commission has got to stand up and say you're not
9 using it, I'm taking it back. I know that AT&T
10 Wireless has turned licenses back in because we're
11 not able to meet the requirements of the terms of
12 the license. And that should be an absolute mantra
13 at the Commission is enforcement. We're back to
14 enforcement again. It's not that the system is
15 necessarily broken and we have to change the terms
16 of the licenses, we simply need to enforce the
17 system that we have in place now.

18 MS. FARQUHAR: Jennifer?

19 MS. WARREN: I just want to add one
20 even though I said I wouldn't come at this from a
21 satellite perspective. You have to apply again the
22 principle of practicality to go back to what Peter
23 Pitsch said earlier. Even if you were looking at
24 limiting time frames for licenses, if throwing out
25 a five year time period, you don't even have the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 satellite launched then. So I mean there are very
2 different expectations by industry as well as to
3 the terms and the means to satisfy the terms of the
4 licenses and I think that has to be taken into
5 account.

6 And I would also say the enforcement
7 issue is an important one from the satellite
8 perspective and we started to see that from our
9 arena and it's healthy, painful but healthy, and we
10 would encourage the Commission to keep doing that.

11

12 MS. FARQUHAR: To what extent -- I'm
13 sorry.

14 MR. CALABRESE: I just want to make
15 point in this discussion is I hope we're not
16 leaving the impression though that there's a kind
17 of, I guess, I would call a false dichotomy between
18 some of these. Because, for example, renewal
19 expectancy is not, I don't believe is contradictory
20 to limited term licensing because you can have what
21 we do today, right? You're saying in PCS a limited
22 term license with renewal expectancy, the question
23 is kind of on what terms, how we do that.
24 Similarly, with interference you can renewal
25 expectancy, limited term licenses and still have

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 the Commission migrate the interference standard
2 along with technology over decades. So none of
3 those things are in terms of assembling a bundle of
4 rights, I don't think any of those three things are
5 in contradiction, although they may be in some
6 tension. And that's one reason too in response to
7 David's point about internalizing the opportunity
8 cost of spectrum.

9 Again, rather than relying on the
10 Commission to have to yank spectrum back, if we
11 move to a more flexible market oriented allocation
12 policy using a price mechanism, then those sort of
13 market base incentives for efficiency should be
14 built right in. The problem is though we have
15 commercial users who are not on a level playing
16 field. Many like AT&T Wireless and so on who pay
17 for their spectrum and others who haven't. That's
18 why earlier at the very outset I was mentioning
19 that if we are going to create this new type of
20 license with this valuable service and market
21 flexibility, when we assign these new licenses that
22 we ought to perhaps take advantage of moving to a
23 kind of annual user fee for spectrum use because
24 that can serve several important objectives that
25 are in the statute. It can recover to the public

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 an ongoing and market based return on the public
2 resource, internalize these opportunity costs for
3 efficiency. It can reduce, and I think it's an
4 important flaw with the current auction system is
5 these are sort of viewed, the companies are forced
6 to view these and it's even worse in Europe. But
7 they're forced to view these as one off auctions,
8 where you're sort of bidding to have control of
9 this resource for all time. I say worse in Europe
10 because they were actually licensing, it's like a
11 business license. Even if you owned first or
12 second generation license you couldn't do 3G unless
13 you went into this auction and paid more money.

14 So it would reduce barriers to entry to
15 whether we use competitive assignment in entry or
16 not, do it just for the first term. And then after
17 upon renewal give the incumbent either now or these
18 incumbents who get the spectrum through auction,
19 give them the option if they want these valuable
20 flexibility rights, then they can just convert to
21 an annual rental fee system. And that can be
22 based, imputed, based on a modest percentage of the
23 value that's evidenced by the secondary market
24 transactions.

25 MR. MILLER: I'd like to quickly

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 comment. I like hearing your user fee proposal
2 because the LMCC discussed this and even I think
3 proposed it many years ago. And the reason is with
4 auctions one thing I think a lot of people don't
5 look at is even economically they're not really
6 that good because the government gets the money
7 today and then as the winner builds out his system,
8 he deducts the auction price and his operating cost
9 so five years down the road when government
10 expenses are much higher, government revenues
11 suffer because they got all the money today instead
12 of being spread over the years by your user fee.
13 So I like that concept.

14 I'd like to address the question that
15 didn't get answered about what incentive is there
16 for incumbents to use more spectral efficient
17 equipment. For commercial users, this whole
18 conversation seems to be dominated by commercial
19 and what we call private radio users and there is
20 an economic incentive for governmental users, there
21 really isn't an economic incent. There's an
22 economic disincentive since they have existing
23 infrastructure they pay millions of dollars for tax
24 revenues that are down.

25 The FCC tried to address the congestion

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 of spectrum users in the bands below 512 beginning
2 in 1991 with the refarming issue. They started out
3 with very aggressive deadlines at which all new
4 systems had to achieve certain spectral efficiency
5 standards and then after a certain amount of time
6 existing system. They gave up on that and went to
7 this market based approach that I hear expounded so
8 freely here today. And it doesn't work.

9 With respect to governmental entities,
10 when you go into your budget director, if you say I
11 need to buy more spectral efficient equipment to
12 improve operations, he'll say what are you using
13 now? Keep using it.

14 If you say the FCC passed a rule and by
15 2012 I have to have this, then you get the money
16 allocated in the budget. So I'd just like to throw
17 that out.

18 MS. FARQUHAR: Let me go back to my
19 original question with respect to the lack of
20 clarity or definition in the rules themselves, if
21 that's the issue or is the lack of enforcement
22 perhaps by the FCC with respect to enforcing such
23 rules that exist right now? Which is it, I guess,
24 is part of the question. And let me ask a side or
25 secondary question with respect to can the spectrum

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 users or licensees themselves even in an unlicensed
2 environment do more to enforce these rules or
3 administer these rules and are there models out
4 there right now where that's going on.

5 Let me ask Steve Stroh that question in
6 particular. How are the etiquettes working in the
7 unlicensed community and what lack of definition
8 might there be right now? Or is there, do you
9 believe a lack of definition?

10 MR. STROH: The etiquettes, such as
11 they are, work very well. It's basically does it
12 function or not?

13 I'd like to touch on one point. The
14 gentleman from Ager said that everything would be
15 great if everybody would adopt the 802.11 standard.

16 And that guts out the most innovative part of the
17 license exempt spectrum that different technologies
18 can compete on an equal basis, and whichever one is
19 more applicable to the use is better.

20 802.11(b) is a wonderful standard for
21 internal local area networks. It's a lousy
22 standard for wide area networks. There are many
23 other systems for example, the frequency hopping
24 spread spectrum that's used by a number of vendors.

25 OFDM is another one. All of those uses are

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 evolving in 2.4 already. They're being used. They
2 are in daily use. The market is sorting out or is
3 performing the function of an etiquette that if it
4 works, they use it. If it doesn't work, they stop
5 using it and go buy a different set of technologies
6 or a different set from a different vender, change
7 their operations. So it is working.

8 MS. FARQUHAR: Larry, can you answer
9 that question from the perspective of the public
10 safety community and others -- the product
11 licensing realm in particular the private wireless
12 realm. They have to do a lot of self policing.
13 Does that work as a model or not as much when you
14 have shared environments?

15 MR. MILLER: Well, self-policing works
16 well. Unfortunately, it's a lot more personality
17 dominated than technology. We have cases all over
18 the country where if you have counties where the
19 sheriffs like each other, they can sheriff. They
20 don't, seriously, they don't.

21 MR. HAZLETT: Can you give us a map of
22 which county is which?

23 (Laughter.)

24 Which ones to stay out of?

25 MR. MILLER: Actually, it isn't quite

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that easy. So essentially, we try to look at it as
2 we assign, as we recommend frequencies for
3 licensees we try to do them on a technical basis.
4 And that works pretty good, 85, 90 percent of the
5 time. But there are times when things we think we
6 won't work do and things we think will work won't,
7 simply based on the incompatibilities of the
8 personalities involved.

9 MS. FARQUHAR: Let me see if there are
10 questions from the audience.

11 David Reed?

12 MR. REED: Just a quick comment because
13 it was mentioned before by Martin and sort of is
14 implicit in the question you asked Steve. I've
15 been personally tracking down and researching every
16 story I've seen about 802.11 congestion. These so-
17 called pileups and I'm convinced, based on that
18 research, that most of those stories are of the
19 hypothetical nature that various people who have no
20 experience in the field are positing that this will
21 happen.

22 In very, very high density areas it's
23 possible to have a problem briefly. You discover
24 that two radios next to each other are tuned to the
25 same channel. But the nature of that particular

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 technology, which is not the same as a wide area
2 network technology is that you can resolve that
3 very quickly because it's not very far away
4 whatever interferer there is, whether it's a
5 microwave oven or whatever. And certainly we don't
6 need the FCC or even a micro market to solve that
7 problem -- a market infrequency. We just need
8 people to either spend a little bit more money or
9 spend some time, which is a lot more effective way
10 to do that.

11 I would be very interested and I'm
12 really honest about this, I'd collect anything that
13 would demonstrate that so-called meltdown that's
14 talked about in the press. But I'm afraid actually
15 that that's another example in the way public
16 policy debates are carried out which is that people
17 can claim they're something without somebody
18 proving the negative. That doesn't happen. So I
19 wouldn't make any policy based on the stories we
20 heard in the press about meltdowns in unlicensed
21 spectrum.

22 MR. LONGMAN: Wayne Longman, spectrum
23 user of unlicensed devices. Well, if there's not a
24 problem in the meltdown, why not issue licenses to
25 the manufacturers? On the rare events there are

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 problems, we have someone to take responsibility
2 for them. Thank you.

3 MR. WYE: That actually reminds me of
4 something that came up a while back when we were
5 talking about part of the problem in the unlicensed
6 maybe is that they're kind of different competing
7 uses. You know, not just 802.11 but there's
8 cordless phones, there's baby monitors, and there's
9 this, that and the other thing. It kind of
10 generated a question in my mind which is well, does
11 that mean that we need to have separate unlicensed
12 band for different kinds of services? And I
13 thought, okay we're starting to move back towards a
14 license system. And I think this maybe goes back
15 to Wayne's point and my memory is a little foggy on
16 this since I left the Bureau. But we also I think
17 had this thing in part 90 called license by rule
18 where there is a rule part that governs some of the
19 stuff. But each individual, you know, device is
20 not necessary licensed and there is not a central
21 controlling party.

22 Like in my case, my company kind of
23 controls that spectrum through our bay stations, if
24 you will. So this is a question maybe for the rest
25 of the panel. You know, it says Part 90 and

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 license by rule get to what Wayne was just saying.

2 Is that another element of the models that we need
3 to be considering?

4 MR. HAZLETT: Yes. The suggestion is
5 an excellent one and the question. This is exactly
6 what would, of course, spontaneously emerge if a
7 cheap spectrum policy were pursued and something
8 like overlay rights, the Pressler plan or some
9 other rendition were to be instituted, you would
10 have, in fact, the Microsofts, the Intels, the
11 Ciscos, your manufacturers, smaller, larger, all
12 sizes. Actually, looking at this you would also
13 have consortia develop in addition to manufacturer
14 groups.

15 You could well, and again in a cheap
16 spectrum environment, because lots of rights, lots
17 of flexibility, lots of competition, you would, in
18 fact, get that kind of entry, that kind of
19 coordination, that kind of competition and
20 experimentation between rival approaches to
21 optimizing any particular band.

22 MR. CALABRESE: If there is a meltdown
23 with unlicensed, it will only be because of failure
24 of policy and I think that's true for a couple of
25 different reasons. One is, you know, the whole

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 idea of the tragedy of the commons is a misnomer.
2 It's what you -- there's many successful commons
3 including the internet, but what there is sometimes
4 is a tragedy of unregulated access. In other
5 words, where there are not some rules promulgated
6 such as the open internet protocols that David Reed
7 helped develop for the internet that will kind of
8 help self-regulate within the commons. So we may
9 need those kinds of rules Jennifer mentioned, for
10 example.

11 Many of the commenters suggested that
12 for this channelized WiFi technology, we may need a
13 new park that's dedicated for wireless broadband
14 networking and that's fine. But the second is, you
15 know, fallacy about it, you know, I think is also
16 have this other technology that's coming on with
17 cognitive radio and dynamic sharing, which means
18 that if there really is, you know even if we open
19 up a new park for today's technology and then that
20 gets "congested", even despite protocols and
21 etiquettes, then eventually what we should do is
22 put out many more underlay rights for the new
23 cognitive radio and ultra-wide band sort of
24 technologies that can dynamically share.

25 And the first place we ought to look to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 do that is the broadcast bands, you know, is to
2 open that up to these new technologies as they come
3 along to fill that white space.

4 As David made a point earlier that even
5 though you might see congestion on the AD 3.5
6 megahertz and the ISM band, if you opened up these
7 other huge parts of the spectrum that are just
8 lying fallow to smart radio devices that can find
9 the openings, that can fill the white space,
10 there's almost no chance that there would be
11 congestion.

12 MR. FURTH: Let me ask a question
13 though going back to Michele's original question, I
14 guess, about interference rights. But specifically
15 focusing on the licensed model, because presumably
16 when you're dealing with unlicensed spectrum you
17 don't need to define interference because everybody
18 has to accept it, whatever it is. But in the
19 licensed model, there's been a lot of talk in prior
20 panels about this concept that you were talking
21 about that, first of all, interference rights
22 aren't well defined and that one of the things this
23 leads kind of fuzzy is the ability of these
24 opportunistic technologies to hop in and out of
25 licensed spectrum.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 I guess I want to put that question
2 out. Is it really a question of the rights not
3 being well defined so that it is simply a question
4 of writing a clearer rule? Or is it that they are
5 well defined but they put the rights in the wrong
6 place so that those technologies are blocked? And
7 if you want to allow or encourage that type of
8 opportunistic technology to flourish in licensed
9 bands, what's the rule that you write in order to
10 make that happen?

11 MR. KURTIS: Again, from my myopic
12 point of view, I think the Commission got it right
13 on cellular when they said users of adjacent
14 spectrum and the same frequency band coordinate the
15 usage and do it in a way and expand their systems
16 so that they don't block the growth of the
17 neighbor. I think one of the unfortunate
18 oversights in PCS is that they did not keep the
19 requirement that you coordinate in the same
20 frequency band with your adjacent neighbor. And as
21 a result I know from the rural carrier, we're
22 having a lot more problems of interference cropping
23 up unknown, unexpected overnight having to go down
24 and hunt it down as opposed to a cellular model
25 where there's an advance coordination process

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 that's supposed to take place. The carriers that
2 honor that to my knowledge, the FCC has had very
3 few interference cases come to them from adjacent
4 CMRS operators.

5 MR. HAZLETT: Well, I'm not a lawyer
6 but I play one on TV, so let me say that the rights
7 as far as the market place are concerned, the
8 rights are not well defined at all. If you want to
9 take it from the legal standpoint, the rights are
10 very well defined. The FCC regulates all the
11 rights. Nobody owns the spectrum, and you have to
12 come to the FCC for permission for any
13 reallocation. So that's what fuzzes this all up.
14 I mean to refer to exclusive use spectrum under
15 today's regulatory model, there are examples where
16 there's more flexibility than in others, PCS, for
17 example, versus cellular or broadcasting.

18 But the current model, of course, does
19 not have full flexibility, and so when you
20 introduce a new technology on top of the, and I
21 almost said obsolete technologies, let's call them
22 existing technologies, like software-defined radio
23 and you want to hop from one band to another, well
24 obviously you're going to run in, frontally, run
25 into the block allocation system because you can't

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 allocate around that without stopping at the FCC
2 for 10 or 20 years each hop. Now that's probably
3 too costly and prohibitive, and that's why don't
4 see it in the marketplace.

5 Now to say then that the FCC solution
6 is to override, decentralize decision making
7 amongst all the different bands and then to impose
8 that kind of shared usage is to make exactly the
9 same mistake with a new technology. What you want
10 to do is decentralize all that decision making,
11 hand the rights to existing or new players that
12 can, in fact, then in a flexible environment invite
13 in on a negotiated basis all that kind of new
14 traffic and then make those delicate trade-offs
15 between some new system of software-defined radio,
16 in some perhaps ultra-wide band tradition or
17 whatever the trade-offs are in addition to you know
18 standard commercial technologies being used today
19 on a decentralized and competitive basis to hit the
20 optimum, not to try to centrally plan this outcome.

21 MR. GATTUSO: I'd like to try to
22 disagree with Tom, although really I'm going to
23 make a different point but it was fun to say that I
24 was going to disagree.

25 (Laughter.)

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 But something that Tom say triggered
2 that which is I think Tom you said that at some
3 point the rights are established, the FCC holds the
4 right. But I think in another sense there's
5 something very fundamental that at least when I was
6 listening to the interference panel seemed very
7 unsettled. And that is what exactly does an FCC
8 license grant the licensee? And it seems like
9 there's two possibilities and both have been in
10 effect. One is the right to transmit in a certain
11 area of certain power. We have possible
12 parameters. Is it the right to transmit or is it
13 the right to provide a service or a right to be
14 free from interference?

15 And, of course, the second question
16 raises all those issues about well how do you
17 measure interference and how much does interference
18 have to do with the receiver and it's been proposed
19 even that you could define a right as the right to
20 transmit with a cheap receiver and then take it
21 from there. But it seems to me that that essential
22 dichotomy exists in all sorts of situations and
23 it's the basis for a lot of the spectrum questions
24 that are pending.

25 I think the 800 megahertz issues that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 we've heard discussed and those say well, one
2 person said I have the right to do this. I have
3 the right to send out the power. The other person
4 might say not only can I send out the power, but
5 you can't interfere with me. And then there's no
6 clear direction, there's no clear answer and I
7 think the Commission is left having to sort these
8 out time after time.

9 MR. FURTH: I think one clarification
10 in that is, you know, at least in the statute it's
11 harmful interference. So what the license gives
12 you is the right to provide, license to provide a
13 service and to be free from harmful interference.
14 And so if in moving toward flexibility we eliminate
15 the service portion, I'm wondering in some ways to
16 throw this up because I'm the lawyer, not the
17 engineer, so I really don't know the answer. But
18 I'm wondering if we can't just define this bundle
19 of license rights primarily with respect you know,
20 you obviously have things like know what frequency
21 what you're talking about in the geographic scope,
22 but if we can't define the license primarily with
23 respect to the interference that you're protected
24 from and then that's the license which means that
25 all other users who can share that band without

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 harmfully interfering with you are -- as Tom was
2 suggesting are invited in because there seems to be
3 no reason given when you go back to the sort of the
4 legal and constitutional values that underpin the
5 Communications Act, there's no reason to squelch
6 communication, particularly among citizens who are
7 using these smart radios on a peer-to-peer basis if
8 there's no harmful interference. And I think that
9 definition of harmful not only has to be found, but
10 then has to evolve over time with technology.

11 We need to actually move on here
12 because we're running short on time and we've got a
13 lot of ground to cover. I think we could
14 inevitably discuss this for the rest of the day and
15 a long time to come. But I would like to move on a
16 little bit to talk about a couple things in prior
17 discussion and in the comments the sort of uses of
18 spectrum that people have tended to talk about as
19 perhaps being exceptions to whatever general model
20 or models we might want to apply, for example, to
21 commercial uses of spectrum.

22 One of these is obviously public safety uses. And
23 I'd also like to have an opportunity for the panel
24 to come back to the question that I know Dave
25 Siddal raised this morning and Michael has talked

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 about here which is the issue of whether we should
2 have different regimes for rural spectrum versus
3 urban spectrum or perhaps more accurately spectrum
4 that is more congested and less congested since in
5 rural areas clearly you do not have a congestion
6 problem.

7 So I'd like to talk first about public
8 safety and maybe come back to what Martin talked
9 about initially which is a distinction that was
10 made in your report between commercial uses of
11 spectrum and sort of public uses of spectrum that
12 would have to be approached under a different model
13 and ask you to talk about that a little. And then
14 ask the panel to perhaps address whether we'd need
15 to sort of single out public safety and those types
16 of uses and apply different model and if so what
17 would it be.

18 DR. CAVE: It is certainly true as I
19 indicated in the outset that the report which I
20 wrote identified in essence two regimes with some
21 kind of linking condition created by the
22 opportunity of public service spectrum uses leasing
23 over the boundary. I guess the reason as I've
24 indicated that was incorporated was that I just
25 didn't feel that we were ready yet to move to a

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 regime in which there was wholesale competition.
2 But that's certainly the regime that I hope we will
3 move to over a period of 5 or 10 or 15 years.

4 I was discussing this yesterday with
5 another bunch of people here in the FCC and
6 somebody suggested that in proposing this that I
7 was rather like Gorbachev in trying to reform the
8 Soviet economy. This halfway house was a measure
9 that would inevitably fail and that some radical
10 person like Tommy here for example will come in and
11 elbow the proposal out of the way with a more
12 radical approach. But as far as I'm concerned, as
13 far as Europe is concerned, my estimation of the
14 possibilities there, it's just not practical to
15 move to a system where there isn't some kind of
16 reservation of spectrum for public purposes.

17 But that, as I've indicated, should be
18 accompanied by some kind of incentive for economy
19 and its use so you don't get the problem which we
20 have in our Ministry of Defense, for example, were
21 inquiries reveal that they don't even know whether
22 they're using the spectrum that they've got or
23 indeed probably don't even know what they've been
24 allocated. And that kind of situation is very
25 serious.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MR. HAZLETT: Yes. Just on that point,
2 was there any consideration of an approach within
3 the set aside approach, so you have some
4 allocations for public safety, but you go from
5 there not to sort of the current top down regime
6 but you have, in essence, requests for proposals
7 and competitive bidding by private or public
8 organizations to, in fact, provide those services
9 and you know make bids for use of the spectrum at
10 the same time. This would get to finding the
11 spectrum that's not being used, getting much better
12 public safety communications system and introducing
13 competition. You know, it's government contracting
14 is what it's is. Was there any consideration of
15 that?

16 DR. CAVE: We already have some of that
17 and it might be useful just to describe the
18 arrangements we have in the U.K. for the provision
19 of communications services for the emergency
20 services. The U.K. government has let a contract
21 to an operator and assigned the spectrum that it
22 considers is necessary to provide that service.
23 And that service is then provided uniformly to
24 our fire, police, and ambulance services. So we
25 have to some extent taken on board the notion of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 outsourcing communication services. But it's been
2 done in a way that has involved really vertical
3 integration between the service provider and the
4 band manager of the spectrum. And clearly those
5 two functions could actually be separated. You
6 could have an emergency services spectrum band
7 manager which would then treat with various
8 emergency services in order to provide whatever
9 their needs were. I think that might be quite a
10 useful halfway house, as Tom has suggested.

11 MR. FURTH: Then maybe I should put the
12 question more generally to the panel is this
13 halfway house approach or some kind of halfway
14 house approach for public safety something that's
15 appropriate for us to consider?

16 Larry, do you want to talk about it?

17 MR. MILLER: I think it is and I'd like
18 to point out something. The Nevada Department of
19 Transportation, about eight years ago, decided they
20 want to be able aid statewide trunk 800 megahertz
21 system, but they didn't have the financial
22 resources to do it. So the manager there very
23 innovatively contacted some county agencies, the
24 Federal Energy Commission and several other
25 governmental entities. They formed a partnership

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 with -- utilities also, the telephone company and
2 the electric company there in Nevada.

3 They had to go through the waiver
4 process with the FCC and a lot of other
5 administrative applications and requests. But they
6 were able to get away where they build a system
7 that they use that's shared by utilities, it's
8 shared by federal agencies, by the UNLV. I think
9 there's about a dozen diverse governmental entities
10 using this shared system and what it did it
11 resulted in an economy of scale where they can
12 share the cost of the hill tops by their subscriber
13 units. So it worked out real well.

14 I think that's an approach that a lot
15 of states are looking toward now. Homeland
16 security is a big item now and I'm working on that
17 application right now for the State of South Dakota
18 where they're doing the same. They're building a
19 state-wide combined shipment which requires
20 waivers, it requires industrial radio service
21 frequencies and land transportation, etcetera, just
22 to get enough spectrum to meet the technical
23 requirements to make the trunking system work.

24 So I think there is some options.
25 Block allocations are good for certain things, but

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 when you get to a large, wide geographic area,
2 usually you have to go outside the block to get the
3 sufficient amount of spectrum. So I think that is
4 something we should look at, is innovative
5 approaches towards licensing these public safety
6 systems.

7 MR. FURTH: Other comments?

8 MR. WYE: I never want to make the
9 public safety community mad at me, so without
10 getting to whether or not there needs to be set
11 aside spectrum or whatever you want to call it, I
12 think there is at least two issues I would mention.

13 One is that there's a perception problem here.
14 Having talked about this with some folks over the
15 last couple days, not just in my company but other
16 places, people keep saying you know, they have to
17 buy police cars. They have to buy fire trucks.
18 They have to buy the gas that powers those
19 vehicles.

20 I don't understand, my wife said that,
21 I don't understand why they don't have to buy the
22 fuel that powers the radios. And so whether or not
23 you agree or disagree is something that must be set
24 aside. There's at least a perception problem that
25 there is some kind of a disparity here that I don't

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 think anybody disagrees that public safety is a
2 vitally important part of this nation. Certainly
3 the services that we all want -- I want the police
4 to show up at my house if I have a burglar or if
5 there's a fire, I want the fire engine to show up.

6 But there's an issue there were some people just
7 kind of scratch their head and I just don't get it.

8
9 Secondly, just to return to I think the
10 point Professor Cave made which is probably the
11 most important one and we've seen this in the 800
12 megahertz proceeding that's going on now, is that
13 regardless kind of what else is going on, there
14 have to be some mechanisms in place to improve the
15 efficiency of the radios and the equipment that the
16 public safety community is using. We've run into
17 problems time and time again, and now I'm kind of
18 speaking in my past life when I worked for Michele
19 and the Bureau where I kind of did some public
20 safety stuff for awhile.

21 We run into this problem time and time
22 again where the equipment is old. It's antiquated.

23 It's extremely inefficient and the problem largely
24 has been funding. I think we all recognize that
25 and certainly the budget cycles are weird and I

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 appreciate Larry's comment which we heard before
2 which is I can't just run into my city manager
3 every five minutes and say I need to buy new
4 radios. But when the FCC tells me I have to, then
5 I have a reason to come up with. So two things.
6 Perception problem and how do we improve the
7 efficiencies of the public safety radios.

8 MR. FURTH: Joe?

9 MR. GATTUSO: I think it's important to
10 recognize that public safety spectrum users really
11 are a public service or non-profit. Obviously, I'm
12 thinking about the federal government incumbents.
13 The operation, the incentives, everything about a
14 nonprofit or noncommercial service affects the
15 incentives, effects their operation and one cannot
16 blindly apply a solution that works in the
17 commercial context to the noncommercial context,
18 because if you do that you will very quickly see
19 the disparities. Certainly, we see this a lot when
20 evaluating the relative value of a federal
21 government or public safety user spectrum versus
22 another and it wouldn't be fair to say, for
23 example, well, you haven't brought in \$300 million
24 this year. Obviously, you're not important.

25 There are other measures that may or

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 may not be measurable. They might not be
2 quantifiable. And yet, fundamentally we do have to
3 look at efficiency. We do have to look at
4 incentives. And certainly, in a discussion such as
5 this with respects to rights, remember that rights
6 can work both ways. That one type of right that
7 doesn't seem to be clearly defined is what rights
8 do incumbent noncommercial operators have today
9 and, in fact, how could you use the existing rights
10 to encourage those operators to be more efficient?

11 I think it's important that we break
12 out of the us versus them dichotomy and just a
13 matter of breaking down which spectrum blocks we're
14 going after to how can you change, how can you use
15 the different incentives that these operators have
16 to end up with more efficiency.

17 MR. FURTH: How would you change those
18 rules? If you could make that decision, how would
19 you do it?

20 MR. GATTUSO: One thing would be to at
21 least explore, and I think the answers are not
22 clear, explore how you can define the rights that
23 are held by the noncommercial operator and then see
24 how you might give the incentives for that operator
25 to use those rights or to give away those rights or

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to know that if the party needed spectrum in the
2 future, that those rights could be acquired through
3 a mechanism other than having to go through a long
4 politicized process.

5 MR. FURTH: Jennifer.

6 MS. WARREN: Just a slight variant. I
7 guess public safety clearly, at least in my mind,
8 should not have to -- should be viewed as a public
9 service and not be treated as other licensed
10 services for purposes of access and spectrum. I
11 think even among what I would call nonpublic safety
12 licensed services that they can't be expected to
13 compete with each other either for access to
14 spectrum, whether it's the BLIT licensees and the
15 CMRS. There's no ability
16 -- it's apples and oranges. It's not apples and
17 apples.

18 So when you're looking at licensing
19 regimes, you've got to distinguish between the
20 types of users because otherwise you're going to
21 have a very distorted outcome with perhaps those
22 who can pay the most but not necessarily those who
23 will put it a use that's a very valid use.

24 And then obviously, there's the
25 satellite spectrum which is separate and apart,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 aside from legal reasons and Orbit Act, I think
2 Congress understood that there are significant
3 transactional costs that would be placed on
4 international satellite systems that they were
5 subject to auctions, either sequential or global.
6 So there obviously have to be distinctions even
7 among or within license blocks spectrum.

8 MR. STROH: As strongly as I am an
9 advocate of the smart radios and flexible spectrum,
10 I can't find it in myself, at least immediately,
11 try to share public safety spectrum. But I would
12 support would be a grace period where say a period
13 of 10 years where the public safety agencies would
14 say that for 10 years the smart radios won't try
15 and test your spectrum to see if it's in use, but
16 after 10 years it will try listening. They'll have
17 plenty of notice for that.

18 What I suspect is going to happen
19 though is that those public safety agencies that
20 feel like they have a 10-year grace period are
21 going to find out that the services that are going
22 to evolve in the nonprotected spectrum, the license
23 exempt spectrum, are going to become so desirable
24 that they're going to want to migrate out of their
25 license spectrum to take advantage of all of what

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 is happening. An example of this is the San Diego
2 Country Sheriff's Department which is doing a
3 mobile intranet, running at one megabit per second
4 into each of their 650 vehicles using the 2.4
5 gigahertz band to be able to do computer updates
6 and dump data right down to their hard drives which
7 are in the trunk of the car to be carrying the
8 database around instead of trying to query it in
9 real time for 650 vehicles.

10 The other thing I think is if you build
11 a network of smart radios, it's also possible to
12 build a preemption mechanism where basically the
13 public safety guys start transmitting a beacon when
14 they need more spectrum in a wide scale emergency
15 and all of a sudden the smart radios vacate. They
16 shut down. If you're not a priority use, you're
17 not out of here. You just don't operate. The
18 smart radios can do that.

19 MR. KURTIS: I just don't think that we
20 need to open up 100 percent of the spectrum for the
21 unlicensed use. I think that you have certainly
22 the ability to use spectrum where it is fallow.
23 You make a strong argument for being able to do
24 that, but to say you've got 10 years, public
25 safety, and then we're going to allow the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 unlicensed people who have spread throughout all
2 the other spectrum to spread into yours as well. I
3 don't think we need to get to that point.

4 MR. FURTH: But if it's fallow?

5 MR. KURTIS: I'm sorry?

6 MR. FURTH: If it's not in use.

7 MR. KURTIS: Well, if it's not in use
8 at the moment that that device goes to turn on is a
9 different question than if it's not in use because
10 there's nobody licensed in that area.

11 And while that unit can sniff before it
12 starts using a particular frequency, the public
13 service radio may not have anywhere else to go to
14 when it needs to communicate or may not have the
15 same sniffing capability.

16 I'm also concerned that you have the
17 same dichotomy here that you have in the CMRS.
18 There's a very large difference between the ability
19 of a city to come up with resources for spectrum
20 management costs versus a county. There's a big
21 difference between a rural county and an urban
22 county and there's a big difference between a
23 county and a state. And I think that we have to be
24 careful that if we're going to go to some type of a
25 regime, to remember number one that any fees that

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 we impose on the public safety is really coming
2 from the taxpayer. So we're essentially levying a
3 federal tax to require the local jurisdiction to
4 raise tax revenues to pay the federal tax and I
5 think that the discussions that we have in terms of
6 spectrum and the ability of licensed and
7 unlicensed, I think that we do have to carve out a
8 piece of spectrum for public safety that has the
9 ability within it to be able to meet the needs of
10 the city policy, the county, the state, right
11 across the board.

12 MR. FURTH: I see your hands. I want
13 to actually just ask a couple more questions before
14 we get to the audience again.

15 I guess I would I would like, following
16 up on Michael's comments, also broaden the
17 discussion to talk about the rural issue because
18 that is another example where it seems in the
19 comments and in some of the discussion we've had
20 here, there is this notion that somehow the models
21 that we're looking at, the way they are currently
22 configured don't necessarily fit, at least some
23 would argue, when you're talking about rural
24 issues.

25 My observation is that as far as I

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 know, other than sometimes in the way in which we
2 license spectrum that we carve out licensing areas
3 that are -- RSAs, that are defined through census
4 data as encompassing rural areas. In general, our
5 rules both on the unlicensed side and the licensed
6 side, don't distinguish between different
7 geographic areas in the country based on density of
8 population and I guess my question would be is that
9 something when you say one size doesn't fit all, is
10 that something that you would advocate that there
11 should be, in fact, be different rules, different
12 standards and I'd like to throw that open to the
13 panel as well.

14 MR. KURTIS: Yes.

15 MR. FURTH: But what? I mean you need
16 to give us details. What rules should be
17 different.

18 MR. KURTIS: Well, if we're looking at
19 interference issues, again, you have to realize
20 that one size does not fit all. If you have a
21 maximum power that you are going to allow from a
22 broadcast station, it's one thing to limit the
23 power in an urban environment when a certain power
24 level is going to give me access to hundreds of
25 thousands of potential viewers in a broadcast

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 application versus in a rural area where I may have
2 to have 30 times the power or 20 times the height
3 to get anywhere near a footprint that is nowhere
4 large in comparison to that population base. You
5 just have a very different model. You have --
6 you've heard talk, I don't know if it's precisely
7 exact, but like 90 percent of the population live
8 in 10 percent of the geography and you have very
9 different needs and very different cost bases.
10 Classic example is the universal service. You
11 would not have rural telephone service. You would
12 not have rural electrification if it were not the
13 ability to get the high cost areas subsidized by
14 some of the areas where it is significantly lower
15 cost and does that fit the marketplace? No. The
16 marketplace would say don't let the rural people
17 get telephones. Let's just have everybody go to
18 the urban area to get it and I don't think that
19 there are -- there is a situation where we want to
20 come up with a business case that works in only a
21 large urban application.

22 MR. FURTH: One thing, thankfully, that
23 is beyond the scope of the spectrum task force is
24 universal service, but I guess I would like to ask
25 others on the panel if they feel that in terms of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 our spectrum based rules there should be
2 distinctions made between urban and rural areas as
3 Michael suggests.

4 MR. STROH: Yes. Yes, there should be,
5 but those rules whether the operation, how the
6 operation varies from urban to rural ought to be
7 imbedded in the radio and let the radio decide when
8 it applies that rate, when it applies which rule.
9 If it, for example, if it senses, the radio is able
10 to hear a very dense RF environment, it is
11 programmed to back down in its power and spread
12 out, go to more of a spread spectrum or ultra-wide
13 band model.

14 If it's in a rural area, and it doesn't
15 hear a lot of other traffic, it can take a guess
16 that it is okay to transmit higher power, narrower
17 bandwidth and then to punch through for much
18 greater distances.

19 We have the ability for the radios to
20 make those decisions now without trying to
21 micromanage what will work in Iowa or Nome, Alaska
22 from Washington, D.C.

23 MR. FURTH: I guess my question is
24 whether you need an FCC rule to make that happen or
25 whether that's again a matter of protocols that can

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 be worked out by industry and in the marketplace.

2 MR. STROH: Yes, because it's not --
3 right now it's not legal for those radios to even
4 have the option of other higher power.

5 MR. MILLER: I suspect you're speaking
6 of your internet type devices and things. I'm more
7 familiar with traditional land mobile. And the FCC
8 realizing that spectrum is finite, many years ago
9 imposed what they called the safe harbor
10 limitations and so what happens with that is -- and
11 since I do frequency coordination there are
12 counties in Utah that are 20 miles wide and maybe
13 90 miles long and so -- and the mountains are
14 10,000 feet high with an AAT of a couple thousand
15 meters or whatever. So according to the safe
16 harbor rule, you can have a couple of picowatts
17 from that transmitter site, but the Commission does
18 allow you to ask for a waiver of that rule. So I
19 think the Commission's rules, quite frankly,
20 recognize that there is a difference and sometimes
21 you have to do a little bit of work to show them
22 that hey this is a rule site and this is why we
23 need this justification.

24 I don't recall any instances of getting
25 a rejection whenever I furnish the proper

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 documentation.

2 DR. HAZLETT: Yes, I'll take the other
3 side of this one. No, the rules should be generic.

4 If your rules are generic and they're screwing up
5 allocations in rural versus urban markets, for
6 example, then your rules are too rigid. Have
7 flexibility in the regime so that yeah, the markets
8 are going to provide, if there's any rationality or
9 efficiency of this, they're going to provide a lot
10 different mix of products with a lot different
11 technologies and maybe analog cellular is fine in
12 Butte, Montana and digital cellular is fine in
13 Chicago, Illinois, but the rules to impose analog
14 and then to keep analog and then to allow digital
15 and then to allow digital all, those rules, that's
16 the rigidity that has messed up the market, not the
17 one size fits all per se in terms of the regime,
18 but the FCC should not try to micro manage. If it
19 does that, of course, every market is different and
20 blah, blah, blah. That's why you want to make sure
21 your rules allow that flexibility, the diversity to
22 spring up spontaneously from the heterogeneity of
23 the markets.

24 MR. WYE: I'll take a whack, too. It
25 seems to me one of this is one of those theoretical

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 practical issues. Theoretically, I can see why you
2 might need different scenarios, rules, whatever in
3 urban versus rural. That makes sense to me. My
4 practical side says okay, well, how do you
5 implement that? And we heard one example of that
6 although I must say it sent a shiver down my spine
7 when Mr. Stroh said that the radio can take a guess
8 as to how much power it could be using and that
9 made me a little nervous.

10 And so when I think about well, how
11 would you implement this or how would you define
12 differences, I mean I guess you're going to run
13 into a wrong word spectrum problem. As you move
14 along the spectrum from urban to rural, where do
15 you set the gradations? Where do you set the
16 different limits? And okay, if I figure I can't do
17 that and I envision here for folks who are
18 familiar, driving up 270, you go from downtown
19 Washington, obviously very urban, dense environment
20 to Bethesda, probably not quite as dense, out to
21 Germantown, again, probably not as dense again, but
22 where do I set the limits? How am I drawing the
23 lines on the map that says here I can do this and
24 here I can do that? I think that would be an
25 extremely difficult task for the Commission to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 undertake.

2 And I recognize that we have the RSAs
3 versus the other and maybe that's the only way you
4 can do it is a very gross level of truly rural
5 versus truly urban, but then of course, you get
6 into the problem of okay, what happens when the
7 rural areas start building out. At that point, the
8 FCC is going to start changing their rules and you
9 have to start drawing the lines again. So again,
10 the proctocolitis here scare me a little bit.

11 MR. FURTH: I'd like to ask if anybody
12 in the audience wants to ask questions or make
13 comments on this issue?

14 David?

15 DR. REED: David Reed, again. Sorry
16 for taking so much of your time. It seems to me I
17 actually more wanted to focus on public safety
18 issues, but also this one which relates to it.
19 We're acting as if the public safety systems are
20 locked into a technological backwater and
21 therefore, which to some extent from budgets is
22 true, but not as true as you might think because
23 costs of technology have been plummeting,
24 especially digital technology so buying the next
25 system is a lot cheaper than the system they

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 already bought. That's one thing.

2 But I think the main thing to think
3 about is that I've spoken to a lot of people that
4 are operating public safety networks and they say
5 the two biggest problems they have are one,
6 interoperability and two, the inability to get any
7 significant commercial investment because of the
8 tiny size of their market in upgrading the
9 capabilities of their equipment. So what's
10 actually happened, alluded to in San Diego and a
11 lot of other places is public safety activity has
12 migrated on to the commercial services, you know,
13 policemen use cell phones. People use 802.11 and
14 so forth. And the market is moving that way
15 anyway. It's just a lot better technology.

16 So if we pulled the plug and said over
17 some period, I don't know whether over 5 years, 10
18 years or 25 years is the right thing, we go away
19 from dedicated services to letting the public
20 safety use the same techniques, therefore have
21 access to all the spectrum which would be much more
22 efficiently managed and more dense, they'd have
23 more capability, not less and we'd again develop a
24 rich commercial marketplace that could satisfy
25 their needs, public piggybacking on that. And I

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 think we make a serious error to assume that just
2 because people are rural, they're poor, just
3 because they're public safety, they're poor and
4 that sort of thing. Because in fact, it is the
5 case that ambulances get down highways, right? We
6 didn't have to build an ambulance lane and put
7 jersey barriers on it to guarantee that public
8 safety works.

9 MR. FURTH: Yes.

10 AUDIENCE MEMBER: I've got a couple of
11 comments that I wanted to make through analogy. We
12 heard a lot of analogies here over the last few
13 days and if sheep are bringing their own grass and
14 the horse is out of the barn and the dog is eating
15 my bundle of rights, and it strikes me that in the
16 end the issue of public safety as with much of
17 these other issues comes down to money. And the
18 analogy I would start with is if I have some land
19 who is better situated to lease that land for
20 another user, if I'm not using it all. Would it
21 better to have me have the ability to lease part of
22 it to someone else and then coordinate directly
23 with them through contract to say you can lease
24 this land under the understanding that you don't
25 have any parties at night or if you do, I get to

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 come and if there are -- you can't have vehicles up
2 on blocks, etcetera, and perhaps under that
3 situation if I originally got land from the
4 government, I would be obligated to share some of
5 the revenue from the sublease with the government.

6 Or alternatively, would it make sense
7 to have the government tell me that it has
8 subleased part of my land and I now have to fight
9 tooth and nail in front of the sublease regulatory
10 agency to protect my rights and the claim that
11 they're trying to do too many things and they say
12 he's just afraid of the competition.

13 Extending this analogy to the public
14 safety area, the public safety community has
15 certain amount of spectrum allocated to it now.
16 And one way to avoid the financial problems
17 associated with simply mandating the stick of
18 having them upgrade is telling them that this is
19 their spectrum for the foreseeable future and they
20 have two choices. They can either continue to use
21 it inefficiently like they are. They could improve
22 the technology that they apply in the spectrum,
23 either to increase the robustness of it or they
24 could increase the efficiency of their use of the
25 technology such that they're only using half as

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 much of the bandwidth and then allow them to go
2 ahead and lease out the other half of it to AT&T
3 who wants to have more bandwidth in the area.

4 This kind of approach strikes me as
5 very sensible in concert with the larger theme of
6 having good incentives and just one other example
7 which I'd like to give is for how we would handle
8 this in the area of developing technologies.
9 Imagine that there's a new phone network, say
10 probably invented by David that has no
11 infrastructure. Instead, each phone uses wireless
12 IP style network where each phone agrees to pass
13 along the traffic from neighboring phones. So if
14 you've got 500 people with these phones who go out
15 to the middle of the countryside, all of a sudden
16 there's a phone network there. To start off with,
17 it is unlicensed and it is experimental. It is --
18 it's growing and it's developing and after a while
19 an industry builds and develops and consumers start
20 to adopt it and they want protection. Well, at
21 this point, it would seem like it would make sense
22 to give these types of devices an area of
23 protection, some place where they can be insulated
24 from those types of devices that don't play smart,
25 that aren't intelligent or adaptive and that could

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 either be in a separate part of the unlicensed band
2 or perhaps it develops sufficiently that it's time
3 for it to have its own band. And then once again
4 it's within the public safety area, once it has its
5 own band if we adopt the regime of allowing it to
6 choose how to use the spectrum that it has earned,
7 it can either stagnate and choose to forego all for
8 the subleasing it could do or it could improve its
9 throughput and reduce the amount of spectrum that
10 it needed and then sublease it to somebody else.

11 MR. FURTH: Thank you. I think we need
12 to move on. We started late, so we're going to run
13 a little bit late as well. We'll try to finish at
14 maybe 3:30, 3:35 or so, but I did want to move on
15 to the next and last set of discussion issues.

16 MS. FARQUHAR: Which is transition
17 mechanisms. One important element that the
18 government needs to consider because spectrum is
19 already so incumbered is that if it wants to make
20 way for new technologies and also adopt new
21 spectrum models for rights and responsibilities, it
22 needs to adopt transition mechanisms to be able to
23 do that effectively.

24 In particular, the types of issues the
25 FCC has had to contend with recently where it's had

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 to adopt these mechanisms have been making way for
2 new technologies, dealing with market failures and
3 also taking into account the international realm
4 and the global telecommunications market that the
5 carriers, in particular, live in.

6 In that vein, some of the mechanisms
7 that the FCC has adopted already has been to
8 greater expanded rights to incumbents, to reclaim
9 or relocate spectrum and licensees already either
10 through mandatory or voluntary means, overlay
11 approaches and underlay approaches. What I'd like
12 to get from the panel is a reaction to these
13 techniques and models and also consider an approach
14 that was raised this morning, both by Chairman
15 Powell, as well as by Tom Krattenmaker in their
16 remarks and that was should the FCC take more time
17 in its initial allocations and assignment of
18 spectrum to adopt self-correcting mechanisms in
19 case of market failures, to think through what
20 could happen, anticipate problems and adjust for
21 those on the front end, rather than having to deal
22 with them on the back end. So let me throw it open
23 to some of the panelists on that question.

24 In particular, Jennifer, if you could
25 address some of the international issues that I

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 know you in particular have had to deal with and
2 David also.

3 MS. WARREN: You want me to start? I
4 haven't had an opportunity to think about that.
5 Obviously, in terms of an international
6 perspective, but I want to come back to a domestic
7 one, in terms of transitioning incumbents to other
8 spectrum, I mean at least from the satellite
9 perspective, there's been a great deal of effort to
10 try to harmonize the use of bands globally, and to
11 the extent that you relocate satellite incumbents
12 in spectrum, domestically, that has ramifications,
13 obviously, globally, to their ability to continue
14 to provide service, assuming it's not to a band
15 that falls within a certain range.

16 And if they haven't yet deployed, this
17 has happened several times in the context of PCS
18 and MSS, the U.S. does lose its credibility after
19 it goes and achieves an international allocation,
20 for example, let's say an MSS allocation. And goes
21 and achieves it after a great deal of effort, comes
22 back to the United States and instead of pursuing
23 that, then decides to reallocate that allocation to
24 PCS which clearly proved right, given the services
25 here, but made the next time we went back for an

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 international allocation, both for MSS and other
2 services that we said needed to be harmonized and
3 we needed the world to go with us, made it that
4 much harder.

5 So there are those who think that
6 domestic, the domestic allocation process is
7 completely divorced from the international process
8 or the international allocation process and it's
9 not because it's important to manufacturers,
10 whether satellite or wireless. It's important at
11 least to satellite service providers because
12 businesses are dependent upon a global business
13 plan, not a national business plan as it more often
14 the case for the domestic wireless carriers.

15 So there are distinct ramifications
16 that need to be taken into account and I was very
17 pleased to see that the task force actually had a
18 section recognizing that there were issues there.

19 With respect to underlay, overlay,
20 etcetera, domestically, I think licensed underlay
21 approaches, as opposed to unlicensed is a very
22 useful mechanism is parties are assured that it is
23 noninterfering, as I think is a stipulation. But
24 licensed, unlike unlicensed, at least allows you to
25 go back to somebody who holds the license and is

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 accountable. So that if there is interference
2 despite demonstrations that perhaps there wouldn't
3 be, there is a party to go to.

4 That's the fear with the unlicensed
5 underlays and overlays and whatever category you
6 want to call, is that in the case, where there are
7 disputes about whether or not the parties can co-
8 exist, there's no one to go back to. Recalls are
9 very hard. OET managed to do a very important one
10 lately, sort of analogous. But recalls are
11 impossible, really. So what do you do if the
12 Commission gets it wrong? That's why licensed, at
13 least, allows you a party to go back to.

14 MR. WYE: Just to follow up on one
15 thing that Jennifer said and I completely agree on
16 one point. I will say that the underlay concept
17 still makes me a little nervous, even if it's
18 licensed because if we're still talking about
19 ubiquitous devices that are mobile, unless those
20 devices are uniquely identifiable somehow, in other
21 words if -- I have to have a way to trace them back
22 to the licensee. Just having it licensed in and of
23 itself may not get me enough, so as long as I can
24 trace that device back to the licensee, that may
25 work.

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 MS. FARQUHAR: Let me ask, Tom, if you
2 could also address whether the FCC can adequately
3 anticipate market failure and whether it should
4 address that on the front end?

5 DR. HAZLETT: No.

6 (Laughter.)

7 DR. HAZLETT: But it can certainly
8 anticipate nonmarket failure and it should
9 eliminate it. So just listing them off, yes, the
10 overlay approach, I've already advocated that and
11 it's very good. And the PCS experience is a very,
12 very good boilerplate. Two, the underlay approach,
13 very, very nice, said well by Jennifer. Licensed
14 underlay rights do give you somebody to look to.
15 The question just brought up about the device and
16 the licensee connecting the two, yeah, that's
17 something that maybe if you put liability on the
18 new underlay licensee to actually come up with a
19 mechanism, you could do that, but what you should
20 do in all of these -- well, I'll get to dispute
21 resolution in a second.

22 Three, windfalls. You certainly do not
23 want to tax them, auction these new rights. As
24 said before, the way to get the licensee is not to
25 discourage the new innovative use that brings

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 service to the public and raising the tax rate on
2 that activity which is the most progressive of all,
3 the way to get it at the incumbents is to introduce
4 competition all around them and force them to go
5 after innovative uses and as just was said in this
6 very long and interesting comment from the
7 audience, you want, you think and that's an
8 excellent format, think about this underutilized
9 spectrum out there. Whether it be a public service
10 license band or any other band and how do you get
11 entry in there? How do you get efficient use of
12 that spectrum? And what you want is you want that
13 licensee who is sitting there with some sort of
14 fuzzy control over it because there's no explicit
15 property rights, certainly, but you want that
16 licensee to be part of the solution. You want that
17 licensee to be investing in research and
18 development to come up with ways to better use that
19 to negotiate with alternative users and
20 technologies and so forth and so on, so you throw
21 the new rights to auction or you tax it away
22 through fee structures, you just kill that
23 incentive. And by the way, the Northpoint, broad
24 wave example that somebody brought up, a perfect
25 example of killing the incentives for innovation by

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 going to a licensed auction system and then lastly
2 on -- what I don't see right here, I know you've
3 talked about it elsewhere, I'm just saying -- the
4 real action here on public failure and if you want
5 to call it market failure, that's fine too is
6 dispute resolution. These interference -- you
7 can't just say all we're going -- we're going to
8 deregulate, we're just going to worry about
9 interference and expect that there's going to be
10 any big action. That's all incumbents need is an
11 interference dispute. And we can take 25 years on
12 that and that's great. That's as good as anything
13 the public interest standard ever offered for
14 incumbent protectionism.

15 So what you really want to think about
16 is efficient ways to get the liability on the --
17 both the incumbents and the new users in a way that
18 can be resolved fairly quickly. That doesn't mean
19 a perfect solution, okay? The ideal is the enemy
20 of the good. You don't want to get these rules too
21 good because that will take forever. You want a
22 reasonable starting point and then you want to move
23 away from the current system certainly where ex
24 ante, before any entry is there, the new rival to
25 all the incumbents has to prove that there will be,

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 you know, never will be anything that goes wrong
2 and just remember what happened with PCS. Evan
3 remembers this. The PCS incumbent said if you have
4 new use in the 1.8, 1.9 gigahertz band, people will
5 die. If the incumbents have any new uses around
6 them, people will die and you know, maybe there's
7 been a report we haven't heard about, but the fact
8 is it seems to have gone a little smoother than
9 that and all these excuses about how the
10 interference is going to kill people will fall by
11 the wayside if you go to a system where the
12 entrants have an ability to get in the market
13 quickly. They have to -- there may be some
14 regulatory function here. There probably is, in
15 making sure that the entrants have liability, that
16 they don't spread a lot of interference around and
17 say oh, that wasn't my machine. And then walk away
18 from it. So you do want to have liability and get
19 a market going in terms of consulting firms and
20 institutions that will actually monitor spectrum,
21 band managers, frequency coordinators, equipment
22 manufacturers, insurance companies, that will
23 actually certify what the actual damage is by new
24 use. But you want new damage, okay? The entire
25 system is rooted against new damage. You want new

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 damage. You don't want a lot of it, but you want
2 some of it and you want it certainly to be limited
3 and much smaller than the gains. Now the market
4 will sort that out if you allow this quick low
5 transaction cost adjudication to work in an
6 environment where the incumbents have an incentive
7 to actually talk about real interference and not
8 just hold the process up by talking about what they
9 say is interference, but really is fear of
10 competition.

11 MR. CALABRESE: Yes, I think three of
12 the four options that Michele outlined could be
13 combined in a way that's very consistent with both
14 the Communications Act and trends in the
15 technology. First would be, I would say, underlay
16 everywhere, so that we require incumbents to accept
17 noninterfering uses, subject to the caveats that
18 David just mentioned.

19 Secondly, when we should relicense
20 under these new spectrum usage rules in other
21 words, this sort of market and service flexibility
22 probably reshaping the license around primarily
23 around interference, okay, but in doing that it's
24 this tough transition issue. So as Michele
25 mentioned, you could have voluntary reclamation or

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 mandatory and again, I think we need to use
2 probably a version of both.

3 We can have voluntary recognition,
4 reclamation by giving incumbents and incentive to
5 relicense under these new flexible rules in return
6 for paying a market-based spectrum user fee to the
7 public and we see that's exactly where Congress
8 went, for example, with DTV when what they said was
9 for this new digital channel that they gave in 1996
10 and it was a bad policy in many other respects, but
11 one they said is that if it's used, they gave
12 flexibility to use it for things other than
13 transmitting a primary signal for quote free TV,
14 but in return the broadcasters have to pay 5
15 percent of their revenue on those ancillary
16 services. But there will be incumbents who we find
17 because, in part, because they're not efficient in
18 using their spectrum, don't want to start paying a
19 rental fee and so that's where it can be mandatory
20 and we can auction overlay rights. In other words,
21 they can continue doing what they've been doing
22 with interference protection, but their
23 interference protection for that old service should
24 wear away and if the auction winner wants to
25 compensate them to leave early we can do again what

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 NTIA suggested recently with respect to the
2 military and have some sort of compensation for
3 either reasonable relocation costs because they can
4 move to cheaper spectrum or for the depreciated
5 value of their capital equipment through some sort
6 of relocation trust that pools the auction
7 proceeds.

8 But those would be, that would be a way
9 I think to combine the elements and do this in a
10 balanced fashion.

11 MS. FARQUHAR: We'll let other
12 panelists address this issue who want to and then
13 we'll go to the audience.

14 MR. KURTIS: The only thing that I
15 would point out since Mr. Hatfield is not here to
16 do it for his -- on his own behalf, if you're going
17 to allow licensing on a noninterference basis, then
18 you need to find what interference is. For
19 example, if someone purchases a \$3 radio with a
20 wide open front end on it, it's going to be subject
21 to interference in situations where the \$50 radio
22 with the well-defined front end filter would not
23 receive interference.

24 So I think if we're going to go down
25 the route of an underlay that is given on an

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 non-interfering basis, we need to make sure that we
2 have some standard for the equipment on both ends
3 of the radio link that the incumbent has on what is
4 and is not entitled to protection so we don't,
5 through the back door, reward the incumbent that
6 puts the least efficient equipment out there
7 because that has the greatest susceptibility to
8 interference.

9 MS. WARREN: Just two points. I guess
10 in my earlier comments about the licensed underlay
11 and the way I view the underlay scenario that was
12 laid out earlier, it's almost like licensing a
13 secondary service. So that in effect the
14 incumbent, if we want to call it that, would be
15 still primary have the flexibility to evolve its
16 technology. It wouldn't be frozen. It would be
17 stifled. But at the same time if some other
18 service can on a non-interfering or a secondary
19 basis use that spectrum and be licensed so again we
20 have the accountability, that would seem to be a
21 good marriage.

22 With respect to a point Michael made in
23 terms of old technology, I think we need to talk
24 about what's old. Because I've been very confused
25 by FCC decisions where there's been promotion of

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 what they called new technologies, but it's been I
2 will say that in promoting new technologies often
3 times it ignores another technology that's only
4 recently been licensed, not even been deployed.
5 But somehow it doesn't count any longer as a new
6 technology.

7 I'm not quite sure when we talk about
8 old versus new where we want to strike that
9 defining line and that's kind of risky. So I
10 prefer incumbent use if you like, but old and the
11 promotion of new technology is something I think
12 the Commission needs to define a little better when
13 it looks to the statutory admonitions that it has
14 to promote new technology to be a little clearer
15 about what constitutes it and when you stop being
16 it.

17 MR. WYE: I'd just like to pick up on a
18 point each from Michael and Jennifer. To the point
19 of the underlays, I agree that you absolutely need
20 to determine ahead of time what the interference is
21 going to look like. And this goes back to the
22 conversation we've had before on this panel and
23 back to the interference workshop as well, is what
24 is harmful interference. Got to start there.

25 Okay, once I understand that then we

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 start to talk about you know underlays in a very
2 specific manner, then we have to figure out okay,
3 what level of harmful interference from this
4 underlay or these underlays is kind of the right
5 amount? And as you consider that, Jennifer picked
6 up the point which is absolutely crucial is that
7 you somehow can't do anything that then locks in
8 the incumbent, if you will, still primary license
9 service, because if I have an underlay come
10 underneath me and non-interfering, terrific. But
11 then the next year my vendors and I get together
12 and work up a much more efficient technology that's
13 going to allow me to double my capacity, triple my
14 throughput speeds, and all of a sudden I find I
15 can't do that because of the underlay. I've got a
16 big problem. That's not a good problem for me to
17 have.

18 MS. FARQUHAR: Audience? Questions,
19 comments?

20 (Pause.)

21 Anything else from the panel before we
22 close?

23 MR. FURTH: This is what happens with
24 Friday afternoon panels.

25 MS. FARQUHAR: Joe, I think you get the

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 last word here.

2 MR. GATTUSO: Dangerously, I've not
3 been joining in here, and since I'm the only person
4 who hasn't spoken from the panel on this, I keep
5 thinking this, and maybe I'm just on this one note
6 today, but it seems like the challenges with
7 respect to these types of transition mechanisms
8 still have to do with knowing what rights are out
9 there and then having to work out how the
10 incumbents feel about those rights. And it's
11 something like David was just saying with respect
12 and also Michael about the interference right.

13 What the interference rights are, who
14 has them, and what do you do when change happens?
15 And we think about that with respect to federal
16 government users who even in shared spectrum may be
17 changing systems in the future or may be
18 envisioning new systems, and if you plan an
19 underlay and overlay type of situation, you don't
20 know how necessarily that's -- you don't know
21 what's there now in terms of rights and you don't
22 know what's evolving in the future.

23 I do tend to think of these, as
24 Jennifer was saying, as secondary, primary, really
25 co-primary situations. We do have the experience

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1 from the past with shared spectrum and it's
2 important to I think the whole theme of this
3 discussion is define the rights, because you're
4 never going to be able to solve these problems
5 without knowing what you're starting with.

6 MR. FURTH: Okay, well, I would like to
7 thank all the panelists for staying extra long on a
8 Friday afternoon to talk about these issues. I
9 think you've given us a lot of food for thought as
10 all of the panels have and now for those of us on
11 the task force, the real work in a sense begins
12 with trying to take all of these good insights back
13 and try to come up with a report that will
14 translate those into good recommendations for the
15 Commission and for future policy.

16 DR. HAZLETT: That's why they call it a
17 task force.

18 MR. FURTH: Yes, indeed. It's quite a
19 task.

20 Paul, I see Paul Kolodzy over there
21 raring to go and we all are. So again, thank you.
22 Thank you very much.

23 (Applause.)

24 (Whereupon, at 3:39 p.m., the meeting
25 was concluded.)

NEAL R. GROSS

COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

1

2

NEAL R. GROSS
COURT REPORTERS AND TRANSCRIBERS
1323 RHODE ISLAND AVE., N.W.
WASHINGTON, D.C. 20005-3701

(202) 234-4433

www.nealrgross.com