

1           A     If I may answer a little informally, for  
2 everything that mattered they did, but there are some  
3 sensitivity analyses involving log exponential  
4 distribution in which I believe they varied microbial  
5 load distribution.

6           Q     Thank you for both parts of that answer. In  
7 your model -- and this time when I'm referring to your  
8 model, I'm referring to Exhibit A-17. And I don't  
9 believe I've given you a copy today, have I?

10          A     I don't think you have.

11          Q     I'm handing you now Exhibit A-17, a dynamic  
12 simulation model of campylobacter illness, final  
13 report, prepared for the Animal Health Institute.

14               MR. SPILLER: Excuse me, your Honor. I gave  
15 you a copy yesterday. I believe I asked you if you  
16 would save it for today.

17               MR. NICHOLAS: I don't believe I got a copy  
18 yesterday.

19               MR. SPILLER: I'm looking now to see if we  
20 have an extra copy.

21               MR. NICHOLAS: I have together all the  
22 documents I believe we received.

1 MR. SPILLER: Handing counsel for Bayer a copy  
2 of Exhibit A-17.

3 MR. NICHOLAS: Thank you.

4 BY MR. SPILLER:

5 Q On page 29 of that, Dr. Cox --

6 A Hold on. I'm looking for it.

7 Q I apologize. I've given you a bad page  
8 number. In the exhibit, do you have page 111?

9 A I do.

10 Q And does that correspond to page 29 at the  
11 bottom?

12 A Yes, it does.

13 Q Am I correct that your model assumes that any  
14 dosage below -- and we're talking here a dosage of  
15 campylobacter -- below 500 CFU has a zero probability  
16 of producing an illness?

17 A Not really.

18 Q I'm sorry. I'll quote. In your model, does  
19 the phrase occur, and I quote, our model assumes that  
20 any dosage below 500 CFU has a zero probability of  
21 producing an illness, close quote?

22 A Yes. The report said so at that time. As I

1 say, but not really.

2 Q And in -- I'm sorry. Did you say that's not  
3 really the case? That's what the report says but  
4 that's not really the case?

5 A Yes. Subsequent sensitivity analysis showed  
6 that assumption was unnecessary.

7 Q But you still represent that it's true.

8 A Let me say yes to make things easy. As I say,  
9 there are multiple runs of the model, there are  
10 multiple versions, and there are extensive sensitivity  
11 analyses. In some of those sensitivity analyses, that  
12 simplification was relaxed. It didn't make any  
13 substantial difference, but it was relaxed. So at this  
14 time, those sensitivity analyses hadn't been run.

15 Q However many times you ran it, did you cite  
16 for that 500 CFU minimal infected dose, Robinson 1981?

17 A Yes, I did.

18 MR. SPILLER: I'm sorry, your Honor. I'm lost  
19 in my paper. I'm looking for a copy of that paper.

20 JUDGE DAVIDSON: All right. Off the record.

21 (Off the record.)

22 JUDGE DAVIDSON: Back on the record.

Corrected as per OR 46 6/13/03

1028

1 MR. SPILLER: Thank you, your Honor. I  
2 apologize for my delay.

3 BY MR. SPILLER:

4 Q Do you know, Dr. Cox, how many test subjects  
5 were involved in the research that led you to use that  
6 figure?

7 A I see that as being a compound question.  
8 First, I don't remember how many test subjects were  
9 used in Robinson. Secondly, I don't agree that I used  
10 that figure and I would cite in the exhibit that you  
11 handed me, B-1629, my statement that ~~sensitivity~~<sup>"sensitivity"</sup>  
12 analysis provides<sup>a</sup> a partial solution to the problem of  
13 unknown<sup>and</sup> variable dose response relations."

14 MR. NICHOLAS: Excuse me, your Honor. We seem  
15 to have G-1816. I'm not sure we have the same exhibit  
16 as the witness is referring to.

17 JUDGE DAVIDSON: All right. We'll straighten  
18 it out.

19 MR. NICHOLAS: Is this the --

20 MR. SPILLER: You have an advance copy of an  
21 exhibit that the witness doesn't have now.

22 MR. NICHOLAS: Okay.

1 MR. SPILLER: The pending question is whether  
2 or not he recognizes -- excuse me -- whether or not he  
3 knows how many study subjects were in the Robinson  
4 study on which he relied.

5 THE WITNESS: And I'm telling you --

6 MR. NICHOLAS: Excuse me, I'm still --

7 THE WITNESS: I'm sorry.

8 MR. NICHOLAS: The Robinson study is what  
9 exhibit? I was just handed G-1816.

10 MR. SPILLER: And it was a great mistake of  
11 mine to hand it to you because I was only giving you an  
12 advance copy of something that I was about to hand the  
13 witness.

14 MR. NICHOLAS: But as I understood, you handed  
15 the witness Robinson?

16 MR. SPILLER: I have not handed the witness  
17 the Robinson paper.

18 MR. NICHOLAS: Okay. Sorry.

19 JUDGE DAVIDSON: All right. Come on. Let's  
20 move on.

21 MR. SPILLER: Okay.

22 THE WITNESS: Did he say anything to me?

1 JUDGE DAVIDSON: I don't think so, but I'm not  
2 sure. Do you have a question pending, Mr. Spiller?

3 MR. SPILLER: The question pending included,  
4 as he pointed out, two parts, one, that you don't have  
5 any subjects. I believe he's indicated that he  
6 doesn't.

7 BY MR. SPILLER:

8 Q And the second part, that I thought was  
9 routine, that you relied upon -- and am I correct, Dr.  
10 Cox, you're explaining to us why you didn't rely on it?

11 A I'm reading my previous written description on  
12 that subject, yes.

13 Q The description that we're inquiring about is  
14 the description in Exhibit A-17.

15 A Yes.

16 Q And the paragraph that begins on page 111 of  
17 that exhibit, that begins the minimum infective dose.  
18 And you say in the second sentence, other research has  
19 shown that the minimum dosage may be as low as 500 CFU  
20 (Robinson, 1981). I thought that meant you were citing  
21 Robinson for that. No?

22 A Of course it means I was citing Robinson.

1 What I was not relying on as I have clearly written is  
2 any assumption that there can't be any risk below 500  
3 CFUs. And as I've written in Exhibit B-1629 on page  
4 36, any dose response relation with these qualitative  
5 features that are discussed tends to produce similar  
6 expected number of CB cases from given population  
7 frequency distribution microbial loads.

8 I'm not relying, in any way, on that 500  
9 number.

10 Q But you said it in the model that you did for  
11 AHI --

12 A That's what I'm explaining. That's an early  
13 model.

14 Q And you've identified that model in your  
15 testimony here as a model you were relying on.

16 A Oh?

17 Q Excuse me. That's a question. Did you?

18 A No. Not to my knowledge.

19 MR. SPILLER: Now, your Honor, I'll hand the  
20 witness what has been marked, and counsel has a copy  
21 of, G-1816.

22 BY MR. SPILLER:

1 Q Dr. Cox, looking at that one-page exhibit in  
2 the lower left-hand corner, does it identify the author  
3 of that article as D.A. Robinson?

4 A Yes, it does.

5 Q And is that article about 8 inches tall in one  
6 column?

7 A Let's say it is. Yes.

8 Q A short article. How many study subjects got  
9 the dose of -- got any dose in that study?

10 A This is one guy administering to himself.

11 JUDGE DAVIDSON: Say that again? I didn't --

12 THE WITNESS: He gave himself the dose. This  
13 is one subject.

14 JUDGE DAVIDSON: Okay.

15 BY MR. SPILLER:

16 Q So in this study, one subject got one dose one  
17 time. Am I right?

18 A Yes.

19 Q And that dose was 500 CFUs.

20 A Uh-huh.

21 Q And he got sick. He got abdominal cramps and  
22 mild diarrhea, didn't he?



Corrected as per OR 46 6/13/03

1033

1 A Yes.

2 Q And this is the paper that in A-17 you relied  
3 on to establish the minimal dosage as low as 500 CFUs.

4 A Yes. This is the paper that I relied on for  
5 that 500 CFU number. Yes.

6 Q Now, a moment ago, were you reading to me from  
7 G-629?

8 A I'm sorry. Can you tell me --

9 Q A moment ago, I was taking you back. You  
10 picked up another exhibit and you said something else.  
11 Was that 629?

12 A No, I think it's 1629. I'm reading from my  
13 book.

14 Q Okay. Let me give you Exhibit G-629.

15 A 629. Okay.

16 MR. SPILLER: I believe this is in evidence,  
17 your Honor.

18 BY MR. SPILLER:

19 Q You relied on this in you're <sup>A</sup>~~a~~-17?

20 A A-17 being --

21 Q I'm sorry. The AHI report. It's labeled  
22 final report.

Corrected as per OR 46 6/13/03

1034

1 A I cited it.

2 Q Okay. Thank you. That's satisfactory for the  
3 present purpose. Are you aware that this <sup>Tehnis</sup>~~Tunis~~ article  
4 that you cited, the beta-Poisson dose response model  
5 that you use for the probability of infection, assumes  
6 that one can get infected from just one bacterium?

7 A I realize that from the model, yes.

8 Q And are you aware that that dose response  
9 model that you used for the probability of illness  
10 given infection assumes that one can become ill from  
11 just one bacterium, not just that you get infected but  
12 that you can get ill?

13 A Yes, I'm familiar with that assumption.

14 Q Isn't your arbitrary threshold in A-17 of 500  
15 CFU therefore inconsistent with using the <sup>Tehnis</sup>~~Tunis~~ model?

16 A It is not. As I -- should I elaborate?

17 Q Only if you need to to be responsive to the  
18 question. I understand you to have said you don't  
19 believe it's inconsistent. Is that right?

20 A That's correct. And for the reasons  
21 previously cited.

22 Q Have you ever seen the combined <sup>Tehnis</sup>~~Tunis~~ dose

0  
Corrected as per OR 46 6/13/03

1035

1 response model described in G-629 at page 7, figure  
2 2(c) -- I should let you find that.

3 A G-629.

4 Q G-629, page 7, figure 2(c).

5 A Yes.

6 Q Have you ever seen that combined model being  
7 used in any other microbial risk assessment?

8 A Have I seen -- I'm hung up on the word "used."  
9 I've seen it cited in other mi -- may have to say  
10 microbial risk assessments or antimicrobial risk  
11 assessments.

12 Q Yes. I'll refine the question. In other  
13 study in this record, is there any indication that you  
14 know of that the ~~Tunis~~<sup>Tunis</sup> model has been used to prepare a  
15 risk assessment for a microbial or antimicrobial?

16 A Well, hold on, please. This is going to take  
17 me a minute.

18 JUDGE DAVIDSON: Off the record.

19 (Off the record.)

20 THE WITNESS: I am not aware of this -- hold  
21 on a second. The Rosenquist, et al. paper does not  
22 cite this paper of ~~Tunis~~<sup>Tunis</sup>, et al. Now, I can't quickly

1 tell whether it cites the same combined model to which  
2 you refer. So it's definitely beta-Poisson model.  
3 Whether it's the identical model would take me a little  
4 more work.

5 In addition, I don't remember -- and I think  
6 you asked whether anywhere in the record has this been  
7 used, if I'm remembering your question correctly. I  
8 believe that the record somewhere discusses the WHO  
9 groups -- oh, yes.

10 In Curtis Travis' -- that's where it comes  
11 out. It talks about the use of the WHO, made in its  
12 model and its valuation. But that's all I can do while  
13 I sit here.

14 BY MR. SPILLER:

15 Q So we can find that in, it's your  
16 recollection, the testimony of Curtis Travis in this  
17 record.

18 A Yes. He cites the WHO discussion and says  
19 that the beta-Poisson model is a good model and is  
20 adequate.

21 Q And is it your testimony that whatever that is  
22 that we'll find in Dr. Travis' testimony applies to the

Corrected as per OR 46 6/13/02

1037

1 combined <sup>Tennis</sup>~~Tennis~~ model as depicted on page 7 of G-29 in  
2 figure 2(c) like Charlie?

3 A No. It's my testimony that I don't remember  
4 whether it was the combined model.

5 MR. SPILLER: Your Honor, I am about to lapse  
6 into statistics, which will take me a while.

7 Would it be appropriate to begin lunch recess  
8 now so that I could be more efficient?

9 JUDGE DAVIDSON: Any objection?

10 MR. NICHOLAS: Do we have any indication how  
11 long we're going to --

12 JUDGE DAVIDSON: We haven't gotten into that.

13 MR. SPILLER: In connection with my commitment  
14 yesterday to let us finish today, your Honor, I'm very  
15 hopeful of finishing by 2:00 to enable any direct to be  
16 completed during the day.

17 JUDGE DAVIDSON: You mean you think you have  
18 about an hour, hour and 15 minutes more altogether?

19 MR. SPILLER: Yes, your Honor.

20 JUDGE DAVIDSON: Okay. We'll adjourn until 10  
21 minutes to 1:00.

22 (Whereupon, a luncheon recess was taken.)

1 A F T E R N O O N S E S S I O N

2 (12:45 p.m.)

3 JUDGE DAVIDSON: On the record.

4 Counsel for Bayer and the witness are not back  
5 yet, so we'll wait for them. The record will reflect  
6 it is a quarter to 1:00.

7 Off the record.

8 (A brief recess was taken.)

9 JUDGE DAVIDSON: On the record.

10 It has come to my attention that I may have  
11 gone on the record five minutes early, but all I said  
12 was we'll wait, so there's nothing for you to worry  
13 about.

14 MR. NICHOLAS: I apologize, your Honor.

15 JUDGE DAVIDSON: No, you weren't late. I  
16 think it's me. I was five minutes early.

17 MR. NICHOLAS: Thank you, your Honor.

18 JUDGE DAVIDSON: Mr. Spiller? Let the record  
19 reflect that the witness is still under oath and Dr.  
20 Cox is still available for your brief cross-examination  
21 on statistics.

22 MR. SPILLER: Thank you, your Honor.

Corrected as per OR 46 6/13/03

1039

1 BY MR. SPILLER:

2 Q Dr. Cox, you have your final report, Exhibit  
3 A-17, in front of you?

4 A Yes, I do.

5 Q Would you look at page 111 and 112, please?  
6 I'm sorry. Look at page 112 first.

7 A Okay.

8 Q And your figure 2.5 is your dose response  
9 probability curves by age group. Taking, if I may,  
10 just focus on the bottom one, that would be a plot  
11 using the <sup>Tennis</sup>~~Tunis~~ combined model as we described before,  
12 right?

13 A I believe that's correct.

14 Q And the <sup>Tennis</sup>~~Tunis~~ paper you also have in front of  
15 you, Exhibit G-29, page 7. You have that before you?  
16 I'm referring to the page number on the little exhibit  
17 stamp in the upper right-hand corner.

18 A And which page number do you refer to?

19 Q Page 7.

20 A Yes, I do.

21 Q And just for illustrative purposes and not to  
22 introduce, I have a blowup here. You should refer to

Corrected as per OR 46 6/13/03

1040

1 the official exhibit. I'm going to be tracking along  
2 here because those figures are small for my eyes.

3 Am I right that his combined model is depicted  
4 in figure 2(c)?

5 A Yes.

6 Q And if I understand the description of that  
7 figure correctly, it looks like there are three curves,  
8 a solid -- I'll call it a smooth hill with sloping  
9 edges as the middle curve and quite a jagged dotted  
10 line above it, and a much smaller dotted line below it.

11 Do those dotted lines represent the fifth and  
12 ninety-fifth percentile confidence intervals above that  
13 plotted line?

14 A I don't know offhand. I can read the --

15 Q All right. I should let you have a chance to  
16 do that. Read the legend at the bottom of figure 2 of  
17 *Tennis*  
~~Tennis~~ page 7.

18 A Yes. These are confidence intervals for  
19 bootstrap replicates. Yes.

20 Q And I don't know the statistical term. To me,  
21 that looks like a whopper of an upper confidence limit.  
22 Dr. Cox, is it the case that at approximately 10 to the



Corrected as per OR 46 6/13/03

1 second -- that would be 100, right?

2 A Uh-huh.

3 Q At 100 CFU, the confidence intervals for that  
4 value on this plot would range roughly from zero to 60  
5 percent probability of illness, right?

6 A The bootstrap replicate confidence intervals,  
7 yes.

8 Q And it's good, careful science to define the  
9 confidence intervals about data. Is that right? Or  
10 about plots.

11 A Depending on how you do it, confidence  
12 intervals often don't indicate model uncertainty so  
13 they may not be useful in the context where the model  
14 was uncertain.

15 Q Is it a good thing in both models and  
16 statistics to be explicit about depicting and  
17 describing uncertainty?

18 A Yes. Extremely important.

19 Q And he did that <sup>here?</sup> ~~here.~~

20 A Well, he was explicit about the resampling the  
21 bootstrap replicate variability. He's not really  
22 characterizing model uncertainty. As you can see,

Corrected as per OR 46 6/13/03

1044

1 example.

2 A Okay.

3 Q And so the 500 CFU cutoff would be a vertical  
4 line, I'm indicating with red just for illustration  
5 purposes, at about log 2.7, here. So the actual --  
6 when I say here, I'm indicating a vertical line  
7 extending from the ~~Tunis~~<sup>Tunis</sup> plot down to the X axis of  
8 about log 2.7.

9 So your model, because it includes the 500 CFU  
10 cutoff, actually includes a cliff on the side of the  
11 hill, doesn't it?

12 A Well, no. My model states -- or my  
13 description and discussion of exactly this issue in my  
14 model states that risks are low or zero. They don't  
15 have to be zero, they can be low for sufficiently small  
16 doses, e.g., less than 500 CFUs, doesn't have to be 500  
17 CFUs, and illness probability increases rapidly as a  
18 function of dose reaching an approximate plateau --  
19 this is now describing why I deal with this model in my  
20 model -- it reaches an approximate plateau of about .2  
21 for CFU levels of about a thousand to 10,000 CFUs.

22 What I've said is by doing sensitivity

Corrected as per OR 46 6/13/03

1045

1 analyses, I've found that any dose response model that  
2 captures the rough qualitative features of the data  
3 will suffice. So I'm not -- I forget the exact word  
4 that you used but I'm not assuming a cliff and I'm not  
5 assuming anything that's strange behavior outside the  
6 range of the data in terms of declining risk.

7 Q On page 111 of Exhibit A-17, Dr. Cox, right  
8 about the paragraph response rate by age, there's a  
9 smaller paragraph and in that smaller paragraph a  
10 sentence that begins our model.

11 A Uh-huh.

12 Q That's your model and your <sup>partner's</sup> ~~partner~~, Douglas  
13 <sup>Popken</sup> ~~Popkin~~, right? Your associate?

14 A Yes. That is our February 20, 2001 version of  
15 the model, before the sensitivity analyses in the final  
16 form were published.

17 Q And that model -- excuse me -- that statement  
18 says our model assumes that any dosage below 500 CFU  
19 has a zero probability of producing an illness, doesn't  
20 it?

21 A Yes.

22 Q And a zero probability of producing an illness

Corrected as per OR 46 6/13/03

1046

*Teunis*

1 on the ~~Teunis~~ plot, figure 2(c), would be along the X  
2 axis, wouldn't it?

3 A Yes, it would.

4 Q And it would continue flat with zero  
5 probability on the X axis from the origin to the point  
6 that corresponds to 500 CFU and then it would ascend  
7 vertically to join the rest of the curve, right?

8 A Yes, that's correct.

9 Q So that would indicate that for all doses  
10 between zero and 498, the zero probability of illness,  
11 zero at 498, zero at 499 and at 500 CFU suddenly the  
12 response would be 20 percent of the population, right?

13 A Yes. That would be the approximation.

14 Q In this record, do you know of any observed  
15 database where either humans or chickens were observed  
16 to have responded in that way to a series of doses such  
17 that there was no response at 498, 499 and 20 percent  
18 response at 500?

19 MR. NICHOLAS: Your Honor, if I may, I object.  
20 Chickens don't respond. The question is compound and  
21 improper.

22 MR. SPILLER: I volunteer to rephrase my

1 question, your Honor.

2 JUDGE DAVIDSON: Go right ahead.

3 BY MR. SPILLER:

4 Q Dr. Cox, in this record, is there any data set  
5 that indicates that humans respond in such a way that  
6 the dose response would be plotted as no probability of  
7 illness up to 498 or 499 CFUs and a 20 percent response  
8 in humans to campylobacter at a dose of 500 CFU?

9 A Can you remove the front exhibit to show the  
10 poster with number 1257 on it? Thank you.

11 If you look at those data, you'll see that  
12 assuming that there's zero response to zero dose, the  
13 pattern as far as we know is that not much happens and  
14 I don't believe that there are data for humans below  
15 about 500 CFUs. Well, not in this experiment.

16 Basically, not much happens until you get up  
17 to a few hundred CFUs, then about 20 percent of people  
18 get sick. So I think that these data from one feeding  
19 study -- it's hard to know what to make of them but  
20 they're consistent with the idea that there's a higher  
21 response probability when you have several hundred,  
22 several thousand CFUs. And we don't really know what

Corrected as per OR 46 6/13/03

1048

1 happens in the low dose range.

2 JUDGE DAVIDSON: Let the record reflect the  
3 witness is referring to Exhibit G-629 page 7, the  
4 figure thereon, when he said 1257, which happens to be  
5 the page number in the actual publication as opposed to  
6 our exhibit number.

7 THE WITNESS: Thank you, your Honor.

8 BY MR. SPILLER:

9 Q Dr. Cox, my question was whether or not you  
10 could indicate in this record a human dose-response at  
11 data ~~plot~~<sup>plot?</sup> Did you indicate that you believe that  
12 ~~Tunis~~<sup>Tunis</sup> at the reference just cited is such a plot that  
13 shows a sudden change at 499 where there's no response  
14 to 500 where there's a 20 percent response?

15 A No, he didn't look at 499 so no, I don't think  
16 he shows what happened below 500.

17 Q So we agree that he did not show but I haven't  
18 gotten an answer to my question about whether there is  
19 anything in this record that indicates there is any  
20 human dose response curve to campylobacter plotted that  
21 would show a sharp break in the dose response curve  
22 such that there is no response at 498, and none at 499,

1 but a 20 percent response at 500?

2 A I'm not aware of any data that contains 498  
3 and 499 and I believe that these data -- well, I think  
4 these data support the usefulness of the approximation  
5 that I made.

6 Q And your assumption about the -- your  
7 assumption in A-17 at page 111 that any dosage below  
8 500 CFU has a zero probability is based on Robinson.  
9 What is the statistical significance of such a  
10 determination based on a single dose single human  
11 study?

12 A Well, first I disagree with the premise  
13 embedded in your question. I've tried to be really  
14 clear that I did not assume that 500 CFUs is a magic  
15 threshold.

16 Q I stand corrected. You did not assume. Your  
17 exhibit says that our model assumes, and I thought we  
18 had established previously that our included Dr. Cox.

19 A Of course it includes me. It does not in any  
20 way depend upon the assumption. At the time of this  
21 early exhibit I had not yet done the sensitivity  
22 analyses that I've reported and published subsequently.

1 Q And in A-17, where do you describe the  
2 uncertainty about this value?

3 A In A-17, I had not yet done the sensitivity  
4 uncertainty analysis so they are not yet described.  
5 That came subsequently.

6 Q They're not described in A-17. Is that right?

7 A Right. They're in B-1029.

8 Q In your final model report to AHI, Exhibit A-  
9 17 at page 110, near the top of the page, a  
10 subparagraph numbered 3, you have an assumption one  
11 chicken provides four servings, the CFU count per  
12 simulated chicken is divided by the number of servings.  
13 The dose response model is then applied to each  
14 serving.

15 Did I read that right?

16 A Yes, you did.

17 Q Then for a serving to have at least 500 colony  
18 forming units in your model the carcass from which it  
19 was derived would have to have had 2,000 CFUs, right?

20 A Let me first correct something that you said  
21 in asking your question and then answer your question.  
22 You referred to this report as a final model report. I



1 want to again state that this was the final report of  
2 an initial modeling project that has subsequently led  
3 to additional runs, additional sensitivity analyses,  
4 additional data, and there has subsequently been peer  
5 review to published. So I wouldn't want this to go on  
6 the record as being the final model report. It's the  
7 final report of a preliminary model.

8           Within that context, yes. To get 500 CFUs on  
9 one serving, you would need 2,000 CFUs on one chicken.

10           Q     And 2,000 CFUs or 2,000 of anything is about  
11 3.3 log to base 10, is that right?

12           A     That sounds right.

13           Q     So referring in A-17 to your figure 1.5, and  
14 that's on page 104, 3.3 logs would be very near the  
15 tiny skinny toe at the right-hand side of that curve.  
16 Is that correct?

17           A     Yes, it would be in the right-hand tail of  
18 this distribution.

19           Q     So if this distribution of microbial load on a  
20 carcass is even slightly wrong, it would probably have  
21 an enormous effect on your model's accuracy, wouldn't  
22 it?

1 A No.

2 Q Well, let's say --

3 A Not on the accuracy of the conclusions which,  
4 as demonstrated in the subsequent sensitivity and  
5 uncertainty analyses are extremely robust, the  
6 assumptions.

7 Q If that plot in that exhibit, we compare the  
8 value at log 3.3 and if it were shifted only to log 4  
9 so it would go from 2,000 to 10,000, there would be a  
10 change from a very small amount to none, is that  
11 correct, in this plot?

12 A I think you're misinterpreting the plot.

13 Q I'll withdraw the question then. I don't want  
14 to misinterpret.

15 In your testimony at page 23, in the first  
16 paragraph -- let me know when you have that.

17 A Okay. I'm there.

18 Q You testified that CVM, by assuming its model  
19 form is correct, despite overwhelming evidence to the  
20 contrary --

21 A Yes.

22 Q Is this overwhelming evidence to the contrary

Corrected as per OR 46 6/13/03

1053

1 that the risk increases disproportionately with  
2 microbial loads above 500 CFU, simply the dose response  
3 model that we've been talking about?

4 A No, it is not. It's the observation that most  
5 people eat a lot of chicken and most people don't get  
6 sick.

7 Q On page 10 of your testimony, Dr. Cox, you  
8 mention the traditional risk assessment steps and you  
9 note there in the sixth numbered paragraph that  
10 uncertainty characterization is one of the steps. Am I  
11 correct that you agree that that's important?

12 A Yes, I do.

13 Q And in your final report to AHI, dynamic  
14 simulation model of campylobacter illnesses, Exhibit A-  
15 17, page 14 -- excuse me -- page 96, for the first  
16 parameter, you did provide a characterization of  
17 uncertainty. Am I right?

18 A A partial characterization, yes.

19 Q And for all the others you did not, right?

20 A That's incorrect. For example, if you look at  
21 the colonization index, a <sup>binomial</sup>~~binomial~~ probability equal to  
22 .90, that number specifies an entire probability

**Diversified Reporting Services, Inc.**

1101 Sixteenth Street, NW Second Floor

Washington, DC 20036

(202) 467-9200

Corrected as per OR 46 6/13/03

1054

1 distribution.

2 For the next one down, another <sup>binomial/</sup>~~billinear~~  
3 distribution, the one number specifies entire  
4 distribution. For the surface microbial load which  
5 starts to get exciting from a cause and effect point of  
6 view, as specified, a triangular distribution for the  
7 lot of 10 of the values.

8 For the one beneath that, transportation  
9 factor -- and so forth.

10 Q In the triangular distribution that you  
11 mentioned as significant, is that a description of  
12 variability or a description of uncertainty?

13 A Yes.

14 Q You've answered assuming that I was asking if  
15 it was one or the other. Are you indicating that it is  
16 both?

17 A For a full explanation of the interpretation  
18 of these distributions, I would refer to Exhibit B-1029  
19 starting on page 36.

20 MR. NICHOLAS: Excuse me. I believe the  
21 reference is 1020, not 1029.

22 THE WITNESS: Thank.

1 JUDGE DAVIDSON: Thank you.

2 THE WITNESS: Thank you.

3 BY MR. SPILLER:

4 Q Is that description, Dr. Cox, a description of  
5 variability?

6 A There's a false dichotomy here. These  
7 distributions are used in the simulation model to  
8 approximate both uncertainty about model parameters and  
9 variability in the microbial load that will reach  
10 individuals.

11 And there's a substantial framework that these  
12 piece by piece steps get into to justify that dual role  
13 and that is the framework outlined in the exhibit that  
14 I just referred to, the B-1020 -- in my book.

15 Q And in your risk model for campylobacter  
16 described in the book, and I think you have an excerpt  
17 of the book there that you've been referring us to, B-  
18 1260, and in the A-17 report, you used data, didn't  
19 you, from studies by Stern, et al. to arrive at your  
20 estimate of initial microbial loads? Matter of fact,  
21 that's the source of the triangular distribution that  
22 you just cited me to, isn't it?

0  
Corrected as per OR 46 6/13/03

1056

1 A It's a source of the data.

2 MR. NICHOLAS: Your Honor, I'd just like to  
3 clarify which exhibit we're talking about. I know Dr.  
4 Cox's book is B-1020, so it doesn't --

5 JUDGE DAVIDSON: It's B-1020. You said B-  
6 1260, Mr. Spiller.

7 MR. SPILLER: I did say that. I acknowledge  
8 the correction. I believe both of those refer to it  
9 but counsel is correct that the version in front of the  
10 witness is 1020. I'll settle for A-17 at the page we  
11 were discussing, page 96.

12 BY MR. SPILLER:

13 Q And, Dr. Cox, you referred me to the surface  
14 microbial load, triangular distribution, Stern, et al.  
15 That's one of the papers you relied on, right?

16 A I again want to stipulate that reliance is too  
17 strong a term because of the sensitivity and  
18 uncertainty analyses but Stern is the data source for  
19 this distribution of the model, yes.

20 Q And it's the only source that you cited for  
21 that particular --

22 A In this <sup>row</sup> ~~role~~ of the table, yes.

1 Q I'm handing you now Exhibit B-712, which I  
2 believe is in the record.

3 A Thank you.

4 Q Dr. Cox, is B-712 the Stern paper to which you  
5 refer?

6 JUDGE DAVIDSON: Excuse me again. In the  
7 record as what -- with what number?

8 MR. SPILLER: The only number I have is B-712,  
9 your Honor.

10 JUDGE DAVIDSON: Well, based on my records  
11 here, B-712 has not been moved into evidence.

12 MR. SPILLER: I move Bayer's Exhibit B-712 --

13 JUDGE DAVIDSON: Wait a minute. It may be  
14 that it has another number.

15 MR. SPILLER: It may be, and I apologize, your  
16 Honor. I don't have a conversion table with me. I  
17 think for purposes of discussion, even if it were not  
18 an exhibit, we can cover the point.

19 JUDGE DAVIDSON: All right. If it's not in  
20 otherwise, we'll deal with it subsequently but right  
21 now you can refer to it as B-712.

22 MR. SPILLER: Thank you, your Honor.

1 BY MR. SPILLER:

2 Q If you look in B-712, Dr. Cox, at page 3,  
3 table 2, and page 4, table 3, are those the sources of  
4 the data that you used for the parameter described that  
5 we just discussed in A-17, page 96?

6 A Sorry. Oh, for the surface microbial load?

7 Q Yes.

8 A Okay. Which two tables again, please?

9 Q Table 2 on page 3 and table 3 on page 4.

10 A Yes.

11 Q And you know how those levels were determined.

12 A Not in detail.

13 Q It's described in the paper.

14 A Uh-huh.

15 Q On page 2, the right-hand column under  
16 sampling and microbiological analysis --

17 A Yes.

18 Q I'm sorry. When I said paper I'm referring to  
19 B-712. I'll let you read it quietly. Let me offer a  
20 description and you see if I've got it fairly.

21 You put the bird carcass in a bag and you  
22 massage the dead bird carcass so that some of the



Corrected as per OR 46 6/13/03

1059  
Rinsate  
~~rinse~~

1 bacteria are rinsed off the carcass. You put the  
2 ~~aid~~ in a centrifuge, you spin it down, you plate the  
3 resulting materials, you grow it out and you count the  
4 colonies.

5 Is that a crudely fair description?

6 A That pretty much matches my understanding,  
7 yes.

8 Q So to know how many bacteria were really on  
9 the bird, you couldn't call the result of that plating  
10 the surface microbial content unless you knew what your  
11 percent recovery was from that rinsing, right?

12 A When you say the bird, which bird are you  
13 referring to?

14 Q The birds that are subjected to this process  
15 to determine -- to get the values recorded. I assume  
16 that there are a number of birds.

17 A I assume so, too, and I think there's a  
18 distribution of measured values as a result of this  
19 process for those birds. Bearing that in mind, could  
20 you re-ask your question, please?

21 Q Don't the values recorded from such a carcass  
22 rinse procedure necessarily and persistently understate

1 the actual bacteria counts on the bird because the  
2 rinsing process cannot recover 100 percent of the  
3 bacteria on the bird?

4 A This is a matter of what the operational  
5 definition of the numbers mean. My operational, I mean  
6 what measurement procedures are we using.

7 I agree with you that if you mean -- if you  
8 count the CFUs on the bird using a different procedure,  
9 would you get a different or possibly greater answer, I  
10 would agree with you.

11 Q You fitted triangular probability  
12 distributions to these data, did you not, Dr. Cox?

13 A Fit is a little bit strong but we approximated  
14 a mean and variance by triangular distributions in this  
15 case.

16 Q So for instance, in Exhibit A-17 on page 99  
17 under the paragraph with the heading initial level of  
18 exterior infection microbial load, in the second  
19 sentence --

20 A I'm sorry. I'm not finding it.

21 Q We're in Exhibit A-17, page 99, near the  
22 middle of the page, you see a paragraph headed initial

1 level of exterior infection?

2 A Oh. The heading. Yes. Yes, I do.

3 Q And then in that paragraph, I think the third  
4 sentence is a triangular distribution for the log to  
5 the base 10 of the value captures these three points.  
6 You have a T in parentheses zero, 298 and 638. Is that  
7 correct?

8 A With one --

9 Q I'm sorry; 2.98.

10 A That's right. That is correct.

11 Q And you state just above that the distribution  
12 there ranges from zero to ten to the 6.38 in the  
13 preceding sentence.

14 A Correct.

15 Q Where in Stern's paper does it say -- I'm  
16 sorry. The first of your triangular values there, in  
17 the parentheses you have a zero. Is that a minimum in  
18 the triangular distribution?

19 A Yes. That's the minimum of the three  
20 parameters shown.

21 Q Where in the Stern paper does it say that a  
22 minimum of zero CFUs were observed?

1 A I'm not sure that it does.

2 Q So if you only cited Stern for this  
3 distribution and he didn't say zero, how can you put a  
4 zero in?

5 A Well, the way a triangular distribution works,  
6 as discussed more fully in the uncertainty and  
7 sensitivity analyses as I've referred to several times,  
8 is that one has a plausible lower bound, a plausible  
9 upper bound and a plausible central estimate.

10 The distribution is not intended to be  
11 completely physically accurate. The distribution is  
12 intended to capture the approximate mean and  
13 variability for use in something called the central  
14 limit theorem that comes in later. That's the  
15 substantial framework that I referred you to earlier.  
16 And in this case, zero would be a plausible lower  
17 bound.

18 Q And 6.38 logs is the highest level Stern  
19 observed, correct?

20 A That sounds right. Uh-huh.

21 Q And by using that triangular distribution with  
22 that maximum value you exclude the possibility of any

Corrected as per OR 46 6/13/03

1063

1 higher value like 7 or 8 load?

2 A I do not. That point is specifically  
3 addressed in the uncertainty and sensitivity analysis  
4 that I've referred you to many times. The --

5 Q I'm sorry. Is that the analysis that's not in  
6 A-17, it's somewhere else, it's in your book?

7 A It's the analysis in my book and in other  
8 publications, yes.

9 Q Thank you.

10 A The point there is that mean variance for each  
11 step in a process where a number of factors are being  
12 multiplied is sufficient when there are a large number  
13 of steps, as there are here, fully characterize the  
14 distribution, the meaning of the variance for the  
15 overall process.

16 Q Thank you.

17 A Uh-huh.

18 Q The center number in that triangular  
19 distribution, the 2.98, is that a calculated value?

20 A I believe that it is. It's been a few years  
21 since I've done this but I believe that reads like a  
22 geometric <sup>median</sup>~~medium~~ and -- data points.

**Diversified Reporting Services, Inc.**

1101 Sixteenth Street, NW Second Floor

Washington, DC 20036

(202) 467-9200

1 Q And for a triangular distribution, it's  
2 supposed to be the geometric median, not the average?

3 A As I've explained, a plausible upper bound,  
4 plausible lower bound and something that's about right  
5 as a measure of central -- whether it's the median, .6  
6 mode, makes no difference because at the end I'm going  
7 to use the central limit there.

8 Q In you're a-17, did you provide any visual  
9 demonstration of the degree of fit of these triangular  
10 distributions?

11 A You mean --

12 Q The goodness of fit.

13 A Goodness of fit of the triangular  
14 distributions to?

15 Q The data.

16 A No, not for each individual step. And again  
17 you understand that to be irrelevant in the context of  
18 this.

19 Q You mentioned a moment ago the central limit  
20 theorem.

21 A I did.

22 Q Did I understand you, that's the distribution

1 of -- does that include the fact that a distribution of  
2 the mean of a random sample from a population has a  
3 standard deviation that is proportional to one over the  
4 square root of the sample size?

5 A No, that's got nothing to do with it.

6 Q That has nothing to do with the central limit  
7 theorem?

8 A No.

9 JUDGE DAVIDSON: Need some time?

10 MR. SPILLER: Yes, your Honor.

11 JUDGE DAVIDSON: Okay. Off the record.

12 (Off the record.)

13 JUDGE DAVIDSON: Back on the record.

14 MR. SPILLER: Thank you, your Honor.

15 JUDGE DAVIDSON: Okay. Let's go.

16 BY MR. SPILLER:

17 Q Dr. Cox, I'm passing you what's been marked G-  
18 1817. Dr. Cox, G-1817, does that appear to be a  
19 partial copy of Fundamentals of Biostatistics by  
20 Bernard or edited by Bernard Rosner?

21 A It looks that way, yes.

22 Q And would you refer within that to the book's

Corrected as per OR 46 6/13/03

1066

1 page 158?

2 A I'm looking at it.

3 Q At the top is there a boxed definition or a  
4 description of the central limit theorem?

5 A Yes, I would say a central limit theorem.  
6 Yes, there is.

7 Q Do you agree with that definition?

8 A It leaves out some technically necessary  
9 conditions, so it's an approximate statement to the  
10 central limit theorem. For example, this would be  
11 incorrect if the population had a <sup>Cauchy</sup>~~certain~~ distribution,  
12 but it's an approximation to it, yes.

13 Q The -- using the central limit theorem, isn't  
14 it true that a mean of a random sample of 25  
15 measurements would have one-fifth the standard  
16 deviation of the population's distributions?

17 A I'm sorry. Would you repeat the question?

18 Q Isn't it correct, then, that if one took a  
19 mean of a random sample of 25 measurements, the mean  
20 would have one-fifth of the standard deviation of the  
21 population's distribution?

22 A You mean the sample mean?



1 Q Yes.

2 A Well, actually, for 25, a rough rule of thumb  
3 is -- you chose a bad example. You would use the T  
4 distribution for 25. But I take your point. It's a  
5 square root relationship.

6 Q The data you used from Stern's paper, and  
7 we're now looking at B-712, are geometric means of  
8 samples sized 10 and 25, right, referring to those same  
9 two tables, table 2 and table 3?

10 A Yeah.

11 Q And those are geometric means, right?

12 A Uh-huh.

13 Q So for the sample size 10, the square root is  
14 about 3 and the samples of size 25, the square root is  
15 5. So fitting the triangular distributions to these  
16 mean data and using those fitted distributions as if  
17 they represent individual carcasses, you would actually  
18 have underestimated the standard deviation of the  
19 carcass load by a factor of somewhere between 3 and 5,  
20 wouldn't you?

21 A No. No. Not at all. That's not how it  
22 works. I mean, you're talking --

1 Q If -- I'm sorry. Finish your answer.

2 A Keep on going. But no, we're not talking  
3 about sample standard deviation and sample mean of the  
4 components of the overall process. The sample limit  
5 theorem that I referred to deals with the composition  
6 of multiple multiplicative steps. We're not even  
7 approximately in the same ballpark here.

8 Q Dr. Cox, in your testimony at page 7 --

9 JUDGE DAVIDSON: Getting tired, Mr. Spiller?

10 MR. SPILLER: Yes, your Honor, and I'm hoping  
11 to finish soon.

12 BY MR. SPILLER:

13 Q On that page, Dr. Cox, at line 15 of your  
14 paragraph 7, you note your opinion that banning Baytril  
15 will greatly increase human health risks and you expect  
16 the ban to cause more than 25 additional days for each  
17 hypothetical day of Fluoroquinolone-resistant  
18 campylobacter illness prevented.

19 A Yes. That's my opinion.

20 Q That conclusion arises from your risk  
21 assessment model, doesn't it?

22 A In part, yes.

Corrected as per OR 46 6/13/03

1069

1 Q So if the model is unreliable, the conclusion  
2 is also?

3 A No. It's only partially derived but there's a  
4 much simpler argument to getting there that's much more  
5 data driven.

6 Q In your testimony at page 37, there's a chart  
7 there, linear --

8 A Yes.

9 Q You plot the total chicken <sup>consumed</sup> ~~concerned~~ -- I  
10 think you call that totchick on the X axis.

11 A Total chick, yes.

12 Q Against the case rate on the Y axis.

13 A Yes, that's correct, although the  
14 interpretation -- it's not exact because I don't have  
15 measurement for these seven FoodNet areas of the actual  
16 chicken consumed. I had to construct a proxy from  
17 survey data that I had.

18 Q Nonetheless you fit a <sup>linear regression</sup> ~~layer of direction~~  
19 through them to show that the slope was negative,  
20 right?

21 A I fit a simple linear regression to see what  
22 the slope would be.

1           Q     And that's one of your bases for calling CVM's  
2 assumption that cases are proportional to chickens  
3 consumed incorrect, the fact that you got --

4           A     No. This particular diagram is what's called  
5 an ecological study. No, I didn't rely on this one.  
6 It shows --

7           Q     You didn't rely on this but you include it in  
8 your testimony?

9           A     Yes, that's correct. It shows the point  
10 without going through nearly as much detail as the full  
11 broad data analysis.

12          Q     And even though you don't rely on it and you  
13 say in the first bullet on that page plotting CP case  
14 rates against the summary of self-reported and per  
15 capita chicken consumption for FoodNet catchment area  
16 reveals a negative association -- that's your italics  
17 -- negative association between them, consistent with  
18 the results from the CDC and case control studies? Am  
19 I not correct in saying that that you did rely on that  
20 plot?

21          A     Yes, you are incorrect. No, I didn't rely on  
22 it because you might be able to remove one or two

1 points and change the answer in something that only has  
2 7 data points. What I relied on was the underlying  
3 data, which is a lot richer but this is the simplest  
4 way of showing the results.

5 Q You picked the regression equation for this?

6 A The statistics package that I was using in the  
7 upper not clearly legible margin of the picture.

8 Q And according to your testimony, that's the  
9 relationship.

10 A Was that the end of the question?

11 Q Yes.

12 A I'm sorry. If that's what relationship?

13 Q That's what you intended to indicate CVM's  
14 incorrectness by depicting that negative association?

15 A Again, the really convincing evidence here is  
16 from the individual data analysis. This is aggregated  
17 analysis by, I think, seven FoodNet sites. So I don't  
18 consider this by itself to be -- this isn't the  
19 overwhelming evidence that I'm speaking about. This is  
20 like shadow analysis.

21 Q And did you show your statistical analysis for  
22 this plotted line -- for instance, did you show the

1 confidence interval?

2 A No. This is just exploratory.

3 Q Did you show the R square values?

4 A No.

5 Q It's only exploratory but you have it in your  
6 testimony for us.

7 A Sure. What I'm saying is if you take the  
8 simplest possible look at the data, you'll see it  
9 doesn't look anything like straight line sloping upward  
10 to the right. That's my point. That's what CVM  
11 assumes; it's not even proximately true.

12 Q And if you plotted 7 completely random points  
13 in a two-dimensional space like a chart, isn't there a  
14 42 percent probability that you'd get a higher R square  
15 value than your analysis revealed for these points?

16 A That sounds plausible to me.

17 Q Doesn't that demonstrate the fragility of the  
18 point you've made here and therefore that we'd need to  
19 show some measure of confidence about the data you  
20 portray?

21 A No. I keep saying this is an exploratory  
22 analysis that is designed to show the simplest possible

1 way of looking at the data. I already showed that what  
2 I referred to as the K model doesn't come close to  
3 fitting the data. I see no reason to calculate R  
4 squareds or to calculate confidence intervals to make  
5 this point. I do see a need to do those things when we  
6 do the serious data analysis.

7 Q So if it's serious you would explain that this  
8 is exploratory but for your testimony you didn't  
9 identify this as exploratory.

10 A I don't think I used the jargon exploratory  
11 data analysis. I think I have indicated in multiple  
12 places that the simplest way of looking at the data  
13 that the hypothesis, that it's a cluster around a  
14 straight line leaning from the lower left corner  
15 upwards has no relation to the real data even when you  
16 look at it in the simplest possible way.

17 Q You called this I think just now in your  
18 testimony today an ecological --

19 A This is an ecological presentation, not  
20 because it has anything to do with the ecology but  
21 because the data is collected at the FoodNet area  
22 level.

1 Q So in your analysis, as depicted in your  
2 testimony, did you include the ecological confounders?

3 A I did mention -- I believe I mentioned that  
4 there were several risk factors that were significant  
5 at this ecological level and several suggested  
6 confounders. So I think I did mention that probably --  
7 yes, I think I mentioned it but I couldn't swear to it.

8 Q Are they mentioned close enough to this part  
9 of your testimony so that you could point me to it on  
10 this or the nearby page?

11 A Well, this testimony was written with  
12 hyperlink in it and they were very close based on  
13 hyperlink but I'm not sure how close they are in terms  
14 of pages.

15 Q The cite in your book to your model was a  
16 hyperlink also, wasn't it, Dr. Cox?

17 A That was a URL.

18 Q Are both of those ways of referring from a  
19 computer document to a web site, for instance.

20 A No. The hyperlink within this document are to  
21 locations within the document.

22 Q Are you suggesting that the printed version of



1 your testimony that the Court and that the Center have  
2 enable us to jump from one point of your document to  
3 another?

4 A No. I'm not. I'm just saying that the way I  
5 wrote this and intended for it to be used, there are  
6 hyperlinks all over it to get from point to point. But  
7 we can't do that in the version --

8 Q Intended for who to be able to use it that  
9 way?

10 A First and foremost, me.

11 Q And the rest of the world who didn't have your  
12 document in electronic format didn't have that ability.

13 A CVM had my document in an electronic format.

14 Q The version filed in this record --

15 A To my sorrow, PDF translation lost the links  
16 so what we have is less convenient than what I wrote.  
17 Same words.

18 Now, I'm sorry. What was it --

19 Q Whether there was something on the adjacent  
20 pages of the version that is before you now of your  
21 testimony includes a description of ecological  
22 confounders for this ecological depiction?

Corrected as per OR 46 6/13/03

1076

1 A Oh. I don't remember where confounders -- I'm  
2 sorry. I don't know.

3 Q Each of the seven points that you've plotted  
4 there represents a different FoodNet catchment area,  
5 right?

6 A I would be very -- no, I don't think these  
7 points would represent FoodNet data -- represent  
8 FoodNet areas at all.

9 Q I'm sorry. I was misreading, I suppose, in  
10 your testimony at page 37, right above the chart. I  
11 thought it said linear ~~aggression~~<sup>regression</sup> case rate against  
12 total chicken consumption in seven FoodNet catchment  
13 areas. What did I miss there?

14 A I thought you had used the word "represent" to  
15 imply that FoodNet data represents the states from  
16 which they're taken or represent the larger population.

17 Q So do we now agree that each of the points  
18 plotted on your testimony, page 37 in that plot, you  
19 meant to refer to 7 different FoodNet catchment areas?

20 A That's correct. Or actually the samples that  
21 are taken from those areas.

22 Q Surely those different areas reflect areas

Corrected as per OR 46 6/13/03

1077

1 with different eating habits, environmental factors,  
2 different localized poultry sources. There would be  
3 substantial differences from the areas from which that  
4 data derived.

5 A I think there are huge differences in all of  
6 those respects, yes.

7 Q And where on this or adjacent pages have you  
8 explained to the readers <sup>of</sup> ~~or~~ your testimony that factor?

9 A Which factor?

10 Q The factor that these data points are derived  
11 from different areas with different unidentified  
12 ecological confounders?

13 A Give me a minute, please. Oh, well, here.  
14 First, I don't believe that I give any additional  
15 discussion of this figure beyond what we've covered. I  
16 may have referred to it elsewhere.

17 Right in this bullet point it says plotting CP  
18 case rates against a summary of per capita chicken  
19 consumption for FoodNet catchment areas. The plot is  
20 self-explanatory in terms of there being wide  
21 differences in the case rates. You can see they go up  
22 almost as high as 34 and they go down about as low as

1 5.

2 I don't think I have a written discussion of  
3 what the data show beyond what's already discussed.

4 Q And did you extend this analysis, Dr. Cox, in  
5 your 2002 publication to do multiple linear regressions  
6 on just 7 points?

7 A Yes. Yes, I did.

8 Q Again, in that circumstance, without  
9 uncertainty analysis, right?

10 A Well, you know, I would say that --

11 MR. NICHOLAS: Your Honor, could I know what  
12 document counsel is referring to, please?

13 MR. SPILLER: I'm referring to, as I indicated  
14 in the question, his 2002 model. I believe that's  
15 Exhibit B-1252.

16 MR. NICHOLAS: Is that in evidence, B-1252?

17 MR. SPILLER: It's a Bayer exhibit. I don't  
18 know.

19 MR. KRAUSS: Yes, it is.

20 MR. SPILLER: I apologize, Dr. Cox. The  
21 lawyers have interrupted your answer.

22

1 BY MR. SPILLER:

2 Q I think the pending question was in that one  
3 -- and I can hand it to you if you want, but am I  
4 correct, there is no uncertainty analysis on this one  
5 either in this plot?

6 A I'm a little slow to go along with either. I  
7 think uncertainty in this ecological analysis is fairly  
8 well expressed in the scatter plot. You can see that  
9 the points do not fall on a straight line. There is  
10 some scatter in the scatter plot.

11 Moreover, I note right underneath it that  
12 while these data suggest that aggregate chicken  
13 consumption is not positively associated with the risk  
14 of CP illness unless one forces -- use CVM's model, for  
15 example, several other factors do appear to be  
16 significantly associated.

17 That immediately antecedes the article that  
18 you're now referring to where which specific factors  
19 that vary from site to site are significantly  
20 associated are listed.

21 Q So the analyses, both in your testimony and in  
22 B-1252, you would agree is reflective of the quality of

1 your analyses of the CDC data set.

2 A Oh, by no means. This is an exploratory  
3 analysis.

4 It's just a picture saying hey, let's take a  
5 look at the data. And that's -- what I was taught when  
6 I took statistics is you should always start by looking  
7 at the data.

8 But that's hardly where you end. That's just  
9 the beginning.

10 MR. SPILLER: I think the beginning is a good  
11 place for me to end, your Honor.

12 I have no further questions on cross-  
13 examination of Dr. Cox.

14 JUDGE DAVIDSON: Okay. We'll take a short  
15 break while you change positions. I assume you have  
16 some redirect?

17 MR. NICHOLAS: Yes, your Honor.

18 JUDGE DAVIDSON: Okay. And when we come back  
19 on, the first thing we'll take care of is the rest of  
20 these exhibits, because I think I've got them in a  
21 little bit of a mess here.

22 We're off the record.

1 (A brief recess was taken.)

2 JUDGE DAVIDSON: Back on the record.

3 REDIRECT EXAMINATION

4 BY MR. NICHOLAS:

5 Q Good afternoon, Dr. Cox.

6 A Good afternoon.

7 Q I'd just like to clear up the record. Would  
8 you tell us how your Ph.D. degree reads, what it says  
9 on it, the degree?

10 A It says Louis Anthony Cox, Jr. is awarded the  
11 Doctor of Philosophy in risk analysis. And I believe  
12 it also gives the name of the department, Department of  
13 Electrical Engineering and Computers.

14 Q Is there any doubt in your mind or does  
15 anybody else have that question, whether you have a  
16 doctoral degree in risk analysis?

17 A None. I have a doctoral degree in risk  
18 analysis.

19 Q There was testimony yesterday with respect to  
20 a meeting. I believe it was described as the Boston  
21 meeting, and you were presented with what I believe was  
22 an abstract from that meeting that -- and this is

1 Exhibit -- I think it's G-1811. It's a little hard to  
2 read. Entitled "International Journal of Infective  
3 Diseases."

4 MR. SPILLER: You're right, Mr. Nicholas. G-  
5 1811.

6 BY MR. NICHOLAS:

7 Q Dr. Cox, would you open that and tell me if it  
8 describes the participants of that meeting? Mr.  
9 Spiller, if I recall correctly, asked you whether there  
10 were any people who were basically government people,  
11 or he seemed to imply non-affiliated people with this  
12 case.

13 A I don't see a list of participants.

14 MR. NICHOLAS: Your Honor, if I could mark for  
15 exhibit the actual journal this came from, which would  
16 be, I believe, 1948, I believe, your Honor.

17 JUDGE DAVIDSON: Okay.

18 MR. NICHOLAS: And I'm going to show this to  
19 counsel if I may because I don't have an additional  
20 copy, your Honor.

21 JUDGE DAVIDSON: Well, then, you better not  
22 mark it. I mean, show it to counsel -- if it has to be



1 put in the record, we'll put it in but right now you  
2 can't put it in. You don't have enough copies.

3 MR. NICHOLAS: Your Honor, this is the only  
4 one I have.

5 JUDGE DAVIDSON: What am I looking at?

6 MR. NICHOLAS: The page on the left, your  
7 Honor.

8 JUDGE DAVIDSON: All right.

9 MR. NICHOLAS: Thank you, your Honor.

10 BY MR. NICHOLAS:

11 Q Dr. Cox, I am going to give you this, which  
12 I'd like to mark 1948 --

13 JUDGE DAVIDSON: You can't mark it.

14 MR. NICHOLAS: I'm sorry.

15 BY MR. NICHOLAS:

16 Q Dr. Cox, let me give you this journal article  
17 -- journal, rather --

18 JUDGE DAVIDSON: Excuse me. I don't mean to  
19 interrupt you but what's the purpose of this, so he can  
20 read the names of the people that are there?

21 MR. NICHOLAS: No, I'd just like to refresh  
22 his recollection, your Honor.

1 JUDGE DAVIDSON: I understand, but for what  
2 purposes?

3 MR. NICHOLAS: For that purpose --

4 JUDGE DAVIDSON: Well, then he can read those  
5 names into the record. Mr. Spiller has looked at it,  
6 he can look at it again to make sure it's accurate. We  
7 don't need the document, particularly because you don't  
8 have copies for everybody, and you leave me at a  
9 disadvantage if I'm going to move it in or mark it.

10 MR. NICHOLAS: I'm sorry, your Honor.

11 THE WITNESS: I see I blew my reply yesterday.

12 BY MR. NICHOLAS:

13 Q And, Dr. Cox, does this refresh your  
14 recollection as to who were participants at the  
15 meeting?

16 A It does. And I had forgotten -- I think I  
17 said no government people showed up, and I was wrong  
18 about that. Of course Dr. Fedorka-Cray was there,  
19 and --

20 Q Was someone from the American Veterinary  
21 Medical Association there?

22 A Uh-huh.

Corrected as per OR 46 E/13/03

1085

1 Q And to your knowledge was that person a  
2 witness in this case?

3 A No.

4 Q And to your knowledge is that person employed  
5 or otherwise affiliated with Bayer?

6 A No.

7 MR. SPILLER: I apologize for interrupting,  
8 Mr. Nicholas. Since I don't have that in front of me,  
9 could we name the person being described at the AVMA?

10 THE WITNESS: Lyle Vogle. And then Paula  
11 Fedorka-Cray, from the <sup>USDA</sup> ~~FDA~~.

12 BY MR. NICHOLAS:

13 Q And are there other people, to your knowledge,  
14 who were at that meeting whose names appear on the  
15 participant list who are also not witnesses in this  
16 matter, if you know? Just tell us who they are.

17 A There's my friend and colleague Kim Thompson  
18 from Harvard University. You just want folks who are  
19 not witnesses?

20 Q That's correct.

21 A Well, let me embarrass myself here. There are  
22 a fair number of names here I don't recognize as being

1 witnesses.

2 Q Would you pick up exhibit -- tell me what  
3 number is on there, please?

4 A It's Exhibit G-1811.

5 Q And you have there -- is the list of  
6 participants included in that exhibit?

7 A I still do not see a list of participants  
8 here, no.

9 Q Thank you, Dr. Cox. Now, Dr. Cox, Mr. Spiller  
10 asked you about whether you provided advice to Dr. Vose  
11 and whether you were paid as a consultant for that and  
12 whether you provided advice to the FDA with respect to  
13 risk assessment and whether you were paid with respect  
14 to that, and then I believe he went on to question you  
15 specifically about whether in your 1999 appearance  
16 before the -- at the workshop on risk assessment hosted  
17 by CVM and whether in your correspondence with Dr.  
18 Vose, whether in those instances you had specifically  
19 used the word dose response. And I'm referring now to  
20 G-1810 and G-1809.

21 MR. SPILLER: Object to the form of the  
22 question. I don't believe I asked about the

1 correspondence with Vose. I know that I did ask about  
2 the transcript reflecting the December '99 meeting.

3 JUDGE DAVIDSON: That's my recollection.  
4 That's all right. When he asked the question, you  
5 brought up and said it referred to the question and he  
6 said -- answering you out of position because he's not  
7 supposed to talk to you, Mr. Spiller said your counsel  
8 will take care of that on redirect.

9 But he only talked about 1810.

10 MR. NICHOLAS: I'm sorry, your Honor. I stand  
11 corrected. Dr. Cox did in fact, I believe, respond to  
12 G-1809, the correspondence, as well, and I'd like to  
13 give the witness copies of G-1809 and G-1810, unless he  
14 has copies there.

15 THE WITNESS: I have a copy of G-1810, but not  
16 G-1809.

17 BY MR. NICHOLAS:

18 Q Now, Dr. Cox, would you review those, and is  
19 it true that you did not use the term "dose response"  
20 in either of those documents?

21 A Based on a quick review, I think I did not use  
22 the words, although I did use the concept.

1 Q And could you explain why you did not use the  
2 words?

3 JUDGE DAVIDSON: I think he's already done  
4 that.

5 THE WITNESS: Well --

6 JUDGE DAVIDSON: Excuse me. He was asked the  
7 same question by Mr. Spiller and he said I didn't use  
8 the words, but what I said was the same as using the  
9 words. He went into great detail about which portion  
10 of which word and he said -- I forget the exact word he  
11 said, but in even reading the quote, he said something  
12 to the effect "that means."

13 If you're going to add something to that,  
14 that's fine. If you're going to have him repeat it, I  
15 don't want to hear it.

16 MR. NICHOLAS: No, your Honor, my intent was  
17 not to have him repeat that.

18 JUDGE DAVIDSON: Okay.

19 MR. NICHOLAS: Thank you, your Honor.

20 BY MR. NICHOLAS:

21 Q Dr. Cox, would you please explain why you did  
22 not use those words?

1           A     I will. I initially thought that the  
2 assumption that I now like to call the big K  
3 assumption, which is the human health risk, is directly  
4 proportional to pounds of contaminated chicken  
5 consumed. That originally sounded plausible to me, and  
6 my colleague, David Vose, suggested that's how he was  
7 looking at it based on his understanding of physics and  
8 the situation or the physical situation.

9                     And I later became very full of talk about the  
10 dose response relation, because as I recommended to CVM  
11 in a 1999 document, the G-1810, I went to try to  
12 validate the assumption that the big K framework is  
13 essentially correct -- not correct in every detail, but  
14 the basic, risk, increases in proportion to exposure.

15                    And I quickly found out, as soon as I got some  
16 real data, that that big assumption -- what I called  
17 the big assumption or the key assumption, excuse me --  
18 it just doesn't fit the data.

19                    So then I thought, well, why not? I mean,  
20 intuitively, what is it that we're missing? Then I  
21 started to talk to CVM and anyone who would listen  
22 about microbial load, dose response, the fact that

1 people who have exceptionally high exposures, the  
2 people with exceptionally high microbial loads in their  
3 food, those are the ones who are getting sick.

4           And that's when I started to say things like  
5 the average has got nothing to do with it. We've got  
6 to look at dose response. And at that time, I began to  
7 use dose response very explicitly, because this comes  
8 down to a dose response and microbial load exposure  
9 issue and I didn't understand that back in 1999, so I  
10 only raised it in a theoretical possibility and went on  
11 record to say that I expected that when CVM validated  
12 it, it would find that it was no big deal.

13           I was very much mistaken in that.

14           Q     I believe I'm correct that when Mr. Spiller  
15 was questioning you he made -- a fair number of times  
16 he emphasized your final risk assessment, your final  
17 report, document A-17. And then he went to some length  
18 to ask you questions about it and whether it accurately  
19 portrayed various aspects of the risk assessment,  
20 whether some exceptions were explicit or implicit and  
21 whether you had various qualifications.

22           Can you tell us what this document represents,



1 whether it was your final -- I believe you testified it  
2 wasn't your final risk assessment, but could you  
3 explain what this document is and whether it evolved or  
4 not?

5 MR. SPILLER: Object to the question. It's  
6 already been asked and answered.

7 JUDGE DAVIDSON: The witness has already  
8 explained it was not his final. He said what it was.  
9 I mean, the last time I gave you an opportunity to put  
10 something on the record that you hadn't put on before,  
11 you went way beyond the scope of the questioning on  
12 cross and I don't want that to happen again.

13 MR. NICHOLAS: Yes, your Honor.

14 JUDGE DAVIDSON: In other words, he's already  
15 explained it's not his final, that it was -- he  
16 explained what it was.

17 MR. NICHOLAS: Your Honor, if I may, I'm  
18 asking him to explain the evolution of this because the  
19 way it was presented is even though it's not his final,  
20 he was questioned about the details of this and this is  
21 an early document and I think it's important for him to  
22 be able to explain how this document evolved into

Corrected as per OR 46 6/13/03

1092

1 something that --

2 JUDGE DAVIDSON: I'm sorry. I sustain the  
3 objection. It's been asked and answered.

4 BY MR. NICHOLAS:

5 Q Dr. Cox, did you confirm the models other than  
6 this model?

7 A Yes, I did. As I tested different  
8 assumptions, and sought to validate modeling functions  
9 that seemed reasonable to me initially, I found that  
10 several didn't fit the data and needed to be changed.

11 So, for example, it's not just the big K  
12 framework, but I eventually noticed that the  
13 attributable number of cases formula was the wrong  
14 formula. It actually doesn't calculate anything that's  
15 useful for <sup>predicting</sup> ~~predictable~~ attributable number of cases.  
16 So that led to a revision in my model formulas.

17 I noticed that ruling and appendix  
18 inappropriately overwrote the data with prior opinion,  
19 that a certain fraction could be .5 even though the  
20 data set was .06 and that that was done over and over  
21 again. And so I published a series of corrections and  
22 versions of the model as I came to understand better

1 the limitations in the initial model.

2 Q Have your further models been published?

3 A They have. Not all of them -- one of them  
4 went through a review process at the Society of Risk  
5 Analysis and was presented with a Best Paper Award last  
6 December. The process now moves into a journal review,  
7 and that takes a while. It has not yet been published.

8 Q And during the course of your various  
9 revisions, did you have discussions with CVM, with CDC,  
10 with other parties, or was this something you did  
11 totally private?

12 A I had initially some discussions with CVM. We  
13 had a lot of casual conversations about we should get  
14 together for a day and really take a look at the data  
15 and try to work things out and come to a shared  
16 understanding.

17 And once David and I got together for at least  
18 part of the day, I think, under the joint auspices of  
19 AHI and CVM. But then CVM pretty much stopped  
20 responding, and then I started drafting comments and  
21 sending those in and never got any response to those.

22 So for a while, yes, but no.

1 Q Have you attempted to validate your model?

2 A I have.

3 Q And can you tell us what efforts you took to  
4 validate your model and the results, please?

5 MR. SPILLER: Object. Not within the scope of  
6 the cross.

7 JUDGE DAVIDSON: You're going beyond the cross  
8 examination. Sustained.

9 BY MR. NICHOLAS:

10 Q Let me turn now to page 30 of your testimony.  
11 I believe yesterday with respect to bullet 2 on page 30  
12 of B-1901, Mr. Spiller questioned you fairly  
13 extensively on some of the references there, Effler,  
14 Kassenborg and so forth and so on.

15 And I believe he was trying to draw a  
16 distinction between what the papers said and what your  
17 conclusions were. Did you rely on anything else in  
18 reaching your conclusions with respect to this  
19 paragraph, this bullet point?

20 A The major conclusion in this paragraph is  
21 restaurant dining that we spent so long on yesterday.  
22 Yes. As stated here -- actually all it states is it's

Corrected as per OR 46 6/13/03

1095

1 consistent with. What I relied on was the raw data.  
2 What I primarily relied on for my understanding is  
3 analysis of the raw data of Effler and the individual  
4 -- I'll just call it raw data -- the individual level  
5 data from the CDC case control study, which I think is  
6 the best source, that also underlies Kassenborg here.

7 Then I did go to the literature including  
8 these sources and I looked to see -- well, look, if  
9 it's a restaurant problem and not a chicken problem,  
10 what are other people finding. And as I -- perhaps we  
11 adequately covered yesterday, there are papers such as  
12 that of <sup>Rodriguez</sup> ~~Rodriguez~~ which, if read in their entirety,  
13 fairly show that other people are thinking along the  
14 lines of the same things.

15 But I relied on the raw data and on my  
16 analysis of that data as the primary basis for my  
17 conclusion.

18 Q Just so there's no confusion, when you say you  
19 relied on the raw data, could you please explain what  
20 you mean?

21 A Well, that means I like to use an analytic  
22 approach. Suppose we don't know anything about what

1 causes what? Suppose we don't know anything about  
2 model form, whether it's exposure is proportional to  
3 risk or something else? Is there some way to let the  
4 data itself speak?

5           And there is such a way. There is a body of  
6 methods known as non-parametric methods. I applied  
7 these standard techniques in packages such as SAS that  
8 anybody else can run, they're very verifiable, they're  
9 very objective. And I used them to test certain  
10 hypotheses.

11           Ones that are most interesting to me are what  
12 causal hypotheses are consistent with the data? For  
13 example, is the causal hypothesis that there are excess  
14 days of diarrhea from Fluoroquinolone resistance? Is  
15 that something that we can test with the data? And for  
16 some data sets, for example, the CDC data set which is  
17 a great data set, the answer is yes.

18           So in general, I rely on the raw data and then  
19 I rely on canned statistical packages or commercial  
20 packages that run analyses. And in the ideal world, I  
21 just dump in the data, push the button and say what  
22 does it show.

1 JUDGE DAVIDSON: And you got that from the  
2 question of what data you relied on? That's the answer  
3 to that?

4 THE WITNESS: No --

5 JUDGE DAVIDSON: Well, that's my problem with  
6 you, Doctor. You -- the question was would you  
7 describe what data you relied on, and you went on to a  
8 lot of other things which may or may not be  
9 interesting.

10 When you said that you relied on the data,  
11 what did you mean?

12 THE WITNESS: I thought that was the question,  
13 yes, and I assumed that question mean --

14 JUDGE DAVIDSON: Well, I would like to hear  
15 what data you relied on as opposed to, you know, how  
16 you went about it and all the other ramifications,  
17 because I've got you -- you've referenced publications.

18 THE WITNESS: Now --

19 JUDGE DAVIDSON: Now, the publications I see,  
20 some of it has a lot of data in it, some of it has very  
21 little data in it. It makes it difficult for me to see  
22 what you're talking about.

Corrected as per OR 46 6/13/03

1098

1 THE WITNESS: Your Honor, I apologize for  
2 being not clear. To me, none of the publications we've  
3 talked about has any data.

4 JUDGE DAVIDSON: Okay. So then you went  
5 behind that?

6 THE WITNESS: Yes.

7 JUDGE DAVIDSON: In each one of those  
8 publications and you looked at the raw data. How did  
9 you get it?

10 THE WITNESS: Only three. I looked at the raw  
11 data for the CDC publications, which are actually more  
12 than three, the Friedman publication, Kassenborg --

13 JUDGE DAVIDSON: I'm talking about the  
14 ~~Rodriguez~~ <sup>Rodrigues</sup>, the --

15 THE WITNESS: There I got the Effler raw data.  
16 I originally sent an e-mail and asked for it, and he  
17 wouldn't give it to me, and then it was gotten for me I  
18 think under Freedom of Information.

19 So I got the Effler data. I got the Smith  
20 data. And those three data sets are the primary basis  
21 that I --

22 JUDGE DAVIDSON: That's what I wanted to hear.



1 Okay.

2 THE WITNESS: Okay.

3 JUDGE DAVIDSON: Proceed.

4 MR. NICHOLAS: Thank you.

5 BY MR. NICHOLAS:

6 Q Now, there was a fair amount of questioning  
7 this afternoon about a dose response model. Do you  
8 believe that your risk assessment accurately portrays  
9 the incorporation of appropriate dose response modeling  
10 and have you validated that? And by risk assessment,  
11 we can start with your 2001 draft report, A-17, and to  
12 your latest risk assessment of the publication that I  
13 believe you referenced as B-1262.

14 MR. SPILLER: Objection. Beyond the scope of  
15 cross.

16 JUDGE DAVIDSON: You're asking him for an  
17 awful lot of material just on the basis of the fact I  
18 believe you were questioned about dose response. If  
19 you're going to ask him questions to explain his  
20 answers on cross, I'd be glad to let you do that but  
21 you're giving him a platform for another 20-minute  
22 lecture and I don't want that.

1 MR. NICHOLAS: Your Honor, that wasn't the  
2 intent --

3 JUDGE DAVIDSON: I know that, but that would  
4 be the result when you ask a question that has that  
5 many things in it.

6 MR. NICHOLAS: Well, Mr. Spiller spent the  
7 better part of an hour, I believe, asking Dr. Cox about  
8 dose response.

9 JUDGE DAVIDSON: I understand.

10 MR. NICHOLAS: And I'm trying to narrow this  
11 down, your Honor.

12 JUDGE DAVIDSON: Well, narrow it, otherwise  
13 it's going to go all over the place.

14 BY MR. NICHOLAS:

15 Q Dr. Cox, on page 29 -- I'm sorry -- on page 37  
16 of B-1901, which is your testimony, Mr. Spiller asked  
17 you a number of questions about the -- what I would  
18 call a graph that appears on that page under the title  
19 linear regression, et cetera, et cetera. Do you see  
20 that?

21 A Yes.

22 Q Is there anything -- do you believe that this

1 is still an accurate presentation with respect to the  
2 issues discussed on this subject -- under this title?

3 A I do.

4 JUDGE DAVIDSON: That's enough. You already  
5 said that before on cross.

6 BY MR. NICHOLAS:

7 Q How does your final model deal with dose  
8 response?

9 MR. SPILLER: Objection, your Honor. I  
10 believe that's beyond the scope. I don't think we ever  
11 got into the final model, although we dealt with the  
12 models that we had in the testimony.

13 JUDGE DAVIDSON: My recollection is the  
14 witness referred to it himself but it wasn't part of  
15 any of your questions, so I'll sustain the objection.

16 BY MR. NICHOLAS:

17 Q Dr. Cox, could you explain how the model in  
18 your textbook, B-1020, deals with dose response?

19 A Yes. The issue of dose response modeling and  
20 of uncertainty about the dose response relation was  
21 dealt with explicitly there by saying we don't know  
22 what the true dose response relation is. Can we try a

1 bunch of different dose response models that are all  
2 passing through the data, so the only thing they have  
3 in common is they're consistent with the data; does  
4 that change the results?

5           And that technique, called sensitivity  
6 analysis, is what allowed me to reach robust  
7 conclusions despite uncertainty about the details of  
8 dose response model. And there's a fuller discussion,  
9 of course, in that reference.

10           Q     Now, with respect to Exhibit A-17, which is a  
11 -- referred to by Mr. Spiller as your final report  
12 about two years ago, do you rely on that document for  
13 your testimony?

14           A     No. No, I don't. My testimony is mainly  
15 about the CVM model.

16           Q     And to the extent you're discussing your own  
17 model in your testimony, do you rely on that -- on the  
18 discussion in A-17?

19           A     No. As I've stated, that was an early model  
20 before I understood that the attributable risk form was  
21 wrong and that other things were wrong. So I do not  
22 rely on that.

Corrected as per OR 46 6/13/03

1103

1 Q How critical -- I'm sorry. Strike that. And  
2 I believe you testified that you had attempted to  
3 validate the CVM model?

4 A Yes, I tried to fit key assumptions to the  
5 data, yes.

6 Q And can you tell us briefly how you tried to  
7 do that and what the results were?

8 A Yes. I obtained three what I refer to as raw  
9 data sets, the three I referred to a few minutes ago,  
10 so the CDC case <sup>control</sup>~~controlled~~ data, the Smith data and the  
11 Effler data. And first thing I noticed is that those  
12 sources raised the apparent anomaly of chicken  
13 consumption at home being associated with reduction in  
14 risk and chicken consumption in restaurants no.

15 So that made me think well, big K -- there  
16 probably needs to be more than one K in there and the  
17 algebraic form that risk is proportional to exposure  
18 can't be right for all the different groups that were  
19 exposed. It certainly can't be right for groups who  
20 were exposed at home.

21 So then I set out to say, okay, that big  
22 simplifying assumption isn't right, what can we do

1 instead. And I used a non-parametric method based on  
2 what's called causal graph analysis to figure out how  
3 different factors relate to each other and how to back  
4 out confounding effects.

5 Finally, I adjusted for non-causal relations  
6 between exposure and risk. What I mean by that is just  
7 the point that males, for example, turn out to be --  
8 whether or not they eat chicken, they're at greater  
9 risk of campylobacteriosis than females, so that you  
10 might want to have a different K for males and females.

11 What I did was to form an analysis that said  
12 is this a direct causal -- is the data consistent with  
13 this being a direct causal relation or is it just  
14 because males eat out in restaurants more often.

15 And one can objectively discriminate between  
16 those alternative causal hypotheses that being male is  
17 a direct driver of susceptibility versus being male is  
18 an indirect driver because it means you're more likely  
19 to have insurance coverage, eat out in restaurants and  
20 so forth.

21 So applying those standard techniques I was  
22 able to determine what was causal and what was not

Corrected as per OR 46 6/13/03

1105

1 within the ability of the data to resolve. And that's  
2 the basis for my published opinions and also for my  
3 testimony.

4 Q Now, if I recall correctly, Mr. Spiller asked  
5 if your opinion that the use of Baytril provides 25  
6 more cases than it might caused was based on your model  
7 and I believe you said there is a much simpler way to  
8 get to these. What did you mean when you said that?

9 A I said it was based in part on my model but  
10 the basic facts -- the basic -- here's what's going on.  
11 If you use Baytril, you reduce the incidence of  
12 ~~Erisycolitis~~ <sup>air sacculitis</sup> in chicken flocks. ~~Erisycolitis~~ <sup>air sacculitis</sup> is a  
13 condition that leads to underweight chickens.

14 Underweight chickens, when they show up at  
15 processing plants, are out of tolerance for the  
16 machines there and they spray fecal matter here and  
17 there and the net result is the consumers see more  
18 microbial load coming at them.

19 Because I developed a model that tracks  
20 microbial loads on chickens I was able to quantify what  
21 is the expected health impact of the additional  
22 contamination that could be caused by the loss of

Corrected as per OR 46 6/13/03

1106

1 Baytril. So that was the -- that's the argument  
2 without the model. The model then adds number around  
3 that, and the essence of it is just to realize high  
4 microbial loads are the source of risk and <sup>air sacculitis</sup> ~~Erieyeolitis~~  
5 chickens have high microbial loads.

6 Q So you did not rely on A-17 for your opinion?

7 A No. A-17 was just an old -- that's just the  
8 starting point.

9 Q Dr. Cox, I don't want to mischaracterize Mr.  
10 Spiller's question, but if I were to sum it up, I would  
11 say that in terms of the questions Mr. Spiller has  
12 tried to question you and create the impression that  
13 you are the only one who has this opinion and that  
14 somehow your opinion is at odds with the community, and  
15 I believe yesterday he asked you about today's  
16 standards, could you please tell me whether you believe  
17 that your opinion is outside the mainstream on risk  
18 assessment in this issue?

19 MR. SPILLER: Object to the form of the  
20 question as it incorporates counsel's characterization.  
21 It sounds like the actual question may have been the  
22 last part.



1 JUDGE DAVIDSON: Sustained. Do you want to  
2 ask it again?

3 MR. NICHOLAS: I will, your Honor. Thank you.

4 JUDGE DAVIDSON: And I'm cautioning the  
5 witness not to repeat what you've already said on the  
6 record. I recall one of the first questions that was  
7 asked you. This is -- along the vein of this is Cox as  
8 opposed to the world and you explained that that wasn't  
9 the case, there are other people who hold it, so I  
10 don't want hear the same thing over again.

11 THE WITNESS: Got you. Thank you, your Honor.

12 BY MR. NICHOLAS:

13 Q Dr. Cox, do you believe your opinion is  
14 outside the mainstream of people who have looked at  
15 this issue and looked at risk assessment with respect  
16 to the question of whether the use of Baytril or  
17 antibiotics in veterinary medicine has an impact on  
18 human health?

19 A Being mindful of his Honor's direction, I'll  
20 answer that I believe the mainstream is becoming  
21 redefined. I think that five years ago and ten years  
22 ago, common knowledge in the mainstream -- common

Corrected as per OR 46 6/13/03

1108

1 belief was that chicken was the primary source.

2 Now I look at papers like Rosenquist's. I  
3 look at the <sup>Rodriguez</sup>~~Rodriguez~~ paper and other papers from the  
4 United Kingdom, where I see a lot of support. People  
5 are <sup>saying; "it</sup>~~saying it~~ doesn't seem to be chickens, what could  
6 it <sup>be?</sup>~~be~~, why didn't things go down when we got rid of a  
7 <sup>drug?</sup>~~drug~~.

8 So no, I don't think that my opinion is  
9 outside the changing paradigm of what would be  
10 mainstream.

11 Q I believe, as well, you were questioned about  
12 restaurant dining, and the question was whether it  
13 isn't the chicken in the restaurant that's causing  
14 campylobacteriosis.

15 Do you believe it's chicken in the restaurant  
16 that's causing campylobacteriosis?

17 A I like to derive all of my assertions off of  
18 data. In the data, I do not see evidence for that  
19 hypothesis, and I do see evidence against it. Also,  
20 once I've done my own analysis, I like to look at what  
21 other people have said and here, the <sup>Rodriguez</sup>~~Rodriguez~~ and  
22 other papers explicitly address that issue and the big

**Diversified Reporting Services, Inc.**

1101 Sixteenth Street, NW Second Floor

Washington, DC 20036

(202) 467-9200

1 thing is it doesn't look like it could be chickens  
2 because those same chickens, by and large, go home and  
3 people roll around in them, basically. I mean, there's  
4 chicken juice, raw chickens.

5 No, I don't -- based on that evidence and  
6 based on the literature, no, I don't think that it's  
7 really chickens that are doing it.

8 MR. NICHOLAS: Thank you. I have no further  
9 questions, your Honor.

10 JUDGE DAVIDSON: Recross?

11 MR. SPILLER: Yes, your Honor, very few.

12 RECROSS EXAMINATION

13 BY MR. SPILLER:

14 Q The last question might be freshest in your  
15 mind, Dr. Cox. I understand you don't believe it's  
16 chicken in the restaurant. Do you believe it's  
17 campylobacter in the restaurant?

18 A That --

19 Q Causes campylobacteriosis in the humans who  
20 dine there.

21 A Again, I hate to get out in front of the data  
22 but yes, campylobacteriosis causes campylobacter -- or

1 the other way around. Excuse me. Wrong way.

2 Q And apart from chicken, in this record, in  
3 your testimony, how do you suppose the campylobacter  
4 got into the restaurant?

5 A Did you say we could include all the stuff  
6 like drinking water -- I'm sorry -- ground water or  
7 streams contaminated, so forth?

8 JUDGE DAVIDSON: He just asked you what you  
9 got.

10 THE WITNESS: Thank you. First, I haven't  
11 found any useful data to study it, but water on  
12 lettuce, the hands of the restaurant workers, as we've  
13 seen in some outbreak studies, non-poultry meats and  
14 vegetables. If you go to a salad bar you'll find  
15 campylobacter.

16 The key question for me is always do you get  
17 enough of it to cause illness with high probability,  
18 and I think the consensus now is well, once in a while  
19 you do, whether it's people shedding, what it is I  
20 don't think can be unambiguously identified from the  
21 data, but it doesn't look like chicken is the primary  
22 or predominant source.

1 BY MR. SPILLER:

2 Q And you mentioned in that answer do you get  
3 enough of it. I believe on redirect you indicated it  
4 was the exceptionally high loads that are the ones that  
5 cause people to get sick.

6 A Disproportionately so, yes.

7 Q In this record, thinking of the one person who  
8 got the lowest known dose tested in this record, did he  
9 get sick?

10 A Are you referring to Robinson?

11 Q I'm referring to Dr. Robinson.

12 A The lowest reported infectious level of which  
13 I'm aware is Robinson's.

14 Q And did he get sick?

15 A He did.

16 Q Was that an exceptionally high dose?

17 A 500 CFUs, compared to what most people get? I  
18 think it's many times the average.

19 Q You mentioned also that you preferred to use a  
20 causal analysis and you have some causal anal -- I  
21 think you said causal graphic analysis --

22 A Causal graph analysis.

1 Q Causal graph analysis. Is that exemplified,  
2 for instance, in Exhibit G-1811 that you still have up  
3 there?

4 A Can you tell me which G-1811 it is?

5 Q That's the International Journal of Infectious  
6 Diseases.

7 A You know, I don't -- can you --

8 Q I think your counsel left the copy for you.  
9 He certainly asked you questions about it.

10 A Hold on. I'm getting buried here. Okay. I  
11 found the paper.

12 Q So if you look, for instance, at page 3S30 of  
13 that, is that a causal graph analysis?

14 A This is a -- you mean the figure, right?

15 Q I mean figure 3.

16 A Thank you. No. This is a classification tree  
17 that reveals what are called conditional independence  
18 relations. Conditional independence just means, look,  
19 if you see people going into restaurants and getting  
20 sick, is it because they went into the restaurants or  
21 is it because males go into restaurants and males get  
22 sick?

Corrected as per OR 46 6/13/03

1113

1           If going into restaurants is conditionally  
2 independent of getting sick, given that <sup>you're</sup>~~your~~ male --  
3 meaning males get sick at the same rate whether or not  
4 they go into restaurants, then we can say no, the data  
5 aren't really consistent with it being the restaurant.

6           So this kind of tree looks for conditional  
7 independence relations. It's very useful for saying  
8 are people getting excess days of diarrhea because of  
9 Fluoroquinolone resistance and the answer is very  
10 strongly no. But this would then get assembled into a  
11 causal graph model along the lines outlined in my book.

12           Q     And these trees are grown using the commercial  
13 software that you described in your redirect, right?

14           A     These trees were prepared using something  
15 called Knowledge Seeker which is commercial software.  
16 What I described in my redirect, I referred to SAS, S-  
17 Plus. The distinction between these is that the ideal  
18 form of analysis is the SAS analysis where you pour the  
19 data in, push a button, get the result.

20           In Knowledge Seeker, there's some flexibility  
21 about the order in which the factors are listed so  
22 there's -- it doesn't happen to be one of the ones that

**Diversified Reporting Services, Inc.**

1101 Sixteenth Street, NW Second Floor  
Washington, DC 20036  
(202) 467-9200

Corrected as per OR 46 6/13/03

1114

1 I mentioned but it is a commercial package useful for  
2 getting at conditional independence relations as a  
3 prelude to causal analysis.

4 Q And referring to figure 4 in that paper, in  
5 this commercial software generated document, am I  
6 correct that in the grid analysis there the multiple  
7 question marks mean missing data?

8 A Missing data, no answer, yes.

9 Q Was it the machine or you who determined at  
10 each level of the classification whether to put the  
11 unsures or the no answers with the yeses or the nos?

12 A The machine.

13 Q And sometimes the machine puts the unsures  
14 with the yeses and sometimes it puts the no answers  
15 with the nos?

16 A That's correct. It tries to ask the most  
17 informative questions at each stage. Oh. And a key  
18 correction to the testimony I just gave is that at the  
19 bottom level of these trees, on the right-hand side you  
20 see there's a variable called eight chick. This is a  
21 reanalysis of the Smith, et al data set. <sup>Ate</sup>~~Eight~~ chick",  
22 as explained in the text, does not enter into the tree



1 by itself.

2           What that means is there's no statistical  
3 association between recently eating chicken and  
4 Fluoroquinolone resistance. Therefore, I forced that  
5 variable in and that would be an exception. I said no,  
6 yes and other. That was my choice, not the machine's  
7 choice.

8           Q     So you can force this classification tree  
9 analysis.

10          A     You can force -- you can split on a variable.  
11 You can't force non-significant variables to come into  
12 the tree analysis but you can take any one variable.

13          Q     And in your figure 3 in that paper, the very  
14 top item, the first branch in the classification tree  
15 is Vis Farm, that's for whether or not the person  
16 visited a farm?

17          A     I think recent farm visit was the longer name  
18 of that variable, yes.

19          Q     And is the reason that that variable came off  
20 first because you got a very strong signal between the  
21 cases and controls, 99 and a half percent versus a half  
22 a percent?

Corrected as per OR 46 6/13/03

1116

1           A     Let's see. Why this came out -- the answer  
2 may be yes but the salient point is I let these  
3 identified risk factors take themselves out of the  
4 analysis. They all showed up as lick and splits. I  
5 didn't want to analyze cases where we thought we knew  
6 what the explanation was going to be.

7                     So as explained in more detail in the article,  
8 you'll see we take out foreign travel. I took out pet  
9 08, which is having a puppy. Drinking boiled water.  
10 And this gets us down to sex. Not the end of the tree  
11 but the beginning of the expanded tree.

12                     Now we get into stuff that I'm not just taking  
13 out. So, for example, if sex is really relevant, it  
14 makes a difference between 44 percent and the -- this  
15 is now autodiscovery, if you will.

16           Q     And confining your answer, if you will, to my  
17 question, in the top classification, "vis farm," you got  
18 a very strong signal between the cases in the controls  
19 there. They split 99 and a half percent one way and a  
20 half a percent the other, didn't you?

21           A     On the right-most branch.

22           Q     Yes.

1 A Yes.

2 Q And tell the record why we pulled out 211  
3 cases for that.

4 A For two reasons. The first I've alluded to,  
5 which is I didn't want to look at things where visiting  
6 a farm or foreign travel, both of these might be the  
7 issue. And secondly, because we didn't have data on  
8 those people. 7 is the code for not applicable or  
9 didn't answer. Question mark is the default code for  
10 missing data.

11 Q Did it not seem remarkable to you as a  
12 professional analyst of data that not having data would  
13 so strongly be correlated with the distinction between  
14 cases and controls? Why would cases versus controls be  
15 lacking data?

16 A Oh. This gets back to the fact that it's  
17 survey data. You have a bunch of recall biases, people  
18 are more willing to think about 101 chicken questions  
19 under some conditions, like they're -- you plant the  
20 idea it's chicken that's the problem, they may be more  
21 willing to put up with a long questionnaire. And I see  
22 this kind of thing in data from telephone companies and

1 -- it's not all initial.

2 Q Do you know, Dr. Cox, whether that elimination  
3 was actually based on whether they were a secondary or  
4 a primary case in the family?

5 A No.

6 Q So you don't actually know why your commercial  
7 software no human hands-on classification tree lost out  
8 on 211 of the data points in the study?

9 A Well, I know that I sent out the visit farm  
10 cases or allowed to select themselves out -- the visit  
11 farm cases and I stuck with the 1,104 who said no, I've  
12 not visited a farm. I'm trying to eliminate competing  
13 explanations.

14 Q Dr. Cox, you mentioned on redirect your recent  
15 model was not yet published, it was in the peer review  
16 process?

17 A That's correct.

18 Q And I think you mentioned that one of those  
19 papers that's in review was currently -- had won you a  
20 best paper award from SRA.

21 A Yes, it did.

22 Q Congratulations.

1           A     Thank you.

2           Q     What peer review process is involved in the  
3 choice of who gets the best paper award at the Society  
4 for Risk Analysis?

5           A     I don't know the details. The chair of the  
6 committee is also the president of the Society and  
7 there's a ladder where you start off just submitting  
8 abstracts, maybe 600, a thousand -- some large number  
9 of abstracts are submitted. And then the committee  
10 says well, this looks interesting. Can you draft three  
11 or five pages, which is kind of the second round.

12                     And based on those so-called extended  
13 abstracts, you may then be invited to submit a whole  
14 paper. That's the -- now you're getting close to the  
15 end of the process.

16                     Then those who are I believe officers of the  
17 Society -- the high and mighty of the Society for Risk  
18 Analysis then ultimately winnow down perhaps 350 fully  
19 developed abstracts to last year 7 finalists and then  
20 they notify you of that.

21                     Personally I wouldn't consider that a peer  
22 review. I mean, yeah, your peers look at it, but

1 that's the prelude to then submission and peer review.  
2 So that's what I know of the process.

3 Q Thank you, and I appreciate the answer there  
4 at the end.

5 MR. SPILLER: Your Honor, I have no further  
6 questions on recess.

7 JUDGE DAVIDSON: Mr. Nicholas, do you need  
8 anything else?

9 MR. NICHOLAS: No further questions, your  
10 Honor.

11 JUDGE DAVIDSON: You're excused, Dr. Cox.

12 THE WITNESS: Thank you.

13 (The witness was excused.)

14 JUDGE DAVIDSON: Ms. Steinberg, what have you  
15 got for me?

16 MS. STEINBERG: Yes, your Honor. During the  
17 lunch break I did look at the documents that you asked  
18 about and I do have an answer. I believe that all the  
19 documents are different. The one that might be the  
20 same is G-1806 and B-1946. For clarity I would ask  
21 that all of this be put in the record and marked with  
22 exhibit numbers.

1 JUDGE DAVIDSON: Okay. I had asked Ms.  
2 Steinberg to check because my records show that 1806,  
3 1807, and 1808 that I had ruled out and then when you  
4 put in 1946 and 47, I let them in. I figured it should  
5 all be in or it should all be out. I didn't think that  
6 -- because they're somewhat the same, they're slightly  
7 different.

8 They all deal with the same issue. I didn't  
9 think it qualified as evidence, to tell you the truth.  
10 I think they should all be out. But you moved 1946 and  
11 47 into the record to offset stuff that I didn't put  
12 into the evidentiary record. This is dealing with his  
13 qualifications, with his degrees, with the letters from  
14 the --

15 MR. NICHOLAS: Your Honor --

16 JUDGE DAVIDSON: I understand what happened.  
17 It's not your fault. So I still say I'd just as soon  
18 not have them in but if you want them in, I'll leave  
19 them all in.

20 MR. NICHOLAS: We're happy to just withdraw  
21 those.

22 JUDGE DAVIDSON: Okay. So none of them will

1 be in the evidentiary record.

2 (Respondent Exhibits 1946 and  
3 1947 were withdrawn.)

4 JUDGE DAVIDSON: Now, we have 1936. I don't  
5 think I've ruled on that. B-1936. Looks like a one-  
6 page document dealing with PubMed Chemotherapy Agents  
7 campylobacter.

8 MR. NICHOLAS: That's the Hollander article,  
9 your Honor. It's an abstract with respect to --

10 JUDGE DAVIDSON: Right. Abstract. I just  
11 want to clean up my paper here.

12 MR. SPILLER: Could we see it, your Honor? In  
13 our confusion, we don't have a collective recollection  
14 of what it is.

15 JUDGE DAVIDSON: You don't remember it?

16 MS. STEINBERG: No objection, your Honor.

17 JUDGE DAVIDSON: Okay. B-1936 is received.

18 (Respondent Exhibit 1936 was  
19 marked for identification and  
20 received in evidence.)

21 JUDGE DAVIDSON: Now, I have G-1809, 1811,  
22 1816 and 1817, which were introduced by the CVM during



1 the cross-examination of Dr. Cox.

2 I don't even think -- I don't know if you  
3 moved them into evidence or you just want to leave them  
4 there.

5 It's okay with me, whatever you choose.

6 MR. SPILLER: Your Honor, 1811 is the  
7 International Journal of Infectious Diseases and if I  
8 did not previously make explicit, we do not need this  
9 as an exhibit, your Honor. If it can be subject to  
10 discussion, that's fine. If counsel needs it for  
11 clarification --

12 MR. NICHOLAS: Your Honor, we would like to  
13 have that in the record, provided we could use the  
14 full-blown report. I believe parts of this report are  
15 already in the record, your Honor, but since the  
16 issue --

17 JUDGE DAVIDSON: Well, we handled the page  
18 with the names on it already. He read the ones in the  
19 record.

20 Is there something else in there you think is  
21 missing?

22 MR. NICHOLAS: I believe all the rest of the

1 papers that are discussed in here are in the record,  
2 your Honor.

3 I don't believe there are discussions with  
4 respect to --

5 JUDGE DAVIDSON: I'm sorry; what discussion?

6 MR. NICHOLAS: These are the proceedings  
7 that --

8 JUDGE DAVIDSON: I understand what they are --

9 MR. NICHOLAS: And the proceedings contained  
10 both authored papers as well as discussion.

11 JUDGE DAVIDSON: And the discussions, you say,  
12 are not here?

13 MR. NICHOLAS: I don't believe so, your Honor.

14 JUDGE DAVIDSON: I'm not trying to say you're  
15 wrong, but there's a section entitled "discussion." Is  
16 that not the same thing?

17 Well, it won't be received in evidence and if  
18 you think it's important to get the whole thing in, you  
19 can try again. But as I said before, it's got to end  
20 sometime. So 1911 is not received in evidence. Excuse  
21 me, G-1811.

22 MR. SPILLER: And your Honor, G-1816 is the

1 Robinson study. If I did not previously, I now move G-  
2 1816 in evidence.

3 JUDGE DAVIDSON: Any objection?

4 MR. NICHOLAS: No, your Honor.

5 JUDGE DAVIDSON: It's received in evidence,  
6 1816.

7 (Government Exhibit 1816 was  
8 marked for identification and  
9 received in evidence.)

10 JUDGE DAVIDSON: Now 1817.

11 MR. SPILLER: 1817 is a copy of a portion of a  
12 Rosner textbook and includes the disputed definition of  
13 the central limit theorem, I believe, and if I did not  
14 previously, I do now move 1817 in evidence.

15 MR. NICHOLAS: We have no objection, your  
16 Honor.

17 JUDGE DAVIDSON: All right. 1817 is received  
18 in evidence.

19 (Government Exhibit 1817 was  
20 marked for identification and  
21 received in evidence.)

22 MR. SPILLER: Your Honor, I believe the last

1 one on the list is G-1809, which is the collection of  
2 e-mail correspondence between the witness, Dr. Cox, and  
3 Mr. David Vose, which has been discussed at several  
4 points both in cross and on redirect. And if I did not  
5 previously, I do now move that exhibit in evidence.

6 MR. NICHOLAS: We have no objection.

7 JUDGE DAVIDSON: Okay. It's received in  
8 evidence.

9 (Government Exhibit 1809 was received  
10 in evidence.)

11 JUDGE DAVIDSON: Any others I missed? I hope  
12 not.

13 MR. NICHOLAS: No, your Honor.

14 JUDGE DAVIDSON: Okay. You can sit down, Dr.  
15 Cox. Find a chair.

16 Okay. I think we're finished. We just have  
17 to take care of some minor things like transcripts.  
18 Does anybody know how long it's going to take to get  
19 the transcript?

20 THE COURT REPORTER: I don't know.

21 JUDGE DAVIDSON: Okay. I just wanted to know  
22 if either of the parties had contacted your agency to