

**UNITED STATES  
FEDERAL COMMUNICATIONS COMMISSION**

---

In Re: )  
 )  
PUBLIC FORUM: )  
 )  
COMPETITIVE ACCESS TO )  
NEXT-GENERATION REMOTE )  
TERMINALS )

Volume: 1  
Pages: 1 through 137  
Place: Washington, D.C.  
Date: May 10, 2000

---

**HERITAGE REPORTING CORPORATION**

*Official Reporters*  
1220 L Street, N.W., Suite 600  
Washington, D.C. 20005-4018  
(202) 628-4888  
hrc@concentric.net

Before the  
FEDERAL COMMUNICATIONS COMMISSION  
Washington, D.C. 20554

In Re: )  
 )  
PUBLIC FORUM: )  
COMPETITIVE ACCESS TO )  
NEXT-GENERATION REMOTE )  
TERMINALS )

Main Hearing Room  
445 12th Street, S.W.  
Washington, D.C.

Wednesday,  
May 10, 2000

The parties met, pursuant to the notice of the  
Commission, at 1:05 p.m.

APPEARANCES:

NEIL RANSOM, VICE PRESIDENT AND GENERAL MANAGER  
ALCATEL

DAN WIGGER, DIRECTOR, NETWORK ENGINEERING  
ATG

C. MICHAEL PFAU, DIVISION MANAGER  
AT&T

CHARLES KIEDERER, DIRECTOR, TECHNOLOGY PLANNING  
BELL ATLANTIC

WILLIAM McNAMARA, RESEARCH DIRECTOR  
TECHNICAL ANALYSIS AND SUPPORT - TRANSPORT/ACCESS  
BELL SOUTH

Heritage Reporting Corporation  
(202) 628-4888

GARY BOLTON, VICE PRESIDENT, PRODUCT MARKETING  
CATENA NETWORKS

JOHN REISTER  
AVP, ADVANCED TECHNOLOGY  
COPPER MOUNTAIN

## APPEARANCES (Continued):

DAVID ROSENSTEIN, MANAGER OF ACCESS TECHNOLOGIES  
COVAD

LINDA MANCHESTER, ACTING VICE PRESIDENT,  
ACCESS NETWORK MARKETING  
LUCENT TECHNOLOGIES

BILL BUCK, PRODUCT MANAGER  
NOKIA

WAYNE GETCHELL, DIRECTOR  
SUBSCRIBER ACCESS SOLUTIONS  
NORTEL NETWORKS

MICHAEL OLSEN  
VICE PRESIDENT AND DEPUTY GENERAL COUNSEL  
NORTHPOINT

PHILIP KYEES  
BUSINESS DEVELOPMENT MANAGER FOR DSL PRODUCTS  
DIVISION  
PARADYNE

STEPHEN BOWEN, BLUMENFELD & COHEN  
RHYTHMS NET CONNECTIONS, INC.

WAYNE MASTERS, SENIOR VICE PRESIDENT  
SBC BROADBAND SERVICES  
SBC

P R O C E E D I N G S

(1:05 p.m.)

1  
2  
3 MR. VARMA: Good afternoon, everyone. Maybe it  
4 is time for us to get started. My name is Yog Varma. I  
5 am the deputy chief of the Common Carrier Bureau. I also  
6 belong to a growing breed of deputy bureau chiefs, if you  
7 know what I mean. First me and Bob Atkinson. I wonder  
8 who is next.

9 (Laughter)

10 MR. VARMA: On behalf of the Commission, the  
11 Common Carrier Bureau, the Office of Engineering and  
12 Technology, the Network Services Division, and the  
13 Network --- Division, I am pleased to welcome you all to  
14 this public forum and roundtable discussion on next  
15 generation networks and remote terminals.

16 We thank you for accepting our invitation to  
17 this forum to discuss and inform us on emerging next  
18 generation network issues surrounding remote terminals.  
19 As you know, we are currently gathering information to  
20 help us evaluate the type of network design on the  
21 deployment of a range of services in the competitive

1 environment. Our goal here is to ensure that incumbent  
2 telephone companies, their separate affiliates, or  
3 competing CLECs are able to deploy next generation  
4 networks to offer advanced services in a rapid,  
5 broadbased, and cost effective manner on a level playing  
6 field for the benefit of consumers.

7           We understand that there are a host of  
8 technical issues that arise when multiple carriers desire  
9 access to the same facilities. In order to enhance our  
10 understanding of these issues, we have set up this  
11 roundtable discussion with representatives from various  
12 sectors of the industry to address the technical issues  
13 that arise when remote terminal facilities are made  
14 available to competing carriers for the provision of  
15 advanced services for consumers.

16           We hope to better understand how to allow  
17 competitors access to next generation remote terminals  
18 and the associated technology while encouraging  
19 incumbents or the separate affiliates to offer advanced  
20 services to their customers in a manner that does not  
21 impair the networks.

1           Through this roundtable and any future  
2 proceedings, we hope to further Congress' framework and  
3 achieve the FCC's mission for an open and competitive  
4 advanced services marketplace and to ensure that such  
5 services are available to all -- and I underscore the  
6 word all from a variety of different sources, each of  
7 which has a different -- has a fair opportunity to  
8 compete.

9           If this also leads to accelerated investment in  
10 the underlying infrastructure by competing suppliers,  
11 that for me personally would be icing on the cake. In  
12 this regard, I hope our discussions focus not only on the  
13 issues surrounding delivery of ADSL to DLC and end users,  
14 but recognize as well the rapid technological  
15 developments, rising customer expectations, and their  
16 voracious appetite for more and more bandwidth.

17           As a matter of fact, you may recall a few years  
18 ago, there used to be a commercial from Campbell Soup  
19 Company about not enough clams in clam soup. And the  
20 commercial was, more clams! more clams! more clams! I  
21 hope soon there will be a day when we will be saying more

1 bandwidth! more bandwidth! more bandwidth!

2 I agree with my friend Paul Lacouture  
3 (phonetic), group vice president/Network Services at Bell  
4 Atlantic, and Tim Laehy (phonetic), chief financial  
5 officer at Cobell (phonetic) Communications that DSL is  
6 not the endgame. It is only an entry strategy into the  
7 vast and ever-increasing data services market. The story  
8 is just beginning to unfold. Please stay tuned.

9 I would now like to turn the discussion over to  
10 Staci Pies (phonetic), who is the deputy chief of Network  
11 Services Division, who will get the discussion underway.

12 Staci?

13 MS. PIES: Thank you, Yog. I appreciate  
14 everybody coming out here today, and I want to especially  
15 thank Jennie Kennedy from the Network Services Division,  
16 who helped plan this forum and made sure that everybody  
17 was able to be here and have this discussion today.

18 As Yog mentioned, we have people here from the  
19 Network Services Division, the Policy Division, and the  
20 Network Technology Division of OET. And we have  
21 panelists from the incumbent local exchange carriers,



1 competitive carriers, and manufacturers. And rather than  
2 going through and introducing everybody, I am just going  
3 to ask that the first time that you speak, that you  
4 please introduce yourself and state the name of your  
5 company.

6 As you all know, the deployment of remote  
7 terminal technology and digital loop carrier equipment to  
8 consolidate voice traffic of remotely located customers  
9 for transport back to the central office is not new. In  
10 fact, incumbent LECs have been using digital loop carrier  
11 technology for over 20 years to reduce costs and space  
12 requirements of providing plain old telephone service to  
13 these customers.

14 However, today, in order to meet the demand for  
15 high speed data services, carriers must also find a cost-  
16 effective way of providing advanced services to these  
17 outlying areas. So in order to meet this demand, some  
18 incumbent LECs are beginning to redesign their networks  
19 by deploying new technology in their remote terminals.  
20 These next generation remote terminals -- in these next  
21 generation remote terminals, the data traffic and the

1 voice traffic share the same back plane, are aggregated  
2 by the system, and may share the same transport  
3 facilities back to the central office.

4           As Yog stated in his opening remarks, we are  
5 here today to talk about the technical and operational  
6 issues that arise as competitive carriers seek to provide  
7 advanced services using the incumbent LEC next-generation  
8 remote terminals. As most of you know, we have had a  
9 series of meetings over the last few weeks to get better  
10 background on these issues, and we have found these  
11 discussions to be very informative. We are hoping today  
12 that an open discussion where positions and ideas are  
13 tested by different members of the industry will enable  
14 the Commission staff to reach well-supported conclusions  
15 on many of these issues.

16           I just want to mention that we have significant  
17 ground to cover today. And we hope that people will be  
18 able to express their points of view without engaging in  
19 any lengthy presentations. We do realize, however, that  
20 it might be helpful for parties to use some sort of  
21 presentation.

1           We do have a chart that we have included in the  
2 handout. Hopefully, you all have a copy of that. It is  
3 very, very simple. But if you do need us to have that on  
4 the screen to explain some of your points, just please  
5 ask for that FCC chart.

6           I also want to emphasize that we are not  
7 looking for a particular outcome today. This forum is  
8 not directly related, and we don't intend to discuss,  
9 legal and policy issues associated with any particular  
10 ongoing proceeding before the Commission right now.  
11 Instead, again, as Yog said, we are looking for  
12 information that will allow us to have both a competitive  
13 and broadbased deployment of advanced services and  
14 telecommunications services.

15           We want to welcome questions or comments from  
16 the audience. And we just ask that you use your  
17 discretion and approach the microphones at an appropriate  
18 time, again identifying yourself and your company before  
19 asking your questions. And we are just going to start  
20 today with a few basic questions that will lay the  
21 groundwork, give everybody a basic understanding of

1 remote terminal technology. And then we'll proceed with  
2 the questions that we have provided the participants.

3 So, Johanna will start.

4 MS. MIKES: Johanna Mikes. I am with the  
5 Common Carrier Policy and Program Planning Division.  
6 Before we jump to the highly technical issues, I just  
7 wanted to start with eliciting some basic facts about the  
8 remote terminal equipment that exists in the network  
9 today as well as what is being planned for the future.  
10 And so I would like to just ask the incumbent carriers to  
11 describe what type of remote terminals and equipment is  
12 deployed in your network and is in use today in terms of  
13 the numbers, the volume of remote terminals, the percent  
14 of your network, or the percent of customers served out  
15 of remote terminals, the size and dimensions of the types  
16 of remote terminals, and what types of customers are  
17 being served from those.

18 So if you could talk about what exists today,  
19 and then we'll get to some of the future plans.

20 MR. KIEDERER: Charlie Kiederer with Bell  
21 Atlantic. In terms of the specifics, I'd rather address

1 that not so much in terms of numbers but in terms of the  
2 type of equipment that we have employed, although I will  
3 give some general numbers that we have. Typically  
4 deployed in our network, we have equipment ranging from  
5 the likes of Slick 96 (phonetic) carrier to Slick series  
6 5 carrier to light span digital loop carrier and some  
7 Nortel access nodes, digital loop carriers. Those are  
8 probably primarily the major versions of equipment that  
9 we have deployed in the network.

10 In round numbers, approximately 18 percent of  
11 our lines are served on digital loop carrier. As far as  
12 size, which was the other part of your question, sizes  
13 would range all over the map, depending on what -- how  
14 many lines you are serving at a particular remote  
15 location, from relatively small units to units that can  
16 serve upwards of 2,000 subscribers.

17 MR. MASTERS: Very similar -- Wayne Masters  
18 with SBC. We have roughly around 35,000 remote terminals  
19 deployed in the network today. This the 13 states. As  
20 you will describe later, they appear in three forms. You  
21 have CEVs, HUTs, and cabinets, and they are quite

1 different. We have the older Lucent Slick 96 series 5,  
2 very good vehicles. We have the Reltech (phonetic). We  
3 have the heavy imbedded base, the light span. And it  
4 differs somewhat by the company, the four companies. And  
5 we have the UMC 1000.

6 We may still have some Nortel in service. We  
7 have a variety. Every company has a history. Probably  
8 there will be an exception to almost any rule. You'll  
9 find something buried out there somewhere.

10 We run about 25 percent of our customers today  
11 through remote terminals. They generally serve,  
12 obviously, your far-out -- generally those distances  
13 beyond 18,000 feet, which gets you in your business park  
14 subdivisions, but in some metro areas like Chicago, it  
15 might be a mile away. So it all depends what the  
16 application is.

17 That's about it.

18 MR. McNAMARA: I'm Bill McNamara with Bell  
19 South. We probably have -- in fact, I know we have the  
20 largest single installation of DLC in the United States.  
21 We have right at 40,000 remote terminals serving almost

1 9 million lines off of those terminals. The mix is  
2 pretty much as the others have mentioned. We probably  
3 have fewer NGDLC, having begun to deploy that technology  
4 a little bit later, something over a thousand terminals  
5 serving something over a million subscriber lines, close  
6 to another 8 million in old technology.

7           The mix between CEVs and cabinets is  
8 approximately 1,200 CEVs and HUTs to the rest, based on  
9 cabinet architectures, the sizes, as previously alluded  
10 to, from the size of maybe a very large trash can to the  
11 size of a very large limousine.

12           MS. MIKES: And if I could have again the same  
13 group of incumbent carriers discuss what is planned for  
14 the future in terms of remote terminal equipment and the  
15 types of remote terminals, and again the same basic  
16 information, the percent of your customers that you  
17 anticipate will be served, the size and dimension of the  
18 new remote terminals being deployed, what types of  
19 customers you are reaching, and what is involved in  
20 upgrading or replacing the existing equipment, if that is  
21 in your plans.

1           MR. KIEDERER: From a Bell Atlantic  
2 perspective, the issue of deploying DLCs on a going  
3 forward basis is done on a situation by situation basis.  
4 They are typically provided for relief for new  
5 subdivisions. We have a somewhat different demographics,  
6 I think, in the Northeast region in terms of the density  
7 of the urban wire centers that we have where we don't use  
8 a lot. As you have heard, we have probably less digital  
9 carrier than the other two incumbents represented here.

10           But those would be designed per standard  
11 engineering practices, depending on what the relief is  
12 for, what kind of services are required, how many living  
13 units are to be handled by that particular remote  
14 terminal. So I can't sit here and say that we have  
15 specific plans for numbers of remote terminals on a year  
16 by year basis.

17           MR. MASTERS: Basically what Charles said, on  
18 an ongoing business it is basically the same. But as  
19 everybody in the room is well aware, we have a very large  
20 initiative going on to try to put a lot more remote  
21 terminals in our network.



1           I'll give you some follow-up numbers. A while  
2 ago, we talked about the percentage. Today, after our  
3 initiative, the numbers are about the same. About  
4 40 percent of our households will be served out of CEVs  
5 and HUTs, without about 60 percent being out of cabinets,  
6 to give you a flavor. And those numbers held about the  
7 same before and after. We said earlier we have about  
8 35,000 remote terminals, and they were adding another  
9 roughly 13,000. We're upgrading 7- to 10,000 of existing  
10 ones to provide a broadband service, next generation DSL,  
11 and actually a broadband capability to the network bay.

12           We're upgrading two, and we'll possibly do  
13 three, of our existing vendor's technology. That is  
14 changing by the day. We are looking at every opportunity  
15 or method of doing that, from total replacement to  
16 upgrading to side by sides. Every one of those turns out  
17 to be more of a individual engineering decision,  
18 basically when you look at the exact environment.

19           We are basically going to feed the plant beyond  
20 our 12,000 kilofeet loop in our major wire centers with  
21 an overlaid network, with the idea being that we will

1 shorten the distance of the remaining copper loop to  
2 12,000 feet or less.

3           The standard architecture design for remote  
4 terminals hasn't changed. Normally, it has been about  
5 9 kilofeet behind a remote terminal. It varies some by  
6 company, but not much. And basically, the fundamental  
7 design has not changed. We are just putting more of  
8 those areas in. We are not removing any of our existing  
9 technology or plant. All we are doing is adding  
10 additional capacity out of these. We'll use them as an  
11 overlay growth vehicle. We'll use our existing copper  
12 plant up for services it supports, i.e., plots. Then  
13 we'll take growth on the RTs for all service.

14           As a customer converts over to our broadband  
15 service, we are offering -- are going to extend the  
16 offer. They will be moved over to the RT. Once they are  
17 there, they will be served from the RT. So it is an  
18 overlay growth vehicle, very much like we have extended  
19 our network over the years.

20           Over the history, you will find copper first,  
21 then you'll find a digital loop carrier. You may find

1 two or three vintages of digital loop carrier. And  
2 you'll find its natural, just a growth. It is a feeder  
3 relief plan, essentially.

4 MR. McNAMARA: In Bell South's case, all of our  
5 growth today is going on next generation products. We  
6 aren't deploying any old technology to DLC any more. It  
7 is all next generation products with copper feeder. Or  
8 in the architecture of choice in Bell South, the new  
9 growth is actually on fiber distribution. We deploying  
10 about 100,000 plus lines of capacity a year, 100,000  
11 linear units are being passed per year with fiber  
12 distribution right to the curb. We expect to accelerate  
13 that over the next few years.

14 We anticipate adding ADSL or DSL capability to  
15 existing vehicles. We are not adding additional DLC --  
16 our next generation products at existing sites purely for  
17 a DLC distribution vehicle. Where something other than  
18 an existing product is needed to deploy DSL, we are using  
19 dedicated DSLAMs for that purpose.

20 MR. REEL: I'd like to ask a follow-up question  
21 for Bell Atlantic and Bell South. Are you also planning

1 to leave the existing copper and maintain it and make it  
2 available for sale? Or will you be just letting it --

3 MR. McNAMARA: We normally, we actually do an  
4 overlay in there at a DLC at a site with fiber feeder, we  
5 would typically leave the copper in place unless the  
6 copper is in such bad shape that it has to be removed or  
7 terminated. The issue for competitors for providing  
8 access to that capability is yes, it is certainly  
9 available. The problem is there are serious spectrum  
10 management issues associated with using that capability  
11 if DSL is also deployed at the RT site.

12 MR. KIEDERER: Just to add to that as well,  
13 typically where fiber digital carriers put in as a growth  
14 vehicle, the copper would be left in place. In areas  
15 where you are looking to rehabilitate bold copper, the  
16 plan in many instances would be to remove the old copper  
17 because it is a maintenance headache. That is why you  
18 want to take it out to begin with.

19 We, under the current guidelines, to the degree  
20 that there were ADSL unbundled loops on that copper,  
21 certainly would intend to leave it in place at this point

1 in time. However, that results in the issue now of  
2 maintaining dual plant fiber and copper and the  
3 associated costs and cost recovery issues associated with  
4 keeping dual plant in service.

5 MR. KEHOE: I'd like to direct a question to  
6 the three block representatives and to SBC first. How  
7 much unused space is there in your old RTs and will there  
8 be in your new RTs?

9 MR. MASTERS: I'll address that in two groups.  
10 Let me address it, first of all, in CEVs and HUTs.  
11 About half of our CEVs and HUTS exist today, about again  
12 the percent growth we said earlier. We have a higher  
13 percentage of access lines than we do actual locations in  
14 these HUTS. In the existing CEVs and HUTs, there is some  
15 space, and it varies absolutely case by case. In new  
16 ones going forward, as we publicly have said, we have  
17 elected to build additional space in all new CEVs, and  
18 that is going forward, particularly in our initiative  
19 project, to allow colocation roughly three to five CLECs.

20 We have done quite a bit of configuring. We  
21 have got some forecast information on that. It is

1 basically the next size up. Now that is restricted by  
2 right of way, things, the physics involved in the site.  
3 That is cost us about \$15 million dollars.

4 In the cabinets, the cabinets are being pushed,  
5 as Bill said, much, much closer. We said 12,000 feet.  
6 That is our longest distance. Some of the cabinets are  
7 going in as close as 2,000 feet, especially in the Bell  
8 Atlantic Ameritech states.

9 In cabinets, there generally is not much space,  
10 if hardly at all. You may find a place that you could  
11 put a single rack and in some. It varies cabinet by  
12 cabinet. It depends on how it was built and what it was  
13 built for. There is some in some cabinets. All those  
14 spaces are available for colocation. But one of the  
15 reasons we offered them rather than the service offering  
16 is that -- our planning in offering is we recognize that  
17 there is not going to be space at every cabinet. And we  
18 could find no legitimate scheme economically to provide  
19 space in those cabinets.

20 MR. KEHOE: Would either of the others like to  
21 elaborate?

1           MR. KIEDERER: Well, yeah, perhaps just to add  
2 a few comments to what Wayne had to say. I think you  
3 have to break this up into two pieces. One is what do  
4 you have available in the existing, and what might be  
5 available in anything new coming down the line. You have  
6 got to remember that the existing remote terminal  
7 structures were planned, engineered, and deployed to  
8 serve POTS capability. They are designed for that  
9 purpose. That is why they were put in. Any space that  
10 may be available in them were to cover the POTS growth  
11 for some period of time, usually in the range of five  
12 years.

13           While we do have space in some of those -- and  
14 I would say it is probably less than 30 to 40 percent.  
15 You have got to remember that that space was intended to  
16 be used for POTS kinds of services, for growth in that  
17 particular carrier serving area. To the degree that you  
18 use that space for something else, you now have to deal  
19 with the issue of how do you handle your POTS growth in  
20 the future.

21           We will, you know, as of May 17th under the

1 union remand, accept requests from competitive local  
2 exchange carriers for colocation at remote terminal  
3 sites, and we'll deal with those on a site by site basis.

4 MR. MASTERS: Okay. I'll give a short follow-  
5 up to Charles. Obviously, the space available has to be  
6 usable space. We are finding with DSL that cabinets were  
7 originally designed for POTS. DSL has a much higher  
8 power dissipation, roughly two or three times as POTS.  
9 We are being lumped in their upgrade program as much by  
10 power dissipation as we are by physical space. So it  
11 becomes a bigger factor going forward than it has been in  
12 the past.

13 MR. McNAMARA: I don't think there really is  
14 much to add to that. That is basically the same  
15 situation in Bell South, very little space in cabinets, a  
16 fair amount in CEVs and HUTs. And we have offered to  
17 make that available to collocators in basically the same  
18 basis as colocation in central offices.

19 Cabinets are a serious problem. They actually  
20 require special engineering to deploy equipment that is  
21 not delivered with the original cabinet from the supplier



1 of that engineered installation.

2 MR. SICKER: I'm Doug Sicker with the Office of  
3 Engineering and Technology. Before we launch into the  
4 questions, I'd like to ask if any of the vendors or the  
5 competitors would like to respond to what the LECs have  
6 just gone through. Please.

7 MR. BOWEN: Steve Bowen, Blumenfeld & Cohen,  
8 for Rhythms Net Connections. We in fact are involved  
9 with SBC in a couple of cases about this right now, one  
10 in California. From our perspective, no terminal really  
11 is the new central office. That is, we really need to be  
12 there. Colocation is required there. We need to be  
13 there whether that is a cabinet, a HUT, or a CEV.

14 In California, I think 74 percent of the  
15 current RTs are cabinets. Now they may not be the small  
16 cabinets that are being deployed on a going forward  
17 basis, but they are the smaller of the three of the three  
18 flavors of RTs.

19 We think it is very, very important that as  
20 these new network topologies roll out that there be space  
21 for everybody out there. We are very much in favor of

1 pushing fiber out as far as we can into the  
2 neighborhoods. We think it is a good topology that  
3 allows all of us to reach a lot more people with a lot  
4 more broadband services. But I think the problem that we  
5 see really focuses on what these gentlemen have said, the  
6 cabinets.

7 I think the going forward technology will be  
8 cabinets. And it is not just the mesa type cabinets  
9 that, for example, SBC has been deploying in the past.  
10 It is new cabinets that -- some people call them shrink  
11 wrap cabinets. That is, it is cabinets that are designed  
12 and built to house integrated equipment of only a single  
13 vendor. And I understand the economics of that, and I  
14 can understand the attractiveness of setting down a  
15 cabinet with a small footprint.

16 But the problem that creates for competitors is  
17 in the configurations that we have seen that are rolling  
18 out right now -- and I won't talk about Neil's company  
19 right off the bat, but the Alcatel 2016 is one example.  
20 This is a very nice unit that sits down on a pad and has  
21 already populated chassis and plug-in cards, and there is

1 no space in there. We agree with that. There is no  
2 space in the current configuration for anybody else's  
3 equipment to reside.

4           So even though that might be a good kind of  
5 architecture and a good kind of RT configuration in the  
6 monopoly world, it is not good if you want CLECs to be  
7 able to have other vendors' DSLAMs in there, other kinds  
8 of equipment in there. And from our perspective, I think  
9 it is fair to say that all we are asking for is the right  
10 to compete even up with Bell Atlantic, Bell South, SBC,  
11 U.S. West.

12           We just want to be able to have our equipment  
13 and our vendors' choice of equipment be able to live out  
14 there as it must because as we all know, even though I  
15 agree with Yog that this is not about DSL, DSL is right  
16 now the serving technology for broadband services over  
17 the last 2,000, 9,000, whatever number feet of copper you  
18 have. You really need to use the copper to get to the  
19 premises, whether it is residential or business, and you  
20 have got to use DSL to get there. And so you have to  
21 DSLAMs out there at the end of the copper.

1           MR. SICKER: I agree with you. But what I  
2 really don't want to happen here is for everybody to  
3 stress the competitive importance of this. We recognize  
4 it. That's why we are having the meeting. And I take  
5 your latter point. I agree with it. So let's launch  
6 from there and go into how do we do this.

7           MR. BOWEN: Okay.

8           MR. SICKER: How do we ensure that we can have  
9 this sort of diversity of equipment.

10          MR. BOWEN: We think that it is possible -- for  
11 example, right now, yeah, you have got CEVs out there,  
12 and you have got HUTs. There is a couple of sizes of  
13 each of those. Both of them are actually fairly large,  
14 and they use rack mounted equipment, as we all know.  
15 And, yes, they were designed to serve POTS.

16          But what is really happening out there is that  
17 the technology is shrinking. Even the POTS serving  
18 technology is getting more and more efficient. The form  
19 factors are decreasing. And so you can actually fit --  
20 if you take out the old stuff and replace it with new  
21 stuff, even for POTS, you can create more space in those

1 existing larger kinds of configured RTs.

2           So for CEVs and HUTs, these are -- they had to  
3 be air conditioned because the generation of technology  
4 that was deployed in those facilities required  
5 conditioned space. The cabinets of today, they still  
6 require heat dissipation, but it is done by fans as  
7 opposed to by having controlled air conditioning spaces.

8           So we think it is possible under any  
9 configuration, whether it is a CEV -- I appreciate SBC  
10 saying they are going to build new CEVs that have extra  
11 space for competitors' equipment. That is a good thing.

12          We think that is what they are supposed to be doing.  
13 But it is even possible to deploy even the cabinets in  
14 sizes that are big enough so that you have some extra  
15 space for competitors.

16           I'm not talking about, for example, the -- I'm  
17 sorry, Neil. The Alcatel 2016 is a very nice unit. It  
18 has got basically three racks on each side. If you had a  
19 unit that had four racks, right, and add an extra two  
20 feet to the cabinet, that would give us enough space to  
21 collocate what we call kind of pizza box type equipment.

1     These are 2 inch tall DSLAMs, basically, that fit in  
2     racks. It is possible to do that. And you can do that  
3     by saying I'm not going to buy a cabinet that only has  
4     three racks wide. I'm going to make it four racks wide  
5     or five racks wide. And these choices can be made right  
6     now because right now is when the new RTs are being  
7     rolled out.

8             MR. SICKER: So to summarize, you think RTs  
9     that are being deployed should be deployed in a large  
10    size to accommodate, and those that are the older  
11    generation should be -- the gear that is in there should  
12    be taken out and replaced with -- you said that earlier,  
13    didn't you?

14            MR. BOWEN: Yeah.

15            MR. SICKER: You agree with that, or you --

16            MR. BOWEN: Well, what I mean to say is -- I'm  
17    not saying that the RBOCs (phonetic) should rip out  
18    existing equipment just to rip it out to make space.  
19    That is not our suggestion.

20            MR. SICKER: If the need is there, you believe  
21    that it should be done?

1           MR. BOWEN: As they change out their equipment  
2 for newer generation equipment, the form factors tend to  
3 be smaller. And so there tends to be -- not in every  
4 case, but in many cases, there is space even in existing  
5 CEVs and HUTs for additional CLEC equipment. And as they  
6 build new CEVs and HUTs, they can obviously size those  
7 because they know that we want to collocate in those as  
8 well.

9           So from our perspective, the right answer is  
10 always let's make sure there is room enough for everybody  
11 to be there, whether it is an existing one or a new one.

12           MR. SICKER: Okay. John?

13           MR. REISTER: John Reister, Copper Mountain. I  
14 would like to -- I concur with many of Steve's comments.

15 Wayne, you made a statement that there is no economic  
16 way to add space to existing cabinets. And I guess I  
17 would want to probe on that in terms of, you know, have  
18 you looked at, you know, collocation, nonrecurring and  
19 recurring revenues from CLECs that you could get from  
20 that space, the additional revenues you get from the  
21 broadband services that you are providing in that in

1 terms of -- and then I don't know per se what would be  
2 involved, you know, in adding a rack to a cabinet, how  
3 difficult that is and why it is not economic.

4 MR. MASTERS: Two or three things. First, you  
5 have to recognize that well over half the RTs and  
6 cabinets we are ever going to deploy are already out  
7 there. They are in place, where they are, they are.  
8 Particularly in California, Steve's territory, is we have  
9 cities with unique mindships about what should be placed  
10 in the right of way and the condition of rules. Some say  
11 things can't be more than 37 inches tall. Any flavor of  
12 restrictions you want to have, I can find a city that has  
13 them.

14 As we are pushing these deeper and deeper into  
15 the network, we are getting closer and closer into the  
16 neighborhoods, we are running into more and more  
17 restrictions and city and styles. People have gotten  
18 very creative in how they configure these things, hide  
19 them, shrub them, all those things. So even what we  
20 decide in this room, we still have to deal with the  
21 cities and right of ways.



1           So can you make them bigger? You can build  
2 anything. You can build a football field sized  
3 something. I don't think we want to put 40- or 50,000 of  
4 them out there.

5           Now can you make an existing cabinet bigger?  
6 It is in the existing system. Making one bigger going  
7 forward defeats the plan of pushing deeper into the  
8 neighborhoods because I am going to run into more and  
9 more restrictions. And then even we did, you would only  
10 have a certain percentage of your locations. You would  
11 never have a ubiquitous cover of a neighborhood because  
12 half of those locations already exist.

13           That's why we took the approach of taking all  
14 of the embedded base and existing ones and making the  
15 space available to all through a service offering.  
16 Basically, you put technology in, you make that  
17 technology available to everybody in equal terms and  
18 conditions, and you live within the space you have or can  
19 obtain.

20           Also, it would take a great deal of time to  
21 augment the existing ones, our new ones going forward.

1 And if you also say, well, wait until all new ones going  
2 forward, your market coverage is going to be very slow.  
3 I don't know that people in this room can wait to cover  
4 this market with cable out there.

5           So, yeah, we have looked at maybe the physics,  
6 not the economics, of how would it cost more than an  
7 engineering standpoint, of what it would cost to enlarge  
8 a box, and if so, how many CLECs you can put in. In our  
9 last industry forum in March, there were 34 CLECs. I  
10 have no idea of the number of manufacturers they would  
11 require. Or say there was only five different  
12 manufacturers. Would they even share?

13           We could not come up with any scheme. You  
14 might get one or two in a location, but how do you get 34  
15 in a location on every street corner? Some people have  
16 used the word village. If you have seen 15 newspaper  
17 racks on a corner somewhere, how do you live with that in  
18 the environment? It may be theoretically possible. We  
19 just don't think it has been possible in the communities  
20 we live in and work in to do that. That's why we elected  
21 CEVs and HUTs. They are underground, they are easier.

1 They are kind of big, 20, 25 percent more doesn't seem  
2 that large going forward. But that also doesn't help in  
3 the CEVs and HUTs I already have.

4 So half of what you are going to deal with is  
5 already out there, if not more.

6 MR. REISTER: Just to respond, I think you'll  
7 find that the newer generations of equipment come down in  
8 both space and power. So it does seem like one of the  
9 principle challenges then is in the existing --

10 MR. MASTERS: Communications.

11 MR. RANSOM: Let's assume that there are no  
12 responses to that, okay?

13 A follow-up to what the network operators had  
14 said earlier, another trend which may be interesting to  
15 include in this we have seen -- and my name is Neil  
16 Ransom from Alcatel -- is that the sizes of the RTs are  
17 getting smaller as time progresses, as the technology of  
18 doing digital carrier and fiber optics gets more  
19 economic, it is feasible to put fiber deeper and deeper  
20 in the network, therefore each RT serves fewer numbers of  
21 homes. Perhaps the extreme are some of the things that

1 Bell South has been deploying with only four to eight  
2 homes sharing a given fiber to copper interface.

3 I think that is important to consider as we are  
4 thinking about colocation. It is one thing when we are  
5 talking 2,000 lines, something else if we ever talk about  
6 four and eight line, what would be economic and sensible  
7 in those kind of environments.

8 I guess I would agree that we do shrink wrap  
9 our equipment, and not just in the size of the cabinets  
10 -- and this is something else we have to consider as we  
11 talk about this today -- there is the issue of the amount  
12 of power that is available. All of these have to have  
13 battery backup to provide continuous service, even when  
14 the power goes off. And that is expensive to do, and we  
15 put the least amount necessary in order to serve the  
16 equipment. And also, the power dissipation, which is  
17 also a problem in RT cabinets. Since we don't have air  
18 conditioning, we have to design the equipment at the  
19 minimal power so that it can exist in these cabinets that  
20 are out in the sun.

21 So when we talk about what is available in

1 these cabinets for colocation, don't forget the power  
2 which would have to be considered as well as heat  
3 dissipation issues as well.

4 MR. SICKER: Yeah. We are going to get into  
5 those issues. But on your earlier point, I don't want to  
6 digress into kind of the economies of scale of when do  
7 you not create colocation. But I get the point that you  
8 were suggesting, is at some point you get down to four or  
9 five or eight or twelve, it just doesn't make sense to  
10 try to collocate? Is that -- it is just going to be one  
11 provider probably?

12 MR. RANSOM: Well, it is certainly more of a  
13 challenge to do that economically. And we would have to  
14 recognize because we certainly serve the CLEC market as  
15 well as the ILEC market in trying to understand  
16 colocation. That becomes even a more challenge to do  
17 that economically if you have a small line size, where  
18 among those four to eight homes, you are lucky if you are  
19 to have one customer there at all.

20 MR. SICKER: Right. I was passed a note to ask  
21 the speakers to actually speak into the microphone

1 because apparently the people aren't hearing in the  
2 audience.

3 On Wayne's discussion of platforms as a means  
4 of getting broadband services, let's jump to the  
5 questions headed number two, purchase of advanced service  
6 platforms for incumbent LECs. We can probably just jump  
7 down to what are the pros and cons of this situation. I  
8 would like to actually turn it over to the competitors'  
9 side at this point. Mike?

10 MR. OLSEN: Northpoint Communications. It  
11 seems to me from the competitive carriers -- facilities  
12 based competitive carriers that no single solution is  
13 going to serve all, but there will be some variety  
14 because there are limits on each. For example, on  
15 collocation, there is going to be technical feasibility  
16 and economic feasibility limits, both from the  
17 incumbent's ability to collocate and the competitor's  
18 ability to finance. For example, if you are passing four  
19 homes, you would have to have all of them to justify such  
20 a deployment.

21 So that is an alternative. It is an important

1 alternative. But the broad band you need is also an  
2 important opportunity for ensuring that end users can get  
3 a variety of service providers. And we have as an  
4 industry made some objections to the broadband UNI. Most  
5 importantly is that that broadband UNI, that is, the  
6 facilities that underlay that, for example, the Alcatel  
7 platform, has fairly robust capabilities. Those robust  
8 capabilities include the ability to support some of the  
9 services that today only the DSL CLECs are pushing on.  
10 And our concern about the deployment of the broadband UNI  
11 as presently styled, at least in the one example we have  
12 from SBC, is that it limits some of those opportunities.

13           So in the broadband UNI area, we think it is a  
14 good idea. It certainly has some pros in making sure  
15 that end users can get a variety of services. But it  
16 shouldn't be hobbled. That is, even though the incumbent  
17 may choose, for example, not to do voice over DSL or to  
18 support high speed out of that platform, we like that  
19 platform in a lot of respects. We think we might even  
20 like it better than you because we want to use quite a  
21 bit of it.

1           So we want to make sure that all of the  
2 capabilities of that equipment are unleashed and the  
3 competitors, whoever they may be, including the ILEC and  
4 its affiliates, can exploit that to bring innovative  
5 services to end users.

6           MR. SICKER: I just wanted to -- I have two  
7 things. First of all, we are trying not to use the term  
8 broadband UNI here because it is not a UNI. Second, I  
9 probably should have explained what a platform actually  
10 is. In this case, what we are -- particularly to the  
11 audience. I think everyone around the table understands  
12 what this means. But what that option provides is a  
13 method for the competitors and the data affiliate to be  
14 able to purchase a platform to provide band services from  
15 the incumbent and use the incumbent facilities. So  
16 rather than collocate, obviously, this means getting  
17 something from the CO side of the connection.

18           So with that --

19           MR. BOWEN: Doug, could I add something?

20           MR. SICKER: Please.

21           MR. BOWEN: Okay. This will be a bit more



1 technical. Let's take a hypothetical RBOC from San  
2 Antonio, but not talk about any particular vendor.

3 (Laughter)

4 MR. BOWEN: What is possible to do right now  
5 with, let's say, an Alcatel platform and their ADLU card  
6 is to -- basically, it is an ATM, asynchronous transfer  
7 mode, serving technology. It is packets. And we all  
8 know that ATM as a technology supports a variety of what  
9 is called quality of service levels. So, for example,  
10 you can get a quality of service level called unspecified  
11 bid rate, which gets you Internet access because it has  
12 high delay or high latency potential to it.

13 That is one kind you can offer. That is one  
14 kind we are being offered by some hypothetical RBOC. But  
15 some of the things that Michael was talking about, for  
16 example, voice over DSL, video over DSL, these are all  
17 possible as well over ATM. But you have to have a  
18 different kind of quality of service. You have to have  
19 like a constant bit rate which has lower latency, lower  
20 delay, so you don't get the conversation with the moon  
21 kind of phone calls.

1           And so ATM technology has already had these  
2   quality of service levels of parameters specified for a  
3   long time. This information is included in the packet  
4   header for every packet that goes out on ATM. And so all  
5   you have got to do is use your element manager, so-  
6   called. It is the way you configure these circuits to  
7   say I want no specified bit rate on this universal  
8   circuit, or I want constant bit rate, and there is four  
9   or five or six of these things.

10           But what you get then is different qualities of  
11   services, meaning different latencies, different delays.

12   And if you use the technology, if you use Alcatel's  
13   technology as it can be used, what you can then do is say  
14   I want more than just unspecified bit rate. I want to be  
15   able to use all of these different flavors that the  
16   technology allows so I can offer my customers choices and  
17   different kinds of services.

18           So that's, I think, what we are talking about  
19   when we talk about how do you use the platform.

20           MR. SICKER: That's what I said, three.

21           (Laughter)

1           MR. SICKER: I should point out it is cells not  
2 packets at ATM.

3           MR. OLSEN: I would make one brief addendum,  
4 and then I'll pass it back. One of the important things  
5 about facilities based competition is that there is  
6 innovation on two levels. There is service providers.  
7 And, of course, our vendors who we push and cajole to  
8 give us new technology so that we can race it out and put  
9 it into central offices, we need to find a way to bring  
10 that to bear on the equipment providers to the incumbents  
11 in the remote terminals, even if it is on the platform.  
12 And I think this has two subparts.

13           One is unleash the potential. For example, the  
14 Alcatel equipment is capable of a variety of ATM  
15 functions. And the next firmware upgrades and  
16 deployments are going to be capable of even more. We'll  
17 get to IP multicasting, et cetera. Those should be  
18 supported.

19           But moreover, we need to bring market forces to  
20 bear on those equipment vendors. In the present  
21 situation, they are going to have one or two buyers or

1 three buyers, which would be the incumbents, whereas our  
2 providers have a number of them. And that competition is  
3 good and brings no innovation.

4 We should find a way to make sure that the  
5 market forces -- that is, the CLEC demand, customer  
6 demands, and ILEC demands -- are brought to bear on the  
7 Alcatel's or UMCs either directly by permitting CLECs to  
8 purchase from them and install the new technologies, or  
9 through some forum like OBF or ENRIC (phonetic), which  
10 ensures that the incumbents are responsive not just to  
11 their affiliate or their own services, but to the demands  
12 of the customers and the CLECs in permitting those  
13 upgrades, software upgrades, et cetera.

14 MR. SICKER: I think you have a response to  
15 this, so let's --

16 MR. KIEDERER: Well, maybe just a few things  
17 from an engineering viewpoint to clarify. And I'll let  
18 our vendor representative add some things as well, I  
19 believe. But in terms of talking about the DSLAM as an  
20 ATM switch, while that has ATM functionality, I wouldn't  
21 quite classify it as an ATM switch that can do all of the

1 things that an ATM switch can do today. But again, I'll  
2 let Neil talk to that a bit.

3 But I think the other thing you need to  
4 consider from an engineering perspective is that we have  
5 to remember what this was being deployed for and how much  
6 functionality you have got to put into it. And this gets  
7 to the issue of business plans, which is kind of out of  
8 the realm of this forum. But you have got to look at --  
9 if you are going to be providing things like constant bit  
10 rate, which I don't think you can do today, at least out  
11 of the existing RTs that I am aware of, you have got to  
12 remember that that bandwidth is going to eat into the  
13 bandwidth that you have available for everybody else.

14 Those are applied on a service specific basis,  
15 not on a CLEC specific basis. To the degree you have  
16 customers that require that, you have to dedicate that  
17 bandwidth to them. You can't use it for anybody else.  
18 So that results in an engineering issue of how much is  
19 that backbone facility that is now coming back from that  
20 RT going to be used. What kind of services is it going  
21 to offer. Who are the services going to be directed to?

1 Is it going to be a mass market kind of a service that  
2 would not necessarily require a CVR? Or is it going to  
3 be more of a business service that potentially would  
4 require CVR?

5 But all of those factors need to be considered  
6 in the engineering design of what you finally end up  
7 with.

8 MR. McNAMARA: Maybe just a couple of points  
9 there. The issue of latency, actually, CBR tends to  
10 average more latency than UBR does. So the variation is  
11 a different issue that UBR is subject to and may have an  
12 effect on voice over DSL.

13 So far as doing voice over DSL, there is  
14 nothing within platform, either the light span platform  
15 or the DSLAM platform that would prohibit a CLEC from  
16 doing that. It is just an incumbent ATM capability, and  
17 do whatever you want with it.

18 I think I can say unequivocally at this point  
19 if Alcatel gives us capabilities and release that you  
20 don't intend to use, but a CLEC would like to use, that  
21 we'll make it available at cost as soon as we can manage

1 it.

2 MR. MASTERS: Wayne Masters again. Obviously,  
3 we are very pro the solution of using a platform or a  
4 broadband service offering because it solves the problem  
5 of colocation and universal coverage. It is very hard to  
6 market on an RT by RT basis, our coverage anyway.

7 Obviously, our vendors, all of them, have great  
8 plans and are working very hard to put a lot of service  
9 out. We are building our broadband initiative just for  
10 ADSL. It is this first service, it is not the last  
11 service. It is kind of the platform service. The point  
12 is, this platform provides all of our services at this  
13 location, from POTS -- and again, over half of the  
14 customers in these locations will never have broadband  
15 needs. They will have POTS forever.

16 So all CBR is technically capable, and most of  
17 our vendors now are in short time frame with various  
18 degrees of restrictions. CBR versus universal bit rate  
19 is basically an engineering economic decision. You can  
20 take a central office analogy. You can do the same thing  
21 -- why don't we take a common transport trunk group

1 between two offices and dedicate transport to particular  
2 customers? That is called a private line. And you can  
3 do either of those.

4 It is simply a case of engineering economics in  
5 doing it. We are quite willing to consider any service.

6 And like Bell South, we intend to roll out many new  
7 services on these platforms. We'll entertain services  
8 with anybody that can sell them on the marketplace or  
9 consumers want. And again, our initiative here is sell  
10 DSL. Let me be real plain about that. We want DSL sold  
11 as a choice of broadband service to the end user  
12 consumer.

13 There has to be economics involved, engineering  
14 involved. I'm not going to activate a CBR and kill all  
15 my POTS voice service. But all of those can be  
16 engineered out. The platforms have that flexibility  
17 going forward. And it is just like basically straight  
18 engineering.

19 MR. SICKER: I wasn't aware that the CBR has a  
20 higher jitter. Is that what you said?

21 MR. MASTERS: It has a -- excuse me. It has a



1 higher average latency than UBR does.

2 MR. SICKER: Neil.

3 MR. RANSOM: Well, I'll address that technical  
4 thing, maybe nick another comment. Oftentimes in the  
5 case of a continuous bit rate, if you are transmitting  
6 information at some continuous bit rate, you are sending  
7 out the average bit rate, which is continuous in this  
8 case. It would take a certain amount of time to send the  
9 traffic.

10 If you have the full amount available to burst  
11 out, you can burst quicker. So you know you transmit  
12 quicker, but then you might be blocked. So for a given  
13 engineering situation, you can look at size of queues and  
14 decide which one you would prefer to have, which one  
15 would give you the best average delay.

16 Obviously, in the case of jitter, continuous  
17 bit rate has little jitter to it.

18 MR. KIEDERER: That's right. Okay. That's  
19 what I wanted to clarify.

20 MR. RANSOM: I did want to comment, since my  
21 company was made reference to, obviously, we're trying to

1 introduce all of the various capabilities that all of our  
2 customers are asking for, and they are demanding all of  
3 those capabilities. Alcatel, as you know, services the  
4 CLEC community. For instance, we sell to McCloud and  
5 Allegiance and many other CLECs. And they are asking, as  
6 well as, quite frankly, the ILEC customers are asking and  
7 demanding what they are demanding to all of their  
8 suppliers for these capabilities. And our latest DSLAM,  
9 we have said -- we have announced that we were providing  
10 all of the ATM service classes, including the VDR  
11 realtime and GBR and other -- to use all the alphabet  
12 soup. We are continuing to add those capabilities.

13 I did want to, however, address your initial  
14 question, what are the pros and cons of this kind of  
15 architecture or approach to providing equal access. And  
16 I thought Wayne Masters has alluded to that in noting  
17 that as we get to the smaller sites and wanting to  
18 provide these advanced services out of those sites, that  
19 is very difficult to do economically. How do you justify  
20 the economics of upgrading a small site for advanced  
21 services?

1           If under this architecture you combine the  
2 demands of the ILEC affiliate as well as the various  
3 CLECs together, maybe finally you get enough traffic  
4 demand to justify doing the upgrade to that site. If  
5 everyone was trying to do something separate and deploy a  
6 separate DLSAM at that point, probably no one could  
7 justify adding that capability to that site.

8           So that is at least one pro argument of using  
9 the platform approach.

10           MR. SICKER: There is probably going to be a  
11 response to that one, I imagine. Can I ask one thing  
12 first? Or can I also mention something? I'll turn it to  
13 you, John.

14           The guy from Bell Atlantic -- I'm sorry.

15           MR. KIEDERER: Charlie Kiederer.

16           MR. SICKER: Charles, you said that CBR  
17 wouldn't be likely demanded in a consumer in a  
18 residential area? And I would be surprised to hear that  
19 because I would think that you would want to use CBR so  
20 that you could support other services on top of that. Is  
21 that the case, in a forward- looking basis?

1           MR. KIEDERER: I'm not saying that down the  
2 line there may not be services that would require the use  
3 of CBR. Today, for the mass-market deployment that we  
4 are looking at, we don't see the need for that.

5           MR. SICKER: Yeah. Competitors might have a  
6 different perspective there because they might want to  
7 use -- support voice off of that.

8           MR. MASTERS: Let me give you another -- as was  
9 mentioned, you could support voice over DSL today.

10          MR. SICKER: Right.

11          MR. MASTERS: We have done some numbers and  
12 found some numbers. If you are doing a mass market  
13 asynchronous type offering, there are various forms of  
14 ADSL. On an OC3, you can support somewhere between two  
15 and four thousand customers at a 1.5 rate. If you use  
16 CBR, the numbers get down in the 1 to 200 range. It is  
17 all a matter of -- it is really a matter of the -- and  
18 the service letter guarantees you want.

19          MR. SICKER: Right.

20          MR. MASTERS: And you can engineer to any of  
21 those. It is just a question of economics and sizing.

1 If the money is there and the customers are willing to  
2 pay, we're in the business of selling services.

3 MALE SPEAKER: Could I respond to that?

4 MR. SICKER: I think, actually -- Michael?

5 MR. BOLTON: I was going to say I actually  
6 agree with Charles' point that these are engineering  
7 issues, and that's why we are here today before it is  
8 built because I think what is important is to be  
9 cognizant that there are these demands. We have already  
10 started trials in the Bay area with streaming media on  
11 CBR. And we have to try to approximate that demand  
12 before we put the fiber in to make sure that we have that  
13 capacity because we think there is a robust demand.

14 And as to Wayne's point about making available  
15 all of the attributes of the product, that is very  
16 encouraging because of the things that was in the initial  
17 draft of the -- you know -- the proposed UNI was that you  
18 can get, for example, one PBC. And the voiceover DSL  
19 implementations that are being experimented with, that is  
20 a permanent virtual circuit, require two -- and I think  
21 the devices that are coming out today are capable of that

1 kind of technology.

2           So being cognizant of it and being able to  
3 support it is important to make sure that we get the  
4 maximum variety and differentiation for the consumer  
5 products that are being offered.

6           MS. MIKES: We might take a step back. What I  
7 am hearing everybody say is that it's the bandwidth or  
8 the service level agreement that really matters and not  
9 the particular flavor of perhaps the line card that is  
10 plugged in. And so could you -- if you agree with that  
11 or disagree with that, let me know if that's an accurate  
12 assessment.

13           MR. BOWEN: We don't agree with that as the be  
14 all and end all. Let me say it this way. Using some  
15 vendors platform -- pick at random Alcatel -- using an  
16 Alcatel platform for all it can be used for is one step.  
17 And that is a necessary step, but that's not sufficient,  
18 we don't think. That is, that and Alcatel, I think, will  
19 eventually build HDSL-2 cards, HDSL cards, SDSL cards,  
20 IDSL cards -- you name it, they can build it. Any vendor  
21 can build a card to support any kind of DSL technology.

1           That still puts you in a single vendor world,  
2 right? And so that's why we are saying you at least have  
3 to let us be able to use a single vendor platform for all  
4 you can use it for. But that's not enough. We think you  
5 need to go beyond that and say create enough space out  
6 there so that we can use other vendors like Copper  
7 Mountain or Paradyne or Cisco. These other vendors have  
8 very good products. We use them right now for our Home  
9 Run copper central office-based DSL services.

10           And unless there is space for other vendors'  
11 equipment out there, whether it is a CEV, a HUT, or a  
12 cabinet, then if you have let a single vendor's solution  
13 rule the day, you have really closed the network options  
14 down substantially, even though that vendor may be a good  
15 vendor.

16           MR. KYEES: I'd like to respond also, if I  
17 could, maybe just talk more -- yeah. I'm Phil Kyees from  
18 Paradyne. Thank you. Let's also talk about the  
19 customer. If there is only one service provider  
20 providing one vendor's equipment, and then some other  
21 providers are reselling that same equipment, there is not

1 a lot of innovation, not a lot of choices for the  
2 customer. And, yeah, we can think of the technologies  
3 that have been developed to date. There is asymmetric,  
4 ADSL; there is symmetric, such as G.SHDSL that is almost  
5 to its completion part as a standard.

6           So you have asymmetric and symmetric, but is  
7 that the limit, just those two? Are there other things  
8 that haven't been developed yet? Have we invented  
9 everything that is to be invented? Are we going to rely  
10 on one vendor to be able to provide all of the products  
11 for customers and other companies to resell those  
12 products, that they will provide the only -- be the only  
13 source of providing new innovation?

14           I think there is a lot more out there that  
15 customers can get as a choice. A lot more competition  
16 will cause the innovation.

17           MR. SICKER: I think, John, you probably have  
18 two points that you would like to make.

19           MR. REISTER: Yeah, thanks. Thanks, Doug. I  
20 want to echo Stephen and Phil's points. And for the  
21 people in the audience who are unfamiliar with ATM, ATM



1 essentially sets up a virtual circuit for each service  
2 that you are offering. And there is -- you can set up  
3 those virtual circuits to behave in different ways,  
4 whether they are a constant bit rate or variable bit  
5 rate.

6 But a circuit implies it is a point-to-point  
7 connection between the service provider and the customer.

8 So, for example, if you were doing best effort  
9 Internet access, you would have a UVR best effort virtual  
10 circuit. If you are doing voice over DSL, you would most  
11 likely set up a real-time variable bit rate virtual  
12 circuit for that. If, say, the subscriber had Netmeeting  
13 or, you know, wanted to access the Rhythms movie of the  
14 week, they would also -- they would get a guaranteed  
15 service.

16 So you would end up with a variety of virtual  
17 circuits that you would have. But setting up end/end  
18 virtual circuits is really just one way of networking.  
19 And there are actually many other alternatives to that  
20 relatively simply approach. You can actually route using  
21 IP addressing information. You can bridge using MAC

1 layer (phonetic) information. Multicasting is really  
2 important in terms of, you can use IP multicast.

3           So, for example, one of our customers takes in  
4 a single virtual circuit that is just a 2 megabyte video  
5 stream, and they can replicate that out to 200  
6 subscribers so that you don't have to have 200 virtual  
7 circuits down to each one of those, down to each one of  
8 those subscribers.

9           So my point in going through this technical  
10 detail is that there are a lot of alternatives. And many  
11 vendors' equipment don't offer those alternatives. And  
12 there is even new alternatives, such as multi-protocol  
13 label-switching and so on. And I would just strongly  
14 emphasize the issue on innovation when you have a single  
15 vendor situation. I think a lot of venture capitalists  
16 on Sanger Road, who are backing a lot of startups who are  
17 eager to bring some of these brand new technologies to  
18 market, would find it very difficult to fund this  
19 innovation if it can't be deployed.

20           MR. SICKER: I think I would like to turn it  
21 over to Jerry Stanshine now. He has similar questions

1 concerning the CPR. These are part of the first series  
2 of questions. Go ahead.

3 MR. STANSHINE: Actually, I was just thinking  
4 in terms of a couple of other questions. I was thinking  
5 in terms of a couple of other questions that relate to  
6 this. As we are trying to get CBR in a variety of  
7 services, I was wondering if anybody who wants broader  
8 cabinets could give some suggestions on how big the  
9 cabinets should be, how much is reasonable, how do we  
10 engineer these things.

11 MR. BOWEN: Well, we have -- Steve Bowen. We  
12 have looked at this. And as people have said, there are  
13 cabinets of all sizes. If you take --

14 MR. STANSHINE: But here is the point. Yeah,  
15 but there is a limit to how much you should expect  
16 anybody to put in --

17 MR. BOWEN: There is.

18 MR. STANSHINE: -- in anticipation maybe a CLEC  
19 will come along.

20 MR. BOWEN: But I would suggest that even for  
21 what I view as, you know, an example of one of the most

1 advanced cabinets out there, the shrinkwrap 2016, that is  
2 -- we have seen pictures of this thing before. It is  
3 basically three racks wide. And I have a hard time  
4 understanding or agreeing with the notion that if you are  
5 going to put this on a concrete pad out there with this  
6 little power supply and this little battery backup, that  
7 you can't find space for another rack.

8           In other words, instead of being three racks  
9 wide, it is four racks wide. You can build a cabinet any  
10 size you want to build it, and adding two more feet to a  
11 cabinet configuration is not going to cause -- and I'm  
12 from California. I can tell you, the California  
13 Environmental Quality Act and all of the things that  
14 Wayne mentioned are real. But nobody is going to throw  
15 up a cabinet that is 2 feet wider than it is right now.  
16 I mean, the cabinet is going to be there. And whether  
17 it's, you know, 6 or 7 feet long versus 8 or 9 feet long  
18 isn't going to make any real difference for cabinet  
19 placement.

20           The fight will come in putting the cabinet  
21 there in the first place, right, the new cabinets.

1           MR. STANSHINE: But do you have anything -- so  
2 you would have in mind one rack wider.

3           MR. BOWEN: I think you need at least one more  
4 rack. There is one rack that -- well, there are two  
5 choices. Well, the front and back, you mean.

6           MR. STANSHINE: Yeah, front and back.

7           MR. BOWEN: Well, one rack wider, but front and  
8 back, yeah.

9           MR. STANSHINE: I mean, we are looking for a  
10 balance here.

11          MR. KYEES: If I could, how many CLECs could  
12 fit into one or two extra racks? Is it one per CLEC?

13          MR. REISTER: Copper Mountain today has a 48  
14 port DSLAM. It is about 5 inches high. We have a 24  
15 port DSLAM that is about 2 inches high. So I expect  
16 you'll see innovation in density over time.

17          MR. BOWEN: Let's be clear, you need -- it  
18 isn't just the DSLAM, right? Wayne was going to say it  
19 anyway, so I'll say it. It isn't just the DSLAM. You  
20 are going to have to have an air drop multiplexer in  
21 there. You are going to need a fiber optic terminal in

1 there. All these things right now are integrate in some  
2 manufacturer's RTs. You need to have that equipment in  
3 there. That equipment also can live within that kind of  
4 footprint.

5 So it isn't just a question of, you know, 2  
6 inch pizza box DSLAMs. You need the supporting equipment  
7 to hand off the signal to the RBOC to multiplex it up and  
8 go back on the fiber.

9 And speaking of the fiber very quickly, I don't  
10 think there is any real issue, no matter what Charles  
11 says, about bandwidth. I mean, you have got fiber going  
12 back to the office; if your OC3 can't handle it, guess  
13 what you do? You make it an OC12. If that can't handle  
14 it, you bump it up again. I mean, the fiber is out there  
15 right now under certain carriers' projects that I can't  
16 mention. There will be more fiber going out there right  
17 now as well. So there will be enough fiber out there.  
18 It is just a question of the electronics, which is  
19 economics. I understand that. But it is possible to  
20 bump the bandwidth without limit, basically, with today's  
21 technology.

1           MR. COOPER: Could I follow up on that? I just  
2 want to be clear. Does that mean that the backhaul is  
3 not an issue, mixing ADSL and other kinds of DSL?

4           MR. BOWEN: Absolutely. That is not an issue.  
5 I mean, right now you could figure a network with a  
6 separate fiber carrying OC3C data stream if you want to,  
7 but you don't have to because right now interoffice  
8 transport, you have got, you know, signals of all kinds,  
9 regular old circuit switch traffic, ATM traffic, frame  
10 traffic. You name it, it goes across the same fibers.  
11 There aren't separate fibers right now interoffice for  
12 separate kinds of technology really.

13          MR. STANSHINE: Okay. I'm not necessarily  
14 looking for detailed entries at this point.

15           But, you know, we are looking for an idea of  
16 how much bigger does seem reasonable, do you need an  
17 add/drop box, do you need additional power, how much?  
18 Just get an idea of what it takes to make an effective --

19          MR. BOWEN: All of the equipment needs power.  
20 And Neil was right about -- we agree with that, that is  
21 that when you add more equipment -- for example, right

1 now, the Alcatel 2016 --

2 MR. STANSHINE: Because I take seriously --  
3 excuse me -- the question raised, yeah, you can make it  
4 infinitely big, and it costs infinitely much. So we are  
5 looking at making it a little bigger and do some good.

6 MR. BOWEN: A little bigger is enough.

7 MR. STANSHINE: Okay.

8 MR. BOWEN: That is, you have got to support it  
9 with power, you have got to support it with battery  
10 backup. We agree with that. As a matter of fact, right  
11 now you couldn't configure the Alcatel 2016 with ADSL  
12 cards because there would be -- it couldn't handle the  
13 heat dissipation. If you put all the cards in there as  
14 new or used cards, it couldn't handle it. But that will  
15 get better. Right, Neil? That will get better.

16 (Laughter)

17 MR. BOWEN: My point is that I'm not trying to  
18 belittle and say all we need is a pizza box DSLAM. We  
19 need to have a slightly larger cabinet. We need to have  
20 power support, battery backup like everybody else does  
21 for that equipment.



1           MR. STANSHINE: Even if you are in the cabinet,  
2 you need to get the copper?

3           MR. BOWEN: Yes.

4           MR. STANSHINE: I don't know how the copper is --

5           MR. BOWEN: You need to get to that. And you  
6 can do that when you bring a feeder cable in, as more  
7 architecture as it. Instead of putting all of the pairs  
8 from that feeder cable into the back plane  
9 of the DLC, you peel off 100 pairs and you put over  
10 onto this -- to this rack over here for somebody else's  
11 use. That's a very simple thing to do.

12           The copper is just a big fat cable in a sheath,  
13 and you can pull pairs off as you choose as you install  
14 it. So we're saying, you know, a little bit bigger  
15 cabinet, sufficient power, sufficient supporting  
16 equipment so we can handle a DS3 or an OC signal back to  
17 the RBOC for transport.

18           MS. MANCHESTER: This is Linda Manchester from  
19 Lucent Technologies. I would just like to make a couple  
20 of comments. The first is I would like to first go on  
21 record of saying that I think that there is a good reason

1 to have a platform that is shared for both narrow-band  
2 and broadband services in order to get economies in scale  
3 and to be able to get deployment quicker to the  
4 population.

5           There is also a savings there because you get  
6 to share management systems, powering. You get to share  
7 all of the interfaces and the common infrastructure of  
8 the cabinet.

9           MR. STANSHINE: Okay. So you are talking about  
10 a -- I guess to understand, you are talking about a  
11 common cabinet. You are not necessarily talking about a  
12 common system in the cabinet?

13           MS. MANCHESTER: No. I'm actually talking --  
14 in this particular case, I am talking about both, a  
15 common cabinet, and within that cabinet, there are very  
16 positive reasons to have an integrated solution, of which  
17 case you would wholesale, you know, like technology out  
18 of that and be able to provide services.

19           The pros on that has to do with the economies  
20 of scale, sharing of commons, as well as getting  
21 deployment there quicker once you have the platform in

1 place. There is also a need in some cases to have the  
2 ability to collocate. And I think we have heard that  
3 there are offerings to put collocation space. The two  
4 reasons to collocate, I would say, would be 1) because  
5 you want to provide innovative technology that isn't  
6 readily present yet on the platforms of choice. And  
7 there is something there, a smaller entity that you want  
8 to put out there.

9           Now that would say that if you are going to put  
10 multiple of those in, you are talking about small  
11 deployment rates, and you are talking about now where you  
12 cannot necessarily share all of the infrastructure  
13 appropriately in that cabinet. So you do have the  
14 additional space of the power or the cabling. But the  
15 thing that we are also missing here is there is a  
16 complete management scheme that you have within that  
17 common cabinet. And there is a management of what do you  
18 do with the battery backup and all of the powering of if  
19 power goes off and how do you treat each and every one of  
20 the systems.

21           Today, in a single cabinet, it is treated as a

1 unit, not as separate entities. So that is a very  
2 complex management issue in those cases that would need  
3 to be addressed.

4           The second reason to have co-location could  
5 perhaps be that you have such a take rate of what you  
6 want that it actually is economical as a competitive LEC  
7 to come in and own your own facilities and own it at a  
8 scale that is reasonable and is economical for you. Now  
9 at that point, I think you need to look at, based on what  
10 we heard about spaces and how much space there is for  
11 colocation, now that I think takes you out of the common  
12 cabinet approach.

13           So, Jerry, you are looking at me --

14           MR. STANSHINE: The last sentence, could you  
15 back up --

16           MS. MANCHESTER: The last sentence is there is  
17 two reasons for colocation. The second reason, being the  
18 last sentence, is that you want actually a greater take  
19 rate, that you need a larger system and not necessarily  
20 the pizza box space systems that John had talked about.

21           In that case, I think that we have now moved

1 out of the space of being able to be collocated within a  
2 cabinet. Maybe in a CEV or HUT, but not in a cabinet.  
3 And I think we have to take that into consideration, too,  
4 because there is a difference. If it is a single  
5 technology and it is low take rate, one has to also look  
6 at are you utilizing that free space within the cabinet  
7 appropriately for an entire system, from power through  
8 management, in order to be able to bring only a couple of  
9 lines of that technology to the market.

10 MR. BOLTON: Jerry, this is Gary Bolton from  
11 Catena Networks. I just wanted to follow up on Linda's  
12 comments. And I think, you know, if we look at the  
13 ultimate focus here is to provide advanced services to  
14 all Americans. And, basically, if you look at the  
15 subscriber -- and the way to do that is to make broadband  
16 access ubiquitous, as ubiquitous, say, as POTS network  
17 is.

18 So today, if you look at the POTS network, you  
19 know, there is 175 million telephone lines out there, so  
20 it is very available and it is very affordable. And if  
21 we are going to get broadband access available to all

1 Americans and make it that level of affordability, we  
2 have to drive the economics and make it very economically  
3 viable to provide that service.

4 I don't think anybody on the panel would  
5 disagree the most cost-effective way to do that is  
6 through integration. And as we start pushing fiber and  
7 fiber deeper and closer to subscribers, the number of  
8 subscribers that we are amortizing, the back-hall  
9 facilities become smaller and smaller. So it becomes  
10 very difficult for service providers to have viable  
11 business cases to go out and put all kinds of overlay  
12 equipment out there.

13 So I think if you look at it from a perspective  
14 of the choice of pairs in place, and how do you manage  
15 that spectrum of the choice of pairs -- so if you have,  
16 you know. 1.1 megahertz of spectrum to work with, you  
17 know, you really to be able to take that and be able to  
18 manage services on that loop and to provide a single  
19 point of access to be able to terminate that loop and  
20 provide both, you know, your POTS, say, and broadband  
21 access at the most cost-effective means, and then be able

1 to share those facilities.

2           Since I think that's what, you know, Linda was  
3 talking about having a product and the service offering,  
4 that it is all integrated into one point of access.

5           And this one further point to that is, I think  
6 we have to be very careful as we are looking at this  
7 moment in time, that if we start looking out the next  
8 couple of years, if we start putting things like  
9 mechanical POTS footers as regulatory demarks between  
10 services, then we start to strand bandwidth. And I think  
11 that we can't afford to -- you know, while in the past, 4  
12 megahertz -- or, excuse me, 4 kilohertz, seemed like a  
13 lot of bandwidth for POTS, and then 56 kilobytes seems  
14 like a lot for a modem, now we are talking about having  
15 enough spectrum to provide DSL.

16           Well, by putting the POTS footer in, you lose  
17 27 kilohertz of prime bandwidth, and you strand both the  
18 base band of the F part of the spectrum to the DSL part  
19 of the spectrum.

20           So with all of the technology innovation going  
21 on and the amount of money being poured in by Silicon

1 Valley, you have to be very careful not to do that and to  
2 be able to provide the widest range of options to be able  
3 to provide services over the loop.

4           So I guess in summary, if you look at POTS as a  
5 volume service today in the way we deploy POTS, and it is  
6 ubiquitous, I think we have to use that same model to  
7 deploy broadband and to make sure that we deploy  
8 broadband in a way that it is a volume service. So don't  
9 look at it trying to say how can we deploy like a niche  
10 service, you know, to make cabinets a little bit bigger  
11 or do something a little bit different to be able to do,  
12 you know, here and there, but look at it from a holistic  
13 perspective of how do you make the most cost effective to  
14 all Americans.

15           MR. STANSHINE: Okay. I am going to -- the way  
16 I hear the summary of the two arguments at this stage, a  
17 relatively modest -- somebody shows a relatively modest  
18 cost. An increase in the size of the cabinet would allow  
19 the CLECs to put their own equipment there conceivably.  
20 But it nonetheless is not the most efficient way to spend  
21 your resources.



1 MR. KIEDERER: One other comment to that.

2 MALE SPEAKER: I'd like to add that, too, when  
3 he is done.

4 (Laughter)

5 MR. KIEDERER: You know, yeah, perhaps it is  
6 not the most efficient way. But I think we also need to  
7 think about, you know, how do -- logistically, how do you  
8 really handle something like that? I mean, the  
9 suggestion was made, yeah, you can add one more shelf.

10 If you can put in three, three bays, you can  
11 put in four bays. Yeah, and that is probably true. But  
12 who is going to deal with the issues -- and it is usually  
13 going to come down to the incumbent LECs -- of all of the  
14 right of way of the zoning issues of dealing with the  
15 local municipalities, of some of the implications that  
16 Wayne mentioned earlier about the fact that you are  
17 limited in many municipalities to what you can put in  
18 terms of size.

19 So it is not just simple to say you can add  
20 another bay. And is one bay enough? I mean, how many  
21 CLECs is going to come into a particular location? Is

1 one bay enough to cover two competitive local exchange  
2 cabinets? What happens when the third one comes in?

3           The comment was made that, you know, the RTs  
4 are becoming the COs of the future. Well, they are not  
5 central offices. In no way, shape, or form are they  
6 central offices. They are not hundreds or 200,000 square  
7 feet, you know. We are talking about 60 square feet of  
8 space that we are dealing with.

9           And then the one thing that was mentioned here  
10 about efficiencies, you know, what happens as you start  
11 deploying equipment in there, and that space, which  
12 typically today is used very efficiently, all of a sudden  
13 becomes a nonefficient use of space because you do have  
14 multiple providers within that particular location.

15           And then the one topic that hasn't been done  
16 yet because this is a technical type of forum, but on all  
17 of these issues that we are talking about, there is  
18 always an operations and systems implication that needs  
19 to be addressed. And those typically become the black  
20 hole. Not the technical part of it, but it is the  
21 operations and systems.

1 MR. MASTERS: A follow-up. We talked about --  
2 because we looked hard at this. If I could have solved a  
3 problem by putting 2 square feet more of cabinet  
4 somewhere, if I could -- the embedded base was a killer.

5 If you are trying to market in a -- most RTs feet around  
6 large ones, 800 homes. That is the largest. Then it  
7 goes down to four to eight homes.

8 So you get into how can I -- who am I to make  
9 the rule that there is going to be one, two, three, or  
10 four CLECs in these.

11 MR. OLSEN: I thought that the earlier  
12 announcement from SBC was going to be 20 million loops on  
13 20,000 RTs, which would suggest an average of about 1,000  
14 per RT, which is larger than what you were describing --

15 MR. MASTERS: Yes, but there is a piece of our  
16 project is that those loops in the L zone, they cannot be  
17 served by DSL for various reasons. You are actually then  
18 taking customers working between 12,000 and 17,000 feet  
19 today, and you are given the ability to recon them to a  
20 RT. So there is more RTs in that homes.

21 It works out between the larger 800 to 1,000.

1 But a new one going in historically is around 800. It  
2 can vary all over the map. That's the large end. Then  
3 it goes so much smaller.

4 OSS and systems is a big one. We talk about  
5 putting a piece of copper in there and crossconnecting  
6 it. That is a miniframe. All these eats space up very  
7 quickly. We had studies done. We could find no way with  
8 the extra bay to put more than one, possibly two in. We  
9 just didn't think this solved the problem.

10 Then you get into all of the OSSs from  
11 alarming, maintenance, provisioning, testing, all of  
12 those things that fall on there. We just came back with  
13 that the universal platform was a much more economical  
14 way to get the mass- market format and do it now, and not  
15 wait until all boxes were redesigned or redone because  
16 I'd ask you how long it would take to redesign a 2016  
17 cabinet to provide another rack space, and you'll give me  
18 more than a day, just timewise.

19 MR. REISTER: The systems issue applies to  
20 whether it is an integrated platform, though, which is  
21 going to be management alarm provisioning, or if you have

1 separate units. So the systems issue, I think, is  
2 similar. And I just would quickly counter, we do have  
3 customers applying 24-port DSLAMs in buildings, and they  
4 put it in the basement of the building. And they can  
5 make the economics work.

6 MR. KIEDERER: It is a lot cheaper to do it in  
7 the basement of buildings than in the outside plant.

8 MR. SICKER: Could we move off the space issue?

9 MR. McNAMARA: Just one small --

10 MR. SICKER: Okay.

11 MR. McNAMARA: Forget about moderate cost.  
12 This is not at all moderate cost. If you talk about  
13 taking a cabinet like a 2016 and adding an additional bay  
14 on it, I can assure you that the cost of the sheet metal,  
15 the additional pad, the rectifiers, the battery, probably  
16 about \$10,000 for that additional rack.

17 MR. REISTER: Okay. Jerry?

18 MR. STANSHINE: Actually, I was going to get  
19 into the CBR stuff that Doug said I was going to get into  
20 when we started. We are looking at the bottom of page 1.  
21 There is a collection of three questions there about

1 CBR. And there is also a question about operations in  
2 support of CBR, which is the next to last question of  
3 that series under item 1. And I wanted to get into  
4 those.

5 I'll start with Neil, Dr. Ransom, at Alcatel.  
6 Can you offer CBR virtual path, virtual circuits for  
7 various parties that want it with your system, and is  
8 this useful, valuable, important for the CLECs? We'll  
9 get into managing it by the ILECs.

10 MR. RANSOM: Well, in a sense, I need a  
11 clarification on the question.

12 MR. STANSHINE: Sure.

13 MR. RANSOM: A CBR between what and what?  
14 Between a given customer's interface on -- for instance,  
15 an integrated ADSL and a digital carrier, can you offer  
16 that individual customer a CBR service. And if that is  
17 the question, then the answer is yes. In fact, our  
18 particular product, both in our stand-alone DSLAM and in  
19 the integrated light-span product can support CBR.

20 If you are talking about a CLEC providing  
21 collocated equipment and getting CBR out of it, then what

1 that implies somehow to me, to use more -- that's not  
2 what you mean.

3 MR. STANSHINE: I was now talking more in the  
4 integrated platform approach.

5 MR. RANSOM: In the integrated platform,  
6 currently we can offer CBR to individual customers. I  
7 also understood --

8 MR. STANSHINE: I'm sorry. This will be a  
9 virtual circuit as a virtual -- it is a virtual circuit.

10 MR. RANSOM: A virtual circuit in the CBR.

11 MR. STANSHINE: Yeah.

12 MR. RANSOM: Yes. In the current case in a  
13 permanent virtual circuit, CBR service to a given  
14 customer.

15 MR. ROSENSTEIN: Dave Rosenstein from Covad. A  
16 couple of things, I guess, I would like to bring up on  
17 the issue of CBR. First of all, it is a -- the way that  
18 we are looking at it initially would be CBR is not a very  
19 flexible method. But compared to UBR, CBR is the easiest  
20 way to give individual CLECs some control over their own  
21 destiny.

1 MR. STANSHINE: Feel free to mention --

2 MR. ROSENSTEIN: Well, okay. And those are all  
3 valid pieces. I kind of wanted to just focus on the CBR  
4 issue for a moment. One of the options that had been  
5 proposed would be to offer the CLECs a CBR virtual path  
6 between the Alcatel trunk and the piece of CO equipment  
7 sometimes called the OCD, and basically allow the CLECs  
8 to purchase one or more CBR paths, which to take multiple  
9 circuits, multiple end user circuits, and put them in.

10 What that would let the CLEC do is control  
11 over-subscription by service. So, for instance, I can  
12 buy a trunk of bandwidth, and let's just pick a number  
13 and say ten bag, and I can oversubscribe that by whatever  
14 factor I choose.

15 If I want to do a voice service there --

16 MR. STANSHINE: This would be from the DSLAM  
17 through whatever common ATM switch that an ILEC might  
18 have?

19 MR. ROSENSTEIN: Correct.

20 MR. STANSHINE: Right into the CLEC's port?

21 MR. ROSENSTEIN: Correct, correct. And



1 basically, what the option here would be, that a CLEC can  
2 make an economic error -- not an economic error, an  
3 economic decision. I agree, it is an economic decision.

4 I think it is our economic decision to say, well, I  
5 would like to buy this bandwidth and oversubscribe it one  
6 to one, ten to one, 100 to one, based on the type of  
7 service I am offering and the type of end user or the  
8 type of application that I am providing on that service.

9 MR. RANSOM: Well, if I might respond because I  
10 thought perhaps it was in that context that it had been  
11 brought up. And first off, whether or not the current  
12 product that Alcatel provides can take a CBR and then let  
13 that be shared, a CBR class virtual path, and then share  
14 that over some subgroup of customers so that you can vary  
15 the concentration rate and therefore a special class of  
16 service to that subset.

17 I'm afraid to say that we don't do that right  
18 now, but it sounds very interesting. It certainly  
19 doesn't violate any speed of light. It is certainly  
20 something that could be implemented and potentially could  
21 be very attractive, not just for CLECs, but for ILECs

1 themselves who would like to offer various classes of  
2 services, platinum level and gold level services to their  
3 customer. It may be very attractive.

4 MR. ROSENSTEIN: Thanks. Just to add in,  
5 that's a -- I think that is a reasonable first step to  
6 this broadband UNI portion of the discussion.

7 MR. STANSHINE: Do you know of any RT  
8 manufacturers that offer that kind of --

9 MR. ROSENSTEIN: Not offhand, I don't. It is  
10 an unusual application. But then again, that's why we  
11 are all here, right? This is an unusual scenario. It  
12 would be -- you know, the endgame, I think, would be to  
13 provide the true ATM traffic management forum for O  
14 specification classes of service, which do let you  
15 control things like cell delay revolution and to control  
16 jittering loss and all of the shaping functions that  
17 really truly you would want on a per virtual circuit  
18 basis. However, I think that may be two steps down the  
19 road.

20 MR. STANSHINE: Okay. So you are really  
21 interested in the virtual path approach, basically a

1 trunk for the CLEC rather than getting a PVC approach on  
2 each individual end customer.

3 MR. ROSENSTEIN: I'm really interested in  
4 getting ADSL access wherever the ILEC currently has ADSL  
5 access. And if the virtual path approach is the way that  
6 the vendors can more quickly provide us a reasonable  
7 solution, then I think that that would be a reasonable  
8 first step. It does give us some degree of  
9 differentiation. You know, there are a few other things,  
10 I think, that are critical for differentiation here and  
11 not --

12 (Simultaneous discussion)

13 MR. STANSHINE: -- the virtual path approach  
14 does.

15 MR. ROSENSTEIN: Virtual path over pure UBR.

16 (Simultaneous discussion)

17 MR. STANSHINE: The virtual circuit approach is  
18 not --

19 MR. ROSENSTEIN: The virtual circuit approach  
20 actually has a lot of benefits that are even better. You  
21 still are operating in an environment now where you are

1 competing with other types of traffic from other vendors  
2 that you don't necessarily control. But to the degree  
3 that it lets you control many more -- if they were to  
4 support all of the traffic management classes and all of  
5 the features that go with them, that would be useful.  
6 But virtual path does the job for now.

7 MR. REISTER: Yeah. I'd like to contribute to  
8 this. I think to augment your point, CBR is a very good  
9 idea as a virtual path because -- think of it like a T1  
10 or a DS3, that you have got -- the CLEC now has that  
11 guaranteed chunk of bandwidth. And with CBR, no other  
12 service can interfere with that.

13 MR. STANSHINE: Again, you are talking  
14 virtual --

15 MR. REISTER: So the point is it is just like  
16 when a CLEC is in a central office and buys a DS3, and  
17 they can put several hundred customers' virtual circuits  
18 inside of it. But doing CBR on a per customer basis  
19 would be bordering on stupid because then you have to  
20 permanently allocate bandwidth per customer, per service  
21 per customer.

1           So if a customer has five services, you would  
2 have to allocate however much to them, and you would get  
3 no statistical benefits between your subscribers, which  
4 would be awful in the outside plant in the RT  
5 environment.

6           MR. ROSENSTEIN: I just was going to say you  
7 are doing CBR per customer. It would be basically  
8 turning your ATM network into a TDM network and undoing  
9 all of the benefits.

10          MR. REISTER: Right.

11          MR. STANSHINE: But you really need both. That  
12 is, if vendors right now can support PVCs of various  
13 flavors, we need that, not just UBR. We would also like  
14 to have permanent virtual paths at CBR so that we can do  
15 our own --

16          MR. OLSEN: One important point, as we have  
17 been talking about colocation and then the platform and  
18 then maintaining loop plan, we have had broad general  
19 agreement. On this topic, we are likening a CBR path to  
20 what is essentially interoffice transport, the RT as  
21 serving as the central office. To date, only one of the

1 incumbents has committed to make that available on  
2 prices. And that is very important because that is  
3 probably the single greatest factor in the economics of  
4 competitive DSL, is that transport from the RT to the COF  
5 and the CO to CO.

6 So as we talk about it, we should be mindful  
7 that that hasn't reached broad consensus. Maybe it has,  
8 it just hasn't been articulated. But it is an important  
9 issue.

10 MR. REISTER: By the way, John, you mentioned  
11 this in the context of the integrated box. I think the  
12 CBR virtual path is critical with having a pizza box for  
13 a CLEC and then enable us to, you know, take out an OC3  
14 or DS3 or whatever the defined interface is, stick it  
15 into the Alcatel RT, and then be able to say if it is an  
16 OC3, fine, you can have, you know, 20 meg or however many  
17 meg CBR, and you can stick all of your customers within  
18 that.

19 MR. RANSOM: That was in fact the third type  
20 that I thought perhaps you might have been referring to.  
21 And that's where there is a separate collocated piece of

1 equipment they want, which I can only describe as a UNI  
2 interface, to keep our abbreviations going here. If you  
3 offer an ATM UNI, somehow drop at an RT site, then  
4 potentially something could connect to it and be given  
5 some -- well, various classes of service, and certainly  
6 CBR.

7 I would say right now we have not seen much  
8 demand for UNI interfaces directly out of a remote  
9 terminal. But that is obviously a capability that might  
10 be done perhaps at the DS3 rate or --

11 MR. REISTER: And you are referring to UNI,  
12 right, "user network interface"?

13 MR. RANSOM: Yes.

14 MR. REISTER: Not UNI, unbundled --

15 MR. RANSOM: Of course, I would never mention  
16 that one.

17 (Laughter)

18 MR. BOWEN: We can also do this via sign-up  
19 channels, too. Some of the derivation of bandwidth  
20 doesn't need to be, you know, ATM based. It can just be  
21 a straight sign-up channel as well.

1           MALE SPEAKER: That would just make that a  
2 little more expensive.

3           MR. STANSHINE: I hear what you are talking  
4 about -- now if I understand it right, is the main system  
5 box, whether Alcatel's or somebody else, now has an add-  
6 drop multiplexer that not only handled their RT, it took  
7 interfaces from other vendors' equipment, and it did ATM  
8 multiplexing before it did time division multiplexing.

9           MR. REISTER: And the add/drop multiplexing  
10 point is you are adding some complexity to it in that  
11 add/drop multiplexing is really a TDM function. But the  
12 idea was you can take an OC3 out of a pizza box, plug it  
13 into -- I'm sorry I don't know the model number -- the  
14 Alcatel product, and that OC3 would go in, but the CLEC  
15 wouldn't necessarily have to get 155 megabits. You could  
16 have a, you know, a 10 megabit, whatever the CLEC orders  
17 as a CBR virtual path, and then you could put all of your  
18 services inside of that.

19           So it is not a true -- it is definitely not an  
20 add/drop multiplexing function.

21           MR. STANSHINE: You make the DSLAM part of



1 this. You don't make the ADM part or anything like that.

2 MR. REISTER: Well, it is more of an ATM  
3 switching function than an add/drop multiplexing function  
4 in that you are taking -- you have the OC3 from the pizza  
5 box into the ILEC product, and the ILEC product would  
6 basically pull a permanent virtual path off of that OC3  
7 using ATM technology as opposed to using sonnet  
8 (phonetic) PDM time slotting into that sonnet transport.

9 MR. STANSHINE: Mr. Nokia, the cord is blocking  
10 part of your last name, Bill something.

11 MR. BUCK: This is Bill Buck from Nokia. And  
12 we have exactly that, what we are talking about here is  
13 where you can set up a -- it is a statistical  
14 multiplexer, basically ATM statistical multiplexer, where  
15 you have either, say, an OC3 or DS3 UNI in our case that  
16 we offer that could support a second device.

17 MR. GETCHELL: Wayne Getchell from Nortel.  
18 Essentially, what I am hearing from you folks is  
19 something that we have been considering now for a while,  
20 and that's the ability to share equipment by defining a  
21 service level agreement between the end subscriber and

1 the RT, and then another one from the RT back to the CO.

2 They could be one and the same, or they could be  
3 independent. But if you define specific attributes of  
4 that service level agreement, then you can define an  
5 envelope that allows you to deliver any advanced service  
6 you wish, so that envelope could contain things like  
7 guarantees of availability, bandwidth, packet delay, and  
8 you can define a variety of these for a variety of  
9 different potential applications.

10 One can also perceive of being able to provide  
11 several classes of service with each one of these SLAs.  
12 I know that we will offer on VCs or PVCs, SPCs, or VPs  
13 either UBR, UBR-plus, VBR, VBR NT, a whole variety of  
14 capabilities, as well as straight IP. So it opens up the  
15 opportunity for the competitors to go in and innovate.  
16 It also provides an envelope with which the incumbents  
17 can operate.

18 That kind of sharing also enables the  
19 incumbents to retain ownership of the equipment so that  
20 they can do fault isolation and restoration as quickly as  
21 possible. And in addition to that, it does require,

1    however, that you take a slightly different approach as  
2    well to the OANM -- that we really haven't mentioned here  
3    to any extent so that both the competitor and the  
4    incumbent have views, albeit different, with different  
5    capabilities and priorities to make changes.

6           MR. KIEDERER:  If I just may add one final  
7    comment, it has been very interesting listening to all of  
8    the vendors and what they think they can do, and they can  
9    do a lot.  They have a lot of talented expertise.  But I  
10   think in terms of a reality check, we need to keep in  
11   mind that, you know, certainly if we ask them to do  
12   something, they'll do it.  But we have got to consider  
13   there is a cost element to do that and there is a time  
14   frame element to do that, and that when it then becomes  
15   available, we need also then have to integrate it  
16   technically into the network and operationally,  
17   administratively into the network as well.

18           And in the meantime, you know, what are we  
19   doing in terms of the potential delay of these types of  
20   services to the mass market?  What is happening out there  
21   with another form of competition that is trying to do the

1 same thing, where we are all waiting for these things to  
2 happen? So I think we just need to keep that in mind.

3 MR. SICKER: We have really focused on the  
4 platform, not very -- not at really a deep level. We  
5 have focused much more on colocation. And I was  
6 wondering if there was anything more within the notion of  
7 a platform that anyone wanted to bring up before we move  
8 on to adjacent colocations.

9 MR. OLSEN: I guess I would respond briefly to  
10 Charles' point, is that we can be optimistic about those  
11 kinds of -- one is, as Lou said, we want to exploit the  
12 opportunities in the equipment, and it appears that there  
13 is quite a few. And our concern has been those shouldn't  
14 be hobbled by the way that it is offered. But concerns  
15 about operational feasibility have always been daunting.

16 For example, as I recall in the line-sharing  
17 discussions, SBC said it could take two years. But I  
18 think SBC is now on the record -- says it will be ready  
19 in May, which is just a few scant months. So the ability  
20 to overcome these have been pretty impressive. And I  
21 think that we can expect that as we discuss these

1 policies --

2 MR. STANSHINE: You think we can split on a  
3 piece of equipment --

4 MR. OLSEN: That's right. But originally, we  
5 said it was going to be a couple of years, and we're  
6 doing much better. I think that we can overcome these  
7 things. And certainly to the extent that there is  
8 customer and CLEC demand for them, we can find a way to  
9 accommodate them on a reasonable -- you know, through  
10 industry fora, et cetera, that accommodates both the  
11 concerns about rapid implementation and costs.

12 MR. KIEDERER: Not to get into a debate with my  
13 esteemed colleague there, but there is readiness and  
14 there is readiness. And while we will be able to offer,  
15 as SBC will, line sharing, you know, by June 6th, it by  
16 no means will be a simple task operationally. Just in  
17 terms of the availability of some of the systems from our  
18 major supplier and supports are embedded, our operational  
19 systems -- because the software releases will not be  
20 available until the end of the year.

21 So we are going to be doing a lot of things on

1 a manual basis.

2 MR. BOWEN: Could I just have one last point on  
3 this issue? The reality is that the planning cycles for  
4 these kinds of major upgrades are long. SBC began this,  
5 I believe, back in 1998. You know, DSE got bought by  
6 Neil's company in January or so of last year, whenever it  
7 was. The rollout of project ProlTel (phonetic) takes a  
8 long time. And I guess I'm a little bit disturbed to  
9 hear Charles and Wayne and so forth say, well, this --  
10 you know, we are not ready for this other choice when,  
11 you know, we're your best customers here. That is, we  
12 want to use the technology. But you never asked us what  
13 we wanted, you know.

14 You need to get us in the development cycle so  
15 we can say, gee, we would like to have a separate rack,  
16 or gee, we would like to be able to do all of these  
17 flavors on a single vendor platform. But you have got to  
18 -- you know, you have got to ask us before we can tell  
19 you what we need.

20 So, you know, here we are now telling you we  
21 want to do more than you thought we wanted you to do.

1 And if that involves some delay in the cycles, then so be  
2 it for a fair market. And we prefer technical solutions,  
3 but we are telling you that we think we need more than  
4 maybe you thought we did. And now is the time for you to  
5 understand that and then move forward.

6 MR. ROSENSTEIN: I just wanted to add one piece  
7 to that, if it is okay. Sorry. One thing to say, if you  
8 add some delay, so be it. I would say that I don't  
9 necessarily completely agree with that inasmuch as there  
10 are already ADSL deployments happening out of remotes.  
11 And, you know, I think that if we delay -- you know,  
12 there is a landgrab in progress. And if we delay for the  
13 perfect solution, if we don't start until we have the  
14 perfect solution, we may never start.

15 As CLECs, I think that we have to agree to  
16 start somewhere, and then, you know, make it part of the  
17 deal that we work forward to these more complete  
18 solutions, including the full -- all of the other areas  
19 of invasion that CLECs really require to do a different  
20 service, to have a service that a consumer would choose  
21 and push everybody's technology forward.

1           MR. STANSHINE: Okay. One thing that I haven't  
2 heard contradicted -- I wanted to make sure I understood  
3 -- is, we are talking an arrangement where you could have  
4 several DSLAMs in a rack. But to connect them and use  
5 fiber that is already there being used by an incumbent  
6 officially, they would have to connect to an ATM switch  
7 to do statistical multiplex. It would then have to have  
8 an interface with an add/drop box to get towards the  
9 fiber.

10           Is that basically an accurate picture of what  
11 would be needed here?

12           MR. BOWEN: It depends on whether you go with  
13 really TDO approach, you know, sign-up channels, or if  
14 you do what John was talking about, which is, you know,  
15 use existing ATM approach and avoid the actual add/drop  
16 multiplexer.

17           MR. REISTER: I mean, RTs have the ability to  
18 send T1s out on this driver side, I believe. You could  
19 just plug in, you know, clod T1 with IMA. The same  
20 concept applies to making a handful of OC3 interfaces  
21 available and then having an ATM switch behind those. So



1 you -- I mean, that's really -- it's an ATM concentration  
2 function, and ATM concentration functions are -- you can  
3 get them on chip today.

4 MR. STANSHINE: It does have to be mountable on  
5 a board, but yes. Okay.

6 MR. MASTERS: I'd like to say something. There  
7 is a lot of creativity. A lot of these things can be  
8 done and will be done through time. I hear the word  
9 delay. That is not a word I can use. We have an  
10 insatiable appetite out there for customers. If we don't  
11 provide a service to them, they will find somebody else.  
12 They are not going to wait for a perfect solution.

13 There is a way you can roll out the technology  
14 you have today and the service you have today, continue  
15 building new capability in as rapidly as possible.  
16 Vendors like to talk about what is possible versus what  
17 is shippable in what is in place today. And all of this  
18 has to be integrated in. The period is becoming more  
19 rapid and has to become more rapid. But none of us are  
20 going to agree to a delay and wait until the perfect  
21 Utopia shows up.

1           You also have to keep in mind the embedded  
2 base. You talked a lot about what can be done in new  
3 cabinets. And that's all true. But you have cabinets  
4 there with limited fiber and limited space that represent  
5 customers also that want services. But you have to find  
6 a blend of both.

7           We're willing to work with any form on that,  
8 any set of industries on that. But it has got to be in  
9 the conditions that we deploy services as rapidly as we  
10 can.

11           MR. SICKER: Okay. Thank you. As tempting as  
12 it is to maybe launch into line sharing and remote  
13 terminals, I would like to put that off maybe until the  
14 end of the meeting today.

15           I would like to now talk about the third series  
16 of questions. And this focuses on adjacent colocation.  
17 For the audience, this is the notion of having a slab  
18 where you have an RT, the ILEC's RT, and a competitor  
19 coming in and placing something next to it.

20           And the first question, I guess, is an  
21 interesting one. I would like to know are there any

1 proposals by the competitive LECs to build colocation  
2 hotels on remote terminals? Has there been any?

3 MR. BOWEN: I'll take that. This is not an  
4 attractive solution, for a couple of reasons.

5 MR. SICKER: We'll get to that. So no one is  
6 doing that? Is that correct?

7 MR. OLSEN: We're not doing it.

8 MR. SICKER: Go ahead.

9 MR. BOWEN: Okay. All the problems that Wayne  
10 identified, especially in California, about doing the  
11 cities and towns, you can just double or triple those if  
12 you want to talk about us going out and pouring our own  
13 slab and plopping our own RT down on that slab.

14 I mean, you know, it gets exponentially more  
15 difficult, first of all, in major jurisdictions. Second  
16 of all, it simply, I don't think is economic to do that,  
17 that is, although I don't agree fully with the statements  
18 that -- you can only prove in an RT if you had  
19 everybody's demand included in the calculus, in part  
20 because SBC has said these prove in on cost savings  
21 alone, stand-alone.

1           But it is true that for us to go out and put in  
2 next to our ORTs for the level of demand that, say,  
3 Rhythms is going to have, which, of course, is higher  
4 than North Points or Covad, but even so --

5           (Laughter)

6           MR. BOWEN: The level of demand an individual  
7 CLEC has for a serving area that is maybe maximum 2,000,  
8 more like a 1,000 or 800 or so forth, and the take rates  
9 you are talking about -- I don't think those kinds of  
10 things prove in on a separate, you know, CLEC-owned  
11 adjacent colocation kind of paradigm.

12           MR. SICKER: Do most of the other competitors  
13 agree with that at this point? Johanna Mikes.

14           MS. MIKES: I just wanted to take a step back  
15 in terms of the adjacent colocation issues and ask step-  
16 by-step approach, what would be involved, what would a  
17 CLEC or a separate affiliate of an incumbent encounter in  
18 terms of adjacent colocation?

19           MR. BOWEN: Okay. What you would have to do is  
20 go out and do the usual permitting things with the city  
21 or the jurisdiction to be able to do it in the first

1 place. Then it depends. That is, it depends on how  
2 cooperative the ILEC wants to be in taking handoffs and  
3 giving handoffs of the cabling.

4 For example, in SBC serving configuration, they  
5 will have an average of 20 RTs per central office. Each  
6 of those serves an average of four SAIs. Now the SAIs  
7 are fed by copper. That is, fiber doesn't go out to the  
8 SAIs, copper does. And so you get the question of how do  
9 you pick up the subscriber-side interface. How do you  
10 get the copper, you know, coming in from the houses to  
11 your RTs so you can DSLAM it.

12 Now what we have been offered so far -- I mean,  
13 technically, you need to get out there somehow. What we  
14 have been offered is you can bring your cable to our  
15 SAIs. That is pretty unattractive from an economic  
16 standpoint, I can tell you. You can probably imagine  
17 why.

18 If we could take a handoff of a portion of a  
19 feeder cable, for example, 100 pairs or whatever, broken  
20 away before it goes into the back plane of their cabinet  
21 equipment, that could be brought across underground

1 through a little conduit to come up underneath our RT.  
2 Then we would have to hand back to them -- well, that's  
3 how you get to the copper, okay?

4           You also have to get back to the network  
5 somehow. And you have to get -- you know, coming out of  
6 the back of your DSLAM, you'll have either DS3 or OC  
7 level signal, which is going to ride, you hope, fiber  
8 back to someplace.

9           Now I guess one option -- we have been offered  
10 this option as well by Bell Atlantic -- is you can go  
11 ahead and deploy your own fiber out to those RTs, knock  
12 yourself out. Not very attractive, not very attractive  
13 economically.

14           We would like to ride their fiber back. And  
15 Charles is agreeing with me now -- you are going to let  
16 us do that, right? -- you would like to ride the existing  
17 fiber back from these RTs to the central offices. And so  
18 we have to give an handoff, DS3 or OC-level handoff, back  
19 to the ILEC at their RT, and they have to be able to  
20 accept that somehow, whether it is, you know, the ATM  
21 solution or the separate TDM sonnet channel kind of

1 solution.

2           We have got to be able to hand them back, in  
3 effect, a "fat pipe" that goes back to the central  
4 office. They hand that back to us in our colocation  
5 space in the central office.

6           So basically, it is placement of the pad and  
7 the equipment. And, of course, you need the same kind of  
8 backup power, both AC and backup power, that you get with  
9 their RTs. You have to do that yourself. And then you  
10 have the connectivity issues, you know, getting to the  
11 fiber, getting to the copper. And then you have got all  
12 of the zoning issues and first acquisition.

13           So you are replicating everything that they  
14 have to do. And I can tell you, the cities and towns  
15 know them and like them better than they know and like  
16 us. They'll say Rhythms who? And they know who Pac Bell  
17 is. They know who Bell Atlantic is. So it is a much  
18 more difficult job just with the same statutory rights to  
19 build things.

20           So that is what is involved if we build an  
21 adjacent RT, if you will.

1           MR. KIEDERER: Just to clarify the record here  
2 a bit on a statement that Steve made.

3           (Laughter)

4           MR. KIEDERER: While it is true that the CLECs  
5 certainly can deploy their own fiber if they choose to do  
6 so, they also have that option, we at Bell Atlantic  
7 certainly intend to meet the obligations under the union  
8 remand and provide dark fiber and the loop from that  
9 location, to the degree it exists and is technically  
10 feasible to do so.

11          MR. BOWEN: We want to direct the lid stuff  
12 though.

13          MR. MASTERS: Yeah. And I hate to harp on  
14 existing, but you may or may not have five in those. The  
15 existing is existing, what it was when it was built. In  
16 existing locations, adjacent structure may be the only  
17 answer in some places. That is not attractive. We don't  
18 like it either because, you know, even if it is Rhythms,  
19 they say it is the telephone company. I don't care who  
20 it is, we catch the grief.

21          We are all pushing hard to find a way to live



1 within existing space because space is so hard to get out  
2 there. That's the bottom line.

3 MR. McNAMARA: Some of the things Steve is  
4 alluding to regarding the interconnection problems with  
5 separate cabinets are actually the same whether it is  
6 separate cabinets or a common cabinet. Accessing a  
7 distribution facility is a bear no matter how you do it.

8 The cost, obviously, for stand-alone cabinet  
9 are somewhat higher than trying to expand an existing  
10 cabinet. And again, we are talking about new options  
11 only. If it is an existing cabinet, you don't have any  
12 choice but to place a separate cabinet.

13 MR. SICKER: Would anyone else like to add to  
14 that?

15 MR. REISTER: I would just say -- I'm sorry.  
16 The key to making this competitive is in the economics.  
17 And it is really the fixed costs that contribute to the  
18 RT really being a natural monopoly in the economic sense.

19 And if you look at the components of fixed costs, it is  
20 the permitting, the general contractor, the civil  
21 engineer, the zoning, all of the things you have to do

1 there that have absolutely nothing to do with  
2 telecommunications. It has to do with constructing on a  
3 sidewalk somewhere. That is actually a pretty high fixed  
4 costs.

5           You have the costs of the equipment, which  
6 while it is a fixed cost, it does vary with the number of  
7 lines you are trying to support. So I alluded earlier to  
8 the comment that you can create a pizza box and cost  
9 effectively serve in a multitenant building -- we have  
10 customers doing this today -- with the equipment. And  
11 Charles did note that it is more expensive deploying out  
12 in the field. I think a lot of that is because of that  
13 first concrete and bricks and sheet metal fixed costs.  
14 So you can create a lower fixed cost, smaller unit.

15           And then there is really a fixed cost, plugging  
16 it into the backbone, which is that fiber crossconnect  
17 that you have got to do before you can offer any service,  
18 whether it is fiber or end by DS1 or DS3. And then you  
19 have variable costs, which really don't get into the  
20 natural monopoly aspects of it economically, the variable  
21 costs being you need to plug in the copper as you are

1 getting customers. And everybody needs to do that.

2 MR. REEL: Well, I would just like to ask the  
3 ILECs what about your affiliates. Are they going to have  
4 to collocate adjacent to some of the equipment that is  
5 already filled out?

6 MR. MASTERS: I guess I'm the only that has an  
7 affiliate today. If they decide to deploy a Copper  
8 Mountain solution -- and believe it or not, they like the  
9 product -- for your customer, they would have to, unless  
10 they use the platform.

11 You know, obviously, we are trying to encourage  
12 and make the platform as robust as we can as a service to  
13 meet as many needs as we can. If it doesn't meet those  
14 needs, and there will be occasions or niches of those  
15 things, then you have to do collocation.

16 There is a good chance a lot of those locations  
17 will fall under CEVs and HUTs, though. If you look at  
18 the -- most of those are business-related services or  
19 off-rand scale -- of course, there are homes in  
20 California that have DS3s, too. I mean, business and  
21 consumers, it is hard to get a mix of any more.

1           It will probably fall, in our territory at  
2 least, pretty well into CEVs and HUTs. So I think there  
3 is a lot of colocation opportunity there that will take  
4 care of a lot of this. When you get to the a cabinet,  
5 though, if you have an embedded base and it is existing  
6 and it is locked in, you have to go adjacent. There is  
7 just absolutely no choice. If it is new, then you look  
8 at what space is available there, unless there is some  
9 space available inside of it.

10           That's why we work so hard to get a universal  
11 service platform that can accommodate all of those needs.

12       It is the same reason in years past you didn't put  
13 several facilities and separate devices in for each class  
14 of service you have out there today, COEN (phonetic),  
15 POTS, PBX. We are not built as separate networks. The  
16 vendors work hard in making it a universal platform as it  
17 can.

18           No one vendor will ever keep up on an equal  
19 basis with everybody. That is the reason they are all so  
20 competitive on what they try and generate. But, yes, our  
21 affiliate will get exactly the same terms and conditions

1 as any CLEC on any of these. We will be totally non-  
2 discriminatory.

3 MS. PIES: We have a couple integrated voice  
4 and data CLEC providers here today. And I was wondering  
5 if you could go into any of the unique problems you face  
6 providing your services at a remote terminal, both the  
7 standard -- the existing remote terminals and next  
8 generation remote terminals.

9 MR. WIGGER: Yes. My name is Dan Wigger, and  
10 I'm with Advanced Telecom Group. And in fact, we have  
11 had an opportunity to review the network architecture  
12 that looks like a shareable broadband. And I won't say  
13 what the acronym is. And in fact, we do have a potential  
14 issue, and that is one thing we haven't brought up yet.

15 And it is the market segment of ours that we  
16 provide service to in the Soho market or telecommuter  
17 market, we provide lifeline POTS service over our DSL  
18 stream that also allows them to connect remotely to the  
19 office via a land connection, et cetera. And one of the  
20 immediate things that we have seen -- and we have had  
21 some good dialogue with SBC -- is how do we also provide

1 that service that we provide from the CO to the premise  
2 today that line- sharing per se, but our own line-sharing  
3 -- the low-frequency and high-frequency as well -- from  
4 the RT on a single loop platform, as opposed to what  
5 perhaps the affiliate would do with the ILEC  
6 infrastructure, and if that is a possibility.

7 That's one of the issues that we have seen in  
8 the challenges in the architecture to date.

9 MR. MASTERS: Can I respond to that? We picked  
10 this issue up in the CLEC forum we had in March. There  
11 is a lot of issues up in CLEC forums, numerous meetings.

12 AT&T brought this to us, and we looked at it. We think  
13 we found a solution that was in the current architecture.

14 A lot of people have asked us why this thing  
15 keeps changing every week and day. It is with constant  
16 feedback. And this is not exactly chartered waters.  
17 We're moving very fast. We're taking feedback as fast as  
18 we can and incorporating them in. It was a very minor  
19 architectural change, actually. I think we will be able  
20 to satisfy our needs.

21 You have many niches -- eruptions in niche,

1 which is universal service, the thing we provide both  
2 voice and data. And each of those represents challenges.

3 We will continue working with industry in that regard,  
4 finding ways to accommodate them within the existing  
5 architecture as rapidly as we can.

6 MS. PIES: I'd like to hear from AT&T, that you  
7 mentioned that you found a solution. And I was just  
8 wondering if you could explain what the solution is.

9 MR. MASTERS: Okay. You want to take it, or  
10 you want me to? Okay.

11 MR. WIGGER: As far as the solution of line  
12 sharing from the remote terminal --

13 MR. MASTERS: Yes.

14 MR. WIGGER: -- to the premise? Again, we are  
15 in active dialogue. I think the agreement, positively,  
16 is yes, we need to address that as far as the lifeline  
17 type service. To date, technology wise, I don't know what  
18 the solution is.

19 MR. MASTERS: Well, I apologize then. The  
20 problem is -- and it is the way the line sharing orders  
21 are interpreted and laid in, that if you have -- the data

1 service from the OCD, and you also have the 8 DB voice  
2 loop coming in. And the question was, can those both go  
3 to the same colocation location, where a CLEC could put  
4 both those services on there at the same time.

5 The initial interpretation of "wound up" was,  
6 that you could not do that. And what wound up is, you  
7 wound up having two copper loops to a residence rather  
8 than share -- having both services are provided on the  
9 same copper loop.

10 What we have agreed to do is we are adding  
11 another option to our broadband service offering, which  
12 we are on the verge of rolling out. I think we started  
13 reviewing -- we are reviewing the various FCC staff  
14 members and state commissions and CLECs as we speak -- is  
15 provide the ability to have a single provider put both of  
16 those services on the same copper pair that arrives at  
17 the house serving in view. The consumer sees one pair  
18 with both voice and data on it.

19 Basically, what you are doing is you are taking  
20 the 8 DB voice path along with the broadband service to  
21 the same colocation location, and they are providing



1 dialtone and data services on it.

2 MR. REISTER: I talked a little bit earlier  
3 about being able to do IP based networking. Some of the  
4 very interesting and exciting capabilities in integrated  
5 voice and data have to do with media gateway control  
6 protocol, which is MGCP. Many of you are maybe more  
7 familiar with H248, which is the ITU effort. But the  
8 capabilities -- and some of our customers are trialing  
9 it, actually, in conjunction with some Lucent equipment -  
10 - some of the capabilities are really, really exciting in  
11 terms of what you can do in terms of putting services in  
12 the network that, frankly, blow away the capabilities of  
13 today's circuit switch PSCN.

14 For example, some of our customers are  
15 interested in the capability of creating call agents in  
16 the network that can do intelligent call-routing and also  
17 act as an assistant to the subscriber. So some of you  
18 may be familiar with, for example, some new PBXs where  
19 you have an automated attendant where you can speak to  
20 the attendant, and the attendant can call people for you  
21 and do things on behalf of you.

1           In the future, the network would have that  
2 similar capability. You could pick up the phone, and  
3 instead of getting dialtone, you could get your assistant  
4 -- you know, Bob or Janet, your personal assistant -- and  
5 you can say I'd like to call Doug Sicker, and you are on  
6 the phone with Doug Sicker, and then you can say, I'd  
7 like to conference in Jerome, and the network will go and  
8 find you.

9           And a lot of these capabilities are not  
10 necessarily implemented around VTOA, which is voice  
11 telephony over ATM, which is what many equipment vendors  
12 and many ILECs are more focused on.

13           So when you ask about, what are the  
14 implications of doing integrated voice and data,  
15 certainly some of our customers trialing this new  
16 technology would very much like to be able to have those  
17 ability to do intelligent packet forwarding at the edge.

18           MR. GETCHELL: This is Wayne Getchell from  
19 Nortel. From an integrated voice and data access  
20 platform perspective, it is quite feasible to take the  
21 copper loop and share either the voice or the data or

1 both. Indeed, it is also possible, obviously, in the  
2 data stream to embed voice, either voice over ATM or  
3 voice over packet, and also provide that as part of a  
4 complete service as well.

5 MR. WIGGER: Just to add clarity, the  
6 opportunity to add voice over ATM via DSL we do today.  
7 But we also have a market segment once again that we  
8 line-share and provide that lifeline-type POTS  
9 application. Our customers understand if they lose  
10 commercial power at their premise today via the VOATM DSL  
11 solution, they will essentially lose communications, and  
12 that means access to emergency services.

13 Part of our market segment, we do deliver that  
14 service today. And from our colocation facilities in the  
15 central office, if we refer to this diagram, we have our  
16 own DLC placed in cages that we essentially purchase a  
17 DSL qualified loop from the CO to the premise. That  
18 allows us to impose that low frequency onto -- or through  
19 a low pass filter device with our deployed architecture  
20 today, whereby that is a passive signal on the loop to  
21 the customer premise.

1           We need an analog or a physical connection at  
2 the colocation, what is termed a "spot bay", to do that.

3           And the only -- the remaining issue again is in  
4 order to continue to provide that integrated service as  
5 well as lifeline type POTS, we are just trying to  
6 understand how we get that same imposition of our low  
7 frequency on the shared loop where we also in the --  
8 let's say in the most efficient sense, where we purchase  
9 an ADSL UNI for clarity, how would we do that. That's  
10 the issue we have at hand.

11           MR. SICKER: Let's move on to the fourth series  
12 of questions. And --

13           MR. COOPER: Excuse me. This is Doug Cooper  
14 from Network Services Division. I would just like to  
15 clarify what on the adjacent colocation and  
16 interconnection -- I heard, you know, some technical  
17 discussion. All the technical options seem to be  
18 feasible, but I heard no way, no how on the logistical  
19 rollout related to easement issues and reasons related to  
20 economics.

21           I was just wondering whether or not a form of

1 colocation hotel within apartment buildings, multi-unit  
2 dwelling buildings, business offices might change some of  
3 the topology of this, you know, whether it -- I mean,  
4 avoiding the RT cabinet issue. I'll just toss it out  
5 there.

6 MR. BOWEN: I think that's definitely a  
7 different world. That is, if you can -- well, we deal  
8 with commercial building owners all the time and, you  
9 know, they oftentimes have space that they already have  
10 kind of first generation cats out there living in.

11 So, you know, the ability to put a pizza box in  
12 a building is pretty straightforward. It is the  
13 connectivity from there, you know, to the RTs of the ILEC  
14 that is the issue then because if you have into a  
15 building, then I'm betting I am going to be asked to buy  
16 entrance facilities to get to and from the actual RT  
17 itself.

18 So, you know, getting into a building certainly  
19 addresses the outside plant placement issues.

20 MR. COOPER: Right.

21 MR. BOWEN: But then it creates its own set of

1 how you get then from there back to and from the RT or  
2 the SAI or wherever the copper you pick up is, and  
3 wherever the fiber you hand back is.

4 MR. COOPER: Are those issues easier to deal  
5 with than adjacent colocation of an RT? I mean, is that  
6 -- are they workable in terms -- it seems that they are  
7 scaling down in terms of the degree of difficulty.

8 MR. BOWEN: Yes, they are easier to work with.  
9 But what you tend to find is the commercial buildings  
10 tend to be -- not always, but tend to be ones that are  
11 closer to the CO anyway.

12 MR. COOPER: Right.

13 MR. BOWEN: And you are talking about a  
14 topology that is trying to push broadband out more into  
15 the residential neighborhoods, where you don't tend to  
16 find so many businesses and therefore so many  
17 possibilities of locating your stuff in a building.

18 So I think, you know, the core downtown area is  
19 where you tend to have these commercial buildings where  
20 you can essentially place the equipment. Partly the  
21 issue we are talking about here were these new rollouts.

1 It is much more push out and push the fiber farther out  
2 into the neighborhoods and do it via cabinets and so  
3 forth, new or existing. And there aren't too many  
4 buildings out there that you actually would want to use  
5 as a site for your equipment.

6 MR. COOPER: I was thinking like the suburban  
7 office park model. I mean --

8 MR. BOWEN: There are some exceptions, sure.  
9 There are exceptions that -- you know, there are pockets  
10 of concentration and demand that are a ways from the  
11 central office, sure. But those are -- if you are going  
12 to try and reach a broad base of consumers, that is the  
13 exception instead of the rule.

14 MR. COOPER: Okay. And then the rule was for  
15 logistical reasons, economics, and reasons related to  
16 local zoning, that adjacent colocation is just not a  
17 viable option for you. Is that -- I mean --

18 MR. BOWEN: Yeah. I mean, in effect, what you  
19 are doing is you are overbuilding a significant part of  
20 the cost component at least of a loop network. And  
21 whether or not it natural monopoly or not -- I am not

1 going to argue that question right now. What I am saying  
2 is that the expense in doing so for any size CLEC who  
3 wants to serve broadband is very significant compared to  
4 the take rates you are going to get out of that  
5 individual location.

6 MR. COOPER: Perhaps I was swayed by your  
7 comment about the Rhythms sources.

8 MR. BOWEN: Well, we have a good chance of  
9 being the one that would be able to do that. No. I  
10 don't think, frankly, for any CLEC that I am aware of  
11 right now -- I have a hard time understanding the  
12 economics working on a broad scale for adjacent RT kinds  
13 of construction.

14 MR. COOPER: Okay. Thank you.

15 MR. KYEES: Can I ask a question? Do you end  
16 up with a model where you start off mainly relying on the  
17 platform with some colocation in the newer remote  
18 terminals, and then as the embedded base gets retired in  
19 remote terminals, you get a little bit more colocation  
20 within the terminals with adjacent just being a sideline  
21 to it all?



1           MR. BOWEN: Well, again, I don't think you are  
2 ever going to see adjacent colocation by data CLECs be a  
3 significant force in the real world of the marketplace.  
4 Having said that, I think you will see us wanting to use  
5 -- and I'm not suggesting that people stop and rethink  
6 all of their plans and do something different. It is  
7 going to be a combination of what is out there and going  
8 forward.

9           So, for example, if there is space right now in  
10 CEVs and HUTs for collocating our equipment, we'll use  
11 that. If they are building new CEVs and RTs, and they  
12 are building 25 percent more space to handle three to  
13 five more CLECs, we'll use that.

14           My biggest concern, frankly, is the situation  
15 you have where there is new cabinets going out there that  
16 right now we know are not going to work because there is  
17 a lot of those going out there right now. And that's the  
18 concern that we have that we try to address that on a  
19 going forward basis.

20           So really there is a combination of all of the  
21 things that are out there because there is variance.

1 There is a lot of varieties in terms of size and type out  
2 there. So wherever it is possible to do it within the  
3 RT, we want to do it. And we're also asking that for the  
4 newly deployed RTs, that they be deployed so there is  
5 space in those for us as well.

6 MR. REISTER: And, Doug, my comment would be  
7 you would have to take some kind of regulatory action to  
8 make the economics work out. So it would have to be some  
9 kind of innovative regulatory solution where you create a  
10 new business entity that is a real estate company, you  
11 know, you take the ILEX real estate group and you spin it  
12 out as a separate entity. And that entity owns the real  
13 estate and is responsible for things like permitting and  
14 concrete and bricks and mortar, and has nothing to do  
15 with, you know, telecommunications. And that entity then  
16 wants to maximize their revenue stream and minimize their  
17 costs and so on. And they would then lease space, you  
18 know, to the best dollar they could get to both the ILEC  
19 as well as to the CLECs.

20 So if you had that kind of thing where you  
21 would have one entity, and by virtue of having

1 essentially 100 percent share because everybody would be  
2 putting their telecom equipment in it, the economics  
3 would work out for them. But I'm not necessarily  
4 suggesting that.

5 MR. SICKER: That's way out of our --

6 (Laughter)

7 MR. MASTERS: What would complicate this world  
8 even more is many of the cabinets are going forward on  
9 public right of way, or even mounted on poles. They  
10 don't have right of way at all. As you get smaller ones  
11 that Bell South is doing, and we're doing in Ameritech.

12 MR. SICKER: Anyone else have any comments on  
13 those series of questions?

14 MR. KIEDERER: Maybe just one, you know, one  
15 final comment, and not to rehash some of the things we  
16 talked about before. But we keep coming up against this  
17 issue of the fact that, you know, nobody wants to build a  
18 basic collocation. There are a whole host of issues with  
19 space. You know, we talked about the fact that it may or  
20 may not make economic sense for anybody to do this out of  
21 an RT location. Certainly, economics 101 would tell you

1 that, you know, what happens is determined on how much it  
2 is going to cost you to deploy out there as well as the  
3 kind of penetration you might get out there.

4 To the degree you fragment the customer base  
5 into a bunch of pieces, if each one has to do an  
6 individual, you know, kind of construction, it is going  
7 to make the economics even more shaky than they are,  
8 which sort of leads you back at least to some degree  
9 maybe in the near term to the kind of platform approach  
10 that SBC is proposing or the CLEC hotel approach, you  
11 know, where you would consolidate the costs that would be  
12 required to deploy out at a remote terminal location.

13 MR. BOWEN: Or there is the other solution, and  
14 that is just build them a little bit bigger as you build  
15 them. That is, I'm not going to deny that there aren't  
16 scale economies for a terminal serving 2,000 or fewer  
17 customers. There certainly are. But the answer, I don't  
18 think -- there is something in between what you are  
19 suggesting, Charles. That is, on the one hand you have  
20 got integrated platform. On the other hand, you have got  
21 a whole bunch of who knows what, you know, adjacent RTs

1 and so forth.

2 In between those is a solution that says just  
3 build it a little bit bigger so that your space is still  
4 your space, but you leave enough space for other people  
5 to live within that same structure.

6 MR. OLSEN: Size 12 shoe for a size 8 foot.

7 MR. BOWEN: Pardon?

8 MR. OLSEN: A size 12 shoe and a size 8 foot.

9 MR. BOWEN: Yeah, see. Michael is always good  
10 with the metaphors. Michael says a size 12 shoe and a  
11 size 8 foot. I think that is a good idea. That is, yes,  
12 it costs a bit more in steel and concrete and space and  
13 so forth to add an extra rack. But, frankly, it is a  
14 very small incremental cost compared to doing a separate  
15 kind of stand-alone RT.

16 MR. KIEDERER: But even in adding an extra  
17 rack, that is only a piece of the equation, as we talked  
18 about further. There is a lot of other work that has to  
19 be done either by us or by you, other than adding a rack  
20 space in order to deploy the actual service out at that  
21 location. It is not just the rack.

1           MR. BOWEN: We would be happy to work with you  
2 to solve those problems.

3           MR. KIEDERER: And I guess the follow-on  
4 question again, we are here to talk about technical, not  
5 economics. But are you willing to step up and pay the  
6 third of the cost of doing that initially for us to  
7 deploy a third larger piece of --

8           MR. BOWEN: We have always said that we are  
9 happy to pay base rates for all the things that we buy  
10 from you.

11           (Laughter)

12           (Simultaneous discussion)

13           MR. SICKER: Okay. I think we're digressing a  
14 little. What I would like to do is cover four, ask if  
15 there are any other questions or anything that anyone  
16 wants to say at the table, including the FCC staff, and  
17 then open it up to the audience.

18           The first thing I want to talk about on four,  
19 what we are talking about here is the plug and play,  
20 basically, the notion of a competitor coming in with  
21 their own card and plugging it into a terminal and the

1 standard changes that would have to go about to allow  
2 this to happen.

3 My question, is there anybody here who is  
4 actually interested in this approach? Okay. Why don't  
5 you -- why don't I -- Mike, could you --

6 MR. OLSEN: I think that when you say this  
7 approach, we have to qualify it. I mean, I think in a  
8 perfect world, we would all love to see -- go back 50  
9 years in the telecom business and see the universal  
10 chassis, and we wouldn't be facing any of these  
11 questions. We would have all of the economics of  
12 integration, have all the choice of facilities based  
13 competition. So I don't think there is anyone here who  
14 wouldn't like it.

15 The question is where we are today, can we get  
16 there without substantially slowing deployment. And I  
17 think that raises some real questions from both  
18 manufacturers, incumbents, and CLECs. Obviously, the  
19 goals are balanced to keep the maximum of variation of  
20 facilities based competition within the constraints of a  
21 timely deployment and the economies of integration.

1           The business of RT is developed much like the  
2 razor business. You get the chassis, and they have got  
3 you on the blades. We haven't seen anyone doing more  
4 like the PC business with an open bus, and then you can  
5 buy a variety of different port cards. But that is the  
6 reality of the marketplace today. And regardless of our  
7 wishes, there is a big embedded base out there, and we  
8 haven't seen anyone come forward with a solution that  
9 would allow us simply to plug in cards and change the  
10 ability to serve.

11           MR. SICKER: And I agree completely with you.  
12 But also, keep in mind that the PC was -- it was a model  
13 that was chosen, a business model that was chosen. This  
14 is a business model that has been chosen. But with that  
15 said, I would like to hear what Steve has to say.

16           MR. BOWEN: We'd like our current DSL vendors  
17 to be able to build cards that plug into anybody's DLC.  
18 I don't care if it is Alcatel or AFC or Fujitsu  
19 (phonetic) or Nortel or whomever. We would like to be  
20 able to use the same -- what we think is good  
21 functionality from our current vendors on this new



1 platform. So we would like to have whatever is required  
2 be done so that our vendors can build, instead of  
3 separate DSLAMs that fit in racks in central offices, can  
4 build cards that plug into the RTs that are going to be  
5 deployed out there.

6 MR. SICKER: Would any of the vendors like to  
7 address this?

8 MR. RANSOM: Well, I was just wondering --  
9 Michael suggested he would love to have this just like  
10 the PCs. I just wondered if he would like to have the  
11 reliability of the RTs to be about that of the PCs as  
12 well.

13 (Laughter)

14 MR. RANSOM: Try intermixing various plug-in  
15 cards in your PC. Obviously, if within Alcatel alone  
16 someone said why don't we have a universal back plane on  
17 a going forward basis that we always use, we would laugh  
18 that person out of the room. I mean, we have future  
19 platforms planned. And one of the first things we do is  
20 try to innovate a new back plane with new bandwidth.  
21 Maybe we'll go with ATM, maybe we'll have a separate TDM

1 bus, maybe we'll go with a pure IP bus.

2 We always come up with new designs. The notion  
3 that there would be some universal back plane on a going  
4 forward basis that all of the vendors would use seems  
5 laughable.

6 MR. SICKER: Gary?

7 MR. BOLTON: Yeah. I just wanted to make a  
8 comment. As far as the -- you know, in saying plug-in  
9 cards, there is a number of ways to address this. And  
10 really, the one is just to try to plug a card into  
11 another vendor's box. But another way to look at is to  
12 actually provide an upgrade system, in much the way that  
13 Copper Mountain was talking about trying to put new mini-  
14 rams or things into the side of the boxes there. There  
15 about 68-1/2 million lines currently served by remote  
16 terminals, and about 8.8 million of those are light  
17 spans.

18 Light span seems to have dominated a lot of  
19 discussion, I think because of the SPC petition. But  
20 there are a number of different products that are out  
21 there. There are 28 million lines currently out

1 deploying products that do have different vendors just  
2 putting plugs in. And those vendors range from Paradyne  
3 and Post Com and Charles Industries. There are a number  
4 of different series of precedents set for existing legacy  
5 products to be able to put plugs in.

6 But when you are putting DSL in, it is more  
7 than a plug, it is a full system. So you are talking  
8 about not only to be able terminate the loop with that  
9 technology, but you also have to have an ATM stat MOX  
10 (phonetic) to be able to aggregate all of your ATM lines  
11 or your DSL lines and then be able to back haul them to  
12 some type of backhaul facility, whether it is a T1 or DS3  
13 or whatever your facility.

14 So I would say in general, I wouldn't want the  
15 Commission to not -- to disallow opportunities to address  
16 the installed base because, you know, right now, nearly  
17 40 percent -- maybe the number is a little bit lower.  
18 But at least from RXK it is about 40 percent of the  
19 subscribers there are served by RTs. And within two  
20 years, it is going to be more than half of everybody is  
21 going to be served by RTs.

1           And so, you know, just looking forward, and I  
2 think we can't ignore the installed base.

3           MS. MANCHESTER: So as far as bringing together  
4 a universal back plane, I do agree with my colleague from  
5 Alcatel that having a universal back plane in an RT is  
6 something that would be extremely timely to come up with  
7 the standards -- time consuming rather to come up with  
8 the standards, as well as extremely time consuming to  
9 actually prove in and integrated all of the various line  
10 cards into a system approach.

11           Now having said that, we do in many times and  
12 on the request of our customers work with other vendors  
13 to incorporate their technology into our existing back  
14 plane, and more importantly, into the existing  
15 operations, which we have heard is key to being able to  
16 put it out there effectively, provision it, install it,  
17 and then maintain it going forward. So that is an option  
18 that we exercise today and will continue to exercise  
19 going forward.

20           I would also like to state that when we talk  
21 about opening up back planes, we do need to, you know,

1 talk about opening up back planes, element management  
2 systems, and the whole system management and integration.

3 That is true from a remote terminal perspective, narrow  
4 band and broad band. It also would be true -- I think  
5 you need to look at it from the DSL, from the vendors who  
6 have some of the innovative technology that you would be  
7 looking into putting in here.

8 So it is open back plane technology that you  
9 need to look at across the board, and it is just as  
10 difficult across the board.

11 MR. REISTER: You know, I'd really like to use  
12 the PC analogy to think about this. If you think about a  
13 PC, a PC has plug-in cards that have varying levels of  
14 functionality. But they all make use of the fact that on  
15 the motherboard, you have got a -- you know, in the  
16 Wintel monopoly, you have got a Pentium, a Pentium on  
17 there, and you have got the Microsoft Windows operating  
18 system.

19 And if you think about it, so if you plugged in  
20 a video card to support a video camera, the ability to  
21 display the video is going to be driven by some software.

1 And what Microsoft has done is created, you know, a  
2 number of interrupts and hooks into their operating  
3 system to enable you to create innovative applications.

4 So I think what we would need to do is -- you  
5 know, a plug-in is a fairly simple electrical interface.

6 But the point is the interface has to go up the stack.  
7 What we would want the ability to do is get into the so-  
8 called operating system of the loop carrier system so  
9 that we could add features like, you know, IP routing,  
10 multiprotocol label switching, and all of those things  
11 like that. And for those of you who know equipment,  
12 you'll know exactly how ludicrous my suggestion is.

13 (Laughter)

14 MR. MASTERS: Somehow this process has got the  
15 idea that a circuit pack as a piece of equipment is a  
16 service. And by plugging in this magic available today  
17 thing, you immediately have a service. A service is an  
18 end to end. We talk about CBR and UBR. All that only  
19 works if the entire system is architected. Half the  
20 systems there are software. It takes a huge amount of  
21 delivery process to make a service work. And I think of

1 concurrence around the word.

2           It is always a goal. All of us would like to  
3 have less circuit packs. We would like to have only  
4 circuit pack do every service. I mean, I track 30,000  
5 different type plugs a day in my business. I would like  
6 to have one. It is costing gold. The question is how  
7 achievable it is versus the cost of doing and the  
8 administrative cost of doing it.

9           The problem we have in -- we tried that. We  
10 tried real hard. It makes -- I'd like to have you buy it  
11 and not have me buy it, if you think about it. But the  
12 cost of me managing one plug per owner per service in  
13 48,000 RTs and doing it accurately, you guys talked to us  
14 before about plug management, account management, and  
15 tracking of assets. This is a very difficult subject  
16 versus the ability to get anything out of it.

17           If these guys can't build the plug to do it,  
18 why would we want to incur all of that cost and drive  
19 that cost in to the service that drives the price up in a  
20 very competitive market table. That is just the  
21 economics of it.

1           I just don't see this as a short term goal that  
2 we can gain on anything. At the same time, there is  
3 cases in the existing embedded base we would love to find  
4 somebody that can plug in existing, like a series five  
5 slick or slick 96 that gives me DSL to provide to these  
6 guys and not to have to spend all of the money on doing  
7 something else.

8           We are always looking for that -- I don't want  
9 to kill innovation here by any means. We are looking for  
10 people to bring things forward. It is just in the huge  
11 embedded base we have today, which pretty well resides  
12 over there with Nortel. The practicality in the short  
13 term doesn't seem the reliability of building all of the  
14 back room systems and tracking systems and management  
15 process capable of doing it.

16           MR. OLSEN: Doug, I think in part this original  
17 suggestion was what we discussed earlier about the two  
18 levels of compensation, the service level and the  
19 facilities level, and that when you have, and you are  
20 naturally going to have, the vendors funneled through the  
21 incumbent, there is a risk from the CLECs perspective



1 that you lose the innovation on the equipment vendor side  
2 because really, if the key in the full purchaser and this  
3 monopsony (phonetic), the purchasing side of the equation  
4 is going to be one vendor, you may lose that.

5           One way to overcome that is to allow plug and  
6 play cards. For example, there are really -- I mean,  
7 what you are really trying to do in a large scope is  
8 bring market forces to bear, and that can be either -- as  
9 I said, directly or indirectly. But the key is if  
10 Alcatel has one buyer, how do you make Alcatel innovate  
11 when North Point wants to provide video streaming  
12 services, but the incumbent who purchases the equipment  
13 does not.

14           And as I said, directly, by allowing us to go  
15 directly to Alcatel and encourage that through fora --  
16 but really, the key is to keep those market forces in  
17 play. SBC wants that to happen because they want to keep  
18 their costs down. One way to do it is through  
19 competition, the other is through joint purchasing  
20 consortia. But we have got to make sure that the vendors  
21 who are funneled continue to innovate at the incredible

1 pace that they have innovated so far.

2           And, obviously, I mean, Copper Mountain is one  
3 of our vendors, and we can see, as we have had this  
4 discussion all of the kinds of things that are on the  
5 pike. If Copper Mountain is boxed out of that funnel,  
6 where does that innovation go?

7           MR. BOWEN: In a lot of ways, it is really a  
8 chicken and egg problem, right? Until very recently,  
9 nobody was even talking about putting anybody's cards  
10 into any RT or into DLC or anything else. And now -- so,  
11 of course, if Phil Kyees goes to Neil Ransom and says,  
12 hey, Neil, give me your specs so I can build a card to  
13 plug into your light span units, Neil is going to say how  
14 about we go out for a drink. It is not going to happen  
15 because you have got two competitors who basically are  
16 not going to give their competitor their information. It  
17 is not going to happen.

18           So it is a chicken and egg problem. I'm  
19 telling you what Rhythms wants as a DSL provider. We  
20 want our vendors to be able to have cards that plug in.  
21 Of course there are problems right now because it hasn't

1 happened yet, nobody has made the request. The FCC hasn't  
2 required or urged or done anything to encourage that to  
3 happen. So right now, yeah, you cannot take a Paradyne  
4 card and plug it into an Alcatel box or a Copper Mountain  
5 card and plug it in.

6 That isn't to say you couldn't do it. There  
7 are lots of ways to approach those kinds of issues. What  
8 we are saying is what we would like to have available to  
9 us for the reasons that Michael just gave.

10 MS. MANCHESTER: Steve, I would just like to  
11 comment on that, in that I agree with you that one vendor  
12 just going to another vendor and saying, hey, can you  
13 play nice with me, that doesn't always give us all the  
14 right incentives to do that.

15 However, joint customers -- and in particular,  
16 we have both CLEC customers and a lot of valued CLEC  
17 customers, as well as ILEC customers. And when a  
18 customer comes to us with a particular request of being  
19 able to have us work with another vendor for a platform  
20 for the benefit of the customer, I do think that those  
21 things can be pushed along before the drink.

1 MR. BOWEN: Well, that's a good sign.

2 MR. MASTERS: On that same subject, like I said  
3 earlier, we didn't start building this network just to  
4 have the first service and the last service be the one we  
5 are deploying now. It is designed to hit the mass market  
6 and give a low price to a lot of providers to hit the  
7 large market.

8 At the same time, we plan to aggressively add  
9 capability to this platform and service as aggressively  
10 as the vendors can roll things out. So there is the  
11 chicken and the egg thing. We're highly incented to do  
12 that because if not, if you can't, then there will be  
13 more pressure to find colocation space and things like  
14 this where other vendors can't.

15 MR. BOWEN: Well, I agree with that, and I  
16 think that your incentives are even greater if we have  
17 the option -- let's Alcatel by themselves. If we have  
18 the option to buy whatever hot card they have out there,  
19 HDSL2 card, that we want to roll right now, and you  
20 aren't so sure you are ready to add to your platform, if  
21 we have the right to say okay, here is the card, I want

1 to plug it in today, that is going to move you along even  
2 faster to offer your own platform based functionality.

3 MR. MASTERS: We should be highly incented to  
4 buy that card and provide the service for you because  
5 once you step across the line of having to your card, you  
6 bring a tremendous expense.

7 MR. BOWEN: Well, they have something that we  
8 call virtual colocation right now, right, and we'll sell  
9 it to you.

10 MR. MASTERS: A card is not a piece of  
11 equipment, and it is not subject to colocation, and  
12 neither is a plug slot.

13 MR. BOWEN: Oh, now, Wayne, let's not get into  
14 regulatory boxes here.

15 MR. MASTERS: Well, you tell me if a card can  
16 provide a service. It takes the software, the shelf  
17 local software, it takes the card level, it takes the  
18 system, the ATM instruction, the entire thing.

19 MR. BOWEN: Okay.

20 (Simultaneous discussion)

21 MR. MASTERS: -- card today and plug into a

1 light span, and it is going to work.

2 (Simultaneous discussion)

3 MR. BOWEN: We'll buy a copper sublooping UNI  
4 from you, and we'll buy a broadband UNI from you, and  
5 we'll plug the card in, the Alcatel HDSL2 card in, and  
6 we'll be good.

7 MR. REISTER: Well, you may have to upgrade the  
8 entire software on the whole platform.

9 MR. MASTERS: That's right.

10 MR. REISTER: And so you're upgrading their --

11 MR. BOWEN: Well, I'm not going to --

12 MR. REISTER: The software is providing their  
13 services.

14 MR. BOWEN: I'm not going to buy an Alcatel  
15 card that it isn't good to go to the street with, right?  
16 That doesn't make any sense. If Alcatel says I'm good  
17 to support this new kind of card right now, but you don't  
18 want to roll it, and I want to plug it in, I should be  
19 able to. I should be able to say here is the  
20 functionality that the system will do right now. I'm  
21 sorry. How about Lucent box?

1 (Laughter)

2 MR. BOWEN: Now, we have got Lucent or Nortel.

3 If I buy one of your cards that has functionality that  
4 you may want to offer, I should be able to  
5 say, okay, this system is supported by the vendor, and I  
6 want to plug it in. And you want to sell it to me,  
7 right?

8 MR. MASTERS: But she has to sell a lot more  
9 than the card. She has to sell the operating system, the  
10 chassis, the firmware, the software, the entire package.

11 MS. MANCHESTER: So let me first say yes, I  
12 want to sell it to you.

13 (Laughter)

14 MR. MASTERS: Yes, she does.

15 MS. MANCHESTER: But it is true. I mean, we  
16 would end up -- we would end up, like I said before, we  
17 develop that card, you integrate it into the system from  
18 a hardware perspective as well as for an element  
19 management perspective, possibly even a higher level  
20 network management. At that point, if there is a handoff  
21 point at that point from the ILEC to the CLEC, then that

1 is where you all can kind of negotiate.

2 But if there is not -- and I would contend  
3 because it is a very complicated service going back, the  
4 rest of the integration has to happen before you can just  
5 turn up that service.

6 MR. MASTERS: Sure. In all seriousness, could  
7 we support this? Look at your provisional flows. Today,  
8 the systems are designed to have a provisioning flow to  
9 remove terminals for all slots, all plugs. They would  
10 have to build provisioning flows down to the card level  
11 per card per owner times 48,000 RTs.

12 All that technically can be done. The question  
13 is is it worth it versus --

14 MR. BOWEN: Well, but you have got to do it out  
15 of the RT anyway when you have got voice only cards and  
16 ADLU cards.

17 MR. MASTERS: But I don't have to do it per  
18 owner per service.

19 MR. BOWEN: You have got to do it per card,  
20 though.

21 MR. MASTERS: Okay. When you plug the card in



1 that slot, you are occupying a slot that feeds more than  
2 one customer.

3 MR. BOWEN: Two to four right now.

4 MR. MASTERS: That's right. And gaining more,  
5 32 in Lucent's case.

6 MR. BOWEN: Right. But what you said was that  
7 all you are managing to is the RT right now. That won't  
8 be the case when you deploy ADLU cards right now in  
9 project ProlTel.

10 MR. MASTERS: On a profile basis. But all that  
11 gets into the definition of capability. If you want to  
12 be able to treat that card like a complete separate piece  
13 of equipment, then you suboptimize the RT down to that  
14 level.

15 MR. BOWEN: Well, actually, if we have -- if we  
16 could plug in Alcatel cards and they have four  
17 appearances per card right now, and we have -- lawyer  
18 math, danger, danger -- if we have 11 customers right  
19 now.

20 MR. MASTERS: Okay.

21 MR. BOWEN: Right? We are going to have one

1 spare appearance there. So the last card is always going  
2 to be somewhat not full utilized. But all the rest of  
3 them will be.

4 MR. MASTERS: If it goes to the right spot  
5 because those pairs are hardwired to RT. They go to a  
6 particular SAI.

7 MR. BOWEN: Well, but they jump at the SAI.

8 MR. MASTERS: Right.

9 MR. BOWEN: They are going to be jumping at the  
10 SAI.

11 MR. MASTERS: If that slot shows up in the  
12 right SAI. I'm not just trying to argue. It is just you  
13 get into a very difficult --

14 MR. BOWEN: Okay. So we'll have one -- the  
15 last card per SAI then, in your channel bank. That's the  
16 issue. Four average SAIs per RT, right? Four cards that  
17 may not be fully populated then.

18 MR. MASTERS: Is your card going to provide all  
19 of the POTS services and the other service I need that  
20 slot for?

21 MR. BOWEN: If you -- what happened to handing

1 the voice back, you know?

2 MR. SICKER: Well, this is degenerating.

3 (Simultaneous discussion)

4 MR. KYEES: This is totally separate, although  
5 I might be playing the devil's advocate -- is that the  
6 reason why we are having this discussion is because  
7 people have chosen to bundle advanced services with the  
8 DLCRT that traditionally has been intended for voice.  
9 And there are reasons for it. There is economies of  
10 scale that has been well pointed out.

11 On the other hand, it has also created problems  
12 because now it is difficult to obtain a level playing  
13 field. That's why we have been talking for the past 15  
14 minutes on this one topic. And maybe to widen the box a  
15 little bit and think about it, are there ways that we can  
16 unbundle one from the other and create a level playing  
17 field both for the ILEC and the CLEC and also open to  
18 vendors being able to compete and provide more  
19 innovation.

20 MR. BOWEN: Well, Neil is shaking his head no.

21 MR. RANSOM: A comment on that just from a

1 technology standpoint -- there is obviously only various  
2 marketing and regulatory issues. From a technology  
3 standpoint, the force of the industry is to terminate the  
4 whole loop on a card and to do that within the single  
5 chip. And that technology is now becoming available to  
6 simply do a an ADD converter across the entire spectrum,  
7 do the voice data separation processing in the DSP in a  
8 single card. To try to do that separately, external  
9 splitters and whatnot will die.

10           The economics of that in the long run are  
11 horrible. And what is going to end up are single cards.  
12 That is the way things are going to happen. It is just  
13 obvious from a technology standpoint. It is just such a  
14 compelling technology solution that all of my competitors  
15 are building similar approaches because it is the obvious  
16 technical approach.

17           MR. KYEES: So you are saying that CLECs cannot  
18 succeed then in what they are attempting to do to be able  
19 to collocate in the same cabinet.

20           MR. RANSOM: Well, that's a different issue  
21 having to do with a space and so forth in the cabinet. A

1 CLEC, as in ATG, may offer this integrated voice data  
2 service, of course, or they may offer a data service for  
3 a customer who just wants a data service. But if you  
4 have an integrated voice data service, and that is what  
5 the customers want, in the long run to do that on  
6 separate cards with external splitters is not a very  
7 economic approach.

8 MR. BOWEN: Nobody wants to stay there for the  
9 long run. I mean, for the long run, there is not going  
10 to be separate analog POTS and then a data channel above  
11 that. You are going to have voice writing, multiple  
12 voice channels writing on the same broadband channel.

13 MR. OLSEN: Doug, I think the debate about  
14 plug-in cards and about the availability of innovation,  
15 how quickly it be pushed out to competitors, arises from  
16 some history. I mean, here we have vendors who are  
17 saying we can do it and CLECs who are saying we want it,  
18 and the incumbent saying you betcha we want to roll out,  
19 we have every incentive to do it. And I think there is  
20 some skepticism on Steve's part, for example, that the  
21 way you really respond as the sole vendor of this

1 platform to CLEC demands for new services and new  
2 capabilities, even if it allows us to each your lunch in  
3 the marketplace. And there is some historical skepticism  
4 about it that they'll respond to demand pull as a sole  
5 vendor.

6           So again, it is marketplace economics. If you  
7 can introduce competition with plug-in cards or some  
8 variety, it is competition push. I mean, remember, DSL  
9 was around a long time before we were, but competition  
10 has made it worth having several hundred people in the  
11 room. And so we have got to find a way to make sure that  
12 when those commitments are made, for example, not only  
13 will we give you the single PVC on the Alcatel equipment,  
14 we'll give you two, we'll give you as many as you want,  
15 that those leave this room and become concrete  
16 commitments and that the flow of technology makes it all  
17 the way to the competitive community, regardless of  
18 whether the incumbent intends to use it.

19           MR. SICKER: Okay. John Reel had some  
20 questions.

21           MR. REEL: Yeah. I'd just like to go back a

1 bit and ask about those -- the back office systems and  
2 the operational problems, the operation systems problems  
3 with using this kind of approach, whether it be various  
4 line cards --

5 MR. MASTERS: Pardon me. I have a  
6 clarification question. Are you talking about the  
7 various type of cards or various owners of those cards?

8 MR. REEL: Well, either. Let's do one and then  
9 the other.

10 MR. MASTERS: Various types of cards exist  
11 today. As many vendors try, they all try and have one  
12 card, but they all have several cards. We have cards for  
13 calling service. We have cards for DSIs. We have cards  
14 for POTS services. We have those. Those are all  
15 administered on kind of an a card basis, not a the card  
16 basis. In other words, we know for our forecast we had  
17 to have a certain number of POTS cards and a certain  
18 number of DS1 cards and a certain number of various  
19 categories cards, and there were systems of ways of  
20 getting those out there. And it is not you quickly  
21 respond, and there are ways of assigning those.

1           The difficulty comes in when you start adding  
2 two more dimensions. Those cards today are available to  
3 any customer for any service. If you start saying they  
4 can only be used for one service per an owner, then those  
5 cards are not available for anybody else, and you start  
6 having to have -- like we said, we had 34 CLECs in our  
7 last forum. If you start going to a shelf that feeds  
8 only a certain number of houses, and you start  
9 suboptimizing that, then you get into huge efficiencies.

10           The OSS is -- none of the OSSs today are  
11 designed to take in ownership. So I'd have to have --  
12 this plug is to this location for these services, to this  
13 particular owner, all in the assignment algorithm. The  
14 CLECs would have a huge set of problems themselves. They  
15 would have to know when the customer called in they  
16 already had a card, last lot, first lot, there or not, a  
17 spare port, who can be assigned to it or not. And if  
18 not, how do they get a card to us. If it is really  
19 working, preprovisioning at increased intervals. It just  
20 has a lot of back office things that haven't been today.

21           In the vendor system, depending upon how much



1 access people want to that card -- if it is their card,  
2 they want to have separate alarms, separate provisioning  
3 systems, separate maintenance systems. You can get this  
4 to the extreme that you have to reartic (phonetic) the  
5 entire operating system of the RT to get to the extreme.

6 It all gets you in definition of ownership.

7           What I am really hearing, though, is if Alcatel  
8 or Lucent makes a card that gives a service, how can the  
9 CLECs be assured that card gets introduced into the  
10 network? I think that is really the essence of the  
11 problem over ownership. I don't think people like to own  
12 things. They like to be able to control things more than  
13 anything else.

14           We are highly incented to incorporate services  
15 in as soon as possible to avoid all of the disadvantages  
16 of not doing that. Not doing it is the consumer doesn't  
17 get something they want, and we get forced into a lot of  
18 other methods, colocation hotels, physical things, to  
19 provide ultimate space. Now the odds of a vendor  
20 providing every service all the time is going to be a  
21 problem.

1           MR. REEL: We can begin virtual colocation as  
2 soon as the new innovative card becomes available just as  
3 good for a CLEC as physical colocation where you have  
4 actual control over the card and can go in and do your  
5 own maintenance.

6           MR. BOWEN: Well, I am going to speak for  
7 Rhythms only on this and ask my colleagues to speak for  
8 their own companies. But what we are talking about here  
9 is, yes, there are changes required to OSS to support  
10 these things. We would be, I think, happy -- and again,  
11 this is just the single vendor, you know, the same  
12 vendor's card as the box I am talking about here. I  
13 don't want to, you know, push aside too far other kinds  
14 of plug compatibility kinds of issues.

15           But speaking just to the same vendor, same card  
16 issue, virtual colocation, I think, can work quite well  
17 assuming again that the prices are economic prices for  
18 this reason. There may be 34 CLECs out there, but there  
19 aren't 34 vendors, right? In this case, we are talking  
20 about a single vendor offering two or three or four five,  
21 or count them on both hands maximum, kinds of DSL. And

1 so with virtual colocation, these are not insurmountable  
2 problems. You warehouse these cards just like you  
3 warehouse anything else. You put a certain number on the  
4 trucks that roll out to the field. You know after some  
5 period of time how many you need to stock.

6           When they go bad, you don't go out there and  
7 mess with them. You pull out the old one, you put a new  
8 one in. When it fails, if it fails, you just need to go  
9 out there and plug a new one and bring the old one back.

10           So virtual colocation lets the ILECs, first of  
11 all, control and minimize the different number of cards  
12 they have to carry around, right. And frankly, you know,  
13 you can have an inventory of cards, in effect a pool, so  
14 that you have -- let's pick on Lucent. You have Lucent  
15 cards that support ADSL or HDSL2 on a virtual colocation  
16 paradigm. You say, okay, I am Rhythms. I want you to  
17 place HDSL2 cards via virtual colocation, whether or not  
18 the ILEC wants to use that particular flavor or not of  
19 DSL. I want to be able to place those cards.

20           Virtual is a good way to do that. That is, it  
21 doesn't require us to go out with our trucks, escorted or

1 not, or, you know, smart card swiped or not at the RT.  
2 It lets us still maintain that kind of choice of a vendor  
3 technology. And in effect, the ILEC still owns the card  
4 because we have sold it to him for a dollar.

5 So virtual colocation in an RT kind of world  
6 works pretty well, as a matter of fact.

7 MR. McNAMARA: Virtual colocation creates  
8 exactly the same impediments and obstacles to OSS  
9 development as physical colocation does. We still have  
10 to create structures to manage different ownership of  
11 individual cards. The real issue here is whether or not  
12 if new cards are available, how quickly can you introduce  
13 them. I'll commit from the standpoint of Bell South, we  
14 will introduce these as soon as practicable. The issue  
15 becomes how do we recover our costs first for the card  
16 itself and also for the shared infrastructure that is  
17 used. And that is going to be a problem, a common  
18 problem, independent of whether it is shared physical  
19 colocation, virtual colocation, or unbundled access.

20 MR. BOWEN: Well, see, actually, if we own the  
21 cards and sell them to you for a dollar, we take all of

1 the risks of those cards not being utilized or utilized  
2 less than 100 percent of the appearances on that card.  
3 We talk about OSS modifications. Yeah, you have got to  
4 add a field that says who is the owner of this card that  
5 is going to track that.

6 MR. McNAMARA: We may well be willing to do  
7 that. I mean, I'm not really too concerned about the  
8 actual ownership of the card itself. I'm concerned about  
9 how much of the shared infrastructure is being utilized  
10 by virtue of the fact that that card is in place.

11 MR. MASTERS: If you had a card with four ports,  
12 the first CLEC to the first port. A second CLEC comes  
13 in. Instead of being able to assign that to the next  
14 port on the card, as you would in the shared environment,  
15 you would have to have a different card for a different  
16 CLEC, and third, fourth, and fifth. You might have 60  
17 percent of your cards spare. But you have basically  
18 suboptimized the box where you run out of slots versus --

19 MR. BOWEN: No. We went through this before.  
20 You are only talking about the last card that each CLEC  
21 owns. It won't be 100 percent popular because as soon as

1 you have four customers, you have used up one of your  
2 cards.

3 MR. MASTERS: No. But each slot is not  
4 universally applicable to all customers.

5 MR. McNAMARA: That shouldn't be an issue  
6 really. I think when we are talking about -- if he is  
7 using a slot, the cost is per slot. And the fact that  
8 maybe four lines of capacity is eaten up, that's going to  
9 be part of the price. The issue is also the ATM  
10 infrastructure, how much of the infrastructure is going  
11 to be allocated to that particular slot or per line on an  
12 individual slot.

13 MR. SICKER: We actually have to move on  
14 because we -- there may be other questions that FCC staff  
15 has. And we also need to open it up to the audience.  
16 So, anyone? Jerry, do you have anything that you would  
17 like to?

18 MR. STANSHINE: I just had one. We had a  
19 number of people who mentioned that at least in their  
20 view, they thought the most inexpensive way to support  
21 DSL service there there is an embedded RT that is not DSL

1 compatible might be to put a DSLAM up next to it rather  
2 than build an entire new RT. And I was just wondering,  
3 do you find it less expensive to put an entire RT there,  
4 or just trying to understand that approach that some  
5 people are taking with the alternative?

6 MR. MASTERS: I'll respond to that. We studied  
7 that very hard. Obviously, it would have been a lot  
8 easier if we could have just taken a pizza sized box and  
9 stick it somewhere in an RT and then instantly have  
10 solved the problem. It gets into your penetration  
11 levels.

12 Also, each slots are hardwired, and there is  
13 not an access point to the RT, except to a certain  
14 subset.

15 MR. STANSHINE: Sorry. What did you --

16 MR. MASTERS: There is not an access point to  
17 all copper sub-loops at the RT. Each slot is dedicated  
18 to a certain number of living units or to a certain SAI  
19 box. We found that with the penetration levels we were  
20 looking at, able to get in there, it was more economical  
21 to upgrade the box particularly, not increase a structure

1 on the outside because you have to have parallel fiber  
2 facilities, you have to have different OSSs for that  
3 other box. You have to treat it exactly as you would if  
4 you did the adjacent structure.

5 MR. STANSHINE: So you are saying you are able  
6 to do this without putting up a second box for a while?  
7 You are just going to survive with the one box that is  
8 already --

9 MR. MASTERS: If you are trying to do a very  
10 small number of customers in one location, the box makes  
11 some sense. If you are trying to do a very large number  
12 of customers, you get past the point of economics.

13 MR. SICKER: Johanna?

14 MS. MIKES: I'd just like to return briefly to  
15 the notion of maintaining existing fiber, where the ILEC  
16 is deploying -- I mean maintaining existing copper where  
17 the ILEC is deploying fiber in your remote terminals.  
18 And first, I would like to ask the CLECs, are competitors  
19 at all interested in that existing fiber? Would it be  
20 useful from your perspective? And then I would like to  
21 ask the incumbents, does pushing this fiber out to their



1 remote terminals affect a CLEC's ability to offer all  
2 services to end users, including T1s?

3 MR. OLSEN: We are unequivocally interesting in  
4 maintaining that copper. I mean, it is an incredible  
5 asset. It was written off years ago, and now it is how  
6 we are going to bring broadband to half the country at  
7 least. So it is essential, not just that it be left in  
8 the ground, but that it be serviceable. So I think SBC  
9 has already made a commitment, though a somewhat guarded  
10 commitment in that regard. We want to see that firmed up  
11 because that makes sure that the fiber deployment is  
12 complementary to and not in lieu of the current  
13 deployment of advanced services we have today.

14 MR. WIGGER: In addition to that, the  
15 maintenance of that copper plant, when the upgrade or the  
16 overlay of the network is put in place, that frees up  
17 copper facilities back towards the CO. And in many  
18 instances today in some of our territories, we attempt to  
19 order a DSL qualified loop from the CO and find that  
20 there is a current CSA environment that carrier serving  
21 area where remote terminal feeds those groups of

1 subscribers or businesses, where in effect we can't buy a  
2 dry loop or copper loop out to that area, yet it lies  
3 within the traditional 18 kilofoot range of DSL  
4 deployment.

5           So in fact, we would like to see that copper  
6 plant maintained so we can reach those customers that we  
7 can't reach today.

8           MR. BOWEN: Let me just add that it depends on  
9 which copper you are talking about. Leaving copper in  
10 place that is running with bridge tap included, you know,  
11 25-, 30,000, 35,000 feet, there is no point to leave that  
12 in place because all you can run over that right now is  
13 ISDN or IDSL. So that doesn't do us any good, frankly,  
14 as DSL carriers. Our working maximum for any usefully  
15 broadband kind of DSL is in the 20- to 23,000 foot range,  
16 at the top end.

17           So we definitely agree that for the shorter  
18 copper, there is no reason -- you know, we would like to  
19 have that stay up there. But for the long copper to stay  
20 in place, the copper beyond, say, you know, the low '20s,  
21 we frankly don't want to pull of a bunch of lumped coils

1 and excessive bridge tap and so forth. That is going to  
2 cost us way too much. That is going to cost these  
3 companies way too much to do that.

4 That kind of plant, frankly, I think is better  
5 retired and replaced with the fiber fed DLC kind of  
6 configuration.

7 MR. ROSENSTEIN: In fact, just one more  
8 comment. Keep in mind that we have scaled our deployment  
9 in the COs for an estimated number of, you know,  
10 subscribers. If a lot of this copper gets reterminated  
11 at the carriers, we now have fewer people we can reach  
12 from the CO. So it changes the economics of what we  
13 built the business plan on in the first place. So it is  
14 just worth mentioning.

15 MR. MASTERS: I'd take it first, I guess. Our  
16 current plan, this is an overlay network. We are not  
17 retiring any copper, any bolt bases or anything. As we  
18 said before, as copper becomes too defective to be  
19 maintained, it is retired. We are not changing our  
20 retirement plans before or after. They are what they are  
21 and have been.

1           Again, we can use that copper for POTS service.

2       Remember, half the customers in these RTs probably will  
3       never have any desire for broadband. And those POTS  
4       service will be fed over the copper as a first choice.  
5       We only go to the DLC if it is all exhausted for POTS,  
6       growth, and for broadband services.

7           MS. MIKES: So this doesn't look like just  
8       CLECs ability to provide any services.

9           MR. MASTERS: It is kind of the way all  
10       services have been arrived. In many cases, you had  
11       copper first, then you had this little carrier, then you  
12       had the next, and it just keeps building up. You retire  
13       it basically when it comes uneconomic. The same thing on  
14       the interoffice. We have copper cables unfortunately  
15       left in the interoffice world at the same time. But they  
16       are still economical to keep in service.

17          MR. McNAMARA: Just one more thing. Please  
18       keep in mind the issue of spectrum management. If there  
19       is also DSL in that NRT site and it is sharing a  
20       distribution facility, the services that launch from a  
21       central office are in serious jeopardy.

1           MR. BOWEN: We, of course, don't agree with  
2 that. We think -- unless it is analog AMITIs. In fact,  
3 there is a ballot about to go out from T1 and E1 that is  
4 going to specify the DSL flavors. And they will  
5 basically live and prosper together in the loop plant  
6 without any special techniques besides keeping the AMITIs  
7 off by themselves or in effect retiring them, which I  
8 think SBC is going to be doing as part of their project  
9 protocol.

10           MR. OLSEN: It sounds very encouraging about  
11 the existing copper. I think we just need to understand  
12 clearly what the existing retirement plans are. And I  
13 was concerned when Charles said earlier that while we may  
14 retire copper, we will leave your existing DSL customers  
15 up. I mean, the DSL customers today are a fraction of  
16 the market that is going to be served.

17           It is more important -- it is essential in fact  
18 -- that we make sure that we leave the copper that is in  
19 the ground that addresses potential customers, not just  
20 those who are up, so that people who today could get it  
21 will still be able to get it tomorrow should they choose

1 to do so.

2 MR. KIEDERER: Well, maybe you misunderstood  
3 what I said earlier. The copper that is in place we have  
4 no intention of yanking out, especially we can't yank it  
5 out if it has unbundled loops on it based on the current  
6 guidelines, okay? Whether or not we choose to use that,  
7 okay, in the future for anything else, you know, we don't  
8 know at this point.

9 What I will say, however, from an overall  
10 engineering and architecture perspective, if we had the  
11 luxury of doing a desert start today, nobody in their  
12 right mind would put in copper today. We would drive  
13 fibers as close to the home as we possibly could.

14 All of the studies that have been done in terms  
15 of the pricing of loops have been priced on that forward  
16 looking design. We then run into the anomaly of trying  
17 to deal with what some may consider an interim copper  
18 based technology, this DSL DSLAM type of equipment. And  
19 the associated pricing and support of that copper network  
20 under the guidance of a forward looking TORIC (phonetic)  
21 fiber structure and how do those two weigh against each

1 other, how is the cost recovery done, how is the dual  
2 plant maintained on a going forward basis.

3 MR. SICKER: I should cut in again. I  
4 apologize. We are really kind of limited in time for the  
5 audience. But I would like to ask if anyone from the  
6 audience does have any questions.

7 AUDIENCE MEMBER: Hi. My name is (inaudible).  
8 I am with Nortel Networks. And I also am a chairman of  
9 the DSL forum marketing committee.

10 One section of the industry is not represented  
11 here, and I wanted us to keep in mind that section, which  
12 is the modem manufacturers. They have a terrible time  
13 right now developing to interface with products that are  
14 deployed out there. And we need to ensure that whatever  
15 solution we have, it is standards based and that the  
16 interoperability is addressed.

17 MR. SICKER: Could you stay at the microphone?  
18 How are you -- in the DSL forum, are you addressing  
19 this?

20 AUDIENCE MEMBER: We developed -- we have  
21 interoperability activities right now running at the

1 University of New Hampshire. And at Supercom and other  
2 events, we have interoperabilities. But what is being  
3 deployed out there is not necessarily what goes to these  
4 labs. So there are still vendors out there that deploy  
5 proprietary type of products.

6 MR. OLSEN: As the DSL CLECs go first into  
7 the consumer market, one of the most important things  
8 about scaling that market is the ability of the consumer  
9 to buy lots of different modems to plug into the DSLAMs  
10 that are in the office. That interoperability drives  
11 down price, so you can go to CompUSA, put your modem  
12 under your arm, go home, and have your DSL.

13 Some vendors have made extraordinary efforts in  
14 that regard. I know Copper Mountain's products are  
15 widely interoperable. Some are less so. And I think,  
16 for example, Alcatel's was the least, and is increasing  
17 its interoperability. But that is a key component. Of  
18 course, it is the exact same issue, that it has to be  
19 funneled in a way that the vendors respond to those  
20 market demands. And I know that there are an increasing  
21 number of CPE vendors that speak to the Alcatel DSLAM.



1 But that's an important point. It comes back to the same  
2 issue about maintaining facilities based competition  
3 somewhere in the network.

4 MR. RANSOM: I certainly agree with Michael,  
5 except for one point. Alcatel has always been named the  
6 most interoperable. We interoperate with virtually every  
7 ADSL compliant modem out there. So I'm somewhat  
8 surprised that you would suggest otherwise.

9 MR. REISTER: I would just comment that -- and  
10 this should definitely not be a vendor thing. But the  
11 interoperability issues are essentially the same whether  
12 it is RT based or CO based. There might be a couple of  
13 things that, you know, you might innovate on in this  
14 voice world going forward. But I don't really see any  
15 difference in the issues from an RT versus a CO  
16 perspective. So I don't know that we have to consider  
17 interoperability specifically for RT.

18 MR. SICKER: Are there other questions from the  
19 audience? Well, maybe we should turn it over to the --

20 MR. MASTERS: May I have one last comment?

21 MR. SICKER: Sure.

1           MR. MASTERS: Technicality. We have used words  
2 intermixed here. I just want to make sure we are kind of  
3 straight on the words. Colocation is only required for  
4 equipment necessary for access to UNIs. A plug-in card  
5 is not a piece of equipment. It by itself cannot access  
6 a UNI, just to make it real clear. We are clear that a  
7 plug-in card sitting on a table by itself can't do  
8 anything. It is like a water pump on an engine. It is a  
9 critical component, but it is part of the system.

10           We talked a lot about today when you address  
11 these services, you have to address the NDN service  
12 requirements, otherwise nothing is going to work, and we  
13 are going to spend a lot of money doing all of the wrong  
14 things.

15           MR. SICKER: Okay.

16           MR. BOWEN: A card by itself is not a service.  
17 A DSLAM by itself is not a service. You put a DSLAM on  
18 the card, it is the same thing. So we are saying this is  
19 how we want to be able to access UNIs.

20           MR. SICKER: Yeah. You know what? Let's not  
21 go into this because we are not worrying about what is a

1 UNI, what is not a UNI. We really don't want to do this.

2 That's outside of the purview of this. Yeah.

3 MR. RANSOM: If I could make a final statement.

4 We have talked a lot about the colocation issue. And it  
5 seems like there is possibilities that CEVs and HUTs --  
6 obviously, a problem with the shrink wrapped cabinets.  
7 We have talked about the possibility of forcing the ILECs  
8 to put in large cabinets with additional power,  
9 additional ADM MONX (phonetic) capabilities, copper  
10 distribution frame, and so forth. And speaking from  
11 Alcatel, that sounds great. We have a chance to sell  
12 them a lot more expensive equipment for these sites, and  
13 that is great, although I'll admit guilty as charged when  
14 Charlie says that, yeah, you talk about that, but that  
15 would take you design cycles and whatnot, and that takes  
16 a long time to happen, and that is absolutely true, and  
17 guilty as charged.

18 But quite frankly, to think that that -- the  
19 reality is that most customers are served by existing  
20 ones, not the ones that are going to be installed. And  
21 the new ones that are going to be installed, we have seen

1 from just the orders we are getting, they are getting  
2 very small. And once you get down to small equipment,  
3 even as Bell South is deploying in tiny handholds to say,  
4 well, you just put in another rack of equipment in that  
5 side is crazy.

6           There is just very little that is going to be  
7 done in the future as we get to very small sites to talk  
8 of colocation and tiny little boxes that sit out in the  
9 street. It is just odd.

10           So think of that as you think of whatever rules  
11 to put forward. The shared platform does seem to make a  
12 lot of sense. And, obviously we need a forum to get  
13 discussion. The CLECs are both our customer and our  
14 customer's customer, as Steve would point out. And we  
15 are very interested in their needs so that we can make  
16 sure those needs are met in these shared platforms.

17           MR. SICKER: Okay. I'd like to thank  
18 everybody, and the meeting is adjourned.

19           (Whereupon, at 4:05 p.m., the meeting was  
20 adjourned.)

21 //

1 //

2 //

3 //

4 //

5 //

6 //

7 //

**REPORTER'S CERTIFICATE**

**FCC DOCKET NO.:** N/A  
**CASE TITLE:** COMPETITIVE ACCESS  
**HEARING DATE:** May 10, 2000  
**LOCATION:** Washington, DC

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Federal Communications Commission.

Date:   5-10-00  

---

Sharon Cook  
Official Reporter  
Heritage Reporting  
Corporation  
1220 L Street, N.W., Suite  
600  
Washington, D.C. 20005-4018

**TRANSCRIBER'S CERTIFICATE**

I hereby certify that the proceedings and evidence were fully and accurately transcribed from the tapes and notes provided by the above named reporter in the above case before the Federal Communications Commission.

Date:   5-10-00  

---

Mary Ellen-Feinberg  
Official Transcriber  
Heritage Reporting  
Corporation

Heritage Reporting Corporation  
(202) 628-4888

**PROOFREADER'S CERTIFICATE**

I hereby certify that the transcript of the proceedings and evidence in the above referenced case that was held before the Federal Communications Commission was proofread on the date specified below.

Date: \_5-24-00\_

---

Glenn Arkin  
Official Proofreader  
Heritage Reporting

Corporation