

1 we set our confidence intervals. So typically  
2 we're setting 95 percent confidence intervals,  
3 but that's not cast in stone either, so you may  
4 have a broader 95 percent confidence boundary  
5 with a point estimate that's around one for the  
6 hazard ratio, and that's still not no  
7 information. There is some degree of  
8 probability with which you can rule out, let's  
9 say, the 33 percent increase. It's not  
10 95 percent. You want to just comment on that?

11 DR. FLEMING: Yes. I agree with you  
12 that there are obviously a continuum in terms of  
13 the level of evidence that we have. My concern  
14 is, in a setting where -- particularly if  
15 there's a signal for a safety risk and that that  
16 safety risk truly would meaningfully alter  
17 benefit-to-risk, then I think it is important to  
18 ensure that your confidence interval is ruling  
19 out what would be unacceptable.

20 And to use -- let's say a 90 or an  
21 80 percent confidence interval is essentially  
22 saying I'm okay declaring safety when in fact

1 I may be falsely declaring safety 10,  
2 20 percent of the time when this is an unsafe  
3 intervention.

4 So I agree with you, Marv, that the  
5 point estimate and the confidence interval  
6 are important, and if you don't rule out  
7 something that's 1.33, in this example, you  
8 could still be contributing substantial  
9 information. But to use that as the basis  
10 for saying that's all I have to do is in fact  
11 in many settings at least an inadequate level  
12 of assurance of safety.

13 DR. KONSTAM: I'll just end with a  
14 comment: I think that all of that might be  
15 considered relative to the potential benefit.

16 DR. FLEMING: Absolutely.

17 DR. KONSTAM: And relative to the  
18 incremental value of that particular drug.

19 DR. FLEMING: In fact, the way you get  
20 at that, Marv, is the actual margin you're  
21 trying to rule out should be factoring that in.  
22 So if you say I have substantial evidence of

1 major effects on important clinical outcomes,  
2 then that could allow you to use a somewhat  
3 larger margin for other clinical outcomes.

4           On the other hand, if you're  
5 looking at a symptom benefit and the risk  
6 that you're concerned about is irreversible  
7 morbidity or mortality, then you're not going  
8 to allow as much on that margin, so why what  
9 you're saying is intuitively correct is that  
10 I don't have to rule out 1.33, I only have to  
11 rule out 1.5 -- in a hypothetical setting  
12 where I have major benefit on other  
13 clinically important outcomes, and I just  
14 have to know that it's not unacceptably  
15 washed out by this other clinical outcome.

16           DR. BURMAN: Any other questions by  
17 the panel? Yes?

18           DR. FRADKIN: You recommended that  
19 each surrogate be validated for each class of  
20 drugs for a disease, and I'm wondering in the  
21 case of diabetes where there are already 10  
22 different approved classes, that the surrogate

1 would say validate it for three classes of  
2 drugs, would you then extrapolate from that, or  
3 do you really feel that it has to be for every  
4 single drug class?

5 DR. FLEMING: I think that's a  
6 discussion that a lot of people should spend a  
7 lot of time talking about. It's not something  
8 that I alone would want to answer. Clearly, the  
9 broader you are able to validate a surrogate  
10 across classes of agents, the more confident you  
11 would be. Yet if a new intervention has  
12 plausible mechanisms that could lead to  
13 unintended negative effects, then that goes out  
14 the window.

15 So a lot depends on the degree to  
16 which you can place confidence that the  
17 unintended negative effects of this new class  
18 should not be substantially more influential  
19 than the unintended negative effects of the  
20 classes that have already been studied.

21 DR. BURMAN: Yes.

22 DR. TEMPLE: I think I know what your

1 answer will be from the last conversation. A  
2 lot of this is framed in terms of surrogates,  
3 and the whole conversation about this has had to  
4 do with surrogates, but in some sense, what  
5 you're saying from some of your examples like  
6 the COX-2 studies, we're not really talking  
7 about surrogates. We're talking about a benefit  
8 that is something short of mortality, where you  
9 want to know whether the drug has a bad effect  
10 on something that's really important like  
11 survival, stroke, or something like that.

12           So I take it you would agree that  
13 all of the things you've said have to do with  
14 determining how safe a drug is in the face of  
15 a variety of possible benefits, one of which  
16 might be a benefit based on a surrogate, but  
17 another might be just a symptomatic  
18 improvement, or the microvascular things that  
19 most people here seem to be saying are  
20 well-established. You'd still apply all this  
21 thinking to ruling out a cardiovascular risk,  
22 even in the face of a benefit.

1 DR. FLEMING: Yes, that's true.

2 DR. BURMAN: Yes?

3 MR. PROSCHAN: It seems to me that one  
4 of the hardest things is determining the  
5 non-inferiority margin, and I'm wondering  
6 whether you think that the effect on the HbA1c  
7 should be used in part -- you know, relative to  
8 the comparator or the expected effect should be  
9 part of the equation in terms of setting that  
10 non-inferiority margin. And this is kind of a  
11 scary thought, but what would you think about  
12 the idea of setting that non-inferiority margin,  
13 specifying a rule that says, if the difference  
14 in HbA1c is this amount, here's the margin. If  
15 it's that amount, here is the margin, and then  
16 you know, actually looking at the difference in  
17 HbA1c in your trial.

18 DR. FLEMING: It's an important  
19 question. It's a very difficult one to answer.  
20 It's easier for me to answer in a setting where  
21 a great deal of thought has been given, and  
22 Steve Nissen was actually the Chair of the

1 executive committee for this precision trial  
2 that I've talked about. My role has been the  
3 chair of the data monitoring committee, so he  
4 can probably answer the question better than I  
5 can.

6 But basically in that setting, a  
7 careful discussion was given to what is the  
8 effect, in this case, of the COX-2, what is  
9 its effect? To what extent is it a unique  
10 effect relative to what can already be  
11 accomplished with other standard  
12 interventions? To the extent that what you  
13 are accomplishing can already be accomplished  
14 by other interventions that don't provide the  
15 risk, then your tolerance level for excess  
16 risk would be less.

17 On the other hand, if you could  
18 argue that the COX-2s provide more enhanced  
19 analgesic effects than any other available  
20 therapies, and provide a reduction in GI  
21 ulceration risks that really matter to  
22 patients, then that does influence the level

1 of excess risk that you might allow, what you  
2 define to be the lowest level that would be  
3 unacceptable.

4 In the case of HbA1c, where I've  
5 had less opportunity to have the extensive  
6 discussion as we did in the precision trial,  
7 my sense is we would carefully look at what  
8 is already known or expected for benefit, and  
9 how much excess risk would need to occur that  
10 would offset that benefit, and to what extent  
11 are there already other available therapies  
12 that provide that same benefit without the  
13 excess risk. All of these are issues I think  
14 would have to be thought through.

15 The temptation to avoid, though, is  
16 to make that margin really big, so that we  
17 can do a small trial.

18 DR. BURMAN: Last question.

19 Dr. Genuth?

20 DR. GENUTH: In your talk, you implied  
21 or suggested that you would have a situation  
22 where there's an early safety risk that might be



1 counterbalanced --

2 DR. FLEMING: Yes.

3 DR. GENUTH: Outweighed by a  
4 longer-term benefit.

5 DR. FLEMING: Yes.

6 DR. GENUTH: Is there some way that if  
7 you suspect such a situation, that you can build  
8 your suspicion into the design of the trial?

9 DR. FLEMING: Well, that's a great  
10 question as well. The first point that I would  
11 make is in such a scenario, the biggest mistake  
12 we can make is to design the trial to be  
13 short-term. The biggest mistake that we can  
14 make is to have 10,000 people with six months  
15 follow-up and that's it, because we're only able  
16 to reliably understand short-term effects. So  
17 where we anticipate that true benefit-to-risk  
18 can't be adequately established by short-term  
19 effects, the study should be designed  
20 longer-term.

21 Now, in monitoring such studies,  
22 they shouldn't be stopped early unless the

1 effect is so profound short-term that the  
2 anticipated differences long-term, even if  
3 they would become apparent, wouldn't override  
4 the short-term. So for example right now in  
5 HIV/AIDS, we have used viral load all the  
6 time to assess how to approve therapies, but  
7 what that's meant is we don't know some  
8 fundamental things. When do you start an  
9 anti-retroviral therapy? Early versus late?  
10 Both for prevention of transmission and for  
11 therapeutic benefit for the patient.

12           So we're finally doing, now,  
13 large-scale long-term randomized trials where  
14 we fully expect that early anti-retroviral  
15 use will look better short-term. But  
16 longer-term could give a very different  
17 profile because you're saving your silver  
18 bullets, so to speak, to when you really need  
19 them when you have lower CD4, higher viral  
20 load.

21           So in our setting here, if we  
22 believe that benefit-to-risk could be

1 unfavorable short-term based on some  
2 unintended or unrecognized adverse mechanisms  
3 on macrovascular complications that could in  
4 fact be more favorable long-term, then your  
5 safety assessments should be in fact set up  
6 to be long-term to allow for that  
7 understanding of benefit-to-risk over the  
8 longer-term. This is a chronic setting.

9           What we care about isn't just  
10 short-term. The design should allow for  
11 that. And termination should only occur if  
12 the early results are so profound that you  
13 can argue they would be persuasive, you don't  
14 need to know what that long-term result is.

15           DR. BURMAN: Thank you very much. I  
16 think we have to move on to -- thank you very  
17 much, Dr. Fleming.

18           Our next speaker before lunch, last  
19 one before lunch, is Professor Rury Holman.

20           Welcome.

21           MR. HOLMAN: Thank you. And I  
22 appreciate the opportunity to talk to the

1 committee. I've been asked to reprise the UK  
2 Perspective Diabetes Study, and I'd just like to  
3 acknowledge the NIH and NHLBI support over many  
4 years, although it was largely on microvascular  
5 interest they had at that time, and to not only  
6 highlight some of the issues, but maybe correct  
7 a few misconceptions, and then put it in the  
8 setting for the discussion today.

9 I appreciate our earlier speakers  
10 who have covered and highlighted many of the  
11 issues that are cogent to the UKPDS. Just  
12 let me remind you of a few salient facts.  
13 This is a cohort of newly  
14 diagnosed -- whatever that means -- patients  
15 with type 2 diabetes recruited over a 14-year  
16 period. So we are seeing secular changes,  
17 then followed for between 6 and 20 years, and  
18 we have now just completed 10 years of  
19 post-study follow-up -- that's a 30-year  
20 segment of data for the first patient in.  
21 We therefore will be in a unique  
22 position to follow the natural history, to

1 look at evolving trends in this condition,  
2 and to look at the inter-relationships of  
3 risk factors and some of the interventions  
4 over time.

5           Now we've heard, very nicely from  
6 Bob Ratner, about the microvascular  
7 component, and I think that really has taken  
8 as read -- the most significant risk factor  
9 is hypoglycemia. If you don't have  
10 hypoglycemia, you don't have diabetes, you  
11 don't get the microvascular problems, but  
12 that is leveraged by blood pressure. And  
13 after that, the issue I think is reasonably  
14 solved.

15           What we didn't anticipate when we  
16 first set up UKPDS was the true impact of  
17 cardiovascular disease. The take-home  
18 message is, though, as we continue to  
19 decrease the impact of cardiovascular  
20 disease, improve collateral therapies, and  
21 extend lifetime, we extend the time for risk  
22 of microvascular complications. So at

1 smaller levels of A1c difference, may still  
2 be relevant, but we mustn't forget that in  
3 our headlong charge to reduce cardiovascular  
4 disease.

5           So this paper, Paper 23, published  
6 just before we revealed the results of UKPDS,  
7 highlighted what Robert Turner coined the  
8 "deadly quintet" for CHD, showing, as we've  
9 seen earlier with data from David Nathan,  
10 that HbA1c is a statistically independent and  
11 potentially modifiable risk factor that  
12 predicts bad outcomes. But of course, this  
13 is epidemiology, and the true relationship is  
14 to see if an intervention will reduce the  
15 risk, hopefully in line with the expected  
16 effect size -- it may be more, it may be  
17 less.

18           And UKPDS set up the primary  
19 question: if we minimize the difference in  
20 glycemia and we used HbA1c as the overall  
21 measure, would we reduce risk of outcomes?  
22 And that was all outcomes, and they were

1 pre-specified -- 21 particular endpoints.

2           We heard a little bit earlier about  
3 the need to adjudicate. It's much more  
4 important that you count the things that  
5 matter, and preferably adjudicate them, than  
6 just rely on self-reported adverse events.

7           And secondly, UKPDS said, does it  
8 matter how you reduce the Alc? Which is how  
9 we came to have a head-to-head between the  
10 then-available therapies.

11           This is the slide you've seen in  
12 part earlier, but this is the actual  
13 incidence per 1,000 patient years. And this  
14 is for microvascular disease. And again  
15 remind you, UKPDS had microvascular disease  
16 as a hard outcome. This is photocoagulation  
17 for sight-threatening retinopathy, end stage  
18 renal disease, or vitreous hemorrhage. This  
19 is not albuminuria or any of the preceding  
20 values.

21           And we can see quite nicely that if  
22 you look at the updated mean data by Irene

1 Stratton, so this is looking at the net  
2 impact over time of glucose exposure -- at  
3 near normal levels of A1c, there's very  
4 little risk of microvascular disease, but  
5 about a 15-fold increase over the range of  
6 A1cs that we typically saw in the study.

7           And for myocardial infarction, even  
8 at the lower levels, there is already a  
9 substantial risk, reflecting the background  
10 population and the increased risk for type 2  
11 diabetes, but a fairly modest doubling or so  
12 over the range of A1c.

13           So it seems to me a little  
14 unrealistic that a drug to lower (?) A1c  
15 would be a statin-like effect on myocardial  
16 infarction, and we need to be reasonable in  
17 our expectations.

18           We mustn't forget the microvascular  
19 impact, though. These data from UKPDS 64 by  
20 Amanda Adler (?) showed that the year-on-year  
21 transition rates for no nephropathy to  
22 microalbuminuria, from there to



1 macroalbuminuria and end stage renal failure,  
2 are between 2 and 3 percent. But for each of  
3 these changes in microvascular state, the  
4 risk of death is tripled times 5 times 20.  
5 In fact, it's more likely you'll die than  
6 move to the next stage. So again,  
7 microvascular disease is important in the  
8 context of a cardiovascular risk.

9           And these data from the now-iconic  
10 graph from UKPDS show the impact of firstly  
11 diet and lifestyle, and then the randomized  
12 application of conventional therapy, or the  
13 more intensive therapies. And a couple of  
14 things here, during the study, these two  
15 groups were referred to as usual therapy,  
16 which meant diet until it was no longer  
17 acceptable or glucose levels, and active  
18 therapy with a pharmacologic agent. In no  
19 real sense were these intensive, because as  
20 we see here, the impact of these therapies  
21 over time is only to track at a lower A1c  
22 about .9 percent difference, the natural

1 history in the diet treated group, and again,  
2 the available agents didn't show any real  
3 difference in their efficacy on glucose  
4 control.

5           So just to make that point, this is  
6 the design of UKPDS. This is a patient  
7 randomized to sulphonylurea, they have a  
8 diet, an exercise entry, and then the  
9 sulphonylurea impact is seen here. Quite  
10 impressive. But rescue therapy, when  
11 metformin was added per protocol, was not  
12 until a 270ml/dl, 15ml/L if glucose was  
13 reached. We could never do this study again,  
14 but it's a child of its time.

15           When I started this study, most  
16 people didn't believe glucose was that  
17 important for complications, some people  
18 thought it was genetic. It was really just  
19 symptom therapy in order to reduce the  
20 glucose below a point the patients didn't  
21 have glycozeria.

22           And of course now, this would be

1 unacceptable and so for trials, we can no  
2 longer have this sort of data. However you  
3 design it, you can only have relative small  
4 differences, or for very short periods of  
5 time.

6           The reason for that drop came out  
7 of the UKPDS. When we first designed UKPDS,  
8 it was on the back of my initial studies with  
9 Robert Turner where we were really interested  
10 in the insulin deficiency component of type 2  
11 diabetes, and actually, we designed the trial  
12 to look at the benefit of using insulin as  
13 first-line therapy, which of course we had as  
14 one of the randomized arms.

15           And here we see that the beta cell  
16 function measured in the study both in the  
17 non-overweight and overweight people is  
18 around 50 percent of normal at the time of  
19 diagnosis on average, and declines by about  
20 4 percent a year. And whether we use  
21 sulphonylurea, which initially boosts the  
22 apparent beta cell efficacy in both groups of

1 patients, once the effect is maximized, the  
2 rate of decline is very similar, and even for  
3 metformin, a small benefit initially is  
4 followed by the same downward trend.

5           So long-term studies, we have this  
6 real problem that we are tackling a  
7 progressive disorder, and we have to have  
8 rescue therapies -- these days earlier and  
9 earlier. And of course, one of the benefits  
10 of a particular treatment might be to stop  
11 that process, which would make our lives  
12 easier, although not necessarily change the  
13 cardiovascular outcome.

14           So what were the results of the  
15 study? Well, this monotherapy approach,  
16 because for most patients, for most of the  
17 study, they were on their first-line therapy,  
18 it took all that time to achieve a net  
19 0.9 percent difference, but over 10 years  
20 median follow-up, the main composite endpoint  
21 was significant.

22           That was what the study was powered

1 on. The enigmatic myocardial infarction  
2 endpoint with a 16 percent risk reduction  
3 just on the cusp, and we have never claimed  
4 that significant, but of course it's  
5 tantalizing. And many of the studies that  
6 followed, particularly ACCORD, of course were  
7 predicated on the process of could we prove  
8 that myocardial infarction could be reduced  
9 by reducing the Alc, but I think time has  
10 moved on, because the guidelines after UKPDS  
11 insist on reasonably low Alc levels are  
12 optioned to do that nice scientific  
13 separation as being minimized. As we've  
14 heard, microvascular disease, no question.

15           Just a point about the separation.  
16 We've seen this before from Bob Ratner, but  
17 it takes here about two years before we see  
18 separation in the curves. There's quite a  
19 few endpoints here. Remember, these are hard  
20 endpoints, not soft, but if I blow this up  
21 you'll see actually there's an adverse effect  
22 initially in the intensive group. We saw

1 that in the Wellcome study in the late '70s  
2 in the Steno 1 study, an initial worsening of  
3 retinopathy before the longer-term benefit  
4 kicked in. Now many studies are using  
5 secondary intervention or secondary  
6 prevention like ACCORD, like many of the new  
7 studies, because we want high-risk patients.  
8 There's a slight concern that as we improve  
9 glucose controls, we may have to go through a  
10 period of adverse effect before you might get  
11 benefit. This is why we need long-term  
12 outcome studies to truly evaluate the  
13 risk/benefit ratio.

14           With myocardial infarction we've  
15 seen the p-value. Here, we don't see  
16 separation probably until close to three  
17 years, although it is a systematic slight  
18 widening over time. We can make no more  
19 claim than that other than to say that for  
20 this level of A1c difference which you might  
21 achieve in a new study now, you would need to  
22 go for that length of time before you might

1 begin to see separation, so long-term  
2 studies. We're talking about six minimum  
3 years, in my view.

4           Now we did look at a meta-analysis  
5 of Alc reduction. This is for type 2  
6 diabetes. Kumamoto actually did split their  
7 patients into secondary and primary  
8 prevention, and you can see for their primary  
9 prevention, they had an impressive result  
10 compared to their secondary prevention  
11 patients. These are the various components  
12 of UKPDS and the Veterans Affair, which was  
13 the wrong side net effect about a 19 percent  
14 reduction for type 2.

15           Interesting, and we've seen a  
16 little bit of this data already, in the  
17 meta-analysis we did for the type 1 diabetic  
18 patients, there is about a 62 percent risk  
19 reduction here, reflecting maybe the  
20 DCCT/EDIC result, and suggesting in these  
21 patients with much fewer other risk factors  
22 in play, the pure effect of glucose may be

1 easier to discern.

2           Coming back to UKPDS and Metformin,  
3 and this is misconception number one, and I'm  
4 afraid it was in your slide already, and that  
5 is, the Metformin study was primarily part of  
6 the UKPDS. Of the enrolled patients, those  
7 that went into the main randomization were  
8 stratified by ideal body weight. And of  
9 those who were over 120 percent, they were  
10 randomized to the intensive glucose policy  
11 with sulphonylurea insulin or conventional,  
12 but there was this additional possibility  
13 only in overweight patients to have Metformin  
14 pre-specified from the start, and reflecting  
15 the regulatory environment in Europe at the  
16 time -- and ethical approval.

17           So we actually have a sub-study in  
18 terms of patients, but a primary  
19 randomization of 753 patients, where we could  
20 compare directly these two, and in fact we  
21 compared intensive glucose as well.

22           And these are the results. The



1 actual A1c difference in these overweight  
2 patients who were allocated Metformin as  
3 opposed to conventional therapy was less than  
4 the majority of the study which was  
5 0.6 percent, but nonetheless, the risk  
6 reductions were impressive.

7           For microvascular disease, it was a  
8 similar effect size, 29 percent, though not  
9 significant, and then this all cause  
10 mortality, significant, over one-third  
11 reduction, myocardial infarction, 39 percent.  
12 Nearly a statin-like effect, you might think,  
13 never replicated. And that's interesting. I  
14 was taught you had to have two pivotal  
15 studies in two reasonable populations to make  
16 the effect.

17           In Europe, the regulators took this  
18 and the label was improved. In fact, the  
19 manufacturers of this agent have "saves  
20 lives" stamped across their original  
21 advertisement, so this is an issue which  
22 really the jury is out. Another trial needs

1 to be done.

2                   And just to show you the  
3 Kaplan-Meier for that, this wasn't just a  
4 play of chance in the way the numbers fell.  
5 Separation was very early and widened over  
6 time, suggesting this might be a real effect,  
7 but clearly is not of a magnitude that  
8 relates to the Alc difference, and so this  
9 may be an off-target effect, and we can  
10 speculate about what that might be of a  
11 p-kinase, but it's a beneficial effect that  
12 needs to be tested, as opposed to a harmful  
13 effect, which we've discussed quite a lot  
14 this morning.

15                   I put this slide in because this is  
16 the true sub-study where this is a post hoc  
17 analysis of patients in whom once allocated  
18 to sulfonylurea, were randomized later in the  
19 study to additional metformin, at a blood  
20 glucose fasting of 108mg/dl. So this was a  
21 modification in a subset of patients. And  
22 the worrying thing was that when we looked at

1 the comparison, there was almost a doubling  
2 in risk for those who remained on  
3 sulfonylurea to those who were randomized to  
4 additional metformin.

5           These results have not been  
6 replicated. No study is being done.  
7 Trolling databases does not replicate this.  
8 And the only point of reference I would give  
9 you is in the study as a whole, patients who  
10 were not part of this subgroup and who were  
11 on sulfonylurea for the trial had a higher  
12 rate overall.

13           So what we're seeing here is an  
14 unusually low rate in this group, but then  
15 those are the data, and we cannot  
16 second-guess them. The purpose is to do  
17 proper trials. We should do a large trial  
18 and we should test this.

19           The blood pressure study, just to  
20 point out, was introduced of necessity. In a  
21 long-term trial, information comes along,  
22 treatments change, guidelines change, and one

1 thing the UKPDS demonstrated was a 45 percent  
2 increased risk of events in people who had  
3 hypertension in addition to their diabetes.  
4 We had no choice but to introduce a blood  
5 pressure study in a randomized factorial  
6 fashion if we wanted to see differential  
7 therapies in our open study randomized  
8 glucose groups. And this study differs from  
9 the glucose study.

10 Another misconception: This is a  
11 treat-to-target multiple drug. The target  
12 was 150/85 mmHy, and if the first drug didn't  
13 make that goal, second, third, in a step-wise  
14 protocol specified fashion, drugs were added.  
15 In fact, over 30 percent of the patients were  
16 on three or more drugs by three years. So  
17 this is really quite a different approach to  
18 treatment, and with that effect size  
19 10/5mmHy, we saw significant and really very  
20 impressive reductions in the risk for the  
21 major outcomes pre-specified in the study.

22 And now of course, we cannot do a

1 study without controlling this risk factor.  
2 And this is the two-by-two factorial. These  
3 are the randomized arms of the study, just  
4 showing that statistically in these 887  
5 patients who were in the two-by-two part of  
6 the study, a net improvement in those who had  
7 both tight glucose and tight blood pressure  
8 control in a stepwise fashion compared to  
9 those who had neither. It doesn't prove it,  
10 but now Steno 2, and particularly the  
11 extension, endorse the fact multiple risk  
12 factor therapies have to be done. Any study  
13 we do is going to be on a complex background.

14 So we did go on and do the  
15 observation analyses. And we heard quite  
16 nicely from Dr. Fleming the need to establish  
17 what you might get for specific therapies,  
18 and how that might play out on an  
19 agent-by-agent basis. So again, these data  
20 by Irene Stratton looked at the HbA1c  
21 exposure over time against the hazard ratio  
22 for coronary heart disease, and she

1 established that -- firstly, it was a  
2 straight line relationship on this log linear  
3 plot, no U-shaped curve, no suggestion that  
4 there was a point where benefit might be  
5 reduced as you went further down the curve,  
6 and she established a 14 percent decrease was  
7 the potential benefit for a 1 percent  
8 decrement in Alc.

9           We've seen already that the study  
10 had 16 percent for an 0.9 percent Alc  
11 difference, so in line with the epidemiology,  
12 and suggestive that another trial might buy a  
13 result, and I believe ACCORD did most of  
14 their power calculations based on these data.

15           For the blood pressure study, we  
16 actually had a 14 percent decrease with 10mm  
17 systolic blood pressure decrement, but the  
18 effect of the trial was larger, and that's  
19 where this issue of off-target effects,  
20 multiple therapies, and non-glycemic  
21 benefits -- or non-blood pressure benefits, I  
22 beg your pardon -- might come into play. So

1 we were seeing more than we had expected, but  
2 again, the relationship for blood pressure  
3 established allowing us to make predictions  
4 about the potential benefits of  
5 interventions.

6           And for LDL-cholesterol, this is  
7 not a published graph, but it is  
8 demonstrating across the LDL-cholesterol  
9 values observed during the study, again the  
10 updated value, we would predict about a  
11 29 percent decrease in risk for 1mmol of  
12 decrement in LDL, and of course this is  
13 almost precisely what HPS showed in the  
14 diabetic gross subgroup, a 27 percent  
15 decrease.

16           So we can, as it were, imagine the  
17 sort of results we might see. We can plan  
18 trials about potential benefits, and we can  
19 also therefore look at multiple risk factors  
20 in complex trial designs.

21           The problem is, it's all great  
22 until the unexpected happens. Things come

1 along and they derail us. And the history of  
2 the diabetologist is, we've had a bad run  
3 with some agents -- with the best of  
4 intentions. We've done a series of studies  
5 and then found that we have had catastrophic,  
6 usually cardiovascular or morbid results as a  
7 result of off-target or unexpected issues.  
8 And this really plays the fact that in a  
9 gluocentric world, where we're looking at Alc  
10 and microvascular, we cannot ignore the other  
11 effects of these drugs, and cardiovascular  
12 disease does need to be assessed where  
13 appropriate in large-scale studies.

14           So what we've done here is tried to  
15 capture in a model all the data that's in  
16 UKPDS. This is a UKPDS outcomes model that  
17 was put together with our group, but mainly  
18 by Phillip Clarke and Alistair Gray who are  
19 health economists, and what they tried to do  
20 was see if we could look at the different  
21 complications over time; that is not only the  
22 macrovascular and microvascular, but the



1 sequences, and then assess these as quality  
2 adjusted life expectancy, in order that you  
3 can run trials in sillico, and you can, as it  
4 were, optimize the designs and provide data  
5 for the sort of calculations we saw in the  
6 previous talk.

7           So this model, as it were, which is  
8 used by a variety of groups now, including  
9 mice (?) takes the data from the UKPDS which  
10 is the best long-term natural history data we  
11 have in that available, but could now be much  
12 improved by using the other studies that are  
13 here, and calculating for the major outcomes,  
14 the determinants over time, and what is so  
15 important in this is this is using time  
16 varying covariants. So it's not just  
17 baseline values.

18           The way the model works is to take  
19 the information from a patient at any point  
20 in their disease with or without  
21 complications, and then on an annual event,  
22 calculate their likelihood of having an

1 outcome. You then update the covariates  
2 either on the natural history model that  
3 UKPDS provides or by imposing a trial design  
4 where you want to hold the difference, and  
5 then you rerun the model until at some point,  
6 all of the assimilated patients have died,  
7 and then you can do the calculation.

8           Now, trials are no longer just  
9 glucose against two levels of glucose, they  
10 are about managing on a background of varying  
11 risk factors -- however you want to pull out  
12 a net effect, so this sort of modeling allows  
13 you to design trials perhaps more  
14 efficiently.

15           But does it work? It predicts the  
16 (inaudible) result, it predicts the HPS  
17 result, but they are mainly just LDL  
18 differences, of course, and quite simple.

19           But PROactive was an interesting  
20 study. We've heard a lot about it but as a  
21 study design, it's actually quite sensible.  
22 In a high-risk group of people, in a usual

1 care setting, it's adding double blind  
2 placebo control study on top of everything  
3 else, and hopefully any differences are  
4 protected by the randomization.

5 But this is a drug that has  
6 multiple effects, and therefore, the question  
7 is when in this principal secondary endpoint,  
8 as it was referred to in the paper, they saw  
9 a 16 percent risk reduction -- is this what  
10 you might expect from the net changes in the  
11 conventional risk factors or is this a magic  
12 effect of the drug itself, in other words,  
13 over and above what we have seen in the  
14 physical measurements in the previous studies  
15 with this agent?

16 So what we did was generate a  
17 patient cohort who were matched precisely for  
18 the published figures, including the measures  
19 of dispersion for all of the risk factor data  
20 that was available, both modifiable and  
21 non-modifiable, and we achieved a population  
22 which matched precisely, of course, by

1 definition, and then we applied these  
2 changes. These were the within-trial  
3 differences in Alc, blood pressure, and HDL,  
4 and of course, they result in increase in  
5 weight which was possibly adverse.

6           Now these actual differences we  
7 could have culled from the literature because  
8 many smaller-scale studies of this agent, if  
9 you do a meta-analysis, would yield much the  
10 same result. And when we ran the model, the  
11 16 percent -- 2 to 28 percent result, the  
12 model suggested 13 percent, which for  
13 modeling is pretty close, and of course there  
14 are other models, not just ours, that allow  
15 you to do that.

16           This would suggest that the  
17 secondary endpoint risk reduction fits with  
18 the risk factor changes observed, leaving not  
19 much opportunity for novel risk factors to  
20 come into play.

21           For congestive heart failure, we  
22 would actually have predicted an 11 percent

1 decrease. So in fact the 39 percent increase  
2 reported in the primary study result is  
3 perhaps more than it appears to be at first  
4 glance, because the improvement in other risk  
5 factors would have suggested an 11 percent  
6 point estimate decrease.

7           So to conclude this part of this  
8 talk, I think diabetes is a challenge for all  
9 of us. It's a chronic condition, we've heard  
10 that, and is incredibly complex. It's a  
11 metabolic condition that requires long-term  
12 trials to fully assess the outcomes, and we  
13 need to improve therapies quite urgently,  
14 firstly to arrest disease progression,  
15 because it's on this background of relentless  
16 need to keep increasing therapies that things  
17 get complicated -- and if you have to give  
18 multiple therapies for the same effect, that  
19 can be beneficial, has been very successful  
20 with blood pressure therapy, but also it  
21 increases the chance for harm.

22           We have to not forget that the

1 reduction and prevention of microvascular  
2 complications, particularly in patients who  
3 have an extended lifetime as we reduce  
4 macrovascular risk, cannot be ignored, but it  
5 is this excess risk which remains the enigma.

6 We know that even when we reduce  
7 the risk factor levels to those that are  
8 optimal, the patients with diabetes still  
9 remain at excess risk. And of course we are  
10 now exploring that opportunities to look at  
11 other therapies.

12 We heard that it may be (inaudible)  
13 stress, may be insulin resistance, may be  
14 inflammatory disease, may be endothelial  
15 changes. In one of the targets at the moment  
16 is the postprandial glucose rise, not  
17 well-captured. UKPDS didn't have a measure  
18 of it. We are doing two studies, one with a  
19 postprandial glucose regulator, one with an  
20 alpha glucosidase inhibitor, in large-scale  
21 pragmatic trials, specifically to address  
22 that, so it may be there are opportunities to

1 look at macrovascular risk reduction still  
2 with a glucose difference, but specifically  
3 targeted at one part of the daily profile.

4           Because of the complexity, and we  
5 heard very elegantly just before me, we need  
6 innovative and probably adaptive study  
7 designs. If you're going to follow somebody  
8 for 6 or 10 years, things will change, and we  
9 must allow for the study to be flexible over  
10 that period without compromising its outcome.

11           And of course, the off-target  
12 outcomes that do no harm or capture the  
13 unexpected benefit remain one of the  
14 interesting issues that I hope will be  
15 discussed later today.

16           I just pointed out that lifetime  
17 models can help optimize trial designs in  
18 this complexity, and with the statistical  
19 expertise that's now available, it may be  
20 that we are able to design more efficient  
21 designs for the results we need to identify.  
22 So large-scale pragmatic trials in a usual

1 care setting I think should be commenced with  
2 all new agents as early as possible if we are  
3 to not only understand in a cohort of  
4 patients that represent those in whom will  
5 receive the treatment eventually, but also  
6 allow the opportunity in a large-scale study  
7 to investigate the relationship for the new  
8 agent with others -- setting up very  
9 specific, tightly controlled, closely  
10 recruited patients for very tight  
11 inclusion/exclusion criteria is fine for the  
12 early studies where you need to establish the  
13 parameters.

14           But in clinical practice, these  
15 drugs get used in the vast majority of  
16 patients. And we do need to go to studies  
17 where we catch that information proactively.  
18 We don't restrict entry just because we're  
19 concerned that there may be an issue. If  
20 there's a good signal beforehand, fair  
21 enough. But if not, we should have as open  
22 design as possible. And then of course, the



1 crucial issue is monitoring that data in a  
2 timely fashion in order that we don't put  
3 people in excess harm for longer than  
4 necessary.

5 Thank you.

6 DR. BURMAN: Thank you, Dr. Holman.

7 Questions from the panel?

8 MR. PROSCHAN: You mentioned that  
9 randomization in UKPDS was pre-planned, the  
10 obese patients. Why were those sample sizes so  
11 different?

12 DR. HOLMAN: Okay, firstly,  
13 randomization is one of those things that's too  
14 important to leave to chance, so it's very  
15 important that you actually get this right.  
16 This is a child of its time. This is the  
17 second-ever large-scale trial undertaken in  
18 type 2 diabetes. And what I can tell you that  
19 the pilot power calculations were looking at a  
20 possible effect size of 50 percent, you can  
21 understand why we were in the infancy then. As  
22 we moved to the main trial, and we got

1 substantive funding, then of course we looked at  
2 a much more sensible effect size, but this idea  
3 of doing analyses on subsets of patients and  
4 doing a power calculation for those, that just  
5 wasn't done at those times, so we really just  
6 left with the values that we had. The  
7 proportion of patients in each group were  
8 pre-established, but the numbers and the  
9 potential power were not calculated from the  
10 subgroups.

11 MR. PROSCHAN: But it looks like those  
12 proportions were not one-half is what I'm  
13 saying. It looks like it was not --

14 DR. HOLMAN: So nice piece of history  
15 for the UKPDS. Because of the UGDP and the loss  
16 of tolbutamide, there was a similar concern in  
17 the States, though not so much in Europe, that  
18 sulphonylureas were harmful, and of course the  
19 study showed that not to be the case. So we had  
20 a first and a second generation sulphonylurea  
21 which was called propinmide and glitaneride.  
22 And so we allocated 40 percent of the patients

1 to sulphonylurea, and lesser numbers to insulin  
2 and then to metformin, and that was if we had to  
3 drop the first-generation sulphonylurea, if it  
4 had been toxic, we would still have a reasonable  
5 number on the second generation. So that's why  
6 it's an unequal split.

7 DR. TEMPLE: Is the pragmatic trial  
8 that you think every new drug should get  
9 designed primarily to show benefit, like say the  
10 pioglitazone trial, or one to rule out risk?  
11 There's a lot of questions that would follow  
12 that, but at some point, if you actually show  
13 benefit from lowering HbA1c more, no one will  
14 let you do those trials. So which are you  
15 talking about?

16 DR. HOLMAN: I think it's where the  
17 tension of this whole discussion is going, and  
18 if an agent is primarily reducing A1c and you  
19 want to show it does that more effectively or  
20 more efficiently than perhaps another agent,  
21 that's one particular design of trial, but we  
22 have to be concerned about off-target effects.

1 So that's why you need some long-term follow-up.

2           If you believe the agent has some  
3 additional benefit over and above glucose,  
4 which is going to perhaps improve your  
5 cardiovascular effect, then you're going to  
6 have that as the primary outcome probably,  
7 looking to see if there is superiority. So I  
8 think it depends on what we feel that agent  
9 would achieve, but to put a new agent into a  
10 patient for maybe 20 or 30 years without  
11 having some sense of potential off-target  
12 effects and a monitoring, I think, is no  
13 longer acceptable. So it's horses for  
14 courses is what you're trying to evaluate for  
15 that agent.

16           DR. TEMPLE: But you're talking  
17 particularly about adverse off-target.

18           DR. HOLMAN: I'm talking about both.  
19 I think if you're going to use an agent for that  
20 length of time and you have a mechanism which,  
21 you know, does offer potential off-target or  
22 pleiotropic effects, then you might want to

1 include those in the analysis plan. If it's a  
2 specifically glucose-lowering agent, and there  
3 are some very specific examples around, then  
4 really you're just concerned about probably the  
5 durability question is can you achieve Alc at a  
6 target for longer without the complexity of  
7 adding other agents? But you still need to  
8 ensure that there isn't some unanticipated or  
9 possibly beneficial effect.

10 DR. TEMPLE: I'm sure this will get  
11 more discussion.

12 DR. BURMAN: Thank you. Dr. Rosen?

13 DR. ROSEN: One of your conclusions  
14 was that modeling might help from UKPDS, and I'm  
15 curious as to what happened with the modeling  
16 for congestive heart failure, where you actually  
17 predicted a decrease and you saw this increase.  
18 Can you illuminate this for us a little more?  
19 Is that based on the fact that there wasn't  
20 experience with the TZDs in the UKPDS that was  
21 the shortfall of the model prediction?

22 DR. HOLMAN: Yes, for the -- there

1 were no TZDs in the UKPDS. They weren't  
2 licensed until the year after we published. And  
3 the relationship with weight -- really, we see  
4 CHF with increased weight gain, so the model  
5 allows for the increased weight gain on TZDs,  
6 but the effect in the trial, as you see, was  
7 much larger than our model predicts. So this is  
8 an example where modeling might give you a level  
9 of comfort about a particular outcome, and if  
10 you saw something going outside that prediction,  
11 then the DSMV or those managing the trial, may  
12 want to look in more detail at that aspect.

13 DR. BURMAN: Dr. Day?

14 DR. DAY: Concerning the modeling  
15 studies, when there is a discrepancy between  
16 what the model predicts and what some of the  
17 outcomes are, is there anything consistent going  
18 on? Is it structural properties of the models,  
19 are there parameter weights or anything of the  
20 sort? Can you comment on that? I'm  
21 particularly interested in the use of the model  
22 to test other things that aren't often tested,

1 such as other health conditions -- you're  
2 matching your simulated patients for various  
3 variables, but you could use those in an  
4 experimental way perhaps if the models are  
5 working well. So can you comment on model  
6 predictability, and when there is a mismatch,  
7 and is there anything consistent going on?

8 DR. HOLMAN: Modeling is a complex  
9 area. In fact, with the ADA, we published  
10 guidelines on what good models should do. So I  
11 think the value of models is they allow you on a  
12 common baseline to evaluate different  
13 interventions, even complex ones. Models, when  
14 used, have to be validated, and so you step  
15 forward slowly in time taking datasets from  
16 either registries or for trials, and if you can  
17 match them, then you have a confidence, so we  
18 are fairly happy that our model is validated in  
19 some areas, not others. And that's how you move  
20 forward.

21 As the data come together, as I'm  
22 saying to this group, is we have the

1 opportunity now with suddenly a large number  
2 of outcome trials, to take this sort of  
3 approach, refine it, and maybe get more  
4 accurate predictions, which might save time  
5 in the long-term. They're not a substitute  
6 for trials.

7 DR. BURMAN: Thank you. I had a  
8 question. I think you showed a slide that  
9 wasn't in the packet regarding the effect of  
10 sulphonylurea alone versus sulphonylurea plus  
11 metformin in cardiovascular events, and you had  
12 three bars on that graph. What was your  
13 conclusion from those studies, because I think  
14 it was slightly different from what I had  
15 gleaned from the publication.

16 DR. HOLMAN: So in the publication, as  
17 a post hoc analysis, we looked here at the trial  
18 which is on the right-hand side, at the effect  
19 of patients who, in a modified protocol, had to  
20 stay on the sulphonylurea alone, if their  
21 glucose rose above 108mg/dl fasting, or were  
22 randomized to have additional metformin. And



1 the concern was that in the group that got the  
2 additional metformin, there was an apparent  
3 twofold increase in risk which was statistically  
4 significant.

5 In the remainder of the trial,  
6 patients who were not part of this sub-study,  
7 who remained on sulphonylurea throughout the  
8 trial, their event rate, if anything, was a  
9 little higher. It wasn't significantly  
10 different in this group.

11 So this is not special (inaudible),  
12 it's just saying we have weighed the control  
13 group in this comparison quite correctly  
14 being those who remained on the original  
15 therapy compared to those who got dual  
16 therapy, there's an apparent doubling, or it  
17 may just be that in this group, there's an  
18 unusually low number of events.

19 The health warning is, these are  
20 too small a number of events to draw a major  
21 conclusion, and the real result to this is  
22 you should do this trial properly, because

1 now there is genuine uncertainty about the  
2 benefit of these two treatments together.

3           Sadly, that's never been done.

4           DR. BURMAN: Thank you. Other  
5 questions or comments from the panel? No?

6           Dr. Parks, do you have any further  
7 comments before we break for lunch? Any  
8 other comments?

9           Okay, then what we'll do now is  
10 break for lunch. We'll reconvene again in  
11 this room in approximately one hour, at 1:30,  
12 an hour and 15 minutes.

13           Please take any personal belongings  
14 you may want with you at this time. The  
15 ballroom will be secured by the FDA staff  
16 during the lunch break.

17           You will not be allowed back into  
18 the room until we convene.

19           And panel members, please remember  
20 that there should be no discussion of the  
21 meeting during lunch amongst yourselves or  
22 with other members of the audience.

1 Thank you.

2 (Whereupon, at approximately  
3 12:14 p.m., a luncheon recess was  
4 taken.)

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22



1                   I'm going to be discussing  
2   macrovascular outcomes with anti-diabetic  
3   drugs, and specifically talk about the  
4   ongoing studies, as well as some of the  
5   studies that have already reported briefly.  
6   I think it's important for us to step back a  
7   little bit and think about diabetes and what  
8   diabetes means. You've already heard a  
9   number of presentations today stressing the  
10  point that diabetes increases the risk of  
11  microvascular and macrovascular outcomes.

12                   I would suggest that that is  
13  actually too small a way to look at diabetes.  
14  Diabetes is a huge, growing public health  
15  problem that affects more than 10 percent of  
16  people. And diabetes is, as we've already  
17  heard, defined on the basis of hyperglycemia.  
18  And diabetes increases the risk of a host of  
19  problems that cannot be easily classified  
20  into microvascular or macrovascular.

21                   And on the slide, we see here the  
22  chronic consequences of diabetes. And

1 diabetes is an independent risk factor for  
2 all of these things. So it is today the  
3 single-most important cause of adult onset  
4 blindness. And eye disease is still an  
5 important part of diabetes.

6           It is the single-most important  
7 cause of end stage renal disease. It causes  
8 significant neurologic disease, including  
9 nerve pain and foot pain, which can be quite  
10 debilitating. And ulceration. It is the  
11 single-most common cause of below-knee  
12 amputations in Western societies. Yes, it  
13 increases the risk of ischemic heart disease,  
14 stroke, and peripheral vascular disease. And  
15 the rest of my presentation will be based on  
16 that.

17           But it also now is emerging as an  
18 important and serious risk factor for  
19 cirrhosis, secondary to non-alcoholic  
20 steatohepatitis -- cognitive decline and  
21 Alzheimer's disease now -- it's clear that  
22 diabetes increases the risk about 50 percent.

1 It's increasing the risk of depression. It  
2 increases the risk of hip fractures. Not  
3 necessarily low bone density, but certainly  
4 hip fractures. Imbalance and frailty.  
5 Connective tissue disorders. Sexual  
6 dysfunction and erectile dysfunction.  
7 Infertility. And studies show that today  
8 people with type 2 diabetes have about a 10  
9 to 15 year earlier death on average.

10           So diabetes cannot be thought of as  
11 just micro- or macrovascular disease. It is  
12 a risk factor for many of the ills that  
13 affect people in our society today. And when  
14 we're thinking about outcomes to measure in  
15 studies, we need to keep track of these, in  
16 addition to the macrovascular outcomes.

17           Now, the nature of this meeting is  
18 focusing on macrovascular outcomes, and the  
19 rest of my presentation will focus on  
20 macrovascular outcomes, with that caveat in  
21 mind. So I'm going to discuss first the  
22 relationship between diabetes and

1 cardiovascular disease, just to remind you of  
2 the nature of the size of the relationship.  
3 And then discuss the link between glucose and  
4 cardiovascular disease, and then the  
5 glucose-lowering trials and trials with other  
6 drugs that may not be looking at  
7 glucose-lowering, per se.

8           So what about the relationship  
9 between diabetes and cardiovascular disease?  
10 Even today, it is clear that diabetes is an  
11 independent risk factor for cardiovascular  
12 disease. So a recent meta-analysis of  
13 450,000 people in studies done all around the  
14 world published this result, which shows very  
15 clearly that after adjusting for age, men  
16 with diabetes are twofold more likely to have  
17 fatal coronary heart disease compared to men  
18 without diabetes.

19           And women with diabetes are  
20 3.7-fold more likely to have fatal coronary  
21 heart disease compared to women without  
22 diabetes.



1           In addition, after adjusting for  
2 all the other risk factors for cardiovascular  
3 disease, there is clearly still a three-fold  
4 higher risk compared to non-diabetes in  
5 women, and a two-fold higher risk compared to  
6 non-diabetes in men. So diabetes is an  
7 independent risk factor for cardiovascular  
8 disease.

9           Diabetes is defined on the basis of  
10 hyperglycemia, that you've already heard.  
11 And after adjusting for all the other things  
12 associated with hyperglycemia that can be  
13 clinically measured, it still is a risk  
14 factor. So there is something about the  
15 hyperglycemia that is adding risk to  
16 diabetes. Adding cardiovascular risk to  
17 people with diabetes that is not explained by  
18 the other risk factors that also are higher  
19 in people with diabetes. And I think that  
20 needs to be kept in mind when we think  
21 through this.

22           What about glycemia? What is the

1 relationship between glycemia and  
2 cardiovascular disease in people with  
3 diabetes and in people without diabetes? And  
4 probably the best way to assess this is with  
5 a meta-analysis. And this meta-analysis was  
6 published in 2004. And this was a  
7 meta-analysis of prospective studies, cohort  
8 studies, or cohort analyses of trials.

9           So prospective epidemiologic  
10 studies that looked at the relationship  
11 between A1c as a measure of  
12 cardiovascular -- as a measure of  
13 glycemia -- and cardiovascular risk, defined  
14 here as coronary heart disease and/or stroke.

15           And you can see the list of studies  
16 here. And these are the things that were  
17 controlled for in these various analyses.  
18 Some controlled for age and smoking, et  
19 cetera. And when you look at the  
20 meta-analyzed odds ratio, when you  
21 meta-analyze all these studies, you see that  
22 for every one percent higher A1c in these

1 studies, there is an 18 percent higher risk  
2 of coronary heart disease and/or stroke.

3 And probably this represents the  
4 best estimate of the relationship between A1c  
5 and cardiovascular disease in people with  
6 established diabetes.

7 What about in people without  
8 diabetes? Very briefly to allude to that, as  
9 was referred to by Dr. Nathan, there was a  
10 whole issue of the Journal of Chronic Disease  
11 in 1979 that was not able to assess a  
12 relationship or discern a relationship  
13 between glucose and cardiovascular disease in  
14 people without diabetes.

15 And in 1999, we published this  
16 meta-analysis of all of -- the  
17 meta-regression analysis of all of the  
18 prospective studies that have been published  
19 to that date, and showed if you go down to  
20 glucose levels as low as 72 -- both two hour  
21 glucose levels and fasting glucose  
22 levels -- there is a graded progressive

1 relationship between glucose levels above 72  
2 subsequent to cardiovascular events, and  
3 there's no clear threshold that the diabetes  
4 line -- and this type of data for both  
5 two-hour or fasting glucose level supported  
6 the notion that we introduced and coined the  
7 term dysglycemia in the literature to show  
8 that there is a progressive relationship, or  
9 to reflect a progressive relationship between  
10 glucose and cardiovascular events, starting  
11 from normal levels going right up into the  
12 diabetes range.

13           And subsequent to this, there have  
14 been other papers that have subsequently  
15 supported that, such as this meta-analysis of  
16 1.2 million person years of data from the  
17 Asia Pacific Collaboration using fasting  
18 plasma glucose. And showed that for every  
19 1 mmol/L, which is 18 mg per deciliter, rise  
20 of fasting plasma glucose above 4.5, which is  
21 about 80 or so, there's a 21 percent higher  
22 risk of stroke. And for every 18 mg per

1 deciliter rise above normal, there's a  
2 23 percent higher risk of ischemic heart  
3 disease, and a 19 percent higher risk of  
4 cardiovascular death.

5           And when one looks at two-hour  
6 post-load glucose levels going down to  
7 normal, this data from the Whitehall study  
8 with 30 years follow-up shows a similar  
9 thing. That as the glucose levels rise above  
10 85, for every 18 mg/dl or 1 mmol/L rise above  
11 85, there is a 22 percent higher risk of  
12 coronary heart disease death. And after  
13 adjusting for everything, there's still a  
14 12 percent higher risk of coronary heart  
15 disease death, with no clear threshold at the  
16 diabetes sort of cutoff, which would be at  
17 726. (?)

18           So it's clear from this in a  
19 cartoon that I'll show next that there is a  
20 graded relationship between glucose, however  
21 it's measured, and cardiovascular disease.  
22 And this relationship seems to extend down to

1 normal levels. And the relationship on a log  
2 scale is certainly less steep.

3           It's not nearly as steep as the  
4 relationship between glucose and eye disease  
5 on a log scale. So this cartoon is on a log  
6 scale. So curvilinear lines become linear  
7 and the point -- this is not to scale,  
8 obviously. But the notion is there seems to  
9 be a steep relationship between eye disease  
10 and perhaps kidney disease and glucose levels  
11 starting around the diabetes threshold. And  
12 for cardiovascular disease, and probably many  
13 of the other consequences, the relationship  
14 is shallower but seems to extend right down  
15 to lower levels.

16           So starting from there, I think  
17 then the next question is does glucose  
18 lowering reduce cardiovascular disease  
19 outcomes? And to first recapitulate a slide  
20 that was shown -- actually, another version  
21 of the slide was shown by David Nathan. In  
22 type 1 diabetes, there seems to be fairly

1 strong evidence that that is indeed the case.

2           So this is the primary outcome for  
3 the DCCT/EDIC analysis. And in that  
4 analysis, which David described nicely, the  
5 primary cardiovascular composite was more  
6 than MI or stroke or cardiovascular death.  
7 It included a number of other things as part  
8 of the primary cardiovascular composite.

9           And this was the primary outcome,  
10 which showed that intensified insulin therapy  
11 targeting normal glucose levels for six  
12 years -- the active treatment trial part  
13 ended at six years -- led to a 42 percent  
14 reduction in the primary cardiovascular  
15 composite at about 18 years. And you can see  
16 that the curves start to diverge at perhaps 3  
17 or 4 years in these low-risk patients, and  
18 then they go after that -- despite no  
19 contrast after 6-1/2 years.

20           And in this particular study, which  
21 I think shows for type 1 diabetes there is  
22 evidence to support glucose lowering as a

1 cardiovascular protective therapy, post hoc  
2 analysis showed that if you adjust for the  
3 difference in A1c achieved during the trial,  
4 you eliminated the difference in  
5 cardiovascular events, suggesting the  
6 hypothesis that the effect was due in large  
7 part to the contrast in A1c that was achieved  
8 by this trial by insulin.

9           That's sort of type 1 diabetes.  
10 What about type 2 diabetes, which clearly  
11 affects 90 percent of people with diabetes?  
12 So I'm going to talk about trials of glucose  
13 lowering first, and then I'll talk about  
14 trials of glucose-lowering drugs. And I'll  
15 make the distinction.

16           These are the trials in which  
17 different levels of glucose are trying to be  
18 achieved in some way or another to try to  
19 prevent cardiovascular disease. And just to  
20 start off at the bottom to orient you, here  
21 is a spectrum of dysglycemia, starting from  
22 perfectly normal glucose levels going up into



1 the diabetes range. As the glucose levels  
2 rise, the glucose levels are first  
3 high-normal and then high. Then they go into  
4 the impaired fasting glucose and/or impaired  
5 glucose tolerance range. And then they  
6 develop frank type 2 diabetes.

7           And as I've already showed, as  
8 glucose levels rise above normal, the risk of  
9 cardiovascular disease rises and is clearly  
10 there. And this also may include many of the  
11 other consequences I showed you. So  
12 cognitive decline, perhaps sexual dysfunction  
13 and other things may track with this. But  
14 then as you get close to the diabetes  
15 threshold, the risk of eye, and kidney, and  
16 perhaps nerve disease starts to rise as well.  
17 And this is obviously a cartoon, but sort of  
18 reflects where we are.

19           Three trials -- the ACCORD trial,  
20 the VA diabetes trial, and the ADVANCE trial  
21 have recently been reported. And they are  
22 examining people with well-established

1 diabetes, more than five years' duration in  
2 this sort of 5- to 15-year duration of  
3 diabetes. And they've assessed all of them  
4 in one way or another, whether a strategy of  
5 more-intensive glucose lowering using a menu  
6 of drugs -- a different menu of drugs -- but  
7 a menu of drugs achieves lower cardiovascular  
8 events than a strategy of less-intensive  
9 glucose lowering using the similar menu of  
10 drugs.

11           And so those are those two trials.  
12 This trial, the origin trial, is ongoing.  
13 And this trial is assessing whether a  
14 strategy of trying to normalize the fasting  
15 glucose levels with insulin glargine reduces  
16 cardiovascular events more than usual care.  
17 So but it is also attempting to lower glucose  
18 level in an open type design.

19           So I'm going to talk about these  
20 three trials mainly. And I'll first go over  
21 briefly the ACCORD findings. So the ACCORD  
22 study had 10,251 people in it. This was a

1 study conducted -- NIH sponsored study in the  
2 United States and Canada -- and asked whether  
3 in middle-aged or older adults with  
4 established type 2 diabetes who were at high  
5 risk for cardiovascular events because they  
6 either had existing cardiovascular disease or  
7 because they had additional risk factors for  
8 cardiovascular disease in addition to  
9 diabetes -- in those people, does a  
10 therapeutic strategy targeting an A1c less  
11 than 6 percent reduce cardiovascular events  
12 more than one targeting 7 to 7.9 percent,  
13 about 7.5 percent?

14           And people had an average age of  
15 62, diabetes of 10 years' duration.  
16 35 percent had previous cardiovascular  
17 disease. The average BMI was 32. And the  
18 mean A1c -- these were poorly controlled  
19 people with diabetes -- the mean A1c was  
20 8.3 percent. The median was 8.1 percent.  
21 And 35 percent were on insulin therapies. So  
22 these were advanced diabetes.

1           And as was already shown, there was  
2 a very clear contrast achieved in Alc levels  
3 between these two groups. So within -- they  
4 came in with a median Alc of 8.1 percent.  
5 The standard group within four months  
6 achieved 7.5 percent and stayed there for  
7 almost the whole duration that was analyzed.

8           And the intensive group within four  
9 months had gone down to 6.7 percent. And by  
10 eight months was 6.5 percent, and then  
11 6.4 percent. And stayed around there for the  
12 duration. And the data that were presented  
13 are those that were published in the New  
14 England Journal of Medicine three weeks ago.

15           These were the results in that  
16 publication. And as you know, the  
17 Independent Data Safety Board recommended,  
18 because of excess mortality in that trial,  
19 that the intensive intervention arm  
20 participants stop getting that intervention.  
21 And they have subsequently been transitioned  
22 to the standard arm. But these were the

1 findings that drove that. So in the  
2 intensive arm, the mortality rate was  
3 5 percent versus 4 percent in the standard  
4 arm for an increased risk of 22 percent and a  
5 p-value .04. The study obviously did not go  
6 to its planned completion at this point. And  
7 at the time that the study stopped, there was  
8 a trend towards a reduction in the primary  
9 outcome, which was the classic MI stroke  
10 cardiovascular stroke outcome of 6.9 percent  
11 in the intensive versus 7.2 percent in the  
12 standard for a non-significant hazard of .9,  
13 or a 10 percent reduction.

14 Other secondary outcomes in  
15 addition to mortality, non-fatal MI, there  
16 was a 24 percent significant reduction in  
17 non-fatal MI. Cardiovascular death, there  
18 was a 35 percent increase in cardiovascular  
19 death. And then heart failure and non-fatal  
20 stroke had really nothing either way on  
21 either direction.

22 The next slide will show the

1 mortality event curves. And I think the  
2 thing to point out here is the time when the  
3 events began to accrue within the two groups.  
4 And you can see the mortality rates in the  
5 standard group are 1.14 percent per year. In  
6 the intensive group, 1.41 percent per year.  
7 Certainly it looks from this curve like the  
8 curve separated at about two to three years  
9 at some point. And that persisted  
10 subsequently.

11           The primary outcome curves, you  
12 see, were not statistically significant. As  
13 I pointed out, 2.1 percent per year versus  
14 2.29 percent per year. And obviously, this  
15 is a trend only. If there is any effect on  
16 the primary outcome, it's clearly not going  
17 to occur within the first three years. And  
18 the data that we're presented with ACCORD  
19 represent a median of 3.5 years of follow-up  
20 data.

21           So at this point in time, we know  
22 that a strategy of intensive therapy

1 targeting A1c less than 6 percent does cause  
2 an increased mortality on a median of 3.5  
3 years of follow-up.

4           What about the ADVANCE trial? The  
5 ADVANCE trial had 11,140 patients with  
6 well-established type 2 diabetes once again.  
7 The average age was 55. High cardiovascular  
8 risk patients. They had a median duration of  
9 diabetes -- I think it was seven years, as I  
10 recall. And I'll show you that later. And  
11 they asked whether sulfonylurea as initial  
12 therapy plus any added treatment that  
13 targeted A1c less than 6.5 percent can reduce  
14 cardiovascular events more than usual care as  
15 it is given within any of the investigators'  
16 sites.

17           And the primary outcome was a  
18 composite of either micro- or macrovascular  
19 events. And this was the difference in A1c  
20 that was achieved. There was about a  
21 .6 percent difference in the standard group  
22 versus the intensive group. Point out that

1 it took three years to achieve that  
2 difference. And the study was a five-year  
3 median duration follow-up.

4 Bob Ratner has already showed you  
5 this slide, that the primary outcome showed a  
6 significant 10 percent reduction in micro- or  
7 macrovascular events, with the action being  
8 in the microvascular event domain and not in  
9 the macrovascular event domain. So there was  
10 a 6 percent non-significant reduction, but a  
11 14 percent significant reduction in  
12 microvascular events.

13 When one looks at the macrovascular  
14 events in more detail, stroke and non-fatal  
15 MI were fairly neutral, as was cardiovascular  
16 deaths, which trended to the left of the  
17 line. Again, those are the point estimates,  
18 and it's non-significant.

19 One can think of the events in many  
20 ways as confirming the results of the UKPDS  
21 that Professor Holman showed earlier on, but  
22 not telling us a lot about macrovascular



1 outcomes. And certainly, the ADVANCE  
2 intervention does not suggest a benefit from  
3 macrovascular outcomes.

4 So just to come back to this slide  
5 that I showed earlier on, there's ACCORD and  
6 ADVANCE. The VA diabetes trial also was  
7 presented. And that has not yet been  
8 published. And I'll show you in the summary  
9 slide some of the results from the VA  
10 diabetes trial, which was a much smaller  
11 trial with a lot less power, looking at 1,700  
12 people to see whether a more-intense versus a  
13 less-intense glucose lowering strategy made a  
14 difference. And then I'll show you some of  
15 the characteristics of origin as well.

16 So this slide kind of compares and  
17 contrasts these four trials. And I think I  
18 should probably start by focusing on the VA  
19 study since that's the one I didn't show data  
20 from. The VA diabetes trial, 1791 patients.  
21 Diabetes for 11-1/2 years, high  
22 cardiovascular risk, 6.3 years' duration.

1           The A1c fell from 9.5 percent at  
2 baseline to 6.9 versus 8.4. And multiple  
3 polypharmacy was tested. The ADVANCE trial,  
4 diabetes for eight years. Long duration  
5 again. Study duration five years, 6.4 versus  
6 7 was the A1c contrast, and it was testing  
7 sulfonylurea plus multiple therapies.

8           I showed you ACCORD. Study  
9 duration at the time it was presented, 3.5  
10 years. Diabetes for 10 years, so all  
11 well-established, long-term diabetes, 8.1 to  
12 6.4 versus 7.5. Multiple treatments were  
13 tested.

14           And origin is still ongoing.  
15 12,000 people. Participants have either  
16 diabetes or IFG or IGT, so they have early  
17 dysglycemia. Much earlier than the other  
18 trials. It's an ongoing study, and the  
19 intervention is largely mediated normal  
20 glycemia versus usual care.

21           What about the results of those  
22 trials? Well, here, they're summarized here.

1 So ACCORD, for the cardiovascular primary  
2 outcome, non-significant, 10 percent  
3 reduction, myocardial infarction, 24 percent  
4 significant reduction of secondary outcome.

5 Mortality, secondary outcome  
6 22 percent harm. ADVANCE, primary outcome  
7 6 percent non-significant, MI 2 percent  
8 non-significant, mortality 7 percent  
9 non-significant.

10 VADT, I don't have the mortality.  
11 I don't know if they were presented. I don't  
12 recall them being presented in the  
13 presentation. A 13 percent non-significant  
14 reduction. And remember, a much smaller  
15 study with much less power to look at.

16 And obviously, the results for  
17 ORIGIN aren't known. So those are the trials  
18 of glucose lowering therapies or approaches.  
19 What about glucose lowering drugs? And this  
20 is kind of an important distinction. Because  
21 when one is giving a drug to prevent  
22 cardiovascular events, the question will

1 always be is it the drug that's doing it or  
2 is it what the drug is doing to the glucose  
3 or the LDL or the blood pressure, or any  
4 other risk factor that's doing it, or both?  
5 And often it will probably be a combination.

6           So here are the glucose-lowering  
7 drug studies -- trials -- that are ongoing.  
8 So the same format as the previous slide.  
9 There's the spectrum of dysglycemia.  
10 Diabetes at the top, IFG, IGT high glucose  
11 there. And I'll go over them briefly. I'll  
12 spend a few minutes showing data once again  
13 from PROactive and RECORD briefly. And I'll  
14 just now allude to the other trial.

15           So there are four trials that have  
16 been or are being conducted in people with  
17 established type 2 diabetes. Again, a fairly  
18 established duration. And I'll show you  
19 PROactive and RECORD. BARI 2D is asking the  
20 question of whether lowering glucose with  
21 insulin-providing therapy, such as  
22 sulfonylurea or insulin, has a different

1 effect on cardiovascular events than lowering  
2 glucose with insulin-sensitizing  
3 therapies -- metformin, rosiglitazone, et  
4 cetera.

5           The HEART 2D study which was just  
6 presented at the ADA was asking whether  
7 targeting prandial glucose levels with bolus  
8 insulin reduces events more than targeting  
9 basal insulin -- basal glucose levels with  
10 basal insulin, or fasting glucose levels with  
11 basal insulin -- has an effect on  
12 cardiovascular events. And this study was  
13 neutral. It did not show any effect. And I  
14 won't say more about the HEART 2D study.

15           There are two studies that are  
16 ongoing right now in people with impaired  
17 fasting glucose and/or impaired glucose  
18 tolerance. Navigator is looking at whether  
19 or not giving the drug Nateglinide, which is  
20 a rapid-acting glucose-lowering glinide  
21 reduces cardiovascular events more than  
22 giving a placebo in people who are at high

1 risk for cardiovascular disease but have IGT.  
2 The ACE trial, which is being led by  
3 Professor Holman, is asking whether Acerbose  
4 versus placebo reduces cardiovascular events  
5 in patients with IGT but who are at high risk  
6 for cardiovascular events.

7           So these are the spectrum of  
8 glucose-lowering drug studies that are  
9 actually ongoing. And I'll just spend a few  
10 minutes just revising or viewing the results  
11 from PROactive and then RECORD, since they've  
12 been published. PROactive, again, as you  
13 recall, was a study of 5,000 patients with  
14 well-established type 2 diabetes whose Alc  
15 was greater than 6.5. And they were  
16 randomized to max dose Pioglitazone first as  
17 placebo, with a composite primary outcome  
18 that was prestated. And this was a short  
19 trial, 2.9 years of follow-up.

20           And this was the primary outcome  
21 from the PROactive trial. And the primary  
22 outcome showed a non-significant 10 percent

1 reduction. And it's appropriate to point out  
2 that the curves cross. So earlier in the  
3 study, there was a trend towards worsening  
4 events in the one group. At the end, there  
5 was a trend towards less events in the  
6 Pioglitazone group.

7           And the other important point to  
8 point out in this study is the fact that  
9 Pioglitazone is a drug that lowers glucose  
10 level. And this was a randomized double  
11 blind placebo-controlled trial, but clearly  
12 there was a lower glucose level. There was a  
13 HbA1c contrast of .6 percent. The  
14 investigators were told to intervene whenever  
15 they could to keep glucose levels as low as  
16 possible. And they did. But there was a  
17 contrast. And you'd expect there would be  
18 when there is an additional glucose-lowering  
19 drug being added.

20           There was also a blood pressure  
21 contrast. And it's well-established that  
22 glitazones lower blood pressure. Of course,

1 there was a systolic blood pressure contrast.

2 And there was a slight LDL contrast and a HDL

3 contrast, et cetera, as is pointed out.

4 And this is always going to

5 happen -- when you give drugs to see whether

6 one prevents events, you're going to look at

7 the chemical effect of the drug, plus

8 whatever the drug does to the risk factors.

9 And it seems a little bit silly and

10 artificial to try to design a trial where

11 you're going to prevent any of the risk

12 factors from changing and just have the drug

13 versus placebo.

14 Because, A, you're going to

15 threaten the blind, and, B, it'll be a very

16 artificial thing that one's doing. And it

17 won't reflect when one is doing real life

18 studies in patients, or one is doing real

19 life prescribing after the trial is over.

20 Because when the trial is over, you're

21 actually dealing with things as they come up

22 when you prescribe drugs.



1                   What about the RECORD study?

2       RECORD was a non-inferiority trial, which was  
3       designed to see whether adding rosiglitazone  
4       to either metformin or sulfonylurea has any  
5       difference in cardiovascular events compared  
6       to adding metformin and sulfonylurea  
7       together. That's essentially what was asked.  
8       So is rosi plus either metformin or  
9       sulfonylurea non-inferior to sulfonylurea  
10      plus metformin regarding cardiovascular  
11      disease?

12                   This is 4,000 people, A1c 7 to  
13      9 percent on maximum metformin or  
14      sulfonylurea at baseline. And they are  
15      randomized to that therapy.

16                   Addition of rosi or not. And the  
17      study -- an interim analysis was published at  
18      3.75 years. And this is what it showed. It  
19      showed that for the primary outcome of  
20      cardiovascular hospitalization or  
21      cardiovascular death, there was no signal at  
22      all, 1.08 with a p of .43. For

1 cardiovascular death, there was a  
2 non-significant 17 percent reduction -- not  
3 significant for any death. There was a  
4 non-significant 7 percent reduction  
5 non-significant. Acute MI, 16 percent  
6 non-significant increase. MI stroke  
7 cardiovascular death of 3 percent  
8 non-significant reduction. But clearly for  
9 heart failure, which is known for the  
10 glitazones, there was an increase.

11           And so right now at this point in  
12 time, the results of all the trials that have  
13 been published so far, or they're ongoing,  
14 are not clearly telling us whether any of the  
15 glucose-lowering drugs or the  
16 glucose-lowering therapies clearly reduce  
17 and/or safely reduce cardiovascular events or  
18 not. And some of these things are still up  
19 for grabs. And the answers to these  
20 questions are still unknown.

21           So in conclusion, diabetes and  
22 non-diabetic dysglycemia are present for

1 decades. And they will be present for  
2 decades. And there are strong risk factors  
3 for cardiovascular disease in people who have  
4 these. A key determinant of this risk is the  
5 elevated glucose. Whether elevated glucose  
6 is a marker for an unmeasured issue is  
7 obviously possible, but clearly it is a key  
8 determinant of this risk.

9           Despite trends that have been out  
10 there, reported trials of intensive  
11 glucose-lowering strategies using  
12 combinations of drugs have not detected  
13 cardiovascular benefits in people with  
14 advanced well-established diabetes. If there  
15 is a benefit in such people, it will be  
16 modest initially.

17           So the initial benefit will be  
18 modest, and it will require five or more  
19 years to clearly emerge. And I think we see  
20 that more and more that for glucose lowering  
21 or glucose type trials, one will need more  
22 than five years. Remember, the UKPDS had a

1 median follow-up of 10 years. And so I think  
2 that's becoming very clear.

3           Trials of anti-diabetic agents or  
4 strategies need to be long enough, at least  
5 five years, and large enough to allow any  
6 beneficial effect to emerge or to establish  
7 non-inferiority. And as already Dr. Fleming  
8 said, if you do a million person trial for  
9 two days, you'll have the right number of  
10 events, but you'll learn nothing about  
11 whether that intervention does anything. All  
12 you're going to get is side effects. You're  
13 not going to get any benefits. You're just  
14 going to see side effects. You need a long  
15 enough trial for any benefits to start to  
16 work for the underlying biology to be  
17 changed.

18           Short trials may miss benefits.  
19 And it'll only detect adverse effects. And  
20 this is being seen, for instance, in the DCCT  
21 trial, which everybody in the world clearly  
22 acknowledges that intensified insulin therapy

1 for type 1 diabetes prevents retinopathy.  
2 But had the DCCT been stopped at two years,  
3 we would have concluded that it actually  
4 increases retinopathy and it causes  
5 significant hypoglycemia. And our whole view  
6 of type 1 diabetes would have changed  
7 completely.

8           So one needs to have long enough  
9 trials to answer this question. Whether  
10 glucose lowering or prevention of its rise by  
11 an anti-diabetic agent as opposed to a  
12 strategy by an anti-diabetic agent reduces  
13 cardiovascular disease in people with early  
14 diabetes or pre-diabetes remains unknown, and  
15 is being tested in a number of studies right  
16 now. And whether most specific anti-diabetic  
17 agents reduce cardiovascular disease or other  
18 clinical outcomes remains unknown.

19           So there's two components of this.  
20 The first one is we don't know what happens  
21 even if we did a glucose-lowering strategy in  
22 people with early diabetes or early

1 dysglycemia. Maybe as you get advanced in  
2 the course, you're going to have less of an  
3 effect of glucose-lowering agents. But we  
4 also don't know what the specific  
5 agents -- whether any specific anti-diabetic  
6 agent has a benefit.

7           If such an agent is effective, it  
8 may either be due to the agent and/or its  
9 effects on glucose or blood pressure, or  
10 whatever. The only anti-diabetic agent shown  
11 to reduce cardiovascular disease in a 10-year  
12 trial is metformin, and it needs to be  
13 replicated, as Rury Holman said. It is  
14 clearly not replicated yet, but it is the  
15 only one so far.

16           And finally, diabetes increases the  
17 risk of many serious diseases.

18 Cardiovascular disease is not the only  
19 clinically important outcome. Anti-diabetic  
20 agents that will make a difference are those  
21 that will be proven to reduce clinically  
22 important outcomes and not just glucose

1 levels. And these outcomes may include  
2 cardiovascular disease, but do not  
3 necessarily have to include cardiovascular  
4 disease.

5 Thank you for your attention.

6 DR. BURMAN: Thank you very much.

7 This discussion is open for questions.

8 DR. KONSTAM: Thanks very much. I  
9 want to pick your brain a little bit about  
10 ACCORD. And actually thinking about it, I sort  
11 of want to raise the thought that, you know, you  
12 set up this nice dichotomy between drug versus  
13 strategy, but I'm thinking there may be, in  
14 fact, three elements. You know, one is drug,  
15 one is level of blood sugar, and then three is  
16 strategy. Because your strategy to lower blood  
17 sugar may have some adverse effects,  
18 particularly in the short-term. Maybe more than  
19 just two elements, per se.

20 Because I wonder about ACCORD. And  
21 I'll sort of pick two findings. You know,  
22 suggested findings to get your thoughts. One

1 is that looking at the curves for the primary  
2 endpoint, it looks like there's absolutely  
3 nothing going on for quite a while, and then  
4 they begin to separate.

5 And I wonder whether that actually  
6 is an emergence of an natural history effect  
7 in atherosclerosis, perhaps, or something.

8 And the other interesting thing  
9 that you didn't show is the subgroup  
10 findings. Specifically vis-a-vis patients  
11 who started out with HbA1cs above and below  
12 8. And there really seems to be something  
13 going on there. And I just wonder if you  
14 could sort of expand what you really think is  
15 going on with ACCORD with those points.

16 DR. GERSTEIN: I think a couple of  
17 things. Certainly, the subgroup findings from  
18 ACCORD did suggest that there may be a  
19 benefit -- a bigger benefit in people who had  
20 better -- less-advanced diabetes at  
21 randomization. Their A1c was less than  
22 8 percent. There seemed to be a benefit in the



1 primary outcome on ACCORD compared to people  
2 whose A1cs were greater than 8 percent. And  
3 that was a heterogeneous finding. So in other  
4 words, that was a significant difference in  
5 subgroups.

6 That was not apparent in the  
7 mortality outcome. However, there was a lot  
8 less power to detect heterogeneity and the  
9 mortality outcome because there were a lot  
10 lower events in the mortality outcome.

11 So after the fact, one can always  
12 come to any conclusion that one wants. But  
13 if you sort of -- there is some evidence from  
14 ACCORD to suggest that what I said may be  
15 true. There may get to a point in diabetes  
16 that once you've had diabetes for a long  
17 enough period of time, it may take a long  
18 time or it may be impossible to reduce any of  
19 the glucose-related effects of it. We don't  
20 know that.

21 And that's why I think it's  
22 important that we focus on the earlier

1 spectrum of dysglycemia as part of our  
2 ongoing trials.

3           The second question related to  
4 whether or not it takes a while for any  
5 glucometabolic intervention to emerge. And I  
6 think the trends that we see in the ACCORD  
7 event curve suggest that it may. They're not  
8 significant, and so perhaps those curves will  
9 collapse afterwards. Perhaps it's the play  
10 of chance. However, it is certainly possible  
11 that they won't, and they may continue to  
12 diverge. And I think that drives my  
13 conclusion, that when we do -- and you see  
14 that also in the proactive study, by the way.  
15 That those curves are trending in a  
16 direction. And perhaps if that study had  
17 gone another one or two years, you would have  
18 seen a much bigger effect.

19           I think it does take time. When  
20 we're using any cardiovascular intervention,  
21 especially one that doesn't have a dynamic  
22 effect, you're changing underlying biology.

1 You're asking the blood vessels to remodel.  
2 You're doing other things, and it makes sense  
3 that it's going to take a while for a benefit  
4 to emerge, if there is a benefit.

5 DR. KONSTAM: I guess my main question  
6 is how much of a thorn in the side is ACCORD of  
7 the theory that the more we lower blood sugar  
8 within that range, the more benefit we will get.  
9 You know, how worried do we have to be about  
10 ACCORD that that's just wrong?

11 DR. GERSTEIN: I think ACCORD provides  
12 important information that we didn't know. What  
13 we learned from ACCORD is that in patients like  
14 ACCORD, an aggressive strategy to profoundly  
15 intensively lower Alc targeting less than  
16 6 percent has -- at least in that 3-1/2-year  
17 window -- has a mortality signal. And I think  
18 that's an important one. Obviously, other  
19 studies need to look at it. And it tells me as  
20 a clinician that that information has to be  
21 taken into account. When you're looking at your  
22 patient in front of you with an Alc of

1 8.5 percent, thinking am I going to try to get  
2 this person's Alc down to normal, little yellow  
3 flag. Wait a second.

4           There's the ACCORD trial. It  
5 doesn't tell us what would happen if we were  
6 targeting less than 7 percent. It doesn't  
7 tell us anything about preventing Alc from  
8 rising. If somebody's Alcs are 7 percent,  
9 should we make it go up to 7.5 percent?

10           Clearly, that's not information  
11 that comes out of the ACCORD study. And the  
12 farther you go from the actual findings in  
13 ACCORD, the more speculative the conclusions  
14 come. And I'm trying to stay as close as  
15 possible to the data when I say that. And  
16 acknowledging the limitations. And there's a  
17 lot more information to come even from  
18 ACCORD, because the study is continuing.  
19 There's a blood pressure, a lipid  
20 intervention, plus other analyses of a legacy  
21 effect and other things that may emerge. I  
22 think we need to wait and see.

1                   But it certainly raises a yellow  
2 flag. And it tells us that when we have  
3 data, things are not nearly as simple as they  
4 are when we don't have data.

5                   DR. BURMAN: Thank you. Other  
6 questions? Dr. Genuth.

7                   DR. GENUTH: In the DCCT, the first  
8 observed effect of intensive treatment was a  
9 worsening of retinopathy, which is correct. And  
10 that had already been seen in several European  
11 studies, trials, and case reports. But it's  
12 very interesting to note that those people who  
13 suffered early worsening in retinopathy were as  
14 likely, and in fact, even more likely, to  
15 ultimately have a beneficial effect of that same  
16 intensive treatment by the seven year end of the  
17 trial as were the people who didn't suffer early  
18 worsening.

19                   And I think that may have  
20 applications to the cardiovascular disease  
21 situation, in that it may suggest that there  
22 are different biological effects which we

1 don't yet understand that made things worse  
2 for retinopathy, and a different biological  
3 effect that ultimately made retinopathy  
4 better.

5           So in ACCORD, for example, we may  
6 be seeing that kind of thing in that early  
7 mortality may result from intensive treatment  
8 by one mechanism, and ultimately with further  
9 follow-up, we may see a beneficial effect,  
10 which just underlines the same point that  
11 everybody has made. You need long-term  
12 follow-up in all trials, as long as we can do  
13 them safely for long-term.

14           DR. BURMAN: Dr. Savage.

15           DR. SAVAGE: One thing I'd like to  
16 hear your comment on is that several people have  
17 mentioned the issue of whether you could  
18 intervene early on diabetes and get a different  
19 outcome, versus the type of trial that ACCORD  
20 and ADVANCE, so forth, where you have people  
21 with 10 years or so duration. But there's a  
22 major difference between getting normal or

1 near-normal glycosylated hemoglobin in those two  
2 groups of people. In the early onset patients,  
3 maybe one drug, an oral agent, can normalize the  
4 glucose with minimal risk of major oscillations  
5 of the glucose or hypoglycemia. These very  
6 complex regimens inevitably have a component of  
7 hypoglycemic risk.

8 So could you comment on that?

9 DR. GERSTEIN: Clearly, you're  
10 100 percent right. When you're intervening on  
11 people that have more-advanced diabetes, there's  
12 going to be more adverse consequences of that  
13 intervention. Which means more drugs are going  
14 to be used. There'll be more hypoglycemia, et  
15 cetera. Now, in the end, the question is  
16 whether the benefits as my colleague in front of  
17 me said -- is whether the benefits outweigh the  
18 risk.

19 So in the DCCT, the risk of severe  
20 hypoglycemia was 27-fold higher than in the  
21 intensive group compared to the standard  
22 group. However, the whole world acknowledges

1 that the benefits of intensified insulin  
2 therapy in type 1 diabetes clearly outweigh  
3 the risk. And the challenges being in the  
4 DCCT to find therapies in type 1  
5 diabetes -- to find therapies that minimize  
6 the risk while maintaining the benefit.

7 I think the same type of thing  
8 applies to type 2 diabetes. As we're using  
9 multiple therapies -- or if we try to use  
10 multiple therapies, we're going to have  
11 adverse events. There's no question.

12 And you'll never be able to know  
13 what adverse event is attributed to what drug  
14 or whether it's a strategy, et cetera. It  
15 becomes a lot simpler when one is looking at  
16 earlier on in the course of diabetes. You  
17 can get better glucose control with one or  
18 two agents, a lower dose of insulin, less  
19 hypoglycemia, because there's beta-cell  
20 function, which is defending the body against  
21 hypoglycemia. There's alpha-cell defending  
22 it, et cetera.



1                   So it just makes it harder to make  
2     the inference. I think the ACCORD, and the  
3     ADVANCE study and the VA study, those had to  
4     be done. Right now as a result of their  
5     findings, the focus on other trials is  
6     probably going to shift somewhat.

7                   I'm not sure if I totally answered  
8     your question, Peter, but there's no real  
9     answer to that question.

10                  DR. SAVAGE: One quick follow-up.  
11     Everybody is now talking about individualizing  
12     care.

13                  And I think most of us know there  
14     are some individuals -- people who are  
15     alcoholics -- there are certain people that  
16     you obviously don't want to intensify glucose  
17     control in. But I'm not at all clear that  
18     the recommendations that are being given out  
19     are specific enough for people to make a  
20     choice in the real-world setting as to who  
21     are the people that they would be  
22     particularly worried about and who are the

1 ones that might be less of a risk. Do you  
2 want to comment on that?

3 DR. GERSTEIN: I think it's difficult  
4 to do that -- to ever take the results of any  
5 clinical trial and apply them directly to the  
6 patient in front of you.

7 I think it's always -- actually, I  
8 think it's impossible.

9 Clinical trials, and all the  
10 evidence that we generate, don't tell you how  
11 to manage patients.

12 All they do is they inform the  
13 clinical management of patients so that you  
14 can look at the person in front of you, take  
15 the results from the trial, and say, all  
16 right, what do I know from the trials? What  
17 does this person tell me about there? What's  
18 their other risk profile? And make an  
19 individualized decision.

20 I would say the same thing about I  
21 don't think you should give statins  
22 indiscriminately who walks into your door, or

1 ACE inhibitors, or anything else, because  
2 then that's cookbook medicine, and then you  
3 don't need physicians. And clearly,  
4 everybody does not respond the same way to  
5 therapies. So I think that as we get more  
6 data we can sort of get a sense of which  
7 patients are going to respond better or not.

8           So I think that's probably the best  
9 answer to the question. We cannot blanketly  
10 apply any finding to all of our patients. We  
11 just have to individualize it.

12           DR. BURMAN: Yes, please.

13           MS. FLEGAL: Yes, I'd like your  
14 thoughts on two things. One is in ACCORD,  
15 they're really not able to accomplish the goal  
16 of the intensive therapy. Is it marginal return  
17 from additional therapy, just not enough to  
18 lower below six? And the other is kind of a  
19 different topic, but it reminds me of the  
20 obesity paradox literature a little bit where  
21 obesity increases incidents. But sometimes it  
22 improves mortality. Is there any distinction

1 between incident CVD and mortality from CVD  
2 that's involved with some of these findings?

3 DR. GERSTEIN: The first part of the  
4 question is ACCORD achieved A1c levels in these  
5 participants that had not been achieved in any  
6 other clinical trial. And the A1c levels that  
7 were achieved were deemed -- people did not  
8 think they could be achieved. They didn't go  
9 down to 6 percent, but we learned something from  
10 that. And the most important thing in any trial  
11 is the contrast between the two groups. So  
12 there was a contrast of 1.1 percent between the  
13 two groups.

14 So I think for the period of time  
15 that it was happening, the question was being  
16 asked, but the second question about the  
17 obesity paradox is hard to answer because  
18 that's based on epidemiology.

19 And you know, the whole question  
20 of, you know -- I'm not sure that I really  
21 have an answer for that question. Because  
22 you're saying is it possible that glucose may