

1 trial, unless one were targeting a very substantial
2 reduction in the death rate on that.

3 DR. LORELL: Thank you.

4 DR. BORER: Lloyd, you had a comment?

5 DR. FISHER: Yes, you are right. It would take
6 a larger trial. Actually, from what I have been informed,
7 it's not as large as Tom would think probably. And the
8 reason is that the cardiovascular event rate really goes up
9 when the people hit dialysis. Now, I'm not familiar with
10 that literature, but everything that I've been hearing, as
11 we've been rehearsing for this meeting, assuming that's
12 true -- and the independent people brought in here could
13 discuss that. So, if you followed long enough, if you're
14 willing to let a lot of people get to dialysis and so on
15 and so forth, and not feel you had to intervene to prevent
16 that in every way you could, then actually surprisingly not
17 just the death rate but the cardiovascular event rate would
18 go up more than you would think.

19 DR. COOPER: And in that situation, we would
20 have continued coded medication throughout the study rather
21 than discontinuing it at the first event.

22 DR. EDMUND LEWIS: If I could address that,
23 just to finish Lloyd's statement, the mortality rate, once
24 a patient reaches dialysis, hasn't changed much over the
25 last several years, and it is much greater in patients who

1 have diabetic nephropathy than it is in patients with other
2 diagnoses on dialysis programs. The one-year mortality for
3 these patients is 25 percent, and the two-year mortality is
4 50 percent. So, the goal is to prevent the patient from
5 going on to dialysis as long as possible because they're
6 not dying renal deaths, they are dying cardiovascular
7 deaths, and whatever it is about dialysis that does this,
8 these patients do very badly.

9 DR. BORER: Steve?

10 DR. NISSEN: I just want to make sure I
11 understand whether any of the cardiovascular endpoints were
12 censored in this trial. Am I or am I not correct? When
13 they reached ESRD, from then on were the cardiovascular
14 events included or were they censored?

15 DR. COOPER: They weren't captured.

16 DR. NISSEN: They were not captured.

17 DR. COOPER: Right.

18 DR. NISSEN: They were captured or captured and
19 censored?

20 DR. COOPER: They were not captured. The
21 patients were no longer on study drug, so there's wasn't a
22 safety effect that we were following, and because of the
23 interventions associated with ESRD and the change to the
24 patient's status as a result of those interventions, we did
25 not capture any cardiovascular events that happened once a

1 subject reached ESRD.

2 DR. NISSEN: Okay. Well, maybe I'll have more
3 to say in the discussion period, but I'd sure like to see
4 that data.

5 DR. COOPER: That's the design.

6 Can we have Dr. Pfeffer now?

7 DR. BORER: Alan, did you have one question
8 first here?

9 DR. HIRSCH: This may also just relate and
10 maybe Dr. Pfeffer can answer it as well.

11 In transition again from the balance of renal
12 benefit to cardiovascular benefit, I want to go back to
13 Steve's point on figure C-16 where you see a reduction in
14 heart failure events with irbesartan, but a relatively
15 favorable effect on the ischemic events in the amlodipine
16 group. You've shown us baseline data for many renal
17 parameters. I just want to make sure there wasn't any
18 misallocation or randomization imbalances. Do you have
19 data on clinical coronary disease, myocardial infarction
20 history, heart failure in the three cohorts you can share
21 with us?

22 DR. COOPER: We didn't collect data at baseline
23 to that level of degree, but the frequency of prior
24 cardiovascular events at the time of randomization was
25 similar in all three treatment groups.

1 DR. HIRSCH: I saw that. I was wishing to
2 break that down a little bit.

3 DR. BORER: Marc?

4 DR. PFEFFER: I'd like to start with an apology
5 for some of the confusion. I am a member of this group,
6 but my tenure was supposed to start after this meeting
7 because I obviously knew I was working on this project
8 since 1995, and I knew this date. When I was invited to
9 join, I asked that my tenure start after this session. And
10 apparently my paperwork went through faster than
11 anticipated. So, I apologize to --

12 DR. BORER: A first.

13 (Laughter.)

14 DR. PFEFFER: But my history with this trial I
15 think is relevant because it goes back to the design phase.
16 Dr. Lewis and the collaborative group had been working
17 with the sponsor -- and this is relevant to the difference
18 between cardiovascular and renal -- to design a renal study
19 in a patient population that had never been tested with a
20 new class of agents that had never been evaluated.

21 At that time, I came in and had discussions
22 with Dr. Lewis and the sponsor and said how could you not
23 look at cardiovascular events. That's what will happen
24 with these patients. And he said, Marc, you have to
25 understand. We're getting these people at the point of the

1 spectrum where they're more likely to have renal events,
2 but why don't we prospectively look at cardiovascular
3 events too but as a clear secondary. As a matter of fact,
4 all the alpha in this project is on the renal events. So,
5 this was a renal study known in a population with a high
6 likelihood to have a propensity for cardiovascular events.

7 Now, given that, the sample size was based on
8 the renal events. So, it was a sample size of
9 approximately 600 per group with three active comparators.
10 So, there wasn't a chance to talk about cardiovascular
11 death.

12 If I could have the first slide. We built a
13 composite. Why does one build a composite? First of all,
14 this is a secondary endpoint. And we built a composite
15 knowing that with 600 people, three groups, two
16 comparisons, to get a signal that there was an ability to
17 influence a cardiovascular outcome, we would need as many
18 what we thought were clinically important events as
19 possible.

20 So, as you've heard, it's cardiovascular death
21 plus nonfatal MI, and I would say prospectively we even
22 built in an ECG core lab where the baseline ECG was looked
23 at 6 months, 1 year, and approximately 6,000
24 electrocardiograms were looked at. Hospitalization for
25 heart failure required a hospitalization and an

1 adjudication committee, as did neurologic deficit, and the
2 amputation was clear, above the ankle. So, we felt this is
3 a smorgasbord of bad news cardiovascular events, and let's
4 see, if we have a signal that in these three active
5 comparators, if we can see something.

6 To give you an idea of where we stood, we also
7 said 600 might not be enough. Let's broaden the
8 definitions and now let's call this a tertiary. That's
9 clearly a definition of where we are. We're in the
10 exploratory phase, but we didn't want to miss something
11 with this new class of agents in this important population.

12 So, what we added to what you had seen before
13 was nonfatal MIs called by the site. So, if a site called
14 it, we'd add that. We also added revascularization
15 procedures. We now added heart failure that didn't quite
16 require a hospitalization, but the investigator said I'm
17 not comfortable here. We're going to start an ACE
18 inhibitor or an angiotensin receptor blocker, and we also
19 added a different level of amputation and peripheral
20 vascular procedures.

21 And the results were surprising to me that with
22 that smorgasbord of cardiovascular events adding all these
23 together, in only 2.9 years -- everyone on active blood
24 pressure control, and blood pressure levels are going from
25 about 160 to approximately 140 systolic -- we had a 25

1 percent event rate overall. Contrast that to the renal
2 where it's 37. So, Dr. Lewis was right. These people were
3 more likely to have a renal event.

4 But that didn't mean that we didn't prespecify
5 and look at these things. This is the actual numbers. The
6 most common event that happened to one of these randomized
7 patients who was then followed for a cardiovascular event,
8 the most common event was the development of a
9 hospitalization for heart failure.

10 If we look at the overall composite, I think
11 the conclusion is that this therapy, these three arms, that
12 there's no distinction in the overall cardiovascular event
13 rate.

14 Now, again, all the groups are receiving
15 antihypertensive therapy. There's a central committee
16 blindly working with all the investigators to try to get
17 the pressures down, not knowing the assignment, and this
18 was the overall.

19 Now, when the investigators presented this --
20 and the first time that was done was in Stockholm at the
21 European Congress of Cardiology -- our conclusion was that
22 there was no difference in this prespecified composite,
23 lumping all cardiovascular events.

24 When you have a composite, I think it's fair to
25 look at the components for hypothesis-generating

1 information, and we did that. And what that showed was the
2 most important line is the first dot, which is the
3 narrowest confidence interval, which is the overall
4 predefined, and you can see that that is right around the
5 nil, which is what that Kaplan-Meier showed.

6 But then when you break it down into what were
7 the components, the only thing that really leaves the line
8 -- and we are not making a point of this because it's one
9 component of many -- is this hospitalization, but it's
10 counterbalanced by other factors. The event that we had
11 the narrowest confidence interval, of course, is the
12 overall, and we choose to make the statement that there's
13 no influence on cardiovascular events, some very
14 interesting things here that will need further study.

15 The tertiary analysis, which is even broader,
16 just confirms what I've just said, and once again, the
17 components go back and forth. Really no difference and
18 nothing that you would say we found something here in this
19 one of six subanalyses in a tertiary analysis, but
20 interesting observations that will require larger studies,
21 which are already underway. There are large studies
22 comparing ARBs to calcium channel blockers. VALUE has
23 approximately 15,000 patients; LIFE has 9,000 patients.
24 That's what's going to be required.

25 Post hoc for the combined -- you've seen this

1 -- was let's add the renal bad news to the cardiovascular
2 bad news and see if it's a shallow victory. Are we just
3 offsetting those renal benefits by more cardiovascular
4 adverse events? And that wasn't true.

5 But I think an even more important analysis to
6 some of the points that I've heard raised appropriately
7 today, what about the patient? The patient doesn't care if
8 they're referred to the nephrologist, the neurologist, or
9 the cardiologist if they had something happen to them.
10 This isn't a "who's my specialist here." It's "how am I
11 doing?"

12 We looked at the hospitalizations. Now, this
13 is also skewed in a way that the data collection stopped at
14 the development of end-stage renal failure. So, censoring
15 from the time of development of end-stage renal failure
16 means that we had slightly longer exposure in the
17 irbesartan group. With that slightly longer exposure,
18 there were fewer hospital admissions and the time in the
19 hospital was reduced. I think that's a global measure.

20 Now, of interest, the cardiovascular component
21 of the hospitalizations was not changed in these three arms
22 with all active therapy. So, our conclusion would be that
23 although we did not show a measurable impact on
24 cardiovascular disease, we did show a measurable
25 improvement in global health, best measured I think by the

1 total hospitalizations.

2 DR. BORER: Steve or Tom, do you have any other
3 points you want to make?

4 DR. NISSEN: I tend to look at these events in
5 more of a hierarchical way, and I guess that's why I
6 focused so narrowly on what we would consider the hard
7 cardiovascular endpoints of cardiovascular death, nonfatal
8 MI, and stroke. I would really like to see an analysis
9 where those hard endpoints are looked at. And the reason I
10 say that, Marc, is that most of the "benefit" on the
11 irbesartan versus amlodipine comparison comes from the
12 hospitalization for heart failure, and we all know that
13 amlodipine tends to produce some peripheral edema and that
14 patients with peripheral edema are much more likely to get
15 into a hospital with a diagnosis of heart failure. So,
16 what you're trying to do is equate a soft endpoint like
17 hospitalization for heart failure with much harder
18 endpoints.

19 And I really want to know what the statistical
20 significance would be if one looked at -- and I recognize
21 it's exploratory and I recognize it's not prespecified, but
22 in terms of looking at overall benefit, I think you have to
23 look at cardiovascular events in that kind of hierarchical
24 way because they have different importance in terms of the
25 overall benefit to the patient. Do we have such an

1 analysis?

2 DR. PFEFFER: Well, Steve, I think if we could
3 prespecify the importance of a nonfatal event, then give it
4 a rank, we'd all be in much better shape for designing
5 trials. Your bias is that having a nonfatal MI, you'll do
6 better than getting hospitalized for development of heart
7 failure. Well, there are nonfatal MIs and there are
8 nonfatal MIs, and there are developments of heart failure.

9 And I think that's the whole problem with once you get
10 below death, how do you rank these things. Even with the
11 diagnosis of an MI, sometimes it's a triponin leak versus,
12 wow, this person is not going to get out of their chair
13 again. So, I think that's treading in an area that we
14 can't do within this study or that most studies couldn't
15 do. Therefore, we chose to give you the whole global
16 smorgasbord and let you interpret that.

17 I think the hospitalizations are a very
18 important component of this.

19 DR. NISSEN: One follow-up and that is --

20 DR. JULIA LEWIS: Could I comment?

21 DR. NISSEN: Sure.

22 DR. JULIA LEWIS: On the adjudication committee
23 -- and Marc can speak to this too -- we were very sensitive
24 to that issue of peripheral edema associated with
25 amlodipine use that you mentioned. In fact, as we

1 adjudicated the heart failure hospitalizations, we required
2 the patients to have other manifestations such as rales, a
3 chest x-ray that showed pulmonary congestion, wedge
4 pressure. I mean, there had to be more to it than swollen
5 ankles.

6 DR. NISSEN: Sure.

7 Let me just ask one more question, and that is
8 I want to know the justification for not collecting the
9 cardiovascular event data once they got to dialysis. I'm
10 very troubled by that because we don't have data that I
11 think we should have.

12 DR. EDMUND LEWIS: Well, once a patient goes on
13 to dialysis, their caregiver, their environment, everything
14 really changes. Plus, their clinical course changes in a
15 highly expected way. So, that data was not collected
16 because of that, because in fact the way we looked at it,
17 requiring end-stage renal disease was the endpoint here.
18 And the high mortality rate of these patients, while it
19 would be of interest to know the exact number, I agree, but
20 we didn't anticipate that it would be any different than
21 any other type 2 diabetic nephropathy that reached end-
22 stage renal disease. They, after all, had not been on
23 coded medication for some considerable period of time.
24 They may have had their blood pressure controlled better
25 than the average patient, so maybe they had a more benign

1 course. But we did not feel that having detail of that
2 stage of the patient's life would actually contribute
3 meaningful information to what we were studying, and what
4 we were studying was does our intervention prevent the
5 patient from requiring dialysis according to what the
6 course of things would be.

7 DR. NISSEN: But an intention-to-treat analysis
8 says you continue to collect the data as the endpoints
9 occur. I mean, I think it's an unusual approach. I can
10 understand why you might argue that the data might be
11 censored, but I certainly would like to see the data.

12 DR. JULIA LEWIS: I just want to make two quick
13 comments to add to the reasons why we chose not to do that
14 in the design committee, and that's because there are two
15 ongoing trials, one sponsored by the NIH and one sponsored
16 by a pharmaceutical company, looking at elements of the
17 dialysis membrane interaction with the patient and looking
18 at phosphate binders and certain things that we use to
19 manage them once they're on dialysis that are thought --
20 the hypothesis is that those things actually impact on
21 cardiovascular events. So, we really thought this was a
22 fairly contaminated population.

23 Also, recall we only start out with 1,715
24 patients at the beginning of the trial. Our other feeling
25 was that there were going to be so few patients for a

1 cardiovascular outcome analysis that actually reached
2 dialysis that it wasn't the appropriate setting in which to
3 do a study in what happens to cardiovascular events in ESRD
4 patients.

5 DR. BORER: Tom and then Bob and then I have
6 some final questions for you before we break for the FDA-
7 mandated lunch.

8 DR. FLEMING: There's much to say here. It's
9 in a certain sense philosophically troubling to me because
10 we are -- and I can accept this in a certain sense --
11 arguing that we need to follow patients long enough to
12 really be able to see the full clinical benefits achieved
13 by an intervention that is effectively extending the time
14 to doubling of creatinine. Yet, at the same time we're
15 hearing, gee, when you get out far enough, there's such a
16 myriad of complicated phenomenon influencing the outcomes
17 of these patients, that we don't really want to capture all
18 of these events because it's difficult to interpret them.

19 In essence, what I want to understand is what
20 are the true clinical consequences of an intention to
21 deliver an intervention versus not and follow all the
22 patients forward in time. And it may not be possible to
23 expect statistical significance on all the cardiovascular
24 endpoints. That doesn't mean it's not very informative to
25 understand whether there's a pattern here that is

1 suggestive of benefit or lack of benefit. So, it's a
2 simple question.

3 Marc, you've indicated that you were a bit
4 surprised that cardiovascular events were about two-thirds
5 what the renal events were. Maybe that's what it is. I
6 have trouble knowing whether that's what it is because we
7 stopped systematically following the cardiovascular events
8 at certain points in time. So, it's a little difficult to
9 understand that.

10 What I would like to see, Marc, about three
11 slides from the end, you threw something up that is getting
12 at, at least indirectly, what some of us have been really
13 struggling to see. Could you put the slide up again that
14 shows the actual number of documented events of each type
15 when we're looking at the secondary endpoint? And I'd like
16 to have this left up for several minutes so at least we can
17 make some notes as we go on to other discussions.

18 Fundamentally, what I'd like to see --
19 descriptive or inferential isn't critical to me. What I
20 want to see is what the data show about the difference
21 between the three intervention arms in the fraction of
22 patients that have the more renal endpoints here, death,
23 dialysis, survival. Show me what that analysis is.

24 And then it is relevant to be able to see more
25 globally how those renal and cardiovascular outcomes pool

1 not that I have to prove statistical significance or not.
2 I'd like to understand what the data show about the actual
3 influence of the strategies here in impacting both renal
4 and cardiovascular outcomes. So, at some point before we
5 get into voting, I'm really hoping someone can put those
6 specific analyses before us.

7 DR. JULIA LEWIS: Can I make just a quick
8 comment? I know you're cardiologists and I know that heart
9 attacks and cardiovascular deaths are really important
10 outcomes for you. But again, as a nephrologist, I have to
11 tell you whether or not you have to go to a dialysis unit
12 three times a week is also a very important outcome, and if
13 the government ran out of money, 100 percent of those
14 people would be dead without dialysis. So, we don't have
15 renal death because we're rich and fortunate in our
16 country. It's a huge factor for patients. Many of them
17 are more frightened of it than they are of a heart attack.

18 DR. BORER: Bob?

19 DR. TEMPLE: I guess I have a couple of
20 observations. Maybe this should be left for the
21 discussion, but it seems to me the discussion is bearing on
22 them.

23 This was not a trial to describe which the best
24 antihypertensive is. A trial of 40,000 people is
25 attempting to do that. We don't know what success it's

1 having. But you really wouldn't expect a trial of this
2 size to be able to pin down the question of whether
3 amlodipine is better at preventing heart attacks than
4 irbesartan. There are mountains of data on that question.
5 Most of it, I admit, is ACE inhibitors not A2 blockers.
6 But it's obvious that trials go every which way. I mean, a
7 big trial in diabetics -- not so big -- the ABCD trial sort
8 of suggested that calcium channel blockers are death and
9 ACE inhibitors make you live, and then other trials don't
10 show the same thing.

11 It doesn't seem surprising to me that in
12 people, all of whom are treated apparently appropriately
13 for their blood pressure, you see twists and turns, and I'm
14 not sure how much you can make out of a trial of this size
15 on those endpoints when hundreds of thousands of patients
16 have not allowed anybody but certain individuals to reach a
17 conclusion about whether calcium channel blockers are
18 better or worse. So, I wonder how much one should make of
19 this. So, that's one observation.

20 The second is -- people have said this
21 repeatedly but I'm not sure whether everybody buys it --
22 that when you reach a creatinine of 6 or something like
23 that, you are on your way to dying or going on dialysis,
24 although this trial didn't follow that long enough. So,
25 there seems to be a minimization of that because you didn't

1 die or go on dialysis yet. I wonder about that because the
2 contention is at least you're on your way there. If we
3 followed you another year or two, you'd definitely be
4 there. But those are not counted as serious events because
5 they didn't quite happen yet. So, I wonder about that. It
6 seems to me worth discussing. Does any disagree with that?

7 Then, of course, the other observation is that
8 there are two comparisons here. One is against placebo
9 which actually translates to a wide variety of other drugs,
10 but not including calcium channel blockers or ACE
11 inhibitors or something like that. And that doesn't show
12 this funny thing on cardiovascular events. So, it's not
13 clear what to make of that.

14 You might say that these data certainly don't
15 tell you you should always use irbesartan instead
16 amlodipine in everybody because those other events seemed
17 to go the wrong way and it's ambiguous on that. But does
18 that interfere with reaching a conclusion about the effect
19 on renal function? And I think those are somewhat separate
20 questions.

21 DR. BORER: Thank you.

22 I have three final questions for you before we
23 break. No discussion, just give me an answer if you can,
24 and they'll probably come up again as we go through the
25 discussion of the formal questions later.

1 I asked you before about what happened to the
2 people once they were taken off their coded drug. That
3 question had several components. First of all, what were
4 they put on? How were they treated after they were taken
5 off the coded drug, number one? And number two, what
6 happened to their rates of progression compared with the
7 rate of progression in the first portion of the trial
8 before they were taken off the coded drug? So, that's one
9 set that I'd like to hear an answer to.

10 Second, I want to know something about the
11 exclusions beyond that point at which people were taken off
12 their coded drug. There were several other people who were
13 analyzed one way or another that I'd like to hear about.

14 And third, you made a point about blood
15 pressure differences not being important, and I think it's
16 useful that Dr. Kopp is here because I think that the data
17 that exists might not support that statement and it may be
18 important for us to know about that.

19 But we'll go through them one at a time. First
20 of all, what about the patients who stopped their coded
21 drug? How were they treated and what happened?

22 DR. COOPER: Can we have the first slide on
23 concomitant medication on double-blind therapy please?

24 This slide displays the use of the different
25 classes of antihypertensives in this patient population

1 during the double-blind period. As you recall, earlier I
2 was asked a question about beta-blockers, and you see that
3 the frequency of use was 52 percent in the placebo group.
4 In most of the classes, placebo patients by and large
5 received more antihypertensives.

6 We do not have specific information about the
7 use of agents once patients reached double of serum
8 creatinine because there's no approved indication and it
9 was up to the investigator to decide what to continue to
10 use. Our feeling is everyone was very committed to
11 maintaining blood pressure control and the use of these
12 agents most likely continued subsequent to discontinuing
13 coded medication.

14 DR. BORER: So had you replaced the coded
15 medication to maintain the blood pressure? By increasing
16 the doses of these others?

17 DR. COOPER: I don't have that information. We
18 didn't collect that level of detail of information.

19 DR. BORER: At some point it would be important
20 to know, because I'd like to know if they were put on ACE
21 inhibitors or ARBs. If they were, you'd interpret
22 subsequent data one way; if they weren't, you wouldn't.

23 DR. COOPER: In the second slide that I'd like
24 to show -- and I believe that this slide is on an overhead
25 and not on a projector, so if we could have the overhead

1 set up. The reason why halving of GFR as measured by a
2 doubling of serum creatinine was considered a clinically
3 relevant outcome was because the study investigators felt
4 that once you've lost half of your renal function, you
5 needed to allow the study investigator to treat the patient
6 with whatever therapy, even though there's no approved
7 indication, should be used to delay the progression of
8 renal disease.

9 Interestingly enough, not all investigators put
10 their patients on an ACE inhibitor. I don't have the exact
11 percent, but it's certainly not all. And what this slide
12 shows you is the rate of progression to end-stage renal
13 disease after doubling of serum creatinine in subjects with
14 and without ACE inhibitors following the endpoint. So,
15 with ACE inhibitors is on the lower curve, and there is
16 data here suggesting that if you treat them with an ACE
17 inhibitor, you are going to delay their progression of
18 renal disease.

19 And subjects who did not receive an ACE
20 inhibitor. And there could have been many reasons for why
21 the patients weren't treated with an ACE inhibitor. These
22 patients could have had severe hyperkalemia because of
23 their progression of disease as an example. The rate of
24 progression was more rapid.

25 DR. BORER: Okay. That's not the way I would

1 interpret those curves, but I can be corrected by any
2 statistician sitting here. It looks to me like those lines
3 are parallel. They just have a different 0 offset. Am I
4 wrong about that?

5 DR. COOPER: If you look at the medians that
6 were calculated until ESRD, it is shorter for those without
7 ACE inhibitors, 6.4 months, rather than those with ACE
8 inhibitors. It's 12.9 months.

9 DR. BORER: Perhaps we need a little bit more
10 evaluation. Lloyd, can you clarify that for me?

11 DR. FISHER: I agree with Dr. Borer. What he
12 is saying is the offset are the people who at the time they
13 doubled already were at ESRD, according to the creatinine
14 criteria, reinforcing the point these are different
15 populations. But if you put the offset together mentally,
16 it's not nearly as impressive. So, it's not really clear
17 whether there's benefit or not from these data.

18 DR. BORER: Well, I'm not sure how much we can
19 infer from this, but I would have been happier to see a
20 real difference between the people who actually were put on
21 renin-angiotensin system affecting agents after the coded
22 drug was stopped than not, and I don't really see that.
23 So, I'm not sure what to make of that.

24 MR. WILLIAMS: George Williams from Bristol-
25 Myers Squibb.

1 I think we have to be careful in these kinds of
2 interpretations of different therapeutic events for
3 cohorts, as described here. These are certainly not
4 randomized comparisons.

5 DR. BORER: Right, I understand.

6 DR. COOPER: I do have one more slide to show
7 and that's the slide that shares the rate of progression to
8 ESRD by treatment group in subjects who were not put on an
9 ACE inhibitor. So, if they weren't treated with an ACE
10 inhibitor or an A2 receptor antagonist, that's the closest
11 we have to looking at whether or not there was some
12 preserved benefit after study drug was discontinued but
13 they had halved their GFR.

14 So, you see irbesartan in yellow, placebo in
15 pink, and amlodipine in blue. There is no real difference
16 here statistically, but if you look at the trends, the rate
17 of progression for irbesartan seems to be -- I don't want
18 to say similar because I can't show you the corresponding
19 curve before doubling of serum creatinine, but it is less
20 than it is for the other two groups.

21 DR. EDMUND LEWIS: May I add something?

22 DR. BORER: Yes, Dr. Lewis.

23 DR. EDMUND LEWIS: I just wanted to remind the
24 panel of the hyperbolic relationship that I showed you
25 between creatinine clearance or GFR and the serum

1 creatinine because now we're talking about a period along
2 that curve that is at the tail where very small changes in
3 glomerular filtration rate are associated with very large
4 changes in the serum creatinine. So, if you actually
5 wanted to have a valid study of anything, ACE inhibitors or
6 where the patient was randomized first and so forth, those
7 changes in GFR leading to large changes in creatinine on
8 your hyperbolic curve are so large that you would really
9 need a lot of patients to get anything other than the sort
10 of identical curves that we're showing you here.

11 DR. BORER: Well, perhaps it's just not
12 evaluable because the study wasn't designed to do this, but
13 you've shown us the data.

14 What about the exclusions? Now, you've told us
15 what happened or what you know about what happened to
16 people after they stopped coded drug when they doubled
17 their serum creatinine. What about the others? There were
18 patients who never received any treatment. There were
19 patients who had ESRD and creatinine doubling at the same
20 time and were counted one way rather than another way. Can
21 you tell us what you did about, for example, the patients
22 who never received treatment? How were they handled?

23 DR. COOPER: Dr. Natarajan?

24 There were 16 subjects who did not receive a
25 dose of study drug even though they had been randomized.

1 DR. NATARAJAN: Again, Kannan Natarajan from
2 Bristol-Myers Squibb.

3 The 16 patients were analyzed as per the
4 intent-to-treat guidelines, in essence, actually as they
5 were randomized.

6 Can I have that slide for the 16 patients
7 please?

8 These are the 16 subjects who were randomized
9 but never got a single treatment, never treated. These 6
10 patients were on placebo, 2 patients on irbesartan, and 8
11 patients on amlodipine. All of these patients were treated
12 as if they received study drug and they were analyzed by
13 the intent-to-treat principle.

14 Some of these patients did have an event very
15 soon after the randomization and were counted as having an
16 event. If we were to do a sensitivity analysis, counting
17 in a more demonic way, the irbesartan subject is the only
18 one who is actually going to have the event. Still, it
19 does not change your conclusion.

20 DR. BORER: How about the people who were lost
21 to follow-up? There were 13, as I recall, or something
22 like that.

23 DR. COOPER: There were 8 subjects lost to
24 follow-up for which we did not have mortality status, and
25 we have a sensitivity analysis for those 8 subjects as

1 well.

2 DR. NATARAJAN: Can I have the slide for the 8
3 subjects?

4 Again, 8 subjects were lost to follow-up. We
5 did not get any information on these subjects at the time
6 of the study closure. There were 2 placebo subjects, 4
7 irbesartan patients, and 2 amlodipine patients. In the
8 sensitivity analysis, we again considered the worst
9 possible scenario in which all the placebo subjects, as
10 well as the amlodipine subjects, didn't have an event.
11 However, all irbesartan subjects did have an event. As you
12 see, the primary composite endpoint is still very similar.

13 DR. BORER: Okay, that's great.

14 You also had patients who had some events known
15 but their mortality status wasn't known, and how did you
16 deal with them?

17 DR. NATARAJAN: Can I have the 19-patient
18 slide?

19 There were 19 subjects who had variable follow-
20 up. There were 11 patients for whom we had the mortality
21 status known. Most of these subjects had withdrawn consent
22 and the only thing that we know of is actually whether they
23 were dead or alive at the end of the study. One subject
24 died during follow-up and is included in the ITT analysis.
25 Assuming the other 7 subjects had a primary event, this is

1 how -- and again, this is in the worst case scenario which
2 is highly unlikely to happen in the sense that it's more of
3 a demonic way of looking at it. The placebo subjects and
4 the amlodipine subjects didn't have any event. The
5 irbesartan subjects alone had an event, and this is how it
6 will turn out to be.

7 DR. BORER: At least we have the data in front
8 of us, and I appreciate that.

9 The final question before we break. You
10 suggested that although there was a 2 to 3 percent
11 difference in blood pressure between the placebo group and
12 the irbesartan group -- forget for a moment the amlodipine
13 group because I'm going to suggest to you that that issue
14 may or may not be relevant since we haven't considered the
15 possibility that amlodipine might do something bad. But if
16 you just think about the placebo patients versus
17 irbesartan, there was a 2 to 3 percent difference in blood
18 pressure favoring irbesartan, and you suggested that though
19 that was statistically significant, it wasn't clinically
20 relevant.

21 About 6 months ago, we sat at a meeting
22 listening to data from ALLHAT, and there was a rather
23 formidable presentation, suggesting something very
24 different from what you said, that is, that 2 to 3
25 millimeters of mercury could account for a lot of

1 difference. And I wonder if either you or someone from the
2 committee who's familiar with ALLHAT or with the relevant
3 data here can talk about that a little bit.

4 One might infer that the better results in the
5 irbesartan group versus the placebo group had something to
6 do with the difference in blood pressure control rather
7 than some independent effect of blockade of the renin-
8 angiotensin system. How would you respond to that?

9 DR. COOPER: Well, that was the reason why we
10 did the Cox regression analysis using blood pressure levels
11 during the study to adjust for the primary composite
12 endpoint, and in that analysis, the relative risk
13 reduction, 19 percent, is similar to what was observed
14 without that analysis. It was 20 percent.

15 I guess I'm interested in your comment about
16 comparing the amlodipine and irbesartan group because
17 amlodipine could have been doing harm. One of the points
18 is that the amlodipine event rate was similar to the
19 placebo event rate, and it is our interpretation that it is
20 unlikely that amlodipine was doing any harm with respect to
21 this composite endpoint.

22 DR. BORER: You may well be right. I'm
23 cognizant of the fact -- and in fact I had come to the same
24 conclusion that Bob stated -- we had two different
25 comparisons here, and we're asking several different

1 questions.

2 I don't want to lose my train of thought here
3 before we close. Yes, I do remember now.

4 I don't know technically how one makes
5 adjustments with the Cox model. I don't know how valid it
6 is to say there was 20 percent and 19 percent and whatever.
7 What I would be willing to accept is that there is an
8 independent effect of treatment even when you consider
9 blood pressure differences, which I assume is what you
10 found. Maybe you can expand on that.

11 DR. NATARAJAN: Yes. Can I have slide 354?

12 What we did is basically address the issue of
13 the differences in the blood pressure between the treatment
14 groups whether it's clinically relevant or not. From a
15 statistical point of view, we adjusted in a time-dependent
16 manner and these are the results of the analyses, both
17 unadjusted, as well as adjusted for time varying mean
18 arterial pressure. As you can see, the risk reduction
19 change is very small, from 20 percent to 19 percent, and
20 the significance still exists. And with regard to
21 amlodipine, we did not see any difference in the blood
22 pressure, and thus the estimate did not differ, nor does
23 the p value.

24 DR. BORER: Tom, can you comment on this?

25 DR. FLEMING: Well, I think what's been

1 attempted here with the time varying covariate is a
2 reasonable approach. The question is how interpretable or
3 convincing is it really.

4 Essentially -- and I assume this is what you've
5 done, although there are lots of variations to how you
6 might do this -- what you're saying is we know at baseline
7 that blood pressure is predictive of risk of many types of
8 events, renal and cardiovascular. So, what we'd like to do
9 to fully capture that influence, particularly if there's a
10 difference in the blood pressure profile over time across
11 two different regimens, is put a time varying covariate in
12 that says anytime there's an event, what is that person's
13 blood pressure at that point and adjust for blood pressure,
14 not just at baseline but as it's varying over time.

15 DR. NATARAJAN: That is correct, yes.

16 DR. FLEMING: And that's a very reasonable
17 approach to take here.

18 There are some pretty significant assumptions
19 we're making, though, and that is the way in which blood
20 pressure truly is influencing outcome is fully being
21 captured by whatever that latest measured blood pressure
22 was at that point.

23 I've attempted these kinds of adjustments in
24 other trials in which we have seen evolving differences in
25 outcomes and evolving differences in blood pressure levels,

1 and we haven't also been able to explain these differences
2 by the time varying covariate analysis. So, I consider
3 what they've done as a reasonable approach, but it
4 certainly doesn't reliably allow us to conclude that there
5 are not differences in these event rates that could well
6 still be impacted by the difference in blood pressure
7 control between the arms.

8 DR. JULIA LEWIS: If I may add something. I'm
9 an investigator in the African American study of kidney
10 disease and hypertension, which has been presented at the
11 American Heart Association, and I sit on the writing
12 committee.

13 We in that NIH-sponsored trial randomized
14 African Americans with kidney disease and hypertension to a
15 mean arterial blood pressure of 102 to 107 versus less than
16 92. We achieved between a 10 and 11 millimeter mercury
17 difference in mean arterial blood pressure. By any measure
18 of renal function, including time to event and iothalamate
19 GFR, we were unable to demonstrate a beneficial effect of
20 being randomized to the lower mean arterial blood pressure
21 group of less than 92.

22 Although that's a different group -- it's
23 African Americans with high blood pressure and kidney
24 disease -- I thought I would share that piece of renal data
25 with you, which may suggest that the renal bed is somehow

1 perhaps different than the cardiac bed in its response or
2 that we're in a range of the continuum where it's less of
3 an impact.

4 DR. NATARAJAN: I would like to just add one
5 more thing. Whether or not we adjust and do this time-
6 dependent analysis, the thing to keep in mind is that there
7 was no difference with respect to amlodipine and
8 irbesartan, and that would actually suggest that that is
9 independent of the blood pressure lowering.

10 DR. FLEMING: Although you're making
11 assumptions there about what other mechanisms of action
12 could differ between the two that might offset a difference
13 that would be attributable to blood pressure lowering.

14 DR. BORER: I think that's been a very
15 informative presentation. I really want to thank you, Dr.
16 Cooper. You've been very clear and concise and given us a
17 lot of numbers.

18 DR. COOPER: I'm a nephrologist.

19 (Laughter.)

20 DR. BORER: Yes. Well, when I was in medical
21 school, our physiology department was primarily skewed
22 towards renal physiology because Robert F. Pitts was the
23 chairman. Knowing that I would be a cardiologist when I
24 grew up, I was very excited when one of the teaching
25 fellows said that he had a grant from the American Heart

1 Association. So, I said, what are you doing relative to
2 the heart? He said, nothing of course. The only purpose
3 of the heart is to pump blood to the kidneys. Everybody
4 knows that.

5 (Laughter.)

6 DR. BORER: So, I understand what you're saying
7 here.

8 In any case we will take a break until 1
9 o'clock when public comment will be possible, and then
10 we'll finish the last two formal presentations and go on to
11 the questions.

12 (Whereupon, at 12:10 p.m., the committee was
13 recessed, to reconvene at 1:00 p.m., this same day.)

14

15

16

17

18

19

20

21

22

23

24

25

1 AFTERNOON SESSION

2 (1:15 p.m.)

3 DR. BORER: We'll begin again.

4 The meeting is open for public comment. There
5 were no applications for public comment, but are there any
6 individuals who have comments that need to be made?

7 (No response.)

8 DR. BORER: If not, we'll go ahead. We have
9 some additional questions and I believe the sponsor has
10 some responses first to Tom Fleming's questions, and once
11 you do that, we have some more questions from JoAnn and
12 from Tom before we get on to the next phase of the
13 presentation.

14 DR. COOPER: Thank you.

15 What I'm going to present now are the data to
16 respond to the remaining questions. Can I have the first
17 slide please?

18 Part of the earlier discussion focused on the
19 effect of treatment on end-stage renal disease,
20 specifically transplantation and dialysis. I did want to
21 share with you the results of the time-to-event analysis
22 for end-stage renal disease, including serum creatinine of
23 6.0 milligrams percent and greater.

24 In this Kaplan-Meier curve in which irbesartan
25 is displayed in yellow and amlodipine and placebo are in

1 blue and pink, respectively, a treatment effect was
2 observed with a 23 percent risk reduction in favor of
3 irbesartan. Once again, this is all patients who had an
4 ESRD event even after they discontinued study medication.

5 Next slide. This is the first of the analyses
6 that was requested, time to dialysis, transplantation, or
7 death comparing placebo and irbesartan. And in this
8 analysis, the relative risk reduction was 13 percent, the
9 confidence interval between .7 and 1.09.

10 The next slide --

11 DR. FLEMING: Could you leave that up for a few
12 seconds please?

13 DR. COOPER: Yes.

14 (Pause.)

15 DR. FLEMING: Thank you.

16 DR. COOPER: The next slide contains the time
17 to event for dialysis and death. Once again, a relative
18 risk reduction in favor of treatment with irbesartan was
19 observed, 11 percent. Confidence intervals are on the
20 slide.

21 (Pause.)

22 DR. COOPER: Next slide please. This is the
23 analysis of time to event of ESRD or death. In this
24 analysis, serum creatinine of 6 is included. The relative
25 risk reduction in favor of treatment with irbesartan

1 compared to placebo is 15 percent.

2 (Pause.)

3 DR. COOPER: And lastly, the new, redefined,
4 combined composite endpoint, which includes dialysis,
5 transplantation, death, and cardiovascular events. The
6 relative risk reduction in favor of treatment with
7 irbesartan observed is 14 percent. It's not expected that
8 any of these post hoc analyses would be statistically
9 significant. They're not powered to detect differences
10 between treatments for any of the components.

11 DR. FLEMING: Do you happen to know what the
12 amlodipine total is?

13 DR. COOPER: We do. That's slide MC-128
14 please.

15 For the same combined composite endpoint, the
16 relative risk reduction was 10 percent in favor of
17 treatment with irbesartan.

18 DR. FLEMING: This is much of what we wanted to
19 see. I guess the last was this specific analysis, but only
20 driven by the death, dialysis, and cardiovascular. Did you
21 happen to do that?

22 DR. COOPER: Death, dialysis, transplantation,
23 cardiovascular events. This does not include a serum
24 creatinine of 6.

25 DR. FLEMING: This does not.

1 DR. COOPER: No.

2 DR. FLEMING: Okay.

3 DR. COOPER: Our conclusions from these results
4 are that treatment with irbesartan is renoprotective. When
5 you consider this patient population and the fact that you
6 need anywhere between two and four antihypertensives to
7 optimize their blood pressure control, we feel that these
8 data support the use of irbesartan to protect the renal
9 function, but we also recognize that this does not exclude
10 the use of another antihypertensive to protect the heart.

11 DR. BORER: Thank you very much.

12 JoAnn, you had several additional questions.

13 DR. LINDENFELD: Just to make sure I understand
14 here, when a patient was admitted for heart failure or
15 admitted for another event, was that creatinine included in
16 the events? For instance, if a patient was admitted for
17 heart failure in between the routine evaluations and their
18 creatinine had doubled, was that then evaluated as a
19 doubling of creatinine assuming that it was reproduced?

20 DR. COOPER: All events were adjudicated by the
21 outcome confirmation classification committee. If a
22 patient had two events at the same time, they would have
23 been adjudicated independently of each other, and all of
24 the criteria would have had to have been fulfilled.
25 Certainly there could have been -- and probably were -- a

1 number of patients who, because of their compromised renal
2 function due to diabetic nephropathy, at the time of the
3 hospitalization where there are other insults to their
4 system, if you will, could have been pushed over into end-
5 stage renal disease at that time and had incurred doubling
6 of serum creatinine.

7 DR. LINDENFELD: I guess what I'm asking is
8 whether or not patients who had worsening heart failure
9 were sampled more often and they're more likely to reach
10 the endpoint of doubling of creatinine earlier because
11 there was this significant excess of heart failure. That
12 could have made a difference of a number of endpoints.

13 DR. JULIA LEWIS: Let me see if I can answer
14 the question for you. In order for a patient to reach a
15 doubling of serum creatinine, they had to have a persistent
16 doubling of their serum creatinine on two measurements up
17 to 4 weeks apart. During the time interval between the
18 first measurement of doubling of serum creatinine and the
19 second, the investigator would be encouraged to treat any
20 reversible causes of the rise in serum creatinine.

21 So if, for example, a patient was in the
22 hospital with heart failure and had a transient rise in
23 their serum creatinine, when they came to their next study
24 visit, the study coordinator would be checking their serum
25 creatinine as part of that visit. If at that visit, the

1 serum creatinine was doubled, it would then fall into the
2 usual path of confirming the doubling.

3 Did that answer your question?

4 DR. LINDENFELD: I think so.

5 I'd like to just get back to this issue of race
6 just a little bit. Could you show me what the doubling of
7 creatinine was in blacks versus whites? I recognize there
8 was a small number of blacks in the study, but is there a
9 substantial difference?

10 The reason I ask that is there were 98
11 doublings of creatinines in the irbesartan group and 135 in
12 the placebo, so a difference of 37 patients. There were 78
13 black patients in the placebo group, 63 in the irbesartan,
14 and 87 in the amlodipine group. This is several percentage
15 points difference because the total number in each group
16 was slightly different.

17 So, I'm just concerned. If, as we've heard,
18 the progression of renal disease is significantly different
19 in minorities and there's a difference in minorities,
20 whether or not that's a significant point here.

21 So, I think to just start that, could you tell
22 me was there a difference in the doubling of creatinine in
23 black patients compared to white patients?

24 DR. COOPER: The answer is yes. Do we have the
25 subgroup analysis specifically for blacks versus whites?

1 The subgroup analysis shows that the point
2 estimate for doubling of serum creatinine favors treatment
3 with irbesartan. The effect in white patients is greater
4 with a relative risk reduction of 25 percent compared to
5 nonwhite patients with a relative risk reduction of 5
6 percent. This is a subgroup analysis with a very small
7 number of patients, and once again the results are going to
8 be driven by the number of patients.

9 DR. LINDENFELD: It doesn't look like there's
10 enough difference in the baseline to make a difference.

11 But there is an under-representation of, I
12 think, blacks in the irbesartan group compared to both the
13 placebo and the amlodipine group. There's under-
14 representation in the entire trial, but I think there's
15 about a 5 percentage point difference in the number of
16 blacks here. It just concerns me because if blacks are
17 likely to progress at a higher rate, then a small
18 difference could make an event difference of 10 or 12 in
19 that group, which could change the total number of 37
20 events substantially. Again, I think this is a problem in
21 this kind of trial of not stratifying for the groups that
22 are more likely to progress.

23 DR. COOPER: Dr. Lewis, do you want to address
24 the rate of progression of renal disease in black patients?

25 DR. EDMUND LEWIS: Well, not any further than

1 what I've said before. I don't think we have information
2 about the rate of loss in black patients in the study in
3 terms of delta creatinine clearance for blacks versus
4 whites. So, I really can't expand very much on what was
5 said before. I think that here you have this data, and I
6 don't think that there's any further that I can say about
7 that, although I will point out that the effect of race on
8 the outcome -- clearly patients who are white had more
9 irbesartan effect, if you will, than blacks. But I really
10 can't comment about your other point.

11 DR. JULIA LEWIS: I think I can comment a
12 little bit more about it. Can I have my slide 1?

13 This is true that if you look at the age-
14 adjusted incidence of ESRD, African Americans have a higher
15 age-adjusted incidence of ESRD based on the USRDS data.

16 Also, there's data out there that suggests that
17 an African American with high blood pressure and kidney
18 disease compared to a white person with high blood pressure
19 and kidney disease, between the ages of 20 and 45, has a 20
20 times increased risk of developing kidney disease.

21 However, in the African American study of
22 kidney disease and hypertension, sponsored by the NIH that
23 I alluded to earlier, 1,094 African Americans were
24 randomized in a three-by-two factorial design. I've
25 already commented on the results of the blood pressure

1 randomization. I will comment that the ACE inhibitors
2 protected their kidneys, but I would also like to comment
3 that the average rate of decline of renal function in this
4 study in African Americans with high blood pressure and
5 kidney disease was 2 mls per minute per year, which would
6 mean that if you started out with a normal kidney function,
7 a GFR of 100, it would take you 50 years to reach end-stage
8 renal disease. So, some of our conceptions based on
9 epidemiologic data on the rate of decline of renal function
10 in African Americans may reflect the fact that unlike in
11 clinical trials, their blood pressure is not under
12 exquisite control.

13 DR. LINDENFELD: I don't think that helps me
14 much because it isn't a diabetes trial.

15 Again, actually what you've said concerns me
16 just a little bit more because if there's an over-
17 representation of blacks in the amlodipine and the placebo
18 groups compared to irbesartan and blacks progress faster,
19 then to me that biases the study a bit in favor of
20 irbesartan.

21 In addition, if irbesartan doesn't appear to
22 work in blacks and it does in whites, from the data you've
23 shown me, then that's an additional problem more than just
24 the fact that renal function progresses more rapidly in
25 blacks. So, that data actually concerns me.

1 I'm really concerned because these are not very
2 large numbers. I'd be interested. Maybe I'm overdoing it
3 here, but doubling of creatinine is everything here, and
4 there's only 37 patients difference in the doubling of
5 creatinine. Yet, between amlodipine and irbesartan, there
6 are 24 more blacks and 15 more between placebo and
7 irbesartan. You know, just a few patients here makes a
8 difference between a significant and a nonsignificant
9 study.

10 DR. NATARAJAN: I agree, but I wanted to
11 actually caution the committee in terms of actually over-
12 interpretation of subgroups of very small sizes. Again,
13 yes, actually there are very few blacks, and the
14 differences among the treatment groups, though numerically
15 there, they were not statistically significant. They were
16 not actually any different between any of the treatment
17 groups.

18 DR. LINDENFELD: Well, I agree with that, but
19 I'm not talking about that. What I'm talking about is the
20 fact that it seems to me from a cardiologist's standpoint,
21 if you just go back and review the reviews, that blacks
22 progress more rapidly and other subgroups too. Maybe
23 Hispanics. But it appears that the literature suggests --
24 you may have some data that I don't have -- that the
25 progression is more rapid. Again, just help me with this.

1 Maybe you can explain why that's not a concern.

2 DR. FLEMING: I think JoAnn is talking more
3 specifically about race in its role as a predictor and
4 hence a potential confounder rather than as an effect
5 modifier, although both are issues. But if in fact it's a
6 predictor such that blacks would have a poor outcome and
7 they are under-represented in the intervention group, then
8 you would have some level of confounding. It's not a
9 serious imbalance, but her point is the strength of
10 evidence here is marginal at the level of significance, so
11 even a minor imbalance could somewhat compromise the
12 convincingness of results.

13 DR. LINDENFELD: That's exactly right, but it
14 could also be an effect modifier if in fact irbesartan --
15 there are not enough numbers -- but if it's less effective
16 in blacks than whites, then it becomes an effect modifier
17 too in a sense. They are small numbers, but the study is
18 based on very small differences in numbers.

19 DR. EDMUND LEWIS: I don't know about helping
20 you. All that I can say about the preconceptions of how
21 much faster or how more malignant a course patients with
22 type 2 diabetic nephropathy have does not take into
23 consideration the fact that these patients do not have
24 their blood pressure controlled to the recommendations that
25 are made. And I think that in this study, we get the

1 closest that has come actually to blood pressure control
2 recommendations in both the hypertensive and type 2
3 diabetic population.

4 So, I think that the assumption that because
5 somebody is African American, they therefore are going to
6 have a much more malignant course is really not exactly an
7 accurate assumption. It's based on literature where the
8 black population, the African American population, has more
9 problems with blood pressure control than does the white
10 population.

11 I think that once you get into the subgroup
12 analyses, you're getting into small numbers. I personally
13 don't think that our outcomes are that small of numbers.
14 But when you get into the subgroup populations, you are
15 getting into small numbers, and I think that before you
16 make certain assumptions, you have to have the data.

17 And I don't really believe that you have data
18 showing that the black population -- the natural course of
19 their diabetic nephropathy is that much worse. The natural
20 course of diabetic nephropathy, as we're showing you, is
21 bad and that's with blood pressure control. That's in
22 everybody.

23 So, I think there's a certain assumption here.
24 I just don't think we can go any further with it because I
25 don't think that there's a number we can put on it. I

1 don't think that you can say because somebody is African
2 American, their chances of doubling is 1. something
3 compared to a white because that information really doesn't
4 exist.

5 DR. LINDENFELD: No, I agree, and I certainly
6 don't pretend to be an expert. But if one just goes to the
7 reviews, the reviews all strongly suggested that
8 progression is substantially faster without specific data.
9 But the reviews suggest, from studying the data, that it's
10 faster in blacks and certainly American Indians and perhaps
11 non-white Hispanics.

12 DR. EDMUND LEWIS: Well, I would be glad to go
13 through whatever data you're picking out of your reviews
14 because I think that I will have no problem showing you
15 that there is a blood pressure issue.

16 I will say, though, that the Hispanic
17 population and the best study population which is relevant
18 here is the Pima Indian population. The NIH has supported
19 longitudinal studies. 50 percent of the total Pima Indian
20 population gets type 2 diabetes and most of them get
21 nephropathy. And the time from birth to onset of diabetes
22 is very well-known. From the onset of diabetes to
23 proteinuria is very well-known. From proteinuria to
24 decreasing renal function and end-stage renal disease is
25 very well-known in this patient population. They've even

1 had serial renal biopsies, frankly. And I think that you
2 will see, if you look at that population, which genetically
3 really represents the problem in Hispanic populations in
4 North America, that the curves to those various events are
5 the same as those reported from Germany in type 2 diabetic
6 nephropathy.

7 So, when you start studying populations
8 carefully, controlling for things like blood pressure and
9 so forth, you won't necessarily come up with the
10 conclusions that you get out of reviews. That's all that I
11 can say.

12 DR. BORER: Bev and then Dr. Kopp?

13 DR. LORELL: Thank you.

14 In a little different subgroup, I'd like you to
15 address the issue of the subgroup of women. The point
16 estimate for women for the primary endpoint is even closer
17 to unity than for non-whites, .98. And perhaps you can
18 address that for us.

19 DR. COOPER: Yes. Dr. Breyer Lewis?

20 DR. JULIA LEWIS: I'm going to first remind you
21 of the statistical results, and then I'm going to comment
22 on putting it in some perspective.

23 First, I would remind you that we were not
24 powered for exploratory subgroup analysis. There were, for
25 example, nearly 900 white males in this trial and only 91

1 black females.

2 However, as you can see from this, the common
3 point estimate of .8 crosses all the confidence intervals,
4 suggesting a common risk reduction between males and
5 females.

6 Similarly, the confidence intervals overlap,
7 again suggesting that there's not a statistically
8 significant difference.

9 Lastly, when looking at multiple subgroup
10 comparisons, it's important that the point estimate favors
11 irbesartan. That's the statistical response to that
12 question.

13 In terms of putting it in perspective of what's
14 known about the impact of gender on women in hypertension
15 and renal disease, I would first remind you of the
16 hypertension studies. I'll remind you that when the first
17 studies were done, examining whether or not treating people
18 with hypertension with basically beta-blockers and
19 diuretics versus placebo was of benefit. The first three
20 trials, the MRC done in England, the hypertension detection
21 and follow-up program done here in the United States, and
22 the Australian therapeutic trial, when the subgroup
23 analysis was done in women, not only did they either not
24 find a benefit or actually found that the women had a
25 higher mortality rate in the treated group.

1 Now, although there was concern expressed when
2 these studies were done, no one at that time advocated
3 strongly that women should be withheld from the treatment
4 of hypertension. Subsequently, the INDANA analysis, which
5 has incorporated seven clinical trials looking at the
6 treatment of hypertension, has concluded what in fact has
7 been I think common medical practice, and in fact women
8 benefitted from the treatment of their hypertension,
9 although not in all the categories as did men, but in key
10 categories, including main cardiovascular events.

11 In the area of renal disease, I'll just review
12 only clinical trials that have in the definition that you
13 would accept that are randomized, double-blind with
14 sufficient numbers of patients enrolled to have power to
15 look at the group as a whole. But again, the analysis of
16 the impact on women is a subgroup analysis in each of these
17 trials. I also selected trials that have outcomes similar
18 to the one used in IDNT.

19 The first, of course, is the captopril trial,
20 which you are familiar with, in type 1 diabetics. Males
21 and females had equal outcomes. Males did not have a worse
22 rate of decline of renal function, nor was there any
23 difference in efficacy of the ACE inhibition for males.

24 A study done in nondiabetic patients with
25 proteinuric kidney disease in Europe found that males had a

1 worse outcome and that there was worse efficacy of ACE for
2 males. Excuse me. It was better efficacy for ACE in
3 males.

4 Another study done in nondiabetic proteinuric
5 patients in Europe. Worse outcome for males, no. But
6 better outcome for males.

7 If you look at the MDRD, which was not a study,
8 looking at an intervention with a specific antihypertensive
9 agent, but at other interventions, males had worse
10 outcomes.

11 So, in fact, the subgroup analysis in the
12 available renal studies is all over the map, suggesting
13 that perhaps all of these studies are not powered for these
14 exploratory subgroup analyses.

15 DR. KOPP: I just wanted to make a comment
16 about the progression of diabetic nephropathy in blacks
17 versus whites. My understanding is very close to what Dr.
18 Lewis said, that in the setting of diabetes, blacks are
19 something like 2- to 3-fold more likely to develop
20 nephropathy, but I'm not aware, although he may have come
21 across something that I don't know about, that once
22 diabetic nephropathy appears, that the rate of progression
23 is different.

24 DR. BORER: Why don't we move ahead with the
25 presentation, and we'll get to any residual -- we have

1 another speaker down at the end of the table.

2 DR. TEMPLE: A short question. Going back to
3 the possibility that the greater number of blacks in one
4 group influenced the results, isn't that answered by the
5 breakdown into the black and white populations that we saw
6 in which the effect was larger in the white population?
7 That doesn't seem to be compatible with the whole result
8 being driven by the excess of blacks. Isn't that right?

9 DR. LINDENFELD: If you think that the
10 progression -- I don't want to make a huge issue of this.
11 I just think these are small numbers. But if you assume
12 that progression is greater in blacks and there are more
13 blacks in the non-treatment group, that group would
14 progress faster.

15 DR. TEMPLE: No. I agree with that. But then
16 they showed separate results for the white population than
17 the black population. The effect, if anything, was larger
18 in the white population.

19 DR. LINDENFELD: Right.

20 DR. FLEMING: One has to be careful, Bob, in
21 keeping these issues of confounding and effect modification
22 separate. If you look at page 73, for example, in the
23 sponsor's briefing document where they present this
24 summary, if you look in the control arm, non-whites have a
25 somewhat higher event rate, 43.5 percent, from whites at

1 37.3 percent. And as a result, if you end up with some
2 excess of whites in the intervention arm, then there would
3 be a small level of confounding. I don't think this is a
4 very large risk of confounding. It would be very modest.
5 But I think JoAnn's point was in a setting where the
6 significance is very close to the border, this could have
7 some influence.

8 A separate point entirely, also a relevant
9 point, is is race also an effect modifier, not only is it a
10 predictor such that it appears that non-whites have a
11 somewhat higher rate, does treatment effect differ by race,
12 which is an entirely separate phenomenon from whether race
13 is a confounder. And it also appears here, that in
14 addition to it being a potential confounder because non-
15 whites have a somewhat higher risk, it's also true that the
16 effect seems to be greater in the whites than it is in the
17 non-whites. Two separate issues.

18 DR. BORER: Without --

19 DR. NATARAJAN: Could I address that?

20 DR. BORER: No. Just one moment because I
21 think we may have gone as far as we need to go with this.

22 There may be some differential effect based on
23 race. It seems plausible to me. We know that renin levels
24 are, by and large, a lot lower in the black population with
25 hypertension than in the white population with

1 hypertension, and here we're blocking the renin-angiotensin
2 system.

3 I don't want to go into the realm of
4 speculation. These are the data. Indeed, there may be
5 some comments, if the drug is judged to be approvable,
6 about labeling issues or maybe not. But let's deal with
7 that when we get to the questions, and let's hear the
8 remainder of the data that we're going to hear.

9 DR. FLEMING: Jeff, there were two quick
10 questions that I wanted to raise.

11 DR. BORER: Sure, absolutely.

12 DR. FLEMING: If I could go back to the
13 sponsor's presentation, slide C-13. What you had done
14 there is you had broken out the relationship between having
15 had a creatinine event versus dialysis or transplantation.
16 Surely there is, obviously as this shows, a relationship,
17 as we would fully expect. It's interesting that 26 percent
18 of these events of dialysis or transplantation occur in
19 that right-hand column, people that didn't have a serum
20 creatinine event.

21 I guess I have two questions. One is trying to
22 understand why a quarter of these people would have gone on
23 dialysis or transplantation without having had a creatinine
24 event is question one.

25 Question two is how do those 69 break out by

1 intervention arm? Hopefully not with more of them on the
2 irbesartan group.

3 DR. COOPER: The answer to your first question
4 is, by and large, when patients presented requiring
5 dialysis, it was because they either missed visits and so
6 we were no longer able to measure their serum creatinine
7 and then assess whether they had had a doubling or an ESRD
8 event, as determined by the serum creatinine, or the other
9 most frequent reason was because these were patients who
10 were on a rapid slope of decline of renal function, hadn't
11 yet doubled, or achieved a serum creatinine of 6, were
12 hospitalized because of an intercurrent and severe illness
13 that compromised whatever remaining function they had left
14 and pushed them over into permanent end-stage renal
15 disease.

16 DR. FLEMING: So, there's not a fully
17 consistent relationship, at least in terms of documenting a
18 doubling versus having dialysis occur. There are a
19 substantial number that will actually have dialysis occur
20 before you document.

21 Can you tell us how those 69 broke out in the
22 three groups?

23 DR. COOPER: I honestly do not remember. Do we
24 have that data?

25 DR. FLEMING: If you don't have it now, I can

1 wait and we can get it at the end of the next presentation.

2 It would be useful to know that.

3 DR. BORER: Yes, let's try and get it.

4 Just a clarification in response to Tom. If I
5 understood what you said correctly, it's not that there may
6 not have been doubling or far greater than doubling of
7 creatinine in many of these patients who didn't have a
8 doubling event or a 6.0 creatinine before they went on
9 dialysis, but that they went on dialysis in the context of
10 a situation which was not the time at which these events
11 were measured and therefore they wouldn't be captured that
12 way in the data set. They may well have had a creatinine
13 of 6 in the context of another disease, but you didn't
14 count it that way. They just had to go on dialysis. Am I
15 correct in saying that?

16 DR. COOPER: Yes, that's correct.

17 DR. BORER: Steve?

18 DR. NISSEN: I don't want to belabor this, but
19 we talked about other subgroups. I was very struck by the
20 North America versus non-North America data, and I would
21 really like a comment because we've seen an awful lot of
22 studies, particularly recently, where drugs didn't seem to
23 have any effect in the North American population but did in
24 the out-of-U.S. population. I personally am troubled by
25 that, and I want to know if you have any comments or

1 thoughts or can you help me understand why there was only a
2 5 percent point estimate for the North American population.

3 DR. COOPER: Part of that is driven by the fact
4 that all of the black patients that were enrolled and
5 randomized were actually in North America, so a number of
6 those events were in that subgroup. There is no other
7 biological explanation for why the rates of progression
8 would be different or the treatment effect would be
9 different between the different regions. Certainly in
10 Latin America and in the Pacific region, the event rate
11 that was observed -- and there are minority populations in
12 those regions -- was very consistent with what was seen in
13 Europe.

14 Any other comments?

15 DR. BORER: Dr. Temple.

16 DR. TEMPLE: Some people have expressed some
17 degree of nervousness about not having a sort of ultimate
18 endpoint on the people who got their creatinine to 6 or
19 doubled it or something like that. A fair amount of time
20 has now elapsed since you published and collected data.
21 Would it be of interest or a possibility to find out when
22 all the people in the trial went on dialysis?

23 DR. COOPER: We could certainly do that for all
24 subjects who didn't withdraw consent and for all subjects
25 that didn't participate in a site that was closed.

1 Actually ascertaining dialysis or ESRD is more difficult
2 than mortality because with mortality you can just access a
3 death certificate. But yes, we can do that.

4 DR. TEMPLE: Even if the site is closed, they
5 could find out when the person went on dialysis. I'm not
6 saying it would be easy and I'm not even saying it's
7 necessary. I just wondered if you could do it.

8 DR. COOPER: Yes, we can make an attempt to do
9 that.

10 DR. FLEMING: Just a follow-up on that. Why
11 wouldn't that be a compellingly obvious thing to do in the
12 sense that what we're hearing is clearly there is a real
13 relationship between creatinine elevation and dialysis, the
14 latter being an obvious clinically important endpoint, the
15 former being at least debatable as to the level of
16 surrogacy that it actually presents? But the answer is
17 there in this database, and the answers that we have in
18 this database are marginal, even if you focus on the
19 primary endpoint, and if it's just a matter of time, which
20 we keep hearing, then wouldn't it be potentially very
21 informative to know what an updated data set would say?

22 DR. BORER: We could ask the sponsor to do
23 that.

24 I just want to make one quick comment and then
25 we must move on. We shouldn't get into a debate here. I

1 have no problem with recognizing that somebody with a
2 creatinine of 6 is sick. I'm just a cardiologist, but even
3 I know that. They don't feel well and Dr. Lewis actually
4 recited the problems that are associated with creatinine at
5 that level left untreated by dialysis. So, I don't think
6 we should spend too much time talking about whether these
7 people are sick or not. If they're not dialyzed, they're
8 real sick, and we can debate that later when we go through
9 the questions. But I don't think that's a key issue.

10 DR. JULIA LEWIS: Could I expand on that for
11 just one second? In type 2 diabetes, there are 135
12 million. By the year 2025, there are going to be 325
13 million, a 100 percent increase in the third world, if
14 you'll forgive me for referring to it, the Asian countries.
15 For them an elevated creatinine is death. We wouldn't be
16 able to go count them going on dialysis later. They're
17 dead because it's not an available therapy.

18 DR. FLEMING: Then we should be able to see in
19 those people a survival in that as well.

20 DR. BORER: We should.

21 DR. COOPER: Right. We will make every effort
22 to collect that data.

23 It is with great pleasure that I now introduce
24 Dr. Parving --

25 (Laughter.)

1 DR. COOPER: -- who will be discussing the IRMA
2 2 study. You will be hearing about the ability of
3 irbesartan to alter the course of diabetic nephropathy
4 earlier in the disease so that patients do not advance from
5 the stage of microalbuminuria to proteinuria, the onset of
6 which in diabetes heralds the inevitable decline in renal
7 function. Thank you very much.

8 DR. PARVING: So, I'm supposed to say good
9 afternoon from Denmark.

10 I am pleased to give you information on the
11 early course of diabetic kidney disease and I'm going to
12 present the data from the study called IRMA 2. It's
13 dealing with irbesartan in type 2 diabetic patients who are
14 suffering from persistent microalbuminuria.

15 I will present data in two segments, one giving
16 you some background information and then go to the
17 presentation of IRMA 2.

18 First, the background. This is actually
19 linking up to what you have just been told, dealing with
20 the IDNT study. It's a Kaplan-Meier estimate of the
21 primary composite endpoint in the IDNT study in relation to
22 quartiles of albumin excretion rate at baseline. The
23 message from this baseline estimate is the following. If
24 you have levels of albuminuria, low 1,000 milligrams, the
25 event rate is approximately less than 20. If you go the

1 very high rate, the upper quartile, then you have an event
2 rate of nearly four-fold higher, at least suggesting that
3 the level of proteinuria or albuminuria is reflecting the
4 underlying cause of the kidney disease as first
5 demonstrated by Bright in 1836 in England.

6 This cartoon is giving you information on the
7 different levels of albuminuria. We have a log scale and
8 we are dealing with the overnight albumin excretion rate,
9 and the reason why we are dealing with the overnight
10 albumin excretion rate is in an attempt to standardize the
11 collection. So, we are avoiding the marathon runner, we
12 are avoiding other special activities of standing up and
13 lying down because we have that phenomenon. So, we are
14 standardizing it by using overnight collection. So, you
15 collect all the urine during the nighttime.

16 Normal albuminuria is defined as an albumin
17 excretion rate below 20 micrograms per minute. If you have
18 an excretion rate between 20 and 200 micrograms, we call it
19 microalbuminuria.

20 This range of albuminuria is usually not
21 depicted by the dip stick test. You need to develop
22 sensitive tests in order to pick it up. This was described
23 the first time 20 years ago by Viberti, Mogensen, and
24 myself as something important in relation to diabetic
25 kidney disease. And we actually have an anniversary this

1 year.

2 (Laughter.)

3 DR. PARVING: Then about the 200 microgram
4 level, we are speaking about overt nephropathy.

5 You will also appreciate that while the IDNT
6 studies carried out in patients with overt nephropathy
7 having an excretion rate of more than 2,000, way up here,
8 IRMA 2 is a study carried out very early in the course of
9 diabetic kidney disease because, as Ed Lewis already told
10 you, microalbuminuria is an abnormality in the glomerular
11 capillaries leaking protein. So, it's the earliest
12 clinical sign we have of an underlying diabetic kidney
13 disease. So, IRMA 2 is carried out in this range.

14 Very important information is that 60 percent
15 of our type 2 patients will never, ever develop kidney
16 disease. Unfortunately, 40 percent of our patients in
17 America, in Europe, and in certain parts of Asia, even
18 higher, will develop this devastating kidney disease.

19 It's also true that in order to develop the
20 disease, you are progressing through the level of
21 microalbuminuria, the earliest state of diabetic kidney
22 disease, into overt nephropathy.

23 Important to note is that the GFR, meaning the
24 glomerular filtration rate -- the drop in normal man with
25 normal albumin excretion rate is 1 ml per minute per year.

1 If you have microalbuminuria, the drop in kidney function
2 is ranging between 1 to 3 mls per minute per year and that
3 is based on all the available data. You'll appreciate
4 later on in my speech that the rate of decline in the IRMA
5 2 is 2 mls per minute per year.

6 If we go to overt nephropathy, the rate of
7 decline is increasing, and it's ranging between 2 to 20,
8 with the average rate reported in the literature of 10 mls
9 per minute per year. Actually the level of decline from
10 the IDNT study -- we haven't discussed that today -- was
11 6.5 mls per minute per year. So, you can clearly see if
12 you go from this level of proteinuria to this level, you
13 have a progressive worsening of the kidney function.
14 Consequently the aim of IRMA 2 is to keep the patients
15 within that region. We don't want to get them out of the
16 box.

17 More background information about
18 microalbuminuria in type 2 diabetes. As already stressed
19 by Ed Lewis, it is an early marker of diabetic kidney
20 disease. We have structural lesions too, and we have
21 discussed that already. That's the alternative. If you
22 don't like the clinical physiology, you need to do repeat
23 biopsies. You have no other alternative if you want to
24 evaluate the lesions. We have biochemical evidence
25 suggesting abnormalities, as also seen later on in the

1 disease.

2 Important to note, again based on all available
3 literature, 5 to 10 percent of these type 2 patients with
4 microalbuminuria will convert into overt nephropathy every
5 year.

6 As already stated, as long as you have
7 microalbuminuria, the rate of decline in the glomerular
8 filtration rate is ranging between normality and slightly
9 elevated, but a very low rate of decline as compared to
10 what happens if you are running with overt nephropathy.

11 Then I think in all fairness it should be
12 mentioned that the American Diabetes Association and the
13 International Diabetes Federation actually are advocating
14 that we are screening for microalbuminuria, and if we
15 detect it persistently, we need treatment.

16 The hypothesis in IRMA 2, the earliest study of
17 irbesartan in diabetic kidney disease, is exactly the same
18 as in IDNT. The objective is to evaluate the
19 renoprotective effect of irbesartan above and beyond the
20 blood pressure lowering effect on the progression to overt
21 nephropathy, and we are comparing that with conventional
22 antihypertensive treatment and it's done in hypertensive
23 patients who have type 2 diabetes and persistent
24 microalbuminuria. So, in essence, this is not a blood
25 pressure trial. This is a trial aiming at evaluating the

1 blockage of angiotensin II. Is angiotensin II nephrotoxic?

2 Yes or no. That's the answer you'll have from this trial.

3 The study design was carried out in the
4 following way. The patient was run in placebo for at least
5 4 weeks. We saw them every week at the clinic. They were
6 then randomized either to receive placebo, irbesartan 150
7 milligrams once daily, or irbesartan, the yellow one, 300
8 milligrams once daily. We used 4 weeks in the titration
9 period.

10 The aim of the three arms was to obtain blood
11 pressure equivalence. So, we were actually trying to get
12 exactly the same blood pressure in each and all of the
13 arms, keeping the blood pressure below 135 over 85
14 millimeters of mercury.

15 In the placebo arm, it was not allowed to use
16 ACE inhibitor or receptor antagonist. Neither were you
17 allowed to use dihydropyridine calcium antagonists. The
18 reason for that was that in some of the past literature,
19 this kind of compounds, the dihydropyridine calcium
20 antagonist, was reported to elevate proteinuria, and we
21 consequently felt that it was unfair then to use it.

22 The primary outcome in IRMA 2 is time to the
23 first occurrence of an albumin excretion rate of more than
24 200 micrograms per minute and an increase of at least 30
25 percent in albuminuria from the baseline level, and that

1 had to take place at two consecutive evaluations. This
2 endpoint has been used for the last 10 years within trials
3 trying to evaluate the importance of preventing the
4 occurrence of disease in type 2 and type 1 diabetes. So,
5 we are using exactly the same endpoint that other
6 colleagues have applied in the past dealing with this
7 question.

8 The secondary endpoint is the changes in the
9 overnight urinary albumin excretion rate, and finally, we
10 are looking also at the changes in estimated creatinine
11 clearance. This is based on the so-called Cockcroft-Gault
12 formula, which is an old formula based on measurement of
13 creatinine knowing the sex of the patient, knowing the
14 weight of the patient, and the age, and then you can
15 calculate this formula. Actually the formula has been
16 validated by ourselves in patients with diabetic kidney
17 disease. It works.

18 The baseline characteristics in the IRMA 2
19 study. The good news is that the three arms are balanced
20 dealing with demographic data, dealing with clinical data,
21 and dealing with laboratory data.

22 We have the same age, 58 years of age.

23 We have a male preponderance, as we should have
24 in this disease.

25 We cannot discuss ethnicity in our study from

1 the point of view we don't have any other than whites
2 because it was done in Europe. I complained about that.
3 Next time we have to do it around the world.

4 BMI was, as it should be. They're obese.

5 The known duration is identical.

6 Hemoglobin A1C -- we were discussing that
7 earlier this morning -- was also at the same level, and
8 that level was equivalent to a mean blood glucose of 8
9 millimoles per liter. I hope you know millimoles per
10 liter, because I'm not able to convert it so speedily into
11 milligrams per deciliter. It should be all right.

12 Microalbuminuria, the level in micrograms per
13 minute is also at the same level in the three arms. Of
14 course, there are small differences, and we will adjust for
15 that when we do our risk ratio measurements.

16 Another important issue compared to the IDNT,
17 which you just heard about where there was already, when
18 they started the study, the GFR was down to 58. So, it was
19 in harmony already when they started the study dealing with
20 IDNT. In this study they had well-preserved kidney
21 function, 109, 109, and 108.

22 The blood pressure was equivalent in the three
23 arms. The same systolic, 153 in all three arms; 90 in the
24 placebo; irbesartan 90, and 91. There was no statistically
25 significant differences at baseline.

1 So, what happened to the blood pressures during
2 the trial? If we started at the bottom line, you'll
3 remember that there was identical blood pressure at
4 baseline. They went down and they stayed down during the
5 study. On average, the blood pressure in the three arms
6 was identical. It was 83, 83, and 83 millimeters of
7 mercury. If we go to the mean blood pressure calculated
8 the usual way, the placebo group and the irbesartan 150
9 group had a mean blood pressure of 103, 103, and the
10 irbesartan 300 group had 102. And that was significant.
11 It was only small reduction but there was a significant
12 difference in blood pressure.

13 If we then go to the top, the systolic blood
14 pressure. Again, you'll remember that it had identical
15 values at baseline. The values during the 2 years of
16 observation in the placebo group was 144 millimeters of
17 mercury. In the group receiving irbesartan, the green one,
18 150, it was 143, so there was a 1 millimeter difference.
19 And finally in the group, the yellow one, receiving
20 irbesartan 300 milligrams once daily, the systolic blood
21 pressure was 141 millimeters of mercury, and that was
22 definitely lower than in the placebo group. Again, we
23 adjusted for that in our hazard estimation.

24 This is the main outcome of IRMA 2. This is
25 the cumulative event rate, a Kaplan-Meier plot, of the

1 development of diabetic kidney disease, defined as earlier.

2 First of all, I would like to tell you why this has this
3 bumpy appearance. It has to do with the fact that
4 albuminuria at these different time intervals -- it's not
5 measured continuously. It's measured after a certain
6 number of month, 3 months, 6 months, 12 months, and so on,
7 and consequently you can only have events at these time
8 points.

9 After 3 months, you always see a separation,
10 and the separation is actually persistent during the 2-year
11 study period. At the end of the 2 years, 15 percent in the
12 group receiving placebo on top of standardized treatment --
13 treatment reduced the blood pressure to nearly the
14 identical level as in the two other arms. There was 15
15 percent of these patients who progressed to a level of more
16 than 200 micrograms per minute. In the group, the green
17 one, of irbesartan 150, it was 10 percent, and finally in
18 the group of 300 milligrams of irbesartan once daily, it
19 was 5 percent.

20 At the top you will see the relative risk
21 reduction. The relative risk reduction unadjusted was 70
22 percent for irbesartan 300 versus placebo, with a p value
23 equal to 0.0004.

24 If we adjust for the differences in albuminuria
25 at baseline and the blood pressure during the trial, then

1 the relative risk reduction goes down from 70 percent to 68
2 percent.

3 If we then look at the dose of irbesartan 150
4 once daily versus placebo, we had an unadjusted relative
5 risk reduction of 39 percent. It was not statistically
6 significant with a p value of 0.085.

7 If we adjust for baseline differences and blood
8 pressure difference, the relative risk reduction actually
9 improved. It was 44 percent and the p value was equal to
10 0.05.

11 During the study, patients who developed
12 diabetic kidney disease were discarded. So, when you hit
13 the endpoint, you were out. That's important to understand
14 this slide because this is the percentage change in
15 albuminuria. The expectation for each and all of us would
16 have been a rise in albuminuria, but you have to remember
17 that the bad guys are out. So, when they hit more than 200
18 micrograms, they leave the study.

19 The message is then from those who received
20 placebo treatment on top of standardized treatment, there
21 was no major difference in albumin excretion rate during
22 the 2 years of study. At the end it was 9 percent above
23 baseline.

24 If we look at irbesartan 150, the mean
25 reduction in proteinuria was 24 percent, and this was

1 highly statistically different from the placebo group.

2 Then if we look at my favorite, irbesartan 300
3 milligrams once daily, you see the reduction, and the
4 reduction is actually continuing during the trial period.
5 So, at 2 years, the difference is 54 percent compared to
6 the placebo group.

7 Another important issue is that if we compare
8 the mean reduction in albuminuria, it was 38 percent
9 compared to the 150 with 24. There was a highly
10 statistically significant difference with a p value of
11 0.001.

12 Estimated creatinine clearance. Again,
13 remember that the patients who developed diabetic kidney
14 disease are leaving the study. So, what you're seeing here
15 is, in essence, what is happening in those who remain
16 microalbuminuric. You are seeing a picture of a so-called
17 biphasic response because we have the estimated creatinine
18 clearance, and you see the initial response from time 0 to
19 3 months when blood pressure is going down. There is
20 rather a steep drop in kidney function, but before giving
21 you that figure, I will just mention that the initial value
22 of creatinine clearance was identical in the three arms,
23 108, 108, 109. If we look at the initial drop, it was 5
24 milliliters in the group receiving irbesartan 300 and it
25 was 3 in the group receiving placebo or irbesartan 150.

1 So, initially when blood pressure is lowered,
2 we are losing filtration power. That is a well-documented
3 phenomenon when blood pressure is lowered. From 3 months
4 and onwards, we are dealing with a so-called sustained rate
5 of drop in kidney function, and the good news is that the
6 rate is flat.

7 Actually when we look from here to here, the
8 drop in kidney function in the irbesartan 300 milligram
9 group and in the irbesartan 150 milligram group was only
10 amounting to 2 mls per minute per year. You'll remember
11 that the normal drop, just by getting older, is 1 ml per
12 minute per year.

13 In the group receiving the placebo, the drop
14 was 1 ml per minute per year, and if you remember that we
15 initially started off having a GFR of 110 and you're only
16 losing approximately 2 mls per minute per year, as long as
17 you're microalbuminuric, then it will take you
18 approximately 40 to 50 years to know the states which we
19 have discussed this morning, if that continues. And of
20 course, you will ask me that, so I will give you an answer
21 later on.

22 The safety profile in the IRMA 2. We are very
23 early, so we don't have a lot of concern actually. If we
24 are looking at the most important one, the serious adverse
25 events, we had 22.8 percent in the placebo group. In the

1 group of irbesartan, it was less, 15.8, and the group of
2 irbesartan 300, it was 15 percent. So, there were actually
3 less severe events. The number of deaths was equivalent.

4 So, in summary then, the IRMA 2 study had two
5 messages.

6 The first is that by using irbesartan in
7 patients with type 2 diabetes and microalbuminuria, we
8 found a 70 percent risk reduction in the progression from
9 microalbuminuria to overt nephropathy.

10 The second one is that the risk reduction was
11 dose-dependent, meaning that 300 milligrams was superior to
12 150, which of course is very important when you treat
13 patients, as I do every day.

14 Furthermore, the effect was an effect above and
15 beyond the blood pressure reducing effect.

16 And finally, as already stressed by Melisa,
17 it's a safe and well-tolerated compound in these patients.

18 Thank you very much.

19 DR. BORER: Thank you very much. Again, a
20 really clear and lucid presentation.

21 Do we have questions here? We'll start with
22 our two nephrologist members here, if you have any issues
23 to raise. Dr. Brem?

24 DR. BREM: I was wondering if you could just
25 clarify one point on the slide D-12. The placebo group.

1 If I understand it, the patients who met the endpoint were
2 not included in this slide for GFR or were they included in
3 the GFR?

4 DR. PARVING: The patients meeting the
5 endpoint, meaning the development of diabetic kidney
6 disease, were excluded when they met the endpoint. They
7 were in the study until they met the endpoint.

8 DR. BREM: So, on this particular slide, D-12,
9 were those placebo patients demonstrating a decrease in GFR
10 included in this slide or were they not?

11 DR. PARVING: All patients, as you can see from
12 the numbers here, were included, but when they developed
13 diabetic kidney disease, they were no longer included
14 because, by design, they had to leave the study.
15 Consequently, we could have no additional value on them.
16 So, we are following each and all of them until the time
17 point where they developed diabetic kidney disease and then
18 they are left out.

19 DR. BREM: Do those patients have an
20 accelerated decrease in GFR in your particular study in the
21 placebo group as you described in the general population?

22 DR. PARVING: That's a very important question
23 which cannot be answered from this study because this
24 study, as you see, is only running for 2 years. When you
25 go from microalbuminuria, having a very low level of

1 progression, to overt nephropathy, you need a couple of
2 years in order to actually be able to pick up the signal
3 that the rate of decline is worsening.

4 DR. BREM: But I thought you demonstrated at
5 the beginning -- correct me if I'm wrong -- that patients
6 with overt proteinuria have a decline in GFR of about 6 or
7 more milliliters per minute --

8 DR. PARVING: That's correct.

9 DR. BREM: And these patients have a GFR
10 decrease of approximately 2. So, if you counted all the
11 placebo patients who reached overt proteinuria in this
12 particular analysis, wouldn't you expect to see a more
13 pronounced decrease in glomerular filtration rate in that
14 population?

15 DR. PARVING: It's completely correct, as you
16 state, in relation to the initial slide. The drop in
17 kidney function in these patients is minute, and when they
18 go from one level of proteinuria to a next level of
19 proteinuria, it's correct that the rates start to go up.
20 But you need a certain time interval in order to pick up
21 the signal. Actually most nephrologists suggest that you
22 have to follow the patient approximately for 2 years in
23 order to be pretty sure that you have that signal.

24 DR. BREM: So, you'd have to follow these
25 patients in this group for an additional --

1 DR. JULIA LEWIS: The overt proteinuria
2 patients are no longer counted. Once they hit overt
3 proteinuria, you're no longer measuring their creatinine
4 clearance.

5 DR. BREM: Right, but my thought would be, if
6 you did, you would be able to demonstrate efficacy in GFR
7 much more convincingly. And I'm wondering why you wouldn't
8 have included them in this particular analysis because it
9 would be more supportive of your argument.

10 DR. PARVING: We have data from the literature.
11 I would like to share them with you. I think we can show
12 you 4-3.

13 This is the review of the literature available
14 to each and all of you. That's a retrospective study, the
15 first one. All the remaining studies are prospective.

16 The message from this slide is the following.
17 First of all, the conversion from microalbuminuria into
18 overt nephropathy is ranging from 4 to more than 9 percent.

19 If we look at the drop in kidney function --
20 and you have to remember that these studies were followed
21 prospectively for 4 to 5 years, so they had a much longer
22 observation period than we have in IRMA 2. The rate of
23 decline in the study from the Pima was 1, from East Welling,
24 it was 2, from our study group at Steno it was 3.2 percent,
25 from India it was 1 percent, from the ABCD trial it was 1

1 percent. These patients were treated with blood pressure
2 lowering agents here and here, while they were untreated
3 here because they were normotensive. So, in long-term
4 observational study, the rate of decline, as I depicted to
5 you, is between 1 to 3.

6 And I can expand on that because we have a
7 follow-up of the Steno study, and that will be 4-37.

8 The Steno study we published a couple of years
9 ago. It's a study dealing with type 2 diabetic patients
10 who have microalbuminuria, the same way as in IRMA 2.
11 They're very much the same. It's a study where we were
12 looking at the potential importance of multifactorial
13 intervention. In this study, we were actually measuring
14 the glomerular filtration rate using an isotope technique
15 initially and during the study period. We reported the
16 data after 4 years, and now just before leaving Denmark, I
17 had the possibility of looking into the data after 8 years.
18 We have 129 patients followed now for 8 years.

19 Those patients who remained microalbuminuric in
20 this prospective, randomized trial had a rate of decline
21 every year during the 8 years of 3.2, while those patients
22 who developed overt nephropathy, using exactly the same
23 definition as I gave you earlier, had a drop in kidney
24 function of 4.7, again showing to you that when you pass
25 from one category to the next, then you start to have

1 worsening of kidney function.

2 But again, the important issue in this very
3 early state of diabetic kidney disease is that you have to
4 do long-term studies, and this is an 8-year study while
5 IRMA 2 is a 2-year study and we could not pick up that
6 signal.

7 DR. BORER: JoAnn, do you have any specific
8 questions?

9 DR. LINDENFELD: In the GFR substudy that's
10 mentioned in the briefing booklet -- you've explained the
11 reason for that not changing. But after 4 weeks of
12 withdrawal of drug, certainly in the 150 group, the
13 proteinuria went right back up to baseline. And after 2
14 years, do you find that disturbing that that doesn't
15 suggest that there's been a persistent change? And then
16 again, it also went up in the 300 milligram group, but not
17 as much. I'm wondering what we would make of that?

18 DR. PARVING: In the literature, several
19 studies have been carried out and after carrying out the
20 studies, some of us, at least those of us from Denmark,
21 have stopped the treatment and then see what happens. That
22 has been done in type 1 patients, in type 2 patients, early
23 and late.

24 So, in the IRMA 2 trial, we actually did the
25 same. We followed in a subset of patients kidney function

1 during, of course, the 2 years of observation, and then
2 after 2 years, we stopped all treatment. So, at this time
3 point, all kind of blood pressure lowering treatment was
4 stopped and we are now looking at the change, after
5 stopping treatment for 1 month, in albuminuria. In the
6 placebo group, the pink one, actually the level goes back
7 to baseline and no change. If we look correctly at the 150
8 milligram irbesartan group, there's actually a huge rise of
9 80 percent going back to normal, which may suggest that a
10 major part of the effect in that particular arm was
11 hemodynamic.

12 The good news, however, is that in the yellow
13 group, the irbesartan 300 group where we saw a significant
14 reduction in development of diabetic kidney disease, we
15 only saw a rise of 13 percent, and this in my mind is one
16 of the first times ever where we have demonstrated that by
17 stopping this kind of treatment, we are not regaining what
18 we expect. Actually it seems to suggest that there is a
19 residual effect of the irbesartan 300 milligrams, but
20 again, that has to be proven in a larger number of
21 patients. So, all in all, it may suggest that the effect
22 of our compound in the high dose has residual
23 renoprotection.

24 DR. BREM: Were those data controlled for blood
25 pressure?

1 DR. PARVING: Blood pressure rose. As you may
2 remember, the blood pressure was identical in the three
3 arms, more or less. The diastolic was identical.

4 DR. BREM: But at the end.

5 DR. PARVING: All of them had a rise in blood
6 pressure when you stopped treatment because you stopped all
7 kinds of medication. So, in essence, I think if I recall
8 correctly, the rise was less in the placebo group, was
9 biggest in the two groups who no longer had the treatment
10 with irbesartan. So, it's not a blood pressure phenomenon.

11 You'll also remember that despite the fact that
12 blood pressure was reduced to the same level in the placebo
13 group, as in the irbesartan 150 milligram group, there was
14 actually no difference. There was a huge 24 percent
15 difference in albuminuria. So, even though there are
16 minute changes in blood pressure, this can definitely not
17 explain that. But there was a rise in blood pressure.

18 And there was also a rise in kidney function,
19 the way it should be, meaning that when you stopped that
20 kind of treatment, you see a regain in kidney function. As
21 I said to you initially, there was a drop the first 3
22 months, this biphasic pattern, and this is due to a blood
23 pressure drop.

24 Actually give me the option of mentioning
25 something, which some of you may remember and some of you

1 may have forgotten, and that's the story about malignant
2 hypertension. The story about malignant hypertension is
3 dating back to the 1950s. When you had malignant
4 hypertension, the survival until end-stage renal failure
5 was 2 years, if you didn't die from stroke before that. It
6 was a devastating condition.

7 However, when blood pressure lowering was
8 initiated, we saw the following pattern. When you lowered
9 blood pressure, you saw a rise in creatinine and, of
10 course, that always indicates that you may do some harm to
11 the patient. However, the creatinine level then
12 stabilized, stabilized, and stabilized, and the patient no
13 longer went into end-stage renal failure.

14 So, this initial phenomenon is actually well
15 described more than 50 years ago in malignant hypertension
16 and is documented in each and all of the major trials
17 dealing with kidney outcome. The MDRD study, the captopril
18 study, all of them have this initial drop.

19 DR. BORER: I'd like to go back to slide D-12
20 again. I don't want to try to over-interpret data that
21 have been processed in a certain way with all the dropouts
22 that you explained for reaching overt proteinuria. But I'm
23 struck with the observation that the people who were left
24 in the trial in the placebo arm and the irbesartan 150 arm,
25 even though they more frequently had overt proteinuria, as

1 we can see from the numbers below, and therefore dropped
2 out, even though there were more dropouts, more overt
3 proteinuria, those who are left in seem to have a slightly
4 better response over the 24 months than the group with
5 irbesartan 300. That may be an artifact of all the
6 processing of these data, but I'd just like your comment
7 about that.

8 DR. PARVING: I think definitely it's fair.
9 From the point of view that I stated initially, that
10 actually the initial drop in kidney function is the
11 important player here because the drop in the irbesartan
12 300 milligram group, those who gained most in relation to
13 avoiding development of nephropathy, was 5.7 milliliters.
14 So, the absolute drop was 5.7 here and it was 3 here.

15 You will also appreciate that the level
16 initially was 1 milliliter lower. So, that's another
17 issue.

18 At the end of the study, after 2 years of
19 observation, the difference between irbesartan 300
20 milligrams and the two other groups was 3 mls. So, most of
21 the difference is actually explained alone by the fact that
22 the initial drop in kidney function in irbesartan 300 was
23 bigger than in the two other arms. There was no difference
24 in the irbesartan 300 group and in the two arms dealing
25 with the slope. It was identical.

1 DR. BORER: Should we draw any inferences from
2 the fact that the drop was greater in the 300 milligram
3 group? I mean, is that a bad thing?

4 DR. PARVING: You could say that, unfortunately
5 -- luckily, it's the opposite. Actually it turned out that
6 in several studies that those who have the biggest initial
7 drop in kidney function have the best long-term prognosis.
8 That has been demonstrated from our group and from the
9 group in Groningen in Holland and also from Italy. It
10 actually suggests that the initial drop in kidney function
11 is reflecting probably the drop in glomerular pressure
12 which is elevated in these patients, at least in animals,
13 as demonstrated by Barry Brenner.

14 DR. BORER: Blase?

15 DR. CARABELLO: It's just that those data seem
16 different from the table that we have in our book, table 7,
17 where the initial drop in the irbesartan 300 group in GFR
18 was 2.3 percent and then the late drop at 24 months was 12
19 percent. This is on page 10 for anyone that doesn't have
20 it. It seems to be the reverse of that.

21 DR. PARVING: This is the intention to treat.
22 I don't know what you have there.

23 DR. CARABELLO: This is a GFR substudy.

24 DR. PARVING: Oh, don't do that.

25 (Laughter.)

1 DR. PARVING: Because now we are mixing
2 everything together, but it's all right. I will clear it
3 up.

4 This is dealing with all patients enrolled in
5 IRMA 2. The substudy is only dealing with a subfraction,
6 actually approximately 130 patients, who participated in
7 the substudy. The substudy was not a random pick because
8 the substudy was dominated by Dr. Parving and his group
9 because we had 50 patients in the group after the 130. So,
10 the substudy is in no way representative or a random sample
11 for the whole population.

12 Consequently, this is the important player.
13 This is the whole group and all the data based on the
14 Cockcroft-Gault. It's not the substudy.

15 DR. CARABELLO: So, was the substudy done to
16 confuse cardiologists?

17 (Laughter.)

18 DR. CARABELLO: Or was there another purpose?

19 DR. PARVING: I need to be honest now. We were
20 actually asked by the FDA to do it.

21 (Laughter.)

22 DR. PARVING: And I'm pretty sure that the FDA
23 did not want to confuse anybody.

24 (Laughter.)

25 DR. PARVING: What the FDA really wanted us to

1 look at was the effect when stopping the drug. That was
2 actually the aim of the FDA. They would like to see what
3 is the effect when you stop your treatment after 2 years.
4 In the high group, in the group of 300 milligrams of
5 irbesartan, there seemed to be a persistent effect, at
6 least the proteinuria did not go up. And I'm pretty sure
7 that the FDA will be pleased to see that.

8 DR. PELAYO: I'm the primary reviewer for
9 irbesartan diabetic nephropathy.

10 I think the issue for the subgroup study --
11 that was the wrong question to ask because regardless how
12 many patients you study, it doesn't matter for how long you
13 are going to follow them up after you stop the medication,
14 those studies have no -- you can't interpret them because
15 there are multiple scenarios that I could create. So, I
16 would totally disregard those studies not only as the
17 primary reviewer but also as a nephrologist. And I say
18 that to confuse everybody. So, that was the wrong question
19 to ask because there is no answer that can be interpreted.

20 DR. PARVING: Unfortunately, I happen to
21 disagree slightly because we made a paper a couple of years
22 ago where we actually demonstrated in type 1 patients with
23 microalbuminuria, a randomized trial carried out in Denmark
24 for 8 years. Don't shake your head.

25 For 8 years we did the study. We published it

1 in the British Medical Journal, and what we did after 8
2 years, we measured glomerular filtration rate during the 8
3 years in these type 1 patients with microalbuminuria, and
4 then we stopped the treatment and remeasured glomerular
5 filtration rate. And the outcome of this study, with the
6 first author as Elizabeth Mathiesen, was the following.

7 8 years of treatment with the drug called
8 captopril in type 1 patients with microalbuminuria
9 stabilized kidney function. There was no drop whatsoever
10 during 8 years when we reevaluated after stopping the
11 treatment. So, I think actually that FDA was very smart
12 asking us to do that.

13 DR. KOPP: Just one other question about that.
14 In the GFR substudy group, did you drop patients out who
15 had met the proteinuria endpoint?

16 DR. PARVING: Sorry. Once more.

17 DR. KOPP: In the substudy group that we're
18 talking about --

19 DR. PARVING: In the substudy group, if they
20 developed diabetic nephropathy, they were out.

21 DR. KOPP: You were out in that study as well.

22 DR. PARVING: Yes, exactly because the aim of
23 the substudy group was actually to evaluate what happens
24 when we stop the treatment. So, they had continue until 2
25 years, and then we stopped the treatment.

1 DR. LINDENFELD: But in this substudy, without
2 belaboring it too much, there really wasn't any difference
3 in the dropout rate.

4 DR. PARVING: No.

5 DR. LINDENFELD: So, again I think it does
6 point up that in this study GFR didn't change and you can't
7 explain the substudy on the fact that the patients that
8 developed proteinuria dropped out.

9 DR. PARVING: No, no, no. The important issue
10 dealing with the glomerular filtration rate from IRMA 2 is
11 the following. I will never, ever dare to claim that there
12 is any difference in the drop in kidney function in these
13 patients. The message is the opposite. The message is as
14 long as the patients stay microalbuminuric, you are only
15 losing 2 mls per minute per year. In other words, it lasts
16 many, many years. If we calculate this, it will take 40 to
17 50 years to go to the department of nephrology asking for
18 dialysis, and that is the message.

19 DR. BORER: Ray, you had a question?

20 DR. LIPICKY: Yes. If you could show slide
21 4-197 again because I think you said a few words, and I
22 probably missed it.

23 So, in the very last end there, week 4, there
24 are three data points, and we were sort of led to believe
25 at the beginning of today that you developed proteinuria

1 when all these things build up in the glomeruli. So, in 4
2 weeks, in one of the two possible things, the green and the
3 yellow, all of that mass of stuff must have reversed? All
4 of those bluish globs that we saw early in the day went
5 away -- or came back? I'm sorry. Came back.

6 DR. PARVING: No. I will be very pleased to
7 answer that.

8 First of all, there is a rebound phenomenon.
9 That is well demonstrated.

10 DR. LIPICKY: Yes.

11 DR. PARVING: You have to remember that the
12 expectation is actually the following. When you have 2
13 years with microalbuminuria, you'll assume, if we have not
14 treated the patient, that it will be up here. However,
15 there was a rebound to the baseline suggesting that a major
16 part of the lowering in this group was due to hemodynamic
17 effect. I quite agree.

18 DR. LIPICKY: But you have the placebo group
19 there.

20 DR. PARVING: Sure.

21 DR. LIPICKY: So, you don't have to refer to
22 something way up there.

23 DR. PARVING: But the placebo is not a placebo
24 group left untreated.

25 DR. LIPICKY: No, no. I understand.

1 DR. PELAYO: You know what is the problem? To
2 interpret that, you have to understand that the
3 antihypertensive medication was discontinued. If it was
4 discontinued in the placebo, it was discontinued in in 150
5 and it was discontinued in 300.

6 DR. LIPICKY: No, I --

7 DR. PELAYO: Wait. Let me finish.

8 Then if you discontinue the antihypertensive,
9 the blood pressure will go up. That in and of itself can
10 affect glomerular permeability. If you stop abruptly the
11 inhibition of the angiotensin II system, that also can
12 modify hemodynamics and glomerular permeability.
13 Therefore, to me the data is not surprising.

14 But it still is the wrong question to ask
15 because it doesn't matter what happened after you
16 discontinue the antihypertensive. What matters is what
17 happened before because all this could be due just to a
18 functional effect.

19 I think Dr. Brenner, who is sitting on my
20 right, could explain this in a more elegant way and without
21 an accent. Dr. Brenner, do you care to enlighten the
22 audience with your knowledge about proteinuria, glomerular
23 hemodynamics, and antihypertensive treatment, and the wrong
24 question and how you can really interpret the data?

25 DR. BRENNER: I don't think I can improve on

1 it.

2 DR. PARVING: Could I have a chance to answer
3 the question?

4 (Laughter.)

5 DR. PARVING: And will you answer all the
6 remaining questions for me? That's all right.

7 DR. LIPICKY: Well, it's getting mixed up. Let
8 me ask the question again.

9 We were supposed to take the decrease in
10 proteinuria, effect of ARBs, as inducing a morphological
11 change in glomeruli and that the proteinuria occurred
12 because of some morphological effect. And what you have
13 there, at least in one data point, is what looks like
14 something morphological happened in 4 weeks that negated 2
15 years of therapy. That sort of is mysterious.

16 DR. PARVING: No. I think that the question
17 raised is quite on target, and I will definitely be very
18 pleased to answer it.

19 First of all, it's important to realize that
20 this kind of kidney complication is not something which is
21 done overnight. It takes a number of years to develop that
22 kind of lesion, even the early one, with mesangial
23 expansion as increased basement membrane. There's also
24 good reason to assume that the number of years it takes to
25 get rid of it is probably the same. Why should it be

1 different?

2 We have one marvelous example from the
3 literature, and that is the beautiful biopsy study carried
4 out by Michael Mauer from Minnesota. He took type 1
5 patients, biopsied them, and then he gave them a new
6 pancreas. They had this new pancreas working for 10 years
7 with completely normal blood glucose values. No insulin,
8 no nothing. He rebiopsied after 5 years. There was no
9 significant difference. But after 10 years, he saw that
10 there was a significant reversal of the structural damage.
11 I think to my mind that this study demonstrates that it
12 takes a long time to get rid of it.

13 I think what we are doing here, we are only
14 doing a short-term study. Of course, the message from this
15 study is that the patients are put on the treatment. We
16 will never, ever stop them. We'll continue of course.
17 That's the kind of treatment you need if you need if you
18 want to get reversal of the kidney structural damage. But
19 I think, in essence, that everybody has to realize that
20 it's a very slow process and you need to do it for many,
21 many years in order to gain.

22 DR. BORER: May I ask? I'll try not to muddy
23 the waters a little bit more. It sounds to me like this is
24 a two-component model. Number one, the morphological
25 changes in the kidney, and number two, superimposed upon

1 that, acute hemodynamic changes that can change the
2 expression, if you will, of the effects of the morphologic
3 changes.

4 You took away drugs at week 24 and you said the
5 blood pressure went up. Presumably it went up relatively
6 rapidly, and we saw here the effect of what was sitting
7 there with a new blood pressure level on top of it. And it
8 looks worse for at least two of the arms.

9 If you followed along further, would you have
10 expected -- or let's say you lowered the blood pressure
11 further with some other drug. Would you then have expected
12 the green point to come back down a little bit again?

13 DR. PARVING: It depends on what kind of
14 compounds that you're aiming at because all blood pressure
15 reduction will eventually reduce the albumin excretion
16 rate, each and all of them. But some of them are more
17 potent and those that are more potent are those that are
18 blocking the effect of angiotensin II. That goes for ACE
19 inhibition and for receptor antagonist.

20 DR. BORER: I understand that. I didn't want
21 to get into that. I see that the irbesartan 300 doesn't
22 seem to reverse nearly so much as the others, and that
23 suggests that there's some residual effect, et cetera.

24 I was only asking the question if you took away
25 the new hemodynamic load on top of the morphological change

1 that already existed, would you see some tendency towards
2 improvement. That's all.

3 DR. PARVING: Sure.

4 DR. BORER: And you would.

5 DR. PARVING: You will see that.

6 DR. BORER: Tom?

7 DR. FLEMING: I'd like to just, one more time
8 for my own sake, go through the interpretation you gave to
9 your slide D-12 just to make sure I understand what you're
10 telling us your interpretation is.

11 We have had explained to us today a biological
12 progression that occurs with microalbuminuria first,
13 leading to proteinuria, leading to glomerular filtration
14 rate changes, leading to end-stage renal disease, which in
15 essence is dominated by dialysis, transplant, and renal
16 death. This is the progression.

17 In the IRMA 2 trial, we're really going back to
18 this earlier stage. We're looking at whether or not we can
19 delay this progression to clinical proteinuria. Often what
20 we would want to do, from a statistical perspective to get
21 a sense of the validity of that as a surrogate for ultimate
22 clinical benefit, is to see how that translates into
23 effects on other tangible phenomena that are downstream in
24 terms of clinical consequences. Of course, the next one in
25 line is the filtration rate.

1 What you're saying is there's not an apparent
2 benefit here, but you're saying be careful because it's
3 going to take more years of effects before you're going to
4 get to a point where you're going to expect to see effects
5 on GFR. Is that correct? Is that a correct interpretation
6 you're giving to why one shouldn't be too concerned about
7 this?

8 DR. PARVING: I think you're right.

9 DR. FLEMING: And if I take that then as the
10 interpretation, I can be -- I'll give my interpretation.
11 Jeff, you can comment. Or go ahead. I'll give my
12 interpretation after you.

13 DR. BORER: No. I just want to ask is that
14 really what you -- I mean, if I'm understanding what you
15 said correctly, it's not that you were waiting for an
16 improvement in GFR. You were waiting to see a worsening
17 and it didn't happen.

18 DR. PARVING: Exactly.

19 DR. BORER: You want to, as you said, keep them
20 within the box.

21 DR. FLEMING: Sure, but I would like to see
22 some evidence of a net benefit relative to placebo. And
23 I'm understanding that we would need to have many more
24 years of effect on delaying progression to increases in
25 proteinuria to be able to expect then, when we look at this

1 phenomenon downstream, we'll see an effect.

2 DR. BORER: Maybe yes, maybe no. You dropped
3 out and didn't collect data on the people who developed
4 proteinuria.

5 DR. FLEMING: Yes, but my initial sense is,
6 looking at the numbers of dropouts -- and it's only a
7 speculation. Unfortunately the trial wasn't designed to
8 truly answer this. It's not clear that that would have
9 reversed this perspective.

10 DR. PARVING: But could I give an answer?
11 Because exactly what you are saying is that if we should
12 have picked up the signal, then of course we should have
13 kept the patients who developed diabetic kidney disease in
14 the trial. And then we should have told you that those who
15 developed diabetic kidney disease and were followed for 5
16 or more years did worse than those who didn't.
17 Unfortunately, the design of the study was so that those
18 who were the bad-doers were actually leaving the study.

19 DR. FLEMING: We understand. We understand
20 that.

21 But I guess the bottom line conclusion to me is
22 I could be persuaded that the lack of tangible evidence of
23 a benefit on the next phenomenon could, in fact, be that
24 we're looking too early. But at a minimum, I'm left
25 without any substantive basis to say I've got evidence to

1 validate my surrogate. I know natural history. I know the
2 progression in natural history, but I don't have any direct
3 tangible clinical evidence to show that when I've
4 intervened and achieved this effect in delay of
5 proteinuria, that this is the magnitude and duration of
6 effect that will reliably translate into ultimate clinical
7 benefit downstream. It may or it may not. But I'm left
8 with much less evidence of validating a surrogate here than
9 I would typically expect to have.

10 DR. JULIA LEWIS: If I could have the slide
11 from the hyperbolic curve from the other Dr. Lewis' talk.

12 If you'll remember -- and I'm sure it will be
13 up there in a second -- the IRMA 2 trial was not intended
14 nor anticipated nor would we ever design a study to look at
15 the change in rate of decline of renal function in patients
16 who are in this area of the curve for two reasons.

17 One, in order to, for example, double your
18 serum creatinine, you have to lose a gigantic amount of
19 renal function to measure that.

20 In addition, in this area of the curve, the
21 measurements of GFR -- the scatter in the measurement
22 itself is almost equal to the rate of decline in renal
23 function when you're in this area of the curve in
24 microalbuminuric patients.

25 So, in early diabetic kidney disease with

1 microalbuminuria, you simply can't reliably measure the
2 changes in renal function in those patients, which is why
3 we worked in this area of the curve in IDNT. So, we didn't
4 anticipate to see changes in GFR in IRMA 2 or to be able to
5 detect changes in IRMA 2 in those early microalbuminuric
6 patients over a 2-year period.

7 DR. LIPICKY: I guess I wanted to follow up on
8 what Tom said because he said what I was trying to say much
9 better, but I'd like to try just one more time to say what
10 I meant.

11 And that is that the data from IRMA 2 are
12 consistent with the hypothesis that was forwarded. They do
13 not prove the hypothesis that was forwarded. In fact, they
14 sort of don't help it very much.

15 Then secondly, the creatinine doubling is
16 consistent with the notion that people get into trouble in
17 the first trial, but the actual number of events that were
18 observed don't go along with that. So, this is the nature
19 of the beast that you're evaluating.

20 DR. PARVING: Could I then add? I will not
21 disagree but I would like to say that the natural history
22 of diabetic kidney disease, where you have such a slow rate
23 of progression in kidney function, which is actually what
24 causes the death of the patient, if you lose filtration
25 power, you're a dead man. Initially the drop is so slow --

1 DR. LIPICKY: The only thing I said is that if
2 you're a believer, you believe and you don't need data. If
3 you're not a believer, you need data, and that's going to
4 be the problem that's discussed.

5 DR. PARVING: I think you have the data. I
6 think you have the data telling you that you only have a
7 drop of 2 mls per minute per year in those who are
8 microalbuminuric. That's exactly what you want to know.

9 DR. BORER: Bev.

10 DR. LORELL: I think that in this study that
11 you're describing, it seems to me it would be extremely
12 problematic to follow the patients even longer-term to see
13 a more rapid decline in creatinine clearance. And the
14 reason I say that, as a non-nephrologist, is if it is true
15 in the diabetic, that the development of macroalbuminuria
16 precedes this more rapid decline in creatinine from an
17 ethical standpoint for the individual physician
18 investigators, it would have been impossible I think, once
19 a patient reached the point of microalbuminuria, not to use
20 the best data available, which would have been the
21 captopril study, and to have said at this point ethically
22 -- admittedly they're not the same population, but best
23 data available -- I must move to treating with an ACE
24 inhibitor. So, I don't think even today it would be
25 possible to do this study to carry it out long term to look

1 at events.

2 DR. LIPICKY: This was done today. What do you
3 mean?

4 DR. LORELL: No. I'm saying we have another
5 study here now looking at irbesartan and data from
6 losartan.

7 DR. LIPICKY: So, you think it's ethical to do
8 things you don't know anything about rather than find out
9 whether something works or not?

10 DR. LORELL: No. I would argue that from the
11 point of view of the physician investigators caring for
12 individual patients --

13 DR. LIPICKY: But to do something where you do
14 not know what you're doing is correct.

15 DR. LORELL: I didn't say that.

16 DR. LIPICKY: Well, why didn't you say that?

17 DR. LORELL: I'm sympathetic with the stopping
18 endpoint in this trial, given the other data that was
19 available, albeit in type 1 diabetes. So, I actually think
20 it would be very problematic to keep a patient, once they
21 had developed macroalbuminuria, on one of these three
22 treatment arms.

23 DR. PARVING: That was actually the main reason
24 why that was decided because it was tested out at several
25 of the safety committees in Europe, and they would not

1 allow us to go ahead. So, that was quite simple. So, what
2 you're saying is at least the notion in Europe. They may
3 be wrong, as Ray Lipicky is saying, but that was the
4 notion.

5 DR. BORER: Steve?

6 DR. NISSEN: Bev, I don't agree with you. If
7 it's ethical to take patients at a later stage in the
8 disease, as was done in IDNT, and give them placebo for a
9 long period of time, it certainly would be ethical to do so
10 in an earlier part of the disease curve.

11 This is an important question because no matter
12 what we decide here, clinicians have to know when in the
13 course of the disease might an intervention such as this be
14 useful. So, we're looking for a signal here that says,
15 well, gee, maybe if you start this therapy very early when
16 you get that first microalbuminuria, you can prevent this
17 whole cascade. So, we're all kind of looking to find some
18 evidence that that's the case, and there isn't any
19 evidence, unfortunately, in the data.

20 DR. EDMUND LEWIS: Hopefully, I'll get back up
21 there. I should be wearing armor the next time I get back
22 up there, but hopefully I will get back up there to try to
23 convince you otherwise.

24 There are complex issues here that involve the
25 ethics. First of all, in early type 2 diabetic

1 nephropathy, microalbuminuria, the study by Ravid from
2 Israel using enalapril showed clearly that ACE inhibitors
3 absolutely stabilized microalbuminuria over a period of --
4 I forget. I think it was 5 years, and that for that
5 reason, ACE inhibitors were appropriate therapy. So, the
6 physician, seeing the patient with early type 2 diabetic
7 nephropathy using evidence base ethically should be using
8 an ACE inhibitor at least.

9 Now, in our study, the irbesartan diabetic
10 nephropathy trial, it is not fair to say that since they
11 had two arms that didn't inhibit renin-angiotensin, what
12 about the ethics of that compared to this? Because we were
13 facing an entirely different clinical problem. The
14 clinical problem that we were facing was not only do type 2
15 patients with diabetic nephropathy perform the same as the
16 type 1's, when their renin-angiotensin system is blocked,
17 but also we're dealing with a much older population of
18 hypertensive patients.

19 So, the question was also not just benefit, but
20 risk. That is, in this patient population, when you use a
21 renin-angiotensin system antagonist, is there enough
22 bilateral renal artery stenosis to cause serious adverse
23 events with acute renal failure, and do they have enough
24 hyporeninemic, hypoaldosteronism to cause much more severe
25 hyperkalemia during the course of the study than the type 1