

UNITED STATES OF AMERICA  
FEDERAL COMMUNICATIONS COMMISSION

NATIONAL BROADBAND PLAN WORKSHOP  
TECHNOLOGY/WIRELESS

Washington, D.C.

Thursday, August 13, 2009

ANDERSON COURT REPORTING  
706 Duke Street, Suite 100  
Alexandria, VA 22314  
Phone (703) 519-7180 Fax (703) 519-7190

- 1 PARTICIPANTS:
- 2 Panel 1:
- 3 JULIUS KNAPP, Moderator
- 4 KRISTIN RINNE
- 5 STEN ANDERSON
- 6 BARRY WEST
- 7 SCOTT CORSON
- 8 MILO MEDIN
- 9 SASCHA MEINRATH
- 10 TOM ANDERSON
- 11 Panel 2:
- 12 MARK D. DANKBERG
- 13 JIM O'CONNOR
- 14 RICHARD KEITH
- 15 VANU BOSE
- 16 P. KELLEY DUNNE
- 17 MARK A. MCHENRY
- 18 BRETT GLASS
- 19
- 20
- 21
- 22 \* \* \* \* \*

ANDERSON COURT REPORTING  
706 Duke Street, Suite 100  
Alexandria, VA 22314  
Phone (703) 519-7180 Fax (703) 519-7190

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

ANDERSON COURT REPORTING  
706 Duke Street, Suite 100  
Alexandria, VA 22314  
Phone (703) 519-7180 Fax (703) 519-7190

1 PROCEEDINGS

2 MR. KNAPP: Good afternoon. Could I  
3 please have everybody please take their seats?

4 Welcome. If you weren't here this  
5 morning, we had two great sessions this morning  
6 and we've got a couple more great sessions on tap  
7 for this afternoon. We're going to be focusing in  
8 the first group on mobile broadband, and in the  
9 second group we're going to be focusing on rural  
10 solutions as well. We've kept this at a pretty  
11 crisp pace, so I'll keep my remarks pretty short.  
12 Just as a reminder once again, if you turned the  
13 cell phones on over lunchtime, turn them off. A  
14 brief announcement for the folks who are listening  
15 in over the Internet. They can participate by  
16 Webx, or by sending questions to fcc-  
17 events@fcc.gov, as well as at this time Walter  
18 Johnson is the holder of cards for questions from  
19 the room.

20 With that let's go ahead and get  
21 started. We've got 5 minutes for comments from  
22 each speaker and then we're going to try to engage

ANDERSON COURT REPORTING  
706 Duke Street, Suite 100  
Alexandria, VA 22314  
Phone (703) 519-7180 Fax (703) 519-7190

1 in conversations. Rashmi Doshi is reminding me I  
2 also want to welcome somebody I've known probably  
3 more than 25 years. Karl Nebbia who runs the  
4 Spectrum Office at the National Telecommunications  
5 and Information Administration, and of course NTIA  
6 and our federal government partners share in  
7 responsibilities for spectrum management and we're  
8 thrilled to have him here with us. With that,  
9 Kris, why don't you kick it off for us.

10 MS. RINNE: I'd like to make a few  
11 comments. I'm Kris Rinne. I'm the Senior Vice  
12 President of AT&T, and I'd like to give you a few  
13 comments about mobile broadband and the state  
14 where AT&T is at this point in time.

15 One of the questions you asked was what  
16 did we see as some of the primary areas that need  
17 to be focused on, and the demand for data that  
18 we're seeing on our wireless networks is exploding  
19 at an incredible pace, and that technology in and  
20 of itself will not be the total solution.  
21 Therefore, what we would propose in a national  
22 broadband plan, it needs to be designed to

1 encourage and facilitate the efficient use of  
2 networks as well as the ongoing sustainable  
3 private-sector investment. Some of the areas that  
4 could touch on that are strong network management  
5 tools, ensuring that spectrum policies are  
6 efficient, reducing the potential for interference  
7 and avoiding requirements that make the networks  
8 less effective.

9 At the end of the second quarter, 36  
10 percent of AT&T's 62 million postpaid customers  
11 had integrated devices. That means some sort of  
12 QWERTY keyboard either soft or hard. It was a  
13 result of that evolution where we're seeing  
14 tremendous growth in our wireless data networks.

15 This next slide shows you the growth  
16 that we've seen over the last 3 years. It's been  
17 a 5,000 percent growth as customers use their  
18 phones for email, Internet surfing, watching  
19 videos, downloading music and games and  
20 continuously using that capability. To address  
21 that, AT&T uses the 3GPP family of technologies  
22 also known as the GSM family of technologies that

1 gave us the ability for worldwide global  
2 capabilities as well as the backward capabilities  
3 to our previous generation of technologies. We  
4 currently have HSPA deployed in some 350  
5 metropolitan areas and will be expanding that in  
6 2009. And we're in the process of implementing a  
7 7.2 upgrade into that HSPA infrastructure and  
8 we'll be moving to LTE in the near future as well.

9           Often when we talk about an LTE we focus  
10 on speeds and it does give us the opportunity for  
11 higher data rates, but it also gives us the  
12 ability to lower the latency, improve the spectral  
13 efficiency as long as we have broad contiguous  
14 bands of spectrum, and it gives us the opportunity  
15 to simplify the network or flatten the network.  
16 All of those things are true as long as we have  
17 contiguous blocks of spectrum and that we address  
18 the nearby band interference. It depends on the  
19 antenna configurations that we utilize, and of  
20 course the speed that an individual customer might  
21 see as impacted by the vehicle motion as well as  
22 the other users on the cell since it is a shared

1 network and the terrain associated with that.

2 In summary, AT&T has focused on  
3 delivering the industry's best wireless  
4 experience. We have invested \$20 billion over 4  
5 years in network upgrades, spectrum and  
6 acquisitions. We plan to spend \$17 to \$18 in  
7 2009, probably the highest of any company in the  
8 U.S., to extend and enhance the wireless and the  
9 wired networks. And this year we'll add some 20  
10 markets to our HSPA infrastructure and augment our  
11 networks with another 2,000 new cell sites.

12 I look forward to the questions and  
13 comments from our fellow panel members throughout  
14 this afternoon. Thank you very much.

15 MR. KNAPP: Thank you, Kris. Sten?

16 MR. ANDERSSON: Good afternoon. I'm  
17 Sten Andersson and I'm responsible for wireless  
18 network solutions for Ericsson North America. Let  
19 me make a few comments about Ericsson since some  
20 of you might not be familiar with us. We've been  
21 around for around 130 years around the world  
22 selling telecommunication solutions. We have



1 business in 140 countries around the world. We  
2 have broad telecommunications portfolio including  
3 wireless and wire line products, access, backhaul,  
4 core network and so on. We are a leader in LTE  
5 technology for wireless and have been so for many  
6 years pushing the standards and development and  
7 research around LTE.

8           The first slide outlines on a high level  
9 the evolution of wireless as we see it. You have  
10 on the top line the GSM wideband CDMA and HSPA  
11 technologies. Today they are serving close to 4  
12 billion subscribers around the world. We have  
13 then the CDMA technology serving a little bit  
14 less, but around 10 percent roughly. Then we have  
15 the Chinese TDCMS standard being built out in  
16 China. Those three technologies are coming  
17 together in an evolution in one technology and  
18 that is LTE. It's an FDD and TDD technology.

19           A couple more words about HSPA. As I  
20 mentioned, 300 million subscribers around the  
21 world are served. We have more than 1,500 HSPA  
22 devices around the world supplied by more than 150

1 different handsets and devices vendors. So  
2 there's a pretty tremendous -- built up. A couple  
3 of points on FCC policy, and I have listed some of  
4 the inputs here that we think are important.  
5 Number one is economy of scale. We believe that  
6 we suggest it's a necessary characteristic to  
7 spread broadband around the world to groups of  
8 people who maybe cannot afford wireless broadband  
9 or broadband at all today. We have global  
10 harmonization as another important item, that the  
11 spectrum around the world gets harmonized and you  
12 can actually sell the same radio product as well  
13 as the same device all over the world which helps  
14 drive down the cost. The users might want to  
15 travel between countries and have the same  
16 service, but that's not necessary to get the  
17 benefits, it's the economic financial benefit that  
18 I'm stressing here.

19 LTE serves both FDD and TDD. We believe  
20 FDD is a better technology for the reason about  
21 power. You have a higher power level so you get a  
22 little bit better coverage. TDD however is a good

1 technology and LTE covers TDD when TDD is needed.  
2 We also support wider bandwidth allocations. The  
3 more bandwidth you have available, the higher  
4 speeds you can accomplish and you get better  
5 efficiency. With the growth of data in wireless,  
6 we believe that more spectrum is available and  
7 spectrum needs to be made available somewhat  
8 urgently. It takes many years to deploy new  
9 spectra as you know. Then there are some  
10 technical rules that we think should be  
11 investigated and analyzed as we move from more  
12 narrow band technologies to technologies that are  
13 wider and wider spectra.

14 A few comments. LTE in high level  
15 points is an OFDM-based technology but a very able  
16 bandwidth from 1.4 megahertz carriers, CDMA, then  
17 you can have 3 megahertz, 5, 15 and 20 megahertz.  
18 It's flexible. The devices and equipment can move  
19 between the different sizes. Advanced antenna  
20 solutions, diversity beam forming, multilayer  
21 transmission.

22 A couple of words about the devices. I

1 want to point out one device in the middle there.  
2 It's a module that's being installed in laptops  
3 today, a high speed HSPA module, and as that  
4 market is growing and the price is coming down for  
5 chip sets and modules, we see the migration into  
6 more and more devices and the price point for  
7 those modules is very critical to get them  
8 installed in more and more devices and drive the  
9 spread of broadband.

10 The next slide outlines the evolution.  
11 Kris showed that, but I want to spend a minute or  
12 10 seconds on LTE Advanced. That's a wideband  
13 technology. We can have up to 100 megahertz of  
14 spectrum and accomplish very high bit rates. You  
15 can see 1 gigabit per second is the peak rate.  
16 There are a couple of benefits I want to  
17 highlight, and you can read them yourself. It  
18 achieves mobility across technology platforms.  
19 You can roam and hand over between CDMA and LTE  
20 for instance between HSPA and LTE and so on, so  
21 you have that full flexibility.

22 I appreciate to be invited here. Thank

1 you do much for your time. I look forward to  
2 questions.

3 MR. KNAPP: Thank you, Sten. Barry?

4 MR. WEST: Thank you. I did not bring a  
5 presentation, but I just want to take you back 25  
6 years ago to remember the advent of the commercial  
7 mobile phone. I remember very well people saying  
8 why would you need a mobile phone? The fixed  
9 phone is going to do just fine. Here we are 25  
10 years later and I just about guarantee everybody  
11 in this room and most people who can afford on the  
12 planet have a mobile phone and would not give it  
13 up.

14 We also have another phenomenon that has  
15 occurred in the last 10 years that's called the  
16 Internet. The Internet has totally changed  
17 everything about human society, and particularly  
18 about the way we get to information and the way we  
19 share information. If you look at the  
20 developments that have occurred since the  
21 Internet, in every field, I particularly like to  
22 reference medicine, the rate of change is

1       unbelievable. It's exponential. As soon as  
2       something is known by someone, it's posted on the  
3       Web and we all know about it. The next phenomenon  
4       is going to be the mobile Web. The way people get  
5       to the Internet is going to be via mobile devices.  
6       Why? Because basically that's what human beings  
7       want. We want that ability to be with us at all  
8       times.

9                 Having said that, how do you achieve  
10       that mobility? It was interesting. I know  
11       Ericsson just can't use the word WiMAX, but there  
12       is actually another technology out there. They're  
13       all fundamentally the same. We're all moving to  
14       OFDM. Why are we moving to OFDM? Because it  
15       supports a wider channel better. I love the idea  
16       of a gigabit of throughput. It's going to go  
17       perhaps half the distance across this room in a  
18       practical environment, but it's a noble thought.  
19       Also where do you get 100 megahertz of spectrum?  
20       So one has to be pragmatic. But in the same way  
21       that we had to provide for a voice channel was  
22       literally 12 to 20 kilobits of capacity or channel

1 on an individual basis, there are many, many  
2 services on the Internet that you can serve with  
3 data rates of 3 to 5 megabits on the downlink and  
4 1 to 2 megabits on the uplink. That is not the  
5 throughput rates at which WiMAX or any of these  
6 other technologies achieve on a sector basis, but  
7 it's important because it affects the economic  
8 factors. We can get these wonderful, big data  
9 rates, but we have to make sure the economics  
10 work. Otherwise it will never take off.

11 So WiMAX is a viable technology. It is  
12 here today. It's an extension of the WiFi family.  
13 There are already millions and millions of devices  
14 out there accessing the Internet using WiFi, WiMAX  
15 is the next natural evolution of that, and so we  
16 are going to see competing technologies. And  
17 quite frankly, the economics and customers will  
18 decide who the winners are in this race.

19 I do think it's very important that the  
20 regulators around the world, and it's my privilege  
21 to meet with a number of them, realize that the  
22 economy is going to grow again as a result of the

1 Internet and mobility and everything that can be  
2 done to encourage that, the way the rules are  
3 written, I just urge every regulator in this room  
4 to really put your minds behind it and get it  
5 going because it's just another phenomena and it  
6 is what is keeping me from retiring. I just  
7 couldn't miss this opportunity. So thank you very  
8 much.

9 MR. KNAPP: Thank you, Barry. Scott?

10 MR. CORSON: Than you very much for  
11 having me here. I wear a slightly different hat  
12 from some of the folks on the panel. I'm really  
13 more from an R&D background. I was a wireless  
14 network architect at Flarion before we joined  
15 Qualcomm, and I pretty much pursue the same  
16 function at Qualcomm now.

17 This is more sort of a futuristic kind  
18 of pitch. I'm really not a physical air guy,  
19 although I'm going to be reflecting the comments  
20 of a lot of the experts I talk with daily.

21 First a general comment. From a mobile  
22 broadband perspective, the consensus view at least



1 at Qualcomm is that unlicensed spectrum doesn't  
2 cut it. We've love to see fruitful use in that  
3 application, but we can't figure out how to make  
4 it work. That's just a first comment. The second  
5 comment would be that information theory pretty  
6 much shows us that all he technologies are  
7 trending toward a limit and that limit is not easy  
8 to surpass as far as we understand. It's getting  
9 to be where the technology doesn't matter so much,  
10 it's simply about the availability of licensed  
11 spectrum in which to deploy the technology. The  
12 problem is there's not enough of that as far as we  
13 can see either and so we need more. In order to  
14 make better use of the spectrum that is there, of  
15 course is it gets to the reuse game. You need to  
16 increase reuse. Every means needs to be employed  
17 to make that happen to stretch the licensed  
18 spectrum further because it is extremely valuable.  
19 That takes you really to essentially from what we  
20 can see two paradigms. Of course, one is the  
21 continuation of the smaller cell splitting kind of  
22 paradigm. The most recent evolution of that is

1 the movement toward the use of femtocells. It's  
2 the natural thing to do and we're starting to see  
3 that activity coming online soon. Another avenue  
4 that we're certainly looking at is the use of  
5 direct communications between devices themselves  
6 without having to go through an intervening  
7 infrastructure such as a base station or a  
8 femtocell. So just to make some of these points  
9 concrete, where we are today more or less is the  
10 macrocell people understand that, the device  
11 connects to the cell tower however far away it is.  
12 Soon will come the notion of a femtocell. It's  
13 happening now and these are obviously WiFi sized  
14 or even smaller kinds of base stations essentially  
15 but which are deployable in homes and small  
16 businesses. Then what I'm talking about as  
17 something that we're looking at for the future is  
18 actually direct communications now between  
19 devices, and I don't just mean handsets. I'm  
20 showing my favorite little icon of a computing  
21 device which is the original Macintosh which I  
22 still think is a nice icon, and I just mean a

1 general device. It could be a handset, it could  
2 be machine to machine. It doesn't really matter  
3 what it is.

4 What do we as Qualcomm I guess you could  
5 say think should be a part of a national broadband  
6 plan? We think that more licensed spectrums  
7 should be available through auction fundamentally.  
8 That's something we think needs to be there. That  
9 takes many years to have happen. In the mean  
10 time, extensions of 3G can be deployed which have  
11 high spectral efficiencies which even approach  
12 what's achievable with LTE. And of course, LTE is  
13 coming along as well.

14 Some of the things that can be done near  
15 term with some of the stimulus money would be to  
16 amend the e- rate linkup and Lifeline Programs to  
17 make some monies available for extending mobile  
18 broadband. That's not a difficult thing to do.  
19 It takes policy changes. Then finally regarding  
20 the spectrum that we would like to see deployed,  
21 we believe technology neutrality is very  
22 important. It's a key to innovation. It's

1 generally bad policy to tie the useless spectrum  
2 to particular technologies. Again thanks for  
3 having me. I look forward to questions.

4 MR. KNAPP: Thank you, Scott. Milo?

5 MR. MEDIN: Thank you for inviting me  
6 hear to speak, and I look forward to any questions  
7 afterwards.

8 Wireless service in the U.S. today has  
9 not yet proven out as a substitute network for  
10 wire line broadband services such as cable, modems  
11 and DSL. I believe there are a couple  
12 technologies that could help change that and  
13 enable wireless service to make a substantial  
14 impact on the state of broadband adoption and  
15 availability. First I'm going to talk about time  
16 division duplexing or TDD and why it's easier and  
17 why making it easier to employ TDD networks  
18 advances broadband goals. Then I'll discuss why  
19 technology advances on the CPE side can help  
20 enable new models for broadband service deployment  
21 which can help increase available speeds and  
22 coverage. Lastly, I'll talk about some of the

1 policy challenges that are going to have to be  
2 overcome.

3           The first technology is time division  
4 duplexing or TDD for short. Most cellular systems  
5 use frequency division duplexing or FDD as they've  
6 evolved out of providing voice services which are  
7 inherently symmetric in nature. TDD is really  
8 optimized for data, and let me share why. First  
9 off, TDD enables flexibility in allocating  
10 spectral resources between the upstream and the  
11 downstream instead of being fixed at fifty-fifty  
12 with a traditional FDD system. This lets  
13 operators use more of the capacity of the system  
14 for downloading, a better match for Internet use.  
15 TDD is also better able to take advantage of  
16 adaptive antenna techniques such as beam forming  
17 and spatial division multiple access because the  
18 same frequencies are used for transmit and  
19 receive, enabling significantly higher precision  
20 in computing antenna weights resulting in narrower  
21 beam widths. That can add more than 15 dB of gain  
22 to a system, dramatically increasing range and

1 increasing cell capacity by about 300 to 400  
2 percent. I believe this shift is essential in  
3 making wireless broadband economics work within  
4 the constraints within the backhaul market in the  
5 U.S. TDD/CPE can also be simpler and cheaper  
6 since diplexing filters aren't required, and TDD  
7 removes the requirement to have paired spectrum,  
8 greatly simplifying the process of relocation of  
9 spectrum from federal users. TDD has some  
10 spectrum policy implications, however, since the  
11 need to assign spectrum on a national basis or at  
12 least in large block sizes to maintain  
13 synchronized timing along license boundaries.  
14 Also if adjacent FDD operations contemplated  
15 practical technical rules to prevent harmful base  
16 to base as well as mobile-to-mobile modalities  
17 should be adopted such as the rules at the recent  
18 700 megahertz auction and in the OET analysis and  
19 AWS-3 proceeding.

20 If the primary goal in using wireless  
21 technology is to deliver broadband data, then  
22 explicit spectrum allocation for TDD use should be

1 the answer since it is better suited for this  
2 application than FDD systems. Secondly,  
3 advantages in the form of programmable radios are  
4 going to enable new types of broadband service  
5 models that will create new options for extending  
6 coverage and employment of higher speed networks.  
7 Software defined baseband technologies are coming  
8 that enable a single radio to communicate using  
9 multiple air-link protocols, for example, a single  
10 baseband chip that can speak LTE, WiMAX and WiFi  
11 and perhaps even 3G protocols. Highly agile  
12 broadband RS stages are also coming such as  
13 products from companies like BitWave which are not  
14 limited to a specific set of bands, but can  
15 communicate over a whole swath of spectrum like  
16 700 megahertz to 3.8 gigahertz in a single chip.  
17 This is a big deal because it will remove the need  
18 for global harmonization of spectrum and enable  
19 more innovative, entrepreneurial deployments  
20 without suffering the cost penalty associated with  
21 low-volume solutions. Together they're going to  
22 allow a device vendor to create a product that

1 doesn't talk just to one carrier's network, but  
2 will allow opportunistic communication in both  
3 licensed and unlicensed spectra in very different  
4 bands based on what the user desires in terms of  
5 getting higher speeds, cheaper access, et cetera.  
6 This is going to enable specialized networks to  
7 use scraps of spectrum to cover off areas that may  
8 have no service today as well as deploy overlay  
9 networks that can offer higher speeds and  
10 additional competition and help upend the current  
11 carrier- centric model that we see in wireless in  
12 the U.S. today. All of these technologies are  
13 shipping now or in advanced stages of development.  
14 However, to maximally exploit these technologies,  
15 FCC processes need to change to make it easier to  
16 deploy these new kinds of networks and types of  
17 equipment and to do so without inflicting  
18 significant costs and delays along the way.

19           It's helpful to point out that FCC  
20 priorities have traditionally been oriented around  
21 tasks like merger reviews and incremental tweaks  
22 to current regulations, whereas new service



1       approvals take exorbitant periods of time as the  
2       last chart I have in the deck shows out.  If rapid  
3       exploitation of new technology and service for  
4       broadband is a new priority, then FCC processes  
5       should reflect that.  For example, FCC proceedings  
6       on new services should shift the burden of proof  
7       so that it is on the opponents of new services and  
8       technologies as opposed to the proponents.  As it  
9       stands today, the timeframes for deploying new  
10      wireless infrastructure is greatly out of whack  
11      with the development cycles for devices and  
12      services that use that infrastructure.  As the  
13      only member of this panel here from Silicon  
14      Valley, I must emphasize that compressing  
15      decision-making times for telecommunications  
16      policy is the single biggest advance that the FCC  
17      could make to enable our nation to be the global  
18      leader in broadband the way we in the Valley have  
19      made us the global leader in computing and the  
20      Internet.  Thank you.

21                   MR. KNAPP:  Thank you, Milo.  Tom?

22                   MR. ANDERSON:  My name is Tom Anderson.

1 I head the architectural organization within the  
2 wireless CTO at Alcatel-Lucent. I'd like to talk  
3 about a few major points that I believe are key to  
4 the national broadband plan that is under  
5 consideration here.

6 First I want to add to Kris Rinne's  
7 remark relative to spectrum, and I think Scott as  
8 well mentioned the need for spectrum, so I think  
9 it's going to be essential that we have additional  
10 spectrum on the market, large blocks of spectrum.  
11 Although a lot of work is going on in my  
12 organization and in organizations the globe to  
13 increase the efficient of the spectrum and  
14 spectral efficiency in how to use broadband more  
15 efficiently, fundamentally we're not going to be  
16 able to keep up with the growth rates that Kris  
17 talked about without more spectrum. So I think  
18 that's a key consideration.

19 Scott also talked about femtocells and  
20 small cells, and to expand upon that I think it's  
21 going to be critical that as we deploy wireless  
22 networks we will see the need for smaller cells

1 and not just femtos and certainly small base  
2 stations in the home for example, but also small  
3 cells to cover hotspots. This makes more  
4 efficient use of the spectrum and provides higher  
5 data rates. But from a policy perspective, we  
6 want to make sure that we look carefully at the  
7 state and local zoning regulations that may  
8 provide restrictions that are not necessary and  
9 may impede the deployment of these kinds of  
10 networks that would really facilitate the kind of  
11 broadband network that we need to build today.  
12 It's something that we need to look carefully at  
13 and I think should be part of the plan.

14 I think building on what Sten mentioned,  
15 the third component here is the ecosystem. In  
16 order to get the cost profiles that we need so  
17 that customers have very low- cost devices but  
18 very capable devices, high-feature, high-  
19 functionality devices, and in order to provide an  
20 infrastructure that has a cost profile that we can  
21 deliver the services so that service providers,  
22 AT&T and others, Clearwire, can provide services

1 and the applications at a cost-competitive price  
2 point. Having a global ecosystem of not only  
3 devices but spectrum sharing as well, if we could  
4 use a common spectrum as I think Sten mentioned, I  
5 know that's very difficult, but to the extent that  
6 we can share within technology a common worldwide  
7 ecosystem, we can get the economies of scale to  
8 get the price points right to really make  
9 broadband affordable for all. With that I'm  
10 through.

11 MR. KNAPP: Thank you, Tom. Sascha?

12 MR. MEINRATH: Thanks. I work at a  
13 policy institute here in D.C. I'm sort of our  
14 token public interest representative on this  
15 panel. The Open Technology Initiative which I  
16 direct promotes policy and regulatory reforms to  
17 support open architectures and open source  
18 innovations, and we're looking at promoting  
19 universal, affordable, ubiquitous communications  
20 networks and are committed to maximizing the  
21 potentials of these networks for the communities  
22 that are least served, the poor and the rural and

1 other underserved constituencies. So in the  
2 immortal words of Monty Python, now we're ready  
3 for something completely different from the rest  
4 of these presentations.

5 I'll start by saying simply that we are  
6 living through a critical juncture in  
7 telecommunications history. We have this trifecta  
8 of societal shifts that are combining to create  
9 the perfect storm for advancing policies that  
10 better meet the needs of all U.S. residents.  
11 First, we've got these technological advances  
12 which a number of the panelists have pointed to  
13 that could better connect people and have dramatic  
14 possibilities for increasing the utility of  
15 communications networks. Second, we have  
16 customers everywhere clamoring for access to  
17 advanced services and new applications and this is  
18 driving multimedia production and information  
19 dissemination writ large. Third, we have these  
20 generational shifts that are happening right now  
21 where the country's key decision makers have the  
22 potential now for generating seismic changes in

1 our country's regulatory and policymaking  
2 environment.

3           Taken together, these should be driving  
4 a communications renaissance, something akin to  
5 the introduction of the printing press or the  
6 telephone or the Internet itself. But instead,  
7 what we're seeing is this systematic entrenchment  
8 or vested interests who are diligently working to  
9 prevent many of the most innovative technologies  
10 from ever seeing the light of day who are engaging  
11 in draconian attempts to limit media production  
12 and stifle information dissemination, and as Amy  
13 Schatz actually just yesterday reported in the  
14 "Wall Street Journal," are launching an  
15 unprecedented lobbying effort to stagnate and  
16 prevent meaningful and much needed reforms.

17           So here inside the Beltway I would say  
18 that we are gearing up for an epic battle that's  
19 going to be waged in the very near future between  
20 the forces that are looking to create a  
21 participatory, democratic, more distributed  
22 digital public sphere, and forces that are seeking

1 to reestablish a command-and-control regime over  
2 next- generation telecommunications  
3 infrastructure.

4 As the populace shifts from wire line to  
5 mobile communications as their communications  
6 norm, wireless technologies are at the very heart  
7 of this battle. And instead of building  
8 next-generation networks focused on lowering costs  
9 for customers, maximizing user control over the  
10 services and applications that we have bought and  
11 paid for, providers are architecting systems that  
12 maximize billable moments on their networks,  
13 commoditizing every new space and function  
14 possible. Instead of fostering interconnectivity  
15 of networks and interoperability of devices, the  
16 forces of command and control are seeking new ways  
17 to capture market share, generate path  
18 dependencies and limit customer churn. So handset  
19 exclusivity and the lockdown of cellular phones  
20 and PDAs are certainly systematic of this business  
21 model. But so too are the myriad limitations  
22 we've already seen to prevent users from doing

1 everything from streaming audio and video on their  
2 wireless devices, preventing Google Voice,  
3 preventing Skype, et cetera. And historically  
4 over the past 75 years we have seen dramatic  
5 increases in wireless capacity and we  
6 systematically been opening up higher and higher  
7 frequencies as the technologies have made these  
8 bands viable, and the allocations for these new  
9 uses have paralleled these reforms. However,  
10 assignments to license holders in years past,  
11 having been based on the cutting- edge  
12 technologies of their day are remarkably  
13 inefficient by today's standards and through  
14 today's technologies. So today as several people  
15 have pointed out, cognitive- and software-defined  
16 radio technologies allow us to infill throughout  
17 the public airwaves. Dynamic reuse of empty  
18 spectrum and underutilized frequencies could  
19 incredibly bolster what's available. And this  
20 opportunistic spectrum reuse has the potential to  
21 dramatically decentralize and improve  
22 communications at the same time. It's one of the



1 most powerful tools available for breaking the  
2 current strangleholds we now face over how we  
3 communicate.

4 I would say that leadership from  
5 Congress and from private industry and from the  
6 public interest sectors is desperately needed to  
7 assure that these necessary transitions are  
8 graceful instead of unmanageable and are libratory  
9 instead of harmful. And the FCC through its  
10 incentives and regulatory fiat has the  
11 responsibility to ensure that the public airwaves  
12 serve first and foremost the best interests of the  
13 residents of the United States. Thank you.

14 MR. KNAPP: Thank you, Sascha. That's a  
15 great lead-in. I was wondering if anybody else,  
16 particularly the folks who are investing in the  
17 expansion of the current wireless technologies  
18 have any reactions or response to the points that  
19 Sascha had raised. I can start calling names.

20 MS. RINNE: I have a comment.

21 MR. KNAPP: Go ahead, Kris.

22 MS. RINNE: To the point in terms of

1 continuously driving efficiency, the investments  
2 that we've made over the last several years as  
3 we've migrated from analog, to TDMA, to GSM, to  
4 HSPA and then ultimately LTE, within those same  
5 spectrum bands because of the freedom we have from  
6 a technology neutrality standpoint, but we've been  
7 driving those efficiencies and we leverage  
8 cognitive radios and soft-ware defined radios in  
9 order to drive down the costs in order to benefit  
10 the because in terms of lower costs and  
11 provisioning those services as well as driving the  
12 efficiencies in that technology in those spectrum  
13 bands.

14 MR. KNAPP: Others?

15 MR. WEST: If I could just jump in. I  
16 like the sound of some of the things you talk  
17 about, but we've had WiFi around for a long time  
18 and in an unmanaged state, WiFi is absolutely a  
19 fabulous tool but the interference reaches a point  
20 where from my house I can see seven WiFi nodes and  
21 I can't use my WiFi. I think some form of  
22 management policy is absolutely essential and

1       therefore managed spectrum -- I'm not saying that  
2       other spectrum might have different uses. For  
3       instance, one of the clear problems that we're  
4       going to face is literally hundreds of thousands  
5       of cell sites because of the need to aggressively  
6       reuse the spectrum and provide capacity at an  
7       unprecedented rate. That means that we have one  
8       hell of a backhaul problem and that might be where  
9       you can use some of these techniques because you  
10      don't have the same propensity for interference  
11      that you would do at the actual customer level.  
12      But I actually think that the downlink and the  
13      uplink from the customer should be in a managed  
14      spectrum.

15                   MR. CURTIS: Let me follow-up though on  
16      that because some of this is a little bit  
17      disappointing for several of the panel speakers  
18      because they said we need more spectrum, more  
19      spectrum. Nobody gave a concrete example of where  
20      we get that more spectrum. Hopefully Julius can  
21      give us another 100 megahertz, between 700 and 701  
22      on the radio spectrum.

1 MR. KNAPP: Not mine to give.

2 MR. CURTIS: Let me ask a specific  
3 question to Scott and a more general question to  
4 all the panelists. We know multi-node, multi-band  
5 devices are more and more easy to build. For the  
6 peer to peer and the femtocells, why don't we do  
7 that on an unlicensed spectrum and we only use the  
8 licensed wide-area spectrum for the full mobility  
9 long reach, get it on the ground or unlicensed as  
10 soon as possible? That would be a specific  
11 question and any of the other panelists answer.  
12 Then the more general question to all of you is  
13 you want more licensed spectrum? Tell us what we  
14 should be doing. Who do we take spectrum from to  
15 get more licensed spectrum?

16 MR. CORSON: I guess the question was  
17 initially directed to me so I'll take a stab at  
18 it. I don't know where we get more licensed  
19 spectrum. I know it's of great value so it would  
20 be great if more were made available. I'll try to  
21 articulate why it's of value. WiFi is great. So  
22 is Bluetooth. I love the fact that the iPhone is

1 opening up now and enabling Bluetooth-enabled  
2 iPhones to talk directly to each other. That's a  
3 great thing. But the thing with unlicensed  
4 spectrum, it gets to the point that Barry  
5 mentioned that that's interference. In the  
6 unlicensed world or when you view creating value  
7 of unlicensed space, you don't really own the  
8 spectrum, you own the geography within which the  
9 spectrum exists. So to the extent that you own a  
10 lot of geography, you can deploy unlicensed  
11 technologies in those geographies that have  
12 certain interference characteristics and you can  
13 create value to some extent, but once you don't  
14 own the geography anymore, and I'm thinking now of  
15 any densely populated area where really the  
16 propagation and interference of these technologies  
17 interact, you have a lot of difficulty creating  
18 value now in these very uncontrolled spaces. So  
19 that's why I would say that unlicensed is great  
20 stuff. We all make use of it I think to some  
21 extent. But the fact that you don't have managed  
22 interference limits the utility that you can

1 create with it. I think that's really the gist of  
2 my comment.

3 MR. CURTIS: The FCC just did the TV  
4 white space rulemaking. Could that be used for  
5 your peer to peer and your femtocells so you don't  
6 use your licensed spectrum?

7 MR. CORSON: White space brings another  
8 host of challenges. Some are regulatory in  
9 nature. Some of the policies which are required  
10 to make use of the white space are difficult from  
11 an engineering perspective to make the technology  
12 useful because of incumbent uses and things like  
13 that. And there are other less-obvious problems  
14 that make the white space issue challenging  
15 particularly again as I said for these mobile,  
16 broadband, longer-range kinds of contexts. As you  
17 bring communication ranges down, many things  
18 become more feasible not just for white space but  
19 for unlicensed technologies as well. But in the  
20 less- coordinated area out there, in the mobile  
21 world, there are a lot of practical issues.

22 MR. CURTIS: I agree with the mobile.

1 Nomadic is a different issue.

2 MS. RINNE: A comment on the femtocell.  
3 The purpose of utilizing the licensed spectrum  
4 there is similar to the concept we've been using  
5 for years in terms of continuously cell splitting,  
6 and a femtocell, think of it as kind of an  
7 underlay/overlay network, so you could do a lot of  
8 femtocells to get those minutes off of that macro  
9 network and do it at a very, very lower power so  
10 that you're not creating interference for that  
11 macro network and then that allows you more  
12 capacity for serving customers on that macro  
13 network. So it gives you an efficiency without  
14 depending on the customer or a client in the  
15 device to move to a WiFi solution or some other  
16 type of solution in order to get those minutes and  
17 bits over to the femto.

18 MR. CURTIS: So since most cell phone  
19 transactions originate inside buildings or  
20 campuses, if we rapidly deploy femtocells, then  
21 you get more than a doubling of capacity  
22 automatically so you need less spectrum.

1 MS. RINNE: I wouldn't say a doubling,  
2 but I'd also point to the 5,000 percent growth in  
3 the utilization on the data side, so that doubling  
4 won't cut it.

5 MR. WEST: I know where you're going  
6 with that, Stagg, and that's kind of funny, but  
7 the growth in data is unbelievable. It's not  
8 unreasonable to see people using a 10 gig, 15 gig,  
9 20 gig in the not too far distant future, and the  
10 sheer bandwidth that is required to deliver that  
11 in a timely way as the service is deployed to the  
12 customer without taking them back to the world of  
13 dialup is really important, and that's the chaos,  
14 if you like, that you get from an unlicensed  
15 environment. I well remember citizen's band radio  
16 which I thought was phenomenal. I made a lot of  
17 new friends with CB radio. But after about 5  
18 years it went out of use because it was totally  
19 unusable. I guess there are pockets of it around.

20 MR. CURTIS: The problem I'm hearing you  
21 say is not only do we need more spectrum, we need  
22 much more spectrum and there is only one person in



1 the room who might be able to give us that and  
2 that's Karl over there. He has all the DOD  
3 spectrum. So I come back to where do we get the  
4 spectrum?

5 MR. WEST: The other thing that we need  
6 to be able to do is to more aggressively reuse it.

7 MR. KNAPP: Let me hit on that point  
8 too, not to sidestep Stagg's question, but there  
9 is a lot of spectrum already being used by  
10 wireless networks. This morning we heard a little  
11 bit about you invest in a technology, it gets out  
12 there a few years and you're looking to recover  
13 your expenditure over the course of 15 or 20  
14 years. How much of this can actually be  
15 implemented in the existing spectrum where we're  
16 taking advantage of things like software-defined  
17 radio? Kris, I do want to come back to the extent  
18 that that's being used. The smart antennas and so  
19 forth. Don't be mistaken. I'm not saying this is  
20 not part of what would be examined, but what  
21 happened if you didn't get the spectrum? What  
22 would you do to make the most out of what you've

1 got?

2 MR. WEST: You're back to aggressive  
3 reuse. I'd just like to point one thing out. We  
4 all look at the technology and say this is the  
5 driving cost. In a macro cell layer the actual  
6 hardware, the equipment that delivers the service,  
7 is probably less than 20 percent of the cost of  
8 the cell. So inasmuch as we need to get to the  
9 position where we can get leasing and zoning in a  
10 much easier way, you'll spend as much money on  
11 leasing and zoning as you spend on the equipment,  
12 and the need to cell split and roll out more  
13 cells, that delay is a lot of times what causes  
14 the operators to have service issues in a  
15 particular area. They've got the money to roll  
16 out more services but they just can't do it fast  
17 enough, and that applies to all operators.

18 MR. KNAPP: Go ahead, Sascha.

19 MR. MEINRATH: I think one of the issues  
20 at play here is that when you have a hub-and-spoke  
21 mentality of what these bundles should look like,  
22 then when you break it down to femtocells, you're

1 very small hubs and spokes. As Scott pointed out,  
2 this sort of peer-to-peer architecture really  
3 changes the dynamic of what these networks are  
4 capable of in the capacity limits of available  
5 spectrum. To me, what was interesting or perhaps  
6 most interesting was when Scott was talking about  
7 the future being these peer- to-peer technologies,  
8 instead of seeing two Mac icons, I would have  
9 liked to see two walkie-talkies because we were  
10 there once. We used to be able to do peer-to-peer  
11 connectivity at a very basic level, and we can do  
12 that today. I remember 10 years ago talking about  
13 I want to build metro scale mesh networks, and  
14 people said it doesn't scale and you'll never be  
15 able to do that, and we built them. They're alive  
16 today. They're doing well. They're scaling up to  
17 thousands of nodes covering entire metropolitan  
18 regions all using unlicensed. And not just that,  
19 but having larger capacity on the junk band using  
20 open-source mesh technologies than the extremely  
21 expensive hub-and-spoke cellular infrastructure in  
22 those same communities.

1           In many ways I think, and this has been  
2 alluded to, dynamic spectrum access helps a lot.  
3 Distributed systems and peer-to-peer architectures  
4 help a lot. Automated power control helps a lot.  
5 There is a whole number. Beam forming helps a  
6 lot. There's a whole bunch of different ways to  
7 do this, but one has to let go of this notion of a  
8 central point of control on these networks.

9           MR. KNAPP: Milo?

10          MR. MEDIN: I think there are two  
11 answers. The spectrum that exists today that's  
12 being serviced with the 3G networks have  
13 fundamentally lower bits per second per hertz per  
14 cell generation than OFDM systems whether you're  
15 talking about LTE or WiMAX, and if you can  
16 aggressively move those networks to these  
17 next-generation systems augmenting them with some  
18 of the adaptive antenna techniques that are coming  
19 available, you'll get a lot more capacity out of  
20 the cell site for the same amount of spectrum. At  
21 the same time, it does I think as move to these  
22 kinds of frequency agile broadband RF stages we

1 can start looking at both unlicensed and licensed  
2 frequencies to offload things opportunistically in  
3 certain locations the way people do with WiFi  
4 today. 3650, to me that is a band that is neither  
5 fish nor fowl right now and the Commission should  
6 think about what set of rules would really enable  
7 that spectrum to be used for offloading capacity  
8 in these kinds of more dense configurations found  
9 in office buildings, et cetera. It could be  
10 useful for that.

11 When I worked for NASA there was a  
12 saying, with enough thrust, anything can fly.  
13 There is a certain mental viewpoint that says  
14 we'll just do more of what we do now and we need  
15 more spectrum so that we can do more of what we do  
16 now more. That just is not going to fly. The  
17 physics run into that. We need to be much more  
18 aggressive about next-generation technologies,  
19 SDMA can improve spectral efficiency even more, so  
20 there are a whole set of challenges. TDD networks  
21 I will point out are better to take advantage of  
22 these techniques than FDD systems. One of the

1 changes as we get to these more programmable  
2 radios is that we can move to CPE hardware that's  
3 more flexible, that can take advantage of these  
4 kinds of technology changes and offload  
5 opportunistically to both licensed and unlicensed  
6 spectra.

7 MR. KNAPP: I want to come back to  
8 Sascha's point for a moment. You had mentioned  
9 use of mesh networks. Can you site some examples  
10 of a successful network because we've heard mixed  
11 reports?

12 MR. MEINRATH: Mixed reports, yes.  
13 Open-source mesh doesn't really have a PR  
14 marketing firm behind it. It's like people like  
15 me talking in venues like this. FunkFeuer in,  
16 Vienna, Austria, and in Graz, Austria, both have  
17 metro scale mesh networks. They're both fairly  
18 large. They're metro scale. In Berlin, you've  
19 got a mesh network there, Freifunk. How many  
20 nodes? It's hard to say because it's entirely  
21 distributed and unowned, but it's a backhaul of  
22 about 600 nodes there. In Guifi.net in Spain has

1 about 6,000 nodes covering a lot of the Catalan  
2 region in the northeast of Spain. You've got  
3 Freifunk in Berlin. You might notice that a lot  
4 of these aren't in the United States and that's  
5 because in the United States we all know that mesh  
6 doesn't work. It just works in Europe apparently.  
7 But you've got Djursland.net. That's covering  
8 over 2,500 square kilometers. It's larger than a  
9 metropolitan areas. These are all using  
10 open-source technologies following completely  
11 different models than we're used to today.

12           What I want to point out and make very  
13 explicit here, this is not a dichotomy where  
14 everything needs to be open source, mesh, and not  
15 licensed and architected. These things must  
16 coexist. There is effectiveness in having both of  
17 those different models at play in our  
18 electromagnetic spectrum and for our  
19 communications needs, but there needs to be  
20 adequate space for both and clearly some of the  
21 problems that are faced in the licensed world  
22 using these specific business models have been

1 solved or at least alleviated using different  
2 architectures or different business models.

3 MR. KNAPP: Reactions? Go ahead, Sten.

4 MR. ANDERSSON: There was one comment  
5 that we don't want to continue to do more of the  
6 same.

7 MR. MEDIN: More thrust.

8 MR. ANDERSSON: I would say that the  
9 vendor industry and research institutions are  
10 putting billions of dollars into wireless  
11 technology development researching how to build  
12 effective networks. The bits per hertz is  
13 increasing day by day more or less. We are  
14 building networks different ways. If there is not  
15 enough spectrum or limited spectrum, we build a  
16 macro network maybe to calibrate to the lower  
17 spectrum or to operate and then you add on smaller  
18 cells with the higher spectrum for capacity.  
19 There are all kinds of tools that we're using,  
20 both research and development in the radio based  
21 station, but even more on the device side because  
22 the device side is often what limits the capacity



1 and the utilization of the spectrum, and of course  
2 you have some power limitations and size and so  
3 on. So I think that there is a lot of research  
4 going on and I think that the improvements that we  
5 have seen in wireless technology over the last  
6 years has been tremendous and I expect to see it  
7 in the coming years also. So I think that we are  
8 working hard to do what we can to utilize the  
9 spectrum to the very, very, very, very best.

10 MR. KNAPP: I have a question, I've got  
11 several of them, and they've been great questions.  
12 It's more of a follow-on to what we were getting  
13 at before. Are any of you considering  
14 subsectorization using beam forming to achieve a  
15 three- to five-time improvement in capacity? I  
16 think this is directed not just at the new  
17 implementations of LTE and WiMAX, but is there any  
18 benefit there for the legacies? It's hard for me  
19 to say legacy. It feels like 3G just got here.  
20 Thoughts on this from anybody using beam forming  
21 on the existing systems or plans for that?

22 MR. WEST: Just to comment, we're using

1 simple MIMO techniques at this point in time.  
2 Some of the issues around beam forming are the  
3 antenna arrays and you get back into the zoning  
4 issues. In order to get through zoning you need  
5 simpler arrays rather than more complex arrays.  
6 However, the processing power that we're seeing  
7 now in base stations particularly on the uplink  
8 side help with the beam forming technologies and I  
9 do think there is going to be a role for beam  
10 forming, it's not just not economical and at this  
11 point in time, but we will see benefits from it.  
12 I'm certain of that.

13 MR. KNAPP: Milo?

14 MR. MEDIN: Let me just add that I think  
15 from a macro cell network architecture  
16 perspective, if you use beam forming and SDMA you  
17 can aggregate capacity at a cell site which puts  
18 you into a different cost range for backhaul. I  
19 have yet to find a commonly deployed facility that  
20 costs on a dollar per bit basis than a T-1 line.  
21 And I will tell you you can't build a true data  
22 network that's not subsidized by voice revenues if

1       it's trunked by T-1 lines. You couldn't do it in  
2       1995 when we did cable architecture at home, and  
3       you can't do it today. It doesn't work. So we  
4       have to move out of that range where you're  
5       trunking facilities with just enough capacity that  
6       the only facility that makes sense is a T-1 line.  
7       Those business models don't work. Technically  
8       they work, but from a business perspective they  
9       don't work.

10               MR. KNAPP: I had another question on my  
11       mind as well. The question is framed do the  
12       underserved areas require additional spectrum  
13       allocations, and if yes, why? But I guess I'd  
14       expand on that a little bit. The way we've seen  
15       the wireless technologies rolled out generally has  
16       been in the big cities first and that's been the  
17       pattern as we've moved from analog from second  
18       generation to third generation. Would we expect  
19       to see anything different as we move to the  
20       next-generation technologies, LTE, WiMAX and so  
21       forth? Is there any benefit in them that makes it  
22       more likely that we're apt to see coverage in the

1 areas that aren't covered now?

2 MS. RENNI: Typically to your point,  
3 Jules, we do implement those in the metropolitan  
4 areas first, and if you look at the HSPA  
5 technologies, as you get farther and farther to  
6 the cell edge, it becomes more edge-like in  
7 speeds. So moving that into a rural area doesn't  
8 really benefit the entire radius of that cell  
9 site. As we look at the LTE, the fact that we've  
10 got 700 that we can implement in, that helps  
11 obviously in terms of that coverage as well. And  
12 then also some of the OFDMA technologies that  
13 we're looking toward and the MIMO capabilities in  
14 the device and the infrastructure will help to  
15 extend that, but there will still be limits on  
16 that cell edge.

17 MR. MEINRATH: If I could add to that  
18 and building a little bit on what Milo was saying,  
19 the costs that are associated with deploying  
20 especially in rural areas to the backhaul, this is  
21 a clarion call for special access to be addressed  
22 which I know is something that's being talked

1 about quite actively, but needs to happen if you  
2 want to spread connectivity to rural areas. I  
3 would also point out that this intersects with the  
4 white space end, that because television stations  
5 cluster in the metropolitan areas and don't serve  
6 a lot of the rural areas, you have tremendous  
7 amounts of spectrum and what this really points to  
8 is the need for a systematic, empirical audit. We  
9 really do need to know what's in use and what's  
10 not and where it is. According to the best  
11 available data which is not about a half-decade  
12 old, the National Science Foundation did pay for a  
13 study that was actually conducted by Shared  
14 Spectrum which I guess will be here on the next  
15 panel, and what they found is at the height of the  
16 RNC in New York City which was the most used space  
17 for spectrum, their one-time measurement there  
18 over the course of a weekend showed about 13.1  
19 percent of the spectrum being used. When I first  
20 learned that I was stunned. I'm sure when people  
21 hear like you're saying that the vast majority,  
22 the supermajority of spectrum in any given place

1 at any given point in time at any given frequency  
2 is most likely unused, that's a really depressing  
3 statistic. Until we get the data that we need to  
4 make informed policy about what's actually in use  
5 and what's not, we can argue until the cows come  
6 home about whether there is space or whether there  
7 isn't. But I'd much rather have our decisions  
8 being based on knowledge that we glean as to  
9 what's happening on the ground in these rural  
10 communities and everywhere today.

11 MR. KNAPP: Anybody else? This is a  
12 fair point? But is there anybody else on  
13 different expectations on coverage in rural areas  
14 that we might see out of LTE or WiMAX?

15 MR. ANDERSON: I would agree with the  
16 other panelists that in rural areas you don't have  
17 the congestion issues that you have in the urban  
18 areas, so clearly in the urban areas you have the  
19 congestion, you have the cry for more spectrum,  
20 you have the cell splitting, you have the  
21 interference, you have the focus of the technology  
22 to make very efficient use of it. In the rural

1 areas the problem I think is less spectrum and  
2 more the infrastructure available to actually  
3 reach the communities and households that are very  
4 far apart, and given the nature of radio  
5 transmission, it's just hard to reach those high  
6 data rates.

7 With technology, it's certainly  
8 improving, but as Kris said, even with 3G and 4G  
9 technologies, there's not much you can do when  
10 that signal to noise ratio is so low at the cell  
11 edge that you just don't have the theoretical room  
12 to send a lot of data regardless of the technology  
13 simply because you're at that limit that Scott  
14 spoke about earlier.

15 MR. KNAPP: Karl, did you have a  
16 question?

17 MR. NEBBIA: I wanted to ask with  
18 respect to the other spectrum users around the  
19 bands that you are currently working in, most of  
20 those spectrum users look nothing like you. They  
21 are radar systems, they are satellite navigation  
22 systems, they're passive systems, all these types

1 of things that have been designed to fit in the  
2 bands that they're in to convey a signal a certain  
3 distance, longer distances at shorter frequencies  
4 and so on. So there is some possibility as we go  
5 through this process of looking for more spectrum.  
6 Many of those people are going to say we need to  
7 be where we are, but we recognize the time issues  
8 that Sascha has been mentioning. From the  
9 standpoint of the approaches that you're looking  
10 to take, is there a possibility of sharing  
11 spectrum on a time basis whether through  
12 unlicensed activity or through a licensed approach  
13 that you have? Or making more decisions about  
14 using spectrum in certain areas and not using it  
15 in other areas so that you're making more  
16 geographic allocations of the spectrum that we  
17 have, because in many cases these folks may not be  
18 able to route themselves up, certainly not in the  
19 timeframe that you're looking for?

20 MS. RINNE: Spectral efficiency is  
21 impacted by interference, so one of the advantages  
22 that Barry has pointed out of licensed spectrum is



1 you know what you're competing against or where  
2 the interference is coming from and in a single  
3 managed network you know where that interference  
4 is. So complementary technologies, I'd point to  
5 the 5 megahertz WiFi where that's shared with  
6 radar where it's known. It doesn't move. It's  
7 fixed.

8 MR. NEBBIA: Sorry, that's not true.

9 MS. RINNE: Not as frequently I guess as  
10 mobile, I guess, Karl, would be my point. So when  
11 you know those things, it is possible to be  
12 efficient in terms of the reuse.

13 MR. WEST: I would argue that the  
14 cellular operators are probably the most efficient  
15 users of spectrum anywhere, and I think the nature  
16 of the question was we got all of these other  
17 inefficient users of spectrum, what can we do to  
18 either borrow or work with? I think the important  
19 thing there is you must know the rules. You've  
20 got to know that if I've got it from 9:00 to 5:00,  
21 I've got it from 9:00 to 5:00 and they're not  
22 using it if that is the nature of what you were

1 suggesting. Again, that could be an area where  
2 the FCC literally can help by making spectrum  
3 available particularly adjacent to the currently  
4 congested and heavily used bands. I think that's  
5 well worth looking at.

6           It's true that when you look at all the  
7 spectrum we've got, it always amazes that one Ser  
8 can carry so much more in terms of spectrum than  
9 the entire usable radio spectrum. It is somewhat  
10 of a crime almost that we aren't efficiently using  
11 the entire band everywhere, so I think that is  
12 again a study that should be done, how can we  
13 possibly open that up either through collective  
14 programs as you suggested or literally looking at  
15 the economics of shifting some of these smaller  
16 players. Maybe there's a way. We're looking at a  
17 stimulus package to try and grow this country's  
18 economy. Is there a way where some of that  
19 stimulus money could be used to reposition?  
20 People whose entire business plan require the  
21 status quo. They don't have the money. They  
22 don't make the kind of money to move themselves.

1 Maybe this is a way again where the government  
2 could look to doing something proactively in this  
3 particularly difficult time.

4 MR. KNAPP: Tom?

5 MR. ANDERSON: Another consideration  
6 particularly around innovative spectrum usage is  
7 the impact on the device ecosystem. We have  
8 situations today where operators in the U.S. own  
9 spectrum but they can't find devices to light it  
10 up and use it. We also have to be careful about  
11 finding some spectrum, but finding out that we  
12 can't get devices for that or devices that are  
13 usable by the public that the public wants use.  
14 So there has to be a balance between spectrum that  
15 we can find and clear or use in a sharing way, but  
16 also devices that can use that spectrum and  
17 getting an ecosystem for those devices.

18 MR. MEINRATH: To this I would add that  
19 the New America Foundation just recently released  
20 a White Paper looking at NTAA spectrum use and  
21 looking at how you might go about beginning the  
22 process at the very least of sharing spectrum in

1 ways that are mutually beneficial to both NTAA  
2 overseeing agencies, general public, private  
3 industry, et cetera. There are certainly a number  
4 of ways in which we could be shared use that have  
5 to date been politically unfeasible but it's a  
6 political problem much more than a technological  
7 one.

8 MR. WEST: If I could go back to the  
9 question about the economics of rural coverage. I  
10 do believe the fourth-generation OFDM technologies  
11 with their flat IP architecture does change the  
12 economics, but it doesn't fundamentally change the  
13 economics unless you can solve the backhaul  
14 issues. Again investment in fiber to every  
15 village in the country would be a good move  
16 because that could then enable wireless last mile  
17 solutions in those at an economic rate. And if  
18 you are putting the kind of money that every major  
19 operator puts in to building any kind of wireless  
20 network, it is not for the faint of heart and you  
21 have to look to where do you get the best return  
22 for that investment and inevitably it becomes the

1 urban areas. It's not that the suburban and rural  
2 areas are not attractive over the longer term,  
3 it's just a case of where you make your maximum  
4 return. So if you can solve the economic problem  
5 of backhaul because I think that is the major  
6 difference, then I think that rural will get  
7 coverage much faster. It's a bit like in the  
8 early days before electricity was universally  
9 available, the government just decided it was  
10 everybody's right to have it, and I think that  
11 something similar in terms of broadband access, it  
12 doesn't matter how it's provided, every American  
13 wherever they live should have that right.

14 MS. RINNE: But it needs to be focused  
15 on the go-forward technologies to your point.

16 MR. WEST: Yes. Absolutely.

17 MR. RINNE: Ethernet and fiber as  
18 opposed to TDM, T-1, T-3s.

19 MR. NEBBIA: May I just ask one related  
20 question? Is there some upper limit in terms of  
21 frequency? As Tom was saying earlier, is there a  
22 frequency limit that you think is realistic for

1 consideration for the next generation or next  
2 couple generations of broadband in terms of the  
3 spectrum? I know of the bills that are floating  
4 around that one is now at 10 gigahertz, the other  
5 one is down at 3-1/2. Is there some distinction  
6 there that's important for us to understand?

7 MR. WEST: I don't want to hog the  
8 thing, but I'm building a 3-1/2 gig network in  
9 Europe, a WiMAX network in Europe, and in my view  
10 it's viable for a mobile network. When you start  
11 getting above 5, that gets really challenging in  
12 any form of mobility. If you're looking at  
13 mobility as a prime state, then I think it's hard  
14 to get above 5 gigs, but in terms of the use of  
15 the higher spectrum maybe in terms of backhaul,  
16 that is very practical.

17 MR. MEINRATH: I would also add for  
18 networking, as you go higher in frequency you get  
19 more proximal in your coverage area. So if you're  
20 talking about what we can do for next-generation  
21 multimedia in the home or device connectivity and  
22 peer-to-peer networking, you actually want higher

1 frequencies because you want less distance and  
2 therefore less congestion in those frequencies.  
3 So it's higher is better at least to 10 gigahertz,  
4 but preferably more.

5 MS. RINNE: For the personal networks  
6 versus the macro networks you want those lower.

7 MR. MEINRATH: For the personal  
8 networks.

9 MR. ANDERSON: In combination you can  
10 think of network architectures where the macro  
11 network are covered by the lower frequencies  
12 because of the reach and the hot spots and the  
13 small cells can be targeted at higher frequencies  
14 which then certainly don't interfere with the  
15 macro network, so there's an advantage there, but  
16 also have that limited reach as you pointed out  
17 that can provide advantage. So having a variety  
18 of spectrum that you can use to your advantage,  
19 because one size does not fit all, one frequency  
20 pan (?) does not fit all, one technology does not  
21 fit all. But having the set of tools unencumbered  
22 that we can use to really build networks will

1 really help a lot in providing broadband to a  
2 larger audience.

3 MR. MEINRATH: And we're rapidly heading  
4 toward a period where radio technology advances to  
5 the point where your radio will automatically  
6 define what frequency it will use based on where  
7 you're trying to get to, like is it proximal, is  
8 it distal, like how much capacity you need to be  
9 pushing through the function on that device. This  
10 was science fiction 10 years ago and is rapidly  
11 become reality and will be here in the next 3 to 5  
12 years.

13 MR. NEWMAN: Let me be a little bit of a  
14 skeptic, or let me ask the question a different  
15 way. I was wearing an FCC hat, it was a decade  
16 ago, and Tom's predecessors were talking to us at  
17 Bell Labs. They showed us charts that said  
18 wireless capacity would be going up much faster  
19 than Moore's Law over the next 10 years. I felt  
20 pretty good. What happened in the last 10 years?  
21 Was it policy mistakes? Was it that the engineers  
22 couldn't deliver? I have lots of charts that show



1 the promises versus reality, but that's one of the  
2 more stark ones because you're saying that the new  
3 technology is almost here and I was hearing that  
4 10 years ago. What's different?

5 MR. MEINRATH: If you build an  
6 architecture that's hub and spoke, you inherently  
7 limit the capacity of that network in that  
8 frequency. It has to span that whole frequency.  
9 If you have a distributed network where you're  
10 only going specific hops, for example, if you're  
11 using routing protocols, optimized LIN state  
12 routing protocols, things that are proactive and  
13 reactive at the same time in setting up pathways  
14 for data flow, you can cram a whole lot more  
15 capacity into that same frequency covering that  
16 same area.

17 MR. NEWMAN: Companies like -- Networks  
18 were giving us that pitch 10 years ago also.

19 MS. RINNE: But I would point out if you  
20 look at what we've done in those 10 years in  
21 migration from analog to digital, that was about a  
22 threefold increase to TDMA or GSM, and then that

1 migration from GSM to UMTS, HSPA, is probably  
2 another fourfold increase in terms of being able  
3 to use the broader spectrum bands, broader  
4 carriers, et cetera, so an increase, all things  
5 being equal, but all things are not equal in terms  
6 of the growth in customers, growth in minutes,  
7 growth in bits going over that network. So within  
8 that 850 spectrum we've made significant progress  
9 in terms of the efficiency of getting that to  
10 HSPA, and then you've got to allow for some  
11 turnaround space before you can start introducing  
12 the next generation of technologies and that LTE  
13 technology, you have to have 10 megahertz of  
14 spectrum or more for it to match the spectral  
15 efficiency of the HSPA. So it's not as if you can  
16 just shut it down and introduce the new. It's the  
17 constant evolution.

18 MR. NEWMAN: Let me try to be more  
19 specific. The cellular industry has made  
20 excellent progress and I praise you all and I use  
21 you regulatory at about a 30 percent category I  
22 think performance improvement. I may not be quite

1 right on that price performance. What I'm asking  
2 is 10 years ago I was hearing about alternative  
3 technologies, MIMO, Blast, ad hoc networking, mesh  
4 networking, that within the next decade was going  
5 to be that disruptive huge change. Was that just  
6 10 years too early and we're now close to that or  
7 are there policy problems that are going to keep  
8 us from getting there or is that just promised pie  
9 in the sky?

10 MR. MEDIN: I think part of the issue is  
11 signal processing has gotten a lot better. To do  
12 some of these techniques in the real world takes a  
13 lot of horsepower in the base station and since  
14 the base station cost is actually not that large  
15 as a part of the total cost of building a system,  
16 you can afford to spend more money on base  
17 stations that have this kind of computational heft  
18 to go do that. But part of it also is we live in  
19 a world where we don't have a lot of shall I say  
20 entrepreneurial network construction in local  
21 areas. Everybody waits for the big standard.  
22 They wait for global harmonization. This adds

1 viscosity to the technology integration process,  
2 more so needed than just to shake the bugs out of  
3 the system. I think the really cool thing about  
4 these new kinds of radios with new kinds of  
5 broadband RF stages is that we can actually do  
6 evolution in smaller pieces at a time, that you  
7 will be able to download new parameters to devices  
8 and have them opportunistically use networks,  
9 networks that may not have existed when the device  
10 was built on spectrum that may not have been  
11 identified when that device was built. That's a  
12 very different way of thinking than the way the  
13 world works today, but I think the devices are  
14 getting more and more powerful and we will see  
15 that kind of model happen.

16 MR. WEST: You are in a Catch-22  
17 position though. In order for a more complex  
18 device, more complex chip set, to be affordable,  
19 you have to have high volume. To have high volume  
20 you have to have the networks there. So you're  
21 kind of in a Catch-22. You end up with very  
22 expensive small-volume processes versus less-agile

1 but much cheaper broad standard-based things. So  
2 I think over time what happens is if the business  
3 model is there then the technical solution is  
4 there and will follow, but I don't think you can  
5 lead with a technology solution.

6 MR. MEDIN: I agree with that, but I  
7 would say if you're building a radio that talks to  
8 six bands or seven bands, at some point going to  
9 the more agile broadband RF stages becomes more  
10 cost-effective than adding yet another band of  
11 discrete RF devices.

12 MR. WEST: Yes, but if you're looking to  
13 get a chip set into a camera which is selling at  
14 \$100 and it adds 50 cents to the bill of  
15 materials, I tell you the consumer electronics  
16 industry just won't put it in there unless they  
17 can see a return. But what I think will happen is  
18 that you're going to see the standards and I do  
19 believe it's going to be the OFDM standards and I  
20 think WiMAX is going to have a huge role in this  
21 and obviously LTE is going to be in there too.  
22 You're going to see the volume growth in that and

1       then we're going to face the spectral limitations  
2       and then you're going to say we've got business  
3       cases and we've got revenue streams that support  
4       it, we can move to other technology solutions  
5       then. I don't personally believe you can  
6       implement a technology solution unless you can  
7       find a route to getting high volume.

8               MR. KNAPP: We've been getting great  
9       questions both by email and the audience. I want  
10       to make sure we get some of these in. One  
11       question was, Suppose a world where the technology  
12       allows the use of multiple bands of spectrum,  
13       licensed, unlicensed, low frequency, high  
14       frequency. How do the FCC rules and the carrier's  
15       business models need to change to take full  
16       advantage of that? The way I think about it is  
17       when we started out it was analog cellular, we had  
18       one band and then we went to PCS where we had dual  
19       band, then we worked our way up to quad, and now  
20       the technology is such that we're seeing what I  
21       described as a lot of the handsets are like Swiss  
22       Army knives, they have WiFi, Bluetooth, near

1 field.

2 MS. RINNE: AGPS.

3 MR. KNAPP: You name it. It's amazing  
4 how much is being packed in there. How does that  
5 change the dynamic as we think about spectrum?  
6 Instead of one band now I've got this array of  
7 bands in a smart device. How does that affect  
8 things?

9 MR. RINNE: Getting back to Barry's  
10 point about you've got to see your way to global  
11 volumes or high volumes, there is a role where the  
12 spectrum if it's not aligned from a global  
13 standpoint, that impacts those overall volumes.  
14 So if you look at the devices we sell today, they  
15 have five different bands in them. Three of those  
16 are so that you could do global roaming. Two of  
17 them are what would be required for domestic use  
18 because they aren't aligned around the globe. As  
19 we introduce LTE capabilities, the way we have set  
20 up the 700 and the AWS, that's going to require  
21 slightly different bands than we may see in other  
22 parts of the globe, so that does have an impact in

1 terms of those costs. Now we can still see our  
2 way to global volume because of the underlying  
3 technology, but it does impact that.

4 MR. MEINRATH: I'd be very interested to  
5 hear how the business models of the rest of my  
6 co-panelists here would be affected if this were a  
7 reality and they couldn't lock down the network  
8 edge to edge so that you could actually roam to  
9 the network of your choice. The potential is  
10 there to radically shift the locus of control to  
11 end users, to customers, to those of us paying our  
12 monthly bills to be able to make the most effect,  
13 efficient use of my money and swap into different  
14 networks and use different technologies. But what  
15 we've seen certainly in the United States is  
16 really a locking down of those end-user devices to  
17 prevent that from happening and to ensure that the  
18 functionality is a relatively limited subset of  
19 what these devices are capable of delivering.

20 MR. WEST: I would say that certainly  
21 isn't the case in the world of WiMAX, and I don't  
22 think it will be the case in the world of LTE



1       either because WiFi will be a natural partner in  
2       that. Chip sets are coming out now which are  
3       WiMAX and WiFi and when you're in a WiMAX area  
4       when log on to the WiMAX network, when you're in a  
5       WiFi area you log on to that, so that there is  
6       customer choice. I agree that we should keep an  
7       open IP stack. The real success of the Internet  
8       has been its openness, but I don't think you're  
9       seeing any of the carriers now in the traditional  
10      view of the lockdown. We obviously have to make a  
11      return for the investments that we've put in  
12      there, but in terms of allowing different modality  
13      in the devices, you're seeing it with Bluetooth,  
14      you're seeing it with WiFi and you're seeing it in  
15      all of the standards now. So I think that's kind  
16      of a stick we've been beaten with for a long time  
17      and I don't think it's relevant anymore.

18                   MR. KNAPP: So then if that's true, then  
19      everyone on the panel is against handset  
20      exclusivity?

21                   MR. WEST: Against handset exclusivity?  
22      Deals are made all the time. They made a great

1 deal, but I wish it would have been my company.

2 MR. KNAPP: This is my spot to get in  
3 another question that we had. It's essentially  
4 the same question, What effect does monopoly of  
5 devices, and you fill in the blank, have on the  
6 usage of mobile devices for accessing the Internet  
7 effectively? So it's more or less building on the  
8 same point. Are there any responses to that?

9 MS. RINNE: There is a significant cost  
10 that goes into subsidizing of the device to make  
11 it affordable to a customer. Also there are a lot  
12 of exclusive deals that don't go well, and there  
13 are some exclusive deals that go well, and you  
14 take the risks in both of those and you invest in  
15 advertising and building out capacity of networks  
16 and building new functionality of the networks to  
17 utilize that capability and you take that risk.  
18 When it fails, no one challenges it. When it  
19 succeeds, you ought to have the opportunity to  
20 enjoy that.

21 MR. WEST: By the way, you can use the  
22 Apple iPhone on the Clearwire network. Did you

1 know that?

2 MR. KNAPP: I didn't say what X was.

3 MR. ANDERSON: Just one more point to  
4 that. When you look at the growth in data on  
5 mobile networks from mobile devices, it is highly  
6 dependent on the capability for that mobile device  
7 to actually provide some value that would cause  
8 the customer to use the data. We've all got  
9 phones that we own or have had that just actually  
10 could data, but we can't use them that way because  
11 the phone is such that you can't practically  
12 browse the Net with it or whatever. So having  
13 that device ecosystem and having devices, and  
14 sometimes it may take partnerships and deals in  
15 order to pull that ecosystem together, but I think  
16 that jumpstarts the whole industry to be where we  
17 want to be and that is a place where we have the  
18 devices ubiquitously that you can really drive the  
19 data growth and provide real value.

20 MS. RINNE: And it's stimulated  
21 innovation too.

22 MR. ANDERSON: That's right. Exactly.

1 MR. KNAPP: Rashmi?

2 MR. DOSHI: I have a question. I guess  
3 it's related going back to femtocells and others,  
4 but nobody really talked about fixed mobile  
5 convergence or the devices that are converging  
6 between the two. Do panelists think there is  
7 going to be an impact or is it just a fad?

8 MR. ANDERSON: Fixed mobile convergence,  
9 we did talk about the use of WiFi and macro  
10 technologies in the same device and I think that  
11 leads to what some might interpret as fixed mobile  
12 conversion. So I do think we talked about it.

13 MR. DOSHI: What does it do to the  
14 technology evolution in terms of network  
15 architecture? There's a lot of talk going on at  
16 UMA for example as an alternative. I'm just  
17 trying to understand what does it do to the  
18 network because it goes to some of the device  
19 communications, it goes to the femtocell. If I'm  
20 using local spectrum essentially in most cases  
21 unlicensed to talk and using that to backhaul,  
22 essentially the user is paying for the backhaul

1 cost in that particular case. Now you've shifted  
2 some of the traffic off the cellular backhaul  
3 network to a fixed network. How does that evolve  
4 as we go along? We talked about the ability to  
5 use different spectra, but I didn't see any real  
6 model in terms of saying that's what's going to  
7 happen longer term.

8 MR. ANDERSON: At least for me I think  
9 that was implied because all of the 4G  
10 technologies are really data technologies. They  
11 don't have a circuit voice technology built into  
12 it. So when you look a voice services, you  
13 mentioned voice, it's going to be VoIP over that  
14 data technology and you're to use VoIP over the  
15 WiFi network if you're connected to WiFi and  
16 you're going to see that fixed mobile convergence  
17 but it happens not because you're force fitting  
18 UMA or circuit technology into wire line, but  
19 because you've finally got a technology, a layered  
20 network where you've got data technology for  
21 transport, you've got servers and control  
22 mechanisms in the network say VoIP or whatever on

1 packets on IP and devices that could move across  
2 networks more seamlessly to handle that. So I  
3 think that mixed mobile convergence happens more  
4 as a natural consequence of effectively using the  
5 spectrum you have rather than being forced in a  
6 way that you know you're actually doing fixed  
7 mobile convergence.

8 MR. WEST: I'd like to point out that  
9 people will install their own WiFi access nodes.  
10 You go down to Best Buy and you can buy one for  
11 under \$100, take it home and plug it in and it  
12 works. It's fantastic. So effectively they build  
13 out coverage in the building. If that were such a  
14 great solution on its own, we wouldn't have mobile  
15 broadband networks. It clearly isn't. So you  
16 need this combination of the two, but in IP,  
17 they're both native IP basically, it just happens.  
18 So I think that this actually is really good for  
19 both the operator and the customer because the  
20 device is relatively cheap, it's mine, I own it.  
21 I don't really think about I'm paying for my  
22 backhaul at home, that's true, and I'm also paying

1 for my mobility, and I'm getting value out of  
2 both. From a technology viewpoint, I think it's a  
3 great pairing because you don't have to blast in  
4 from the outside, you keep the interference levels  
5 down, and I think it's just naturally going to  
6 happen more and more. Cellular networks of all  
7 types, either data or voice, are really geared  
8 around what I call the ground plain. It's very  
9 difficult to do high buildings. We've all done  
10 tilting of antennas up. It's not effective, so  
11 you end up putting internal building DAS systems  
12 in place which are very expensive. So I actually  
13 think this femtocell solution where people are  
14 buying their own access point is just manna from  
15 heaven.

16 MR. DOSHI: I know we're running out of  
17 time, but just a question related to that and  
18 probably directed to Scott who said that  
19 unlicensed doesn't work. In fact, what you just  
20 painted is a potential picture where unlicensed  
21 works in the local environment and has a potential  
22 to do that. Aren't we seeing this as a

1 complementary approach in technologies and how can  
2 we build on that given that the spectrum is  
3 scarce? What are things that we ought to be doing  
4 to help that as a policy matter?

5 MR. CORSON: I think you misunderstood  
6 what I said. I said unlicensed doesn't work as a  
7 mobile broadband solution.

8 MR. ANDERSSON: If you only use it on  
9 the wireless access it's unlicensed, of course,  
10 then your access is total capacity.

11 MR. DOSHI: We are exploring the issue  
12 that spectrum is scarce and the real bottom-line  
13 question is how do we structure spectra that can  
14 be better used between unlicensed and licensed?  
15 The examples we've been throwing around with WiFi  
16 and WiMAX or WiFi and 4G working cooperatively,  
17 the real question is how can you do more of that?

18 MS. RINNE: I don't know that it's a  
19 regulatory issue. I just speak for AT&T alone  
20 that has 20,000 WiFi hot spots and many of the  
21 smart devices we're introducing have both 3G  
22 capabilities and WiFi capability and an automatic



1 client that takes advantage of a WiFi hot spot  
2 when you're within coverage.

3 MR. NEWMAN: Let me ask all of you as a  
4 homework assignment, come back to us with how do  
5 we get more spectrum. Scott would also be  
6 interested and the peer-to-peer is pretty  
7 exciting. What does that require for us to think  
8 about from a policy standpoint?

9 MR. KNAPP: There are just a couple of  
10 things I wanted to try to get in with the  
11 remaining zero seconds left. We talked a little  
12 bit about how high on the spectrum we could go.  
13 What about on the low end? Is there any floor  
14 below which it doesn't become suitable?

15 MR. MEINRATH: When the antennas get  
16 very large.

17 MR. KNAPP: So translated to frequency.

18 MR. ANDERSON: I think you've got 850,  
19 you've got 700, and I can't pick specifically, but  
20 as you start going lower than that, the antennas  
21 get large but also the propagation distance is  
22 such that it becomes counterproductive because you

1 have too much interference. The cell radius is so  
2 large that with the amount of spectrum you have  
3 you're not providing much data at all. I think  
4 that tends to be the tradeoff.

5 MR. MEINRATH: I did want to correct one  
6 thing which is it's not that unlicensed doesn't  
7 work. It's that at that power level at that  
8 frequency it's very difficult to make a mobile  
9 broadband network. I would say that if you were  
10 to put those same constraints on licensed, we'd  
11 all be saying licensed doesn't work. The reality  
12 is these two types, and many more actually  
13 regimes, light licensing, et cetera, have to  
14 coexist in a very dynamic ecosystem to meet all  
15 the user needs that we're seeing coming out of  
16 this digital era.

17 MR. KNAPP: I can't close without coming  
18 back to Kris. You mentioned SDR. I thought you  
19 said early on that you were using it.

20 MS. RINNE: On the infrastructure side.

21 MR. KNAPP: On the base station side?

22 MS. RINNE: Yes.

1 MR. KNAPP: How is it being used?

2 MS. RINNE: So that you've got a single  
3 component that would be able to be set up to be  
4 either the 1900 or the 850 or an HSPA or  
5 ultimately an LTE capability.

6 MR. ANDERSSON: And you are seeing  
7 photos coming out on the network side where you  
8 have a radio base station with a radio that can  
9 run as DSM, might have been CDMA or LTE. So you  
10 have no flexibility. The problem is the spectrum  
11 still with one spectrum.

12 MR. KNAPP: This is a nice setup for a  
13 segue into our next panel. I want to thank all of  
14 you. It was a terrific discussion. Don't  
15 disappear. We may be back with some follow-up  
16 questions for you all. I'd like to give them all  
17 a big hand. We'll resume at 10 after 3:00, a 10-  
18 minute break.

19 (Recess)

20 MR. KNAPP: If everyone would take their  
21 seats. Good afternoon. For those of you who have  
22 been here all day, this is the final session of

1 the day. We've had terrific panels. It's been a  
2 long day. This gives new definition to the last  
3 mile. But I think we all have enough good energy  
4 to finish with a flourish.

5 This last panel of the day is going to  
6 focus on rural broadband. Some of these  
7 technologies, by the way, happen to work great in  
8 urban areas as well. I will repeat for the whole  
9 who are watching online, they can send in  
10 questions by Webx. We'll have cards here with  
11 Walter Johnson for questions from in the room. Or  
12 we are taking questions by email to  
13 fcc-events@fcc.gov. I know all the cell phones  
14 are already off for the day. With that, we'll  
15 just move through the speakers. We've got a  
16 5-minute clock here and I'll ask you to stay  
17 within that timeframe, and then we'll follow that  
18 up as we have the rest of the day with questions  
19 and discussion. With that, Mark, if you can lead  
20 off.

21 MR. DANKBERG: I'd like to talk about  
22 satellites in the role of delivering broadband to

1 the home. We at ViaSat are very sensitive to the  
2 notion that satellite is perceived as a broadband  
3 service of last resort. It's the thing that you  
4 get if you can't get anything else. We have been  
5 an equipment manufacturer for a long time and what  
6 we're looking at is trying to understand why that  
7 is the case and whether that can be changed. Is  
8 satellite service considered a last resort because  
9 it comes over a satellite or is there something  
10 about it? Can you measure something that you can  
11 improve and make satellite actually a good choice  
12 for broadband?

13 So what we started from is what do  
14 people want from broadband? This first slide has  
15 a couple of important points. The left-hand chart  
16 is a little bit hard to read, but you can look  
17 this up yourself. It's from a Consumer  
18 Electronics Association survey of what do people  
19 want when they switch from dialup to broadband,  
20 and by extension when they go from one level of  
21 broadband to a higher level of broadband. This is  
22 not a surprise. What you see at the top of the

1 list, what they've done is sort it by what would  
2 you do if you had broadband versus what you do  
3 now, the top choices all have to do with media and  
4 video. What people want broadband for is not, and  
5 this is just customers, what they want it for is  
6 not to get necessarily faster Web browsing. As a  
7 matter of fact, Web browsing becomes lower on the  
8 list. It's not to get VoIP although a bundle is  
9 nice. It's really I want more media and video.

10 This next chart is data from Cisco about  
11 Internet backbone usage and that makes two very,  
12 very important points. One is you can see the  
13 amount of usage on the Internet is growing very,  
14 very fast. That's due to two factors. One is  
15 obviously more people are connected to the  
16 Internet. But the other factor is the amount of  
17 usage of those already connected. What you can  
18 see from that which is completely consistent with  
19 the first one is that people are using it for  
20 media and video and that Web browsing and things  
21 that people normally associate with fast Web,  
22 VoIP, even gaming becomes a small fraction of the

1 total volume. The other thing is the way they  
2 measure Internet usage is not in speed or we're  
3 running out of speed. What happens is it's  
4 volume. What you measure are terabytes, exabytes,  
5 even zettabytes of traffic and that the measure of  
6 the Internet infrastructure is its ability to  
7 deliver high volumes of traffic.

8 On the next slide what I want to do is  
9 put that in the satellite context. The issue with  
10 satellite in this context is not that it can't  
11 delivery speed, it's that it doesn't have the  
12 bandwidth to deliver volume. You need a lot of  
13 throughput. So what we've looked at, and we at  
14 ViaSat are launching a satellite, but there are  
15 others who are doing this as well, is very high  
16 capacity satellites. This is we think  
17 unprecedented in wireless. We're getting an order  
18 of magnitude improvement in throughput, about 100  
19 gigabits per second from a single satellite. So  
20 one of the things we've tried to show how big of a  
21 change this is is to show all other satellite  
22 capacity over the United States and compare that

1 to the throughput of one satellite. This has  
2 enormous implications for the capital efficiency  
3 of satellite.

4           When you think about the economics, the  
5 way you make money in satellite is having  
6 subscribers, so that next chart on the upper right  
7 shows if I give these many gigabytes per month in  
8 the busy hours to subscribers, how many  
9 subscribers can I have with the given satellite?  
10 What you'll see is that blue line shows now we can  
11 support on the order of millions of subscribers  
12 even delivering multiple gigabytes of capacity per  
13 month. We think that's a game changer. Then when  
14 you look at the lower left-hand corner, and what  
15 that shows is our estimate of how much volume  
16 people use naturally over the Internet. These are  
17 median volumes and it's over all sources of  
18 broadband in the United States. It's really  
19 driven by cable and DSL and it shows that on the  
20 average in the busy hours, traffic has grown from  
21 about 2 gigabytes up until 4, 5 or 6 gigabytes a  
22 month range. Satellite has only been able to



1 provide a fraction of that. With these very high  
2 capacity satellites we think we'll be able to  
3 deliver those volumes cost- effectively. Then  
4 that last chart just shows how you translate that  
5 into general population. Everybody knows that a  
6 small fraction of users use a very high fraction  
7 of the bandwidth, and so what this will let us do  
8 is serve those numbers of users that use small  
9 amounts of volume but deliver a cable quality  
10 experience for the things that people want. One  
11 of the things that we'd like to get to is some  
12 discussion about what are the standards for  
13 delivering broadband service? Let's not just talk  
14 about speed, let's talk about volume and gigabytes  
15 and then how capital efficient is that. What we  
16 think we can do to sum up is that the industry can  
17 make satellite be very, very cost- effective on a  
18 total capital cost per volume of bandwidth  
19 delivered. Thank you.

20 MR. KNAPP: Thank you, Mark. Jim?

21 MR. O'CONNOR: Good afternoon. My name  
22 is Jim O'Connor. I'm the Director for CPE

1 Planning and Engineering with Open Range  
2 Communications located in Denver. I'd like to  
3 give a little background on Open Range. We're not  
4 quite the household name yet. We closed on our  
5 first round of funding earlier this year, \$367  
6 million, comprised of private equity from one  
7 equity partner and also a loan from the Department  
8 of Agriculture Rural Utilities Service Program  
9 which is designed to provide funding for broadband  
10 deployments in underserved and unserved rural  
11 markets. Our goal is to complete coverage to over  
12 500 markets in 17 states over the next 5 years.  
13 That's the term of our loan. It's an aggressive  
14 plan. We're in our initial site selection and  
15 construction right now. We'll start with  
16 commercial service later this year. We're  
17 deploying in licensed spectrum and we'll have some  
18 comments about that later. I know there were some  
19 very good comments in the previous panel about  
20 licensed versus unlicensed. We're deploying with  
21 WiMAX technology. Our device is a self-installed  
22 indoor CPE that supports both voice and data.

1                   We wanted to answer some of the  
2                   questions that the FCC was asking about. Some of  
3                   the deployment challenges, and this has been  
4                   mentioned earlier, but site acquisition in the  
5                   rural markets is not easier or faster than in the  
6                   urban areas as you might think. The approval  
7                   cycle of zoning and planning commissions can  
8                   actually be quite long if they're only meeting on  
9                   a monthly basis and you have to go through several  
10                  design iterations or several site selections. In  
11                  fact, some of these municipalities even though  
12                  being quite small already have considered or  
13                  implemented height restrictions or in some cases  
14                  even prohibitions on sites. It's not immediately  
15                  apparent to a lot of lay people why the cell site  
16                  out by the highway a couple miles away from town  
17                  that might be providing great 2G digital cellular  
18                  service in town isn't an ideal site for broadband  
19                  service in town where you're trying to serve  
20                  everybody with the highest rates possible.

21                                Another factor is that access to the  
22                                backhaul networks, the IP networks that we need to

1 reach our core network are often practical only  
2 with several hops of microwave radio. So even  
3 though we typically cluster several small markets  
4 into one market, backhauling that market to the  
5 fiber typically involves a quite large pipe, 100  
6 megabits or greater and distances of tens of  
7 miles. So that's an issue that we have to deal  
8 with in designing our networks is getting to the  
9 backhaul.

10 The indoor CPE with self-install is a  
11 requirement for mass marketing of this to  
12 customers. It allows phone orders and Internet  
13 portal orders. The products themselves have to be  
14 simple to install and easy to use. The customers  
15 can take it out of the box, plug it in, go through  
16 an ordering process if they haven't already  
17 punched in that information on the Website and be  
18 up and running within a couple minutes. I think  
19 the experience of the industry from the early part  
20 of this decade with deployments of the 25 band is  
21 that truck rolls really are not scalable and  
22 they're too expensive. So we've decided and I

1 think it's conventional wisdom that you've got to  
2 have a self-install CPE in order for the economics  
3 to work.

4           Lastly, the CPE performance and cost,  
5 functionality and reliability just be superior.  
6 Typically there is no store nearby where these  
7 devices could be returned to if there is a  
8 problem, and obviously we don't want to load up  
9 the customer care network with phone calls. So  
10 we've taken a lot of care in making sure that the  
11 device is easy to use and will be reliable.

12           On some of the technology questions the  
13 FCC was asking for, a lot of these have come up in  
14 the previous panels, so I think we have a like  
15 mind even though we're focusing on rural markets.  
16 We see the licensed spectrum as being required to  
17 provide the contracted quality of service to the  
18 customer and that typically involves commitments  
19 on uplink and downlink bit rate, possibly jitter  
20 latency. And also to ensure that the operating  
21 environment is under our control. We do think  
22 though that the unlicensed spectrum with suitable

1 power limits could be useful, but we haven't  
2 identified any bands yet that have the  
3 characteristics that we could use for primary  
4 coverage from the tower site to the customer.

5 MR. NEWMAN: Is the 3650 statement a  
6 statement about regime or frequency?

7 MR. O'CONNOR: I think it's a little of  
8 both, but I think the power limits are the primary  
9 detriment to 3650. The CPE cost is a primary  
10 factor in the practicality of integrating  
11 additional bands or air interfaces into one  
12 device. The Swiss Army knife would be great, but  
13 currently every additional band you add is at  
14 least another filter or two. With receive  
15 diversity you've got two chains so you've got  
16 additional filters. Over time we expect that  
17 technology advances are going to make it easier to  
18 integrate additional bands and technology, but  
19 right now because cost is such a driving factor,  
20 it's not practical to speculate on additional  
21 bands you might use and integrate them into your  
22 device ahead of time.

1           On the unlicensed spectrum we do see  
2           that as being useful for in-home distribution of  
3           the broadband pipe that we provide with our  
4           licensed service. As I mentioned earlier, WiMAX  
5           is our technology and we see it as being very  
6           suitable for rural deployments. The WiMAX cost  
7           curve and technology roadmap are going to benefit  
8           from the widespread deployments worldwide that we  
9           see going on right now and the large ecosystem of  
10          multiple tier 1 vendors and other vendors who are  
11          eager to supply equipment for this market.  
12          Lastly, the backhaul networks really could make  
13          use of additional spectrum especially below 10  
14          gigahertz for connecting the sites to the fiber  
15          facilities. Thank you.

16                 MR. KNAPP: Thank you, Jim. Richard?

17                 MR. KEITH: Thank you, Mr. Knapp. Jim,  
18                 good presentation. My name is Richard Keith. I'm  
19                 Global Director of Strategy for WiMAX and LTE for  
20                 Motorola. Part of my job at Motorola is to  
21                 provide means by which to commercialize new and  
22                 exciting technologies like WiMAX and LTE. Earlier

1 in a previous session we heard from Barry West of  
2 Clearwire stating that the business model is what  
3 makes all things come true. So what we've decided  
4 today to do for you is to share with you the  
5 results of some 400 business cases we've done for  
6 actual customers, the results of linked budget and  
7 other analysis we've done across some 30 plus  
8 commercial installations of WiMAX utilizing OFDM  
9 technology, and also introducing LTE into that.

10 First of all, we wanted to focus on the  
11 voice of the customer, Motorola's customers, the  
12 carriers, the deliverers of technology who have  
13 been telling us this is what they need to be  
14 commercially successful. For one thing, it's  
15 challenging for them in some aspects in rural in  
16 the sense that some of the existing carriers for  
17 example have a world centered around mobility.  
18 The idea of serving a fixed customer is often for  
19 them to do. The model is slightly different. The  
20 way that you deploy is completely different, even  
21 the back office equipment. Second, the user  
22 experience and expectation that they get from



1 their customer case is largely based on DSL-like  
2 speeds. So we've been talking heavily up here in  
3 previous sessions about enormous amounts of speeds  
4 being required, but in most of our cases we're not  
5 seeing that much of a demand. We're seeing that a  
6 meg on the downlink is quite sufficient in some  
7 cases to get launched with new technology.

8           Some other aspects shown here about  
9 spectrum flexibility is a key aspect of that as  
10 well, not only, reusing what you could call urban  
11 spectrum in rural applications that's  
12 underutilized potentially. These two aspects have  
13 a lot to do with the new OFDM technologies which  
14 have the ability to adjust their bandwidth  
15 dynamically to whatever spectrum is available, and  
16 that's going to be a huge advantage going forward  
17 as well.

18           Then we take a look at some of the key  
19 drivers that affect our business model. Clearly  
20 the place to look is directly at the device load  
21 because in the end as we've been designing these  
22 networks, the uplink limitation of the physical

1 device structure seems to be what's creating  
2 coverage in throughput, and I'm sure that Jim will  
3 agree that that has a lot to do with his  
4 in-building coverage of CPE and WiMAX as well.  
5 We've found similar results globally. So what you  
6 find is that most mobile networks you see today  
7 especially in light urban environments might have  
8 a radii of 700 meters, and we'll call that base  
9 one for the purposes of this slide. When you move  
10 all the way to the right what we find out for the  
11 specifics of this panel in a rural deployment  
12 model, the outdoor CPE which delivers about 500  
13 milowats, a virtually unlimited power supply and  
14 near line-of-sight capability in contrast to line  
15 of sight, actually delivers potentially 75 times  
16 the physical area coverage that the same physical  
17 access point would provide when deployed in a  
18 mobile aspect. So imagine the difference of  
19 coverage you get and you're trying to monetize the  
20 physical asset or the deployment of the site, the  
21 cost of the site, Barry was right, 17 to 20  
22 percent is typically the cost of the base site,

1 but it's all about backhaul, you have fewer sites,  
2 lower OPEX. It may be that we have to take a look  
3 at rural and examine the opportunity that both  
4 hybrid system of fixed indoor CPEs as well as  
5 fixed outdoor CPEs can supply.

6 I'll very quickly take you over what we  
7 did in actual deployments for a customer in  
8 France. We took a look of the physical topology  
9 of about 67 million subs in France. That covers  
10 about 560,000 square kilometers. What you find is  
11 if you take a look at the chart and if you look  
12 beyond the words you'll notice that in the top 80  
13 percent of the cumulative area, nearly 75 percent  
14 of the population -- 20 percent -- nearly 75  
15 percent of the population live and naturally  
16 that's the place where our existing mobile  
17 networks are deployed. That 80 percent of the  
18 physical area available for coverage, hitting  
19 about 25 percent of that particular subscriber  
20 base. So then what we did was we overlaid that  
21 with some of the characteristics and link budgets  
22 that we've recently uncovered on several of our

1 models, and this is what we discovered. We took a  
2 look at two different aspects, indoor versus  
3 outdoor, and then the comparison of what you might  
4 call premium spectrum which would cost our  
5 carriers a lot more at 700 or 800 megahertz, all  
6 the way up to the impact on the business model of  
7 2.5 or 2.6 gigahertz and how does that vary. The  
8 results of that analysis come back that not  
9 surprisingly, when it comes to indoor building  
10 coverage the 2.6 gigahertz is encumbered by the  
11 fact that you may have a 15 or 18 dB path loss  
12 going through a building wall, the standard wood  
13 residence. That has a dramatic impact on the fact  
14 that 800 does that a lot better, no doubt about  
15 it. But here was the surprising aspect. When you  
16 applied outdoor CPEs we found out that those CPEs  
17 could actually travel in some cases in the  
18 coverage of a single site of somewhere between 400  
19 to 600 square kilometers depending on the physical  
20 topology of the ground. In France, that's a lot  
21 of coverage area for a single-deployed monetized  
22 asset. You're hitting a lot of subscribers. So

1 the bottom line or the summary conclusion is how  
2 many subscribers does it take to close a business  
3 model for this particular customer given a 20  
4 percent uptake at about 1 meg downlink and about  
5 256 K uplink? This customer, if you take a look  
6 at the green letters, we noticed that for the  
7 indoor 2.6 you need at least a pop density of 500  
8 people per square kilometer. If you move down and  
9 are fortunate enough to get 800 megahertz, 100  
10 people per square kilometer can close your  
11 business case on a break even. If you move to  
12 outdoor or do a potential hybrid solution of a  
13 combination of the indoor and outdoor, what you'll  
14 find out is that you can actually take it all down  
15 to as few as 15 people per square kilometer  
16 because that's the size of the cells, they're so  
17 enormous that you can find a way to monetize the  
18 asset, and anything to the right of that would be  
19 increasingly profitable on return of investment.  
20 So at the end of the day we walked away with the  
21 summary conclusion that there are ways to serve  
22 what these customers of ours believe is physical

1 access to customers of a 1 megabit downlink, and  
2 you can do it profitably to a greater percentage.  
3 It's just the question of using the technology we  
4 see coming already today as well as we see coming  
5 tomorrow to move into rural broadband. Thank you  
6 very much.

7 MR. KNAPP: Thank you, Richard. That's  
8 fascinating. Vanu?

9 MR. BOSE: Thank you, Julius. I don't  
10 have any slides, but I want to talk a little bit  
11 about rural because we're a software radio company  
12 and we've focused our technology on solving rural  
13 connectivity problems. Fundamentally the rural  
14 coverage problem whether it's voice or data is  
15 just an economic problem. You're covering fewer  
16 people, you're generating less revenue and  
17 eventually at some point it doesn't cover the OPEX  
18 of the site, the backhaul and the maintenance.

19 To put that in perspective, if you look  
20 at the four major nationwide carriers in the U.S.,  
21 on average 80 percent of their revenue comes from  
22 30 percent of their cell sets. Those are the ones

1 in Manhattan and Boston and Miami. The flip side  
2 of that is the bottom 15 percent of their cell  
3 sets which are the least productive, the rural  
4 ones, generate only 10 percent of their revenue.  
5 So those are marginal. Most of them have about 20  
6 percent of their cell sites which are flat out  
7 unprofitable. They don't generate enough to cover  
8 the OPEX. So asking them to extend that model  
9 deeper into rural to improve our coverage just  
10 doesn't work.

11 Where technology comes in is technology  
12 has to transform the economics of rural coverage.  
13 But I want to be careful with what I mean by  
14 technology here. If we end up with a solution  
15 that says for rural we need different frequency  
16 bands or different standards or different  
17 handsets, it's dead on arrival because rural can't  
18 generate enough volume to sustain its own  
19 ecosystem. It's got to ride on the GSM, CDMA,  
20 Wi-MAX handset base and frequency base.  
21 Technology has to provide a way of delivering it  
22 more efficiently.

1           Even today but certainly in the past  
2           there just hasn't been enough volume and business  
3           there for it to focus a lot of technology on the  
4           rural problem. The good news there is that the  
5           majority of growth in cellular and data networks  
6           in the world is in developing countries. The next  
7           billion subscribers are going to be in developing  
8           countries which have significantly large rural  
9           populations. In India, for example, of its 1.1  
10          billion people, 70 percent of them live in rural  
11          conditions. So there is a lot of energy now being  
12          focused on solving those problems and we're  
13          actually focused there and see the solutions that  
14          we develop there being able to come back and  
15          provide cost- effective voice and broadband  
16          solutions in the U.S.

17                 I'll just give you a couple of examples  
18                 of some of the things that we're doing. The  
19                 challenge in India is the average revenue per user  
20                 is about \$6 now compared to \$51 in the U.S., and  
21                 that's in the urban areas. In the rural areas  
22                 it's \$2 to \$3. But these guys make money, they



1 are very profitable, because they have looked hard  
2 at doing things differently. So on the last panel  
3 backhaul was mentioned many times as the biggest  
4 single cost. Airtel which is the largest and most  
5 profitable operator in India looked at that and  
6 they went out and built their own microwave  
7 backhaul and crushed that cost. Europe is doing a  
8 lot of microwave backhaul. We don't do a lot of  
9 here in the U.S. And if you look at a lot of  
10 rural cellular networks, they are still connected  
11 by T-1s which somebody mentioned was the most  
12 expensive technology per bit, and I think I agree  
13 with that. They crushed the backhaul cost and  
14 their biggest OPEX now is power. So one of the  
15 things we're doing, we have what's called a  
16 SuperPicocell. It's a very small GSM base station  
17 we're deploying in Nepal. The radio is 25 watts.  
18 It runs off of solar. It has an IP backhaul  
19 that's been tested with cable modem, satellite,  
20 Wi-MAX, microwave and fiber. You can plug it into  
21 anything to get a cheap backhaul, whatever is  
22 available.

1           Finally, the most significant difference  
2           which is really going to transform the economics  
3           is something that I think you heard from the major  
4           carriers yesterday that they're not ready to do  
5           here. It's network sharing. Fundamentally once  
6           you get to the point where your economics are  
7           marginal, everyone can't afford to build their own  
8           network. If you build one shared network you have  
9           the cost of one network supported by the revenue  
10          stream of multiple networks and it can be made  
11          profitable. A little bit in Europe, in Ireland  
12          and Spain and also Australia there are some  
13          examples of 3G sharing. The operators were very  
14          reluctant. They had to do it there because the  
15          economics for them were so bad they were forced  
16          into these very unpleasant sharing agreements.  
17          India is a little different. As they look out to  
18          rural they acknowledge as the CTO of one of the  
19          operators told me that there is no way we can all  
20          build our own networks and make any money. We're  
21          going to have to share. Fundamentally in the past  
22          building your own network caused you to use some

1 of your competitive advantage. It used to be that  
2 you bought a cell phone because of who had the  
3 best nationwide coverage? With all the roaming  
4 agreements in place they're all kind of the same.  
5 I know Verizon might disagree, but Sprint does  
6 roam on Verizon so you can use it wherever they  
7 are. And you buy handsets now not because of  
8 coverage but because of who's got the best  
9 pricing, who's got the coolest phone, et cetera.  
10 So my feeling is even though carriers who are  
11 reluctant to it now, ultimately economics wins and  
12 if your network coverage is not giving you a  
13 competitive advantage, then fundamentally sharing  
14 that and crushing that cost is going to happen.  
15 It's going to happen in developing areas in Europe  
16 first, but will come here as well, and that's  
17 really going to help push rural coverage  
18 significantly.

19 MR. KNAPP: Thank you, Vanu. Kelly?

20 MR. DUNNE: Thanks. Kelly Dunne, the  
21 CEO and co-founder of Digital Bridge  
22 Communications. I'll describe a little bit about

1 Digital Bridge. We're the second-large Wi-MAX  
2 operator in the U.S. next to Clearwire. There's  
3 quite a gap between them and use, but we focused  
4 specifically on smaller markets and started doing  
5 this many years ago before it was cool, and it is  
6 suddenly very cool to be in rural America which  
7 I'm thrilled about. We focus on the smaller  
8 markets with a differentiated product offering.  
9 We rolled out Wi-MAX, one of the first operators  
10 to roll out Wi-MAX technology, and our mission was  
11 and we proved out that this does create a  
12 sustainable business model which I'll talk about  
13 in a second in small, less- dense markets, and  
14 this is the fundamental aspect of this technology,  
15 not only Wi-MAX but all 4G technologies is the  
16 capital efficiency which I'll talk about as well.

17 Most recently we created a national  
18 partnership with the NRTC which is now an investor  
19 in Digital Bridge, but they represent 1,500 rural  
20 telcos and electric co-ops. So we have a national  
21 presence and an agreement to roll out next  
22 generation, fourth generation wireless

1 technologies to these smaller rural areas in  
2 conjunction with our larger builds.

3           As was described on the panel before and  
4 from a real-world practical capability, we break  
5 our business down into the first mile, middle mile  
6 and last mile, and what creates the  
7 next-generation type of telecom company is the  
8 ability to leverage new technologies and  
9 evolutions. So first of all, in the first mile we  
10 had the benefit of not inheriting any legacy  
11 systems, built an all IP/ASP business model back  
12 office, and we do innovative things such as we  
13 hire home-sourced agents to be customer care  
14 agents for Digital Bridge in the markets we  
15 deploy. That's one example. The second piece is  
16 the middle mile, and I agree with Barry West and  
17 several of the panelists here that we have had to  
18 turn down deploying into markets that did not have  
19 cost-effective backhaul. I think it is the  
20 biggest single challenge. The good news is you  
21 can use the combination of wireless technology,  
22 point-to-point technology, to extend the fiber

1 local loop up to 20 or 30 miles. We've recently  
2 used some Motorola radios that are fantastic and  
3 they 2.5 gigahertz which we have a lot of. We  
4 have a very deep spectrum position in all our  
5 markets, at least 100 megahertz, and we're able to  
6 use some of that spectrum position to extend the  
7 fiber loop and to get into towns we normally  
8 couldn't get into.

9 The last piece is the last mile, and  
10 this is really working within the community from  
11 the ground up being seen as a local provider  
12 integrating and finding out where the needs are  
13 for the local community and figuring out ways to  
14 connect hospitals, universities and all those  
15 locations that higher and higher demand needs.

16 Some of the facts and some of the proof  
17 points of the last 2-1/2 years of work in this  
18 area in the 15 Wi-MAX deployments we've done to  
19 date, first as was mentioned before, self-install  
20 absolutely drives the ecosystem, absolutely drives  
21 the business model. It was the biggest risk when  
22 we first entered this. Seventy-five percent of

1 all our customers are installed self-installed.  
2 That changes everything. That makes it a very  
3 scalable, very investmentable business model, and  
4 to have CPE that's working 2 to 2-1/2 miles out  
5 from the base station is a significant  
6 improvement. On the outdoor we have as far as 4  
7 to 5 miles, but that only represents about 25  
8 network of our customers, but those are customers  
9 who can't get anything other than satellite which  
10 is a great technology as well, those folks who  
11 can't be served with a trestle network. The ease  
12 of use to install is less than 3 minutes. I think  
13 that's important. I like to say with no insult to  
14 my mom, and hopefully she's not watching on the  
15 Web, but even my mom can install it. It really is  
16 that simple. It's a 3-minute install, it's plug  
17 and play, and it is really that that has been  
18 driving the initial adoption.

19 Penetration rates have been fantastic  
20 and I think that's been the most encouraging. We  
21 have many of our smaller markets that have reached  
22 20 to 30 percent penetration in less than a year.

1 Some of the underserved markets reached above 20  
2 percent in the first 60 days, and I think that's a  
3 very compelling proof point of not only the demand  
4 out there but the ability to deliver and where the  
5 pent-up demand is.

6 Then mobility/portability is we're  
7 delivering a differentiated product. Whether it's  
8 OFDM or whatever technology, the end customer  
9 really doesn't care. It's does it serve their  
10 needs, is it mobile, is it a differentiated  
11 technology, is it priced right. Those are the  
12 things that drive the demand.

13 A couple key issues to bring up is that  
14 spectrum access and availability, this has been  
15 talked about a lot. Specifically I think there is  
16 a huge opportunity with 2.3 gigahertz and 700  
17 megahertz especially in the Wi-MAX space. There  
18 are already profiles available. There is a lot of  
19 spectrum that we have. We're working with 700  
20 megahertz holders and there's a huge opportunity  
21 to make dual devices that work in 700 and 2.5 and  
22 2.3. I think the FCC has to make some changes in



1 2.3. They've been sitting on the table for about  
2 10 years. I won't get into that right now, but  
3 that really needs to be addressed because that  
4 opens up huge spectrum opportunities in the most  
5 rural places in the U.S. Affordable backhaul I  
6 talked about. Interoperability of devices and  
7 affordability to the end user. This is what  
8 drives everything. Can the people afford it? Is  
9 it going to reach them? I think we have an  
10 enormous opportunity here, and I'll close with  
11 this statement because I want to keep within my 5  
12 minutes, that your access to broadband now for the  
13 first time with the evolution of technology is no  
14 longer defined by where you live, and I think  
15 that's very important. And I think with the  
16 stimulus plan and other things, we have a  
17 tremendous opportunity to really change the  
18 broadband landscape in the next 12, 18 to 36  
19 months. Thank you.

20 MR. KNAPP: Kelly, that was great.  
21 Thank you. Mark?

22 MR. MCHENRY: I'm Mark Henry. I work at

1 Shared Spectrum Company. What we do is develop  
2 dynamic spectrum access software mostly for the  
3 Department of Defense. The next chart shows what  
4 DSA is. DSA is software on the radio that looks  
5 for channels that are temporarily not being used  
6 either in time or in space and lets the radio use  
7 it. It was mentioned by Sascha a while ago that  
8 we made spectrum measurements in New York, there  
9 are some measurements over there, but the spectrum  
10 is really empty. If you go out to a rural area,  
11 it's a challenge to measure almost any signal with  
12 a few percent use, so the spectrum is absolutely  
13 empty in rural areas.

14 What we have now is we have many  
15 projects for DOD to put our software in  
16 operational radios. The DOD has really adopted  
17 this. DSA has become a cornerstone of how they're  
18 going to do radios in the future for a variety of  
19 reasons. The next chart shows the benefits of  
20 DSA. I used to be a program manager at DARPA. I  
21 sat there 4 years and every company brought in  
22 their toys and all their greatest ideas. There is

1 nothing that has the value added that DSA does.  
2 There is a list of benefits there. For the rural  
3 application, the biggest benefit is you can  
4 operate in the lower frequencies which really  
5 improves your link range. There is almost no knob  
6 you can turn to increase link range better than  
7 operating at lower frequencies.

8           The next thing it does is it hunts for  
9 frequencies that work. If you have antennas that  
10 have a certain frequency dependence of multi path,  
11 you can gain another 10 or 20 dB by hunting for  
12 the best frequency that will close, so you can  
13 improve link range again. The third big benefit  
14 is reducing hardware costs. A lot of radio cost  
15 is for the rare case when you have an adjacent  
16 channels and there's a co-site problem so they  
17 have to put these filters in there. That's rare.  
18 So what DSA does is that when you hit this rare  
19 event, it just goes to the next channel. DOD is  
20 now extremely interested. In fact, forget  
21 spectrum because we can reduce the cost of radios  
22 like by half or it's a gigantic amount by putting

1 this cognitive thing in there, so that reducing  
2 cost is big. There are other benefits about  
3 pooling and so forth that are not really  
4 applicable to the rural, but DSA is the next big  
5 thing in comms.

6           The next chart shows I call it the  
7 most-important chart you'll see today, but the  
8 Motorola guys and all these people are saying the  
9 same thing, and that is the key thing is coverage.  
10 What is different about rural? The issue is the  
11 population density is much, much less. So I made  
12 a little spreadsheet here. Assuming you can only  
13 close a half a kilometer was your link and you  
14 want to cover, there's a certain congressional  
15 district, I don't think we named it, but it's a  
16 rural congressional district and if you only had a  
17 half a kilometer and you had to use that, it would  
18 cost \$1.4 billion assuming the cell site costs  
19 50K, and cell sites could cost 300 or 400K. It's  
20 absolutely unaffordable. If you could close 15  
21 kilometers, it's a million dollars and you have  
22 600,000 people you're serving with a million

1       dollar deployment. The entire rural thing comes  
2       down to this link range question, and your  
3       backhaul cost and your equipment, everything,  
4       scales with that distance. So we think that  
5       radios have to be delivered that 5 or 10, 50  
6       megabit capacities to 5, 10, 15 kilometer link  
7       ranges. If you don't do that, it's never going to  
8       work. If you can do that, it's going to be  
9       trivial to take the urban technology and make it  
10      work in rural.

11                   The next chart is the  
12      second-most-important chart you'll see today, and  
13      this is the raw physics of what it takes to  
14      increase link range. I just took a Wi-MAX radio  
15      that was kind of baseline in terms of how many dB  
16      it can close. Our prototype radios close 131 dB  
17      with omni antennas. You put a 10 dB antenna on  
18      one side and you can close 140 dB with 2 megabits.  
19      So if you have to go 15 kilometers, I wish I had a  
20      pointer, and 15 kilometers is this red line, if  
21      you have to close 15 kilometers, you need to  
22      operate at 250 megahertz. If you can't operate

1 down there, you're read. This is a terrain model.  
2 It's flat earth with foliage, and there's a  
3 reference down here. There are other terrain  
4 models with defraction but you're going to get the  
5 same result, that you need to lower and lower and  
6 lower. So the best thing the FCC could do is to  
7 enable operation below 500 megahertz if they want  
8 to do rural. It just changes the economics an  
9 order of magnitude.

10 The next chart shows our conclusions.  
11 The first thing is if people are getting ready to  
12 spend billions of dollars to do rural deployment,  
13 I think there are technologies out there that  
14 could change the economics by factors of 10 and  
15 they ought to take some of that stimulus money and  
16 figure out what technologies would really change  
17 the economics. We made spectrum measurements in  
18 New York City, and I assert in rural it's empty,  
19 but the FCC should go measure. They should know  
20 for sure. And any band that has low usage should  
21 be prime for DSA or some other technology to use  
22 it. It should be merciless if it's not being

1 used, if it's not being used it's available.  
2 We're not going to move people out. You don't  
3 have to move people out with DSA. You just have  
4 to let us exploit the holes, and for a small  
5 investment you could make measurements and you  
6 would know exactly what to do where. It would be  
7 painfully obvious.

8 MR. KNAPP: Thank you, Mark. Brett?

9 MR. GLASS: My name is Brett Glass and  
10 the title slide here has actually if we go back to  
11 the first slide where you can find my slides  
12 because a number of people had asked me where they  
13 can get them. This is our URL and there's where  
14 to reach me. I'll tell you a little bit about my  
15 background. I'm an electrical engineer. I got my  
16 bachelor's at Case Tech, my master's at Stanford.  
17 I then founded the world's first WISP or  
18 terrestrial wireless ISP way, way back in 1992.  
19 Since that time it started as a small rural co-op.  
20 It's now growing by leaps and bounds because  
21 everybody seems to want to untether themselves  
22 from the phone company or the cable company if

1 they're in town, and out of town they don't have  
2 that option. We've been going for 17 years now  
3 and we've been growing our coverage by  
4 approximately the size of the District of Columbia  
5 every year, and that pace is accelerating. We may  
6 grow faster. We're not based on Wi-MAX. We are  
7 probably the only carrier here that's based  
8 entirely on WiFi technology, and we use that to  
9 our advantage because it's able if you engineer  
10 right to go farther. We do use outdoor equipment  
11 rather than indoor equipment, and the antenna  
12 technology that we use provides us with an edge in  
13 that respect.

14 The way we do it, we specifically  
15 designed it so that we can cover areas of low  
16 population density. The net population density of  
17 the State of Wyoming where we're located is 5  
18 people per square mile, so we have to take that  
19 into account when we deploy. Our deployment cost  
20 is substantially less than \$100 per square mile.  
21 Our speeds are comparable or superior to DSL and  
22 satellite. Latencies are lower. The end user



1 equipment and installation costs are similar to  
2 those to DSL and much lower than satellite. And  
3 the end user's recurring cost is really not  
4 determined by anything else except the cost of  
5 backhaul which everyone else on the panel has  
6 mentioned is a key hurdle to any sort of rural  
7 deployment. The spectral efficiency of the  
8 equipment continues to increase which is great.  
9 When we moved from 802 to 11B to G we had an  
10 incredible benefit to OFDM. The only problem that  
11 we have is wireless doesn't help us much with the  
12 middle mile as it does with the last mile. When  
13 you're dealing with bad weather, when you're  
14 dealing with rough terrain even on 6 gigahertz  
15 where you can use huge dishes and higher power it  
16 really doesn't work. The best thing to do I think  
17 is to enable fiber for the backhaul and make that  
18 reasonably priced.

19 The primary constraints on wireless,  
20 during the wireless panel earlier today some  
21 people said wireless never had the capacity to  
22 compete with fiber to the home. That's simply not

1 true. The primary constraints on fixed wireless  
2 coverage and performance and regulatory and  
3 economic, they are not technological, and where we  
4 get into problems is where the regulatory regimes  
5 make it difficult for us to accomplish what we  
6 want to. Interference in the Part 15 band since  
7 we operate entirely in the unlicensed spectrum is  
8 a key problem. Literally a baby monitor is  
9 allowed to radiate as much as a wireless broadband  
10 provider's access point. This is silly. This is  
11 not good policy. We need to look at doing  
12 something about that. As we've getting licensed  
13 spectrum, the auction regime precludes us from  
14 doing that in a number of ways. It has caused  
15 also a tremendous wastage of spectrum. If you go  
16 out, the other panelists are right, you scan the  
17 airwaves and you see there is almost no one using  
18 it. A lot of that spectrum is licensed and people  
19 are warehousing it and they're not using it. But  
20 we have no concept of adverse possession for  
21 spectrum, and as a result people can continue to  
22 hoard it and continue to do nothing with it. We

1 can't use the lightly licensed bands as much as we  
2 want to because there have been problems with 3650  
3 megahertz regulations. What's worse, we see that  
4 we are facing the prospect of additional  
5 regulation, the so-called Wireless Network  
6 Neutrality regulations, which could further hobble  
7 us in ways that are completely unnecessary because  
8 there isn't actually any problem that needs to be  
9 solved by such regulations.

10 The thing which really dictates what you  
11 can do with spectrum and how well is Shannon's  
12 Law. If you take a look here it shows that the  
13 amount of data that you can push through a link is  
14 proportional to the bandwidth and to the log of  
15 the signal to noise ratio. What you have here is  
16 a curve where at first you gain a lot from having  
17 lower noise and after a while it kind of trails  
18 off and you don't get that much more benefit.  
19 This knee which I've dubbed Shannon's Knee is key  
20 here. As long as you're above the knee, you can  
21 share the spectrum with other people and you can  
22 make reuse of the spectrum. If you get below the

1 knee then you can't anymore. Therefore, the  
2 conclusion you can reach if you understand what  
3 this curve means is that nonexclusive licensing of  
4 large swaths of spectrum rather than exclusive  
5 licensing of small swaths of spectrum which is  
6 what we've been doing in the past is the best way  
7 to ensure maximum utilization and efficiency when  
8 it comes to spectrum policy.

9 Here are some specific suggestions to  
10 the broadband plan. I only have 12 seconds left,  
11 so I'll be as brief as I can. We need to devote  
12 more spectrum to nonexclusively licensed  
13 applications. I mention two here which are  
14 possibly good ones. AWS-3 which is still up in  
15 the air, and the D block which did not sell as  
16 exclusively licensed spectrum. We should open up  
17 3650, although this may not help everywhere  
18 because it's not available everywhere. We should  
19 look at increasing the power limits for Part 15  
20 for outdoor broadband so that it can compete with  
21 the indoor devices which otherwise threaten to  
22 interfere with it. We should revise the auction

1 rules. We should be careful about how we  
2 regulate. And again we should do things about  
3 special access, and since my time is up let's talk  
4 more about this during the question and answer.

5 MR. KNAPP: Thank you, everybody. I  
6 feel a good bit more optimistic than I did earlier  
7 in the day about the solutions for the rural  
8 areas. This is more a business model question.  
9 How critical is it for the business model to work  
10 in rural areas that folks can just pick something  
11 up, take it home and plug it in, versus a truck  
12 roll or a complicated installation? I'll use the  
13 model of I can go into my local electronics shop  
14 and buy my modem, go home, plug it in and have it  
15 activated, and we heard a little bit before about  
16 how somebody can be up and running in 3 minutes.  
17 How critical is that to the business models?

18 MR. BOSE: It's very critical. I can  
19 example an example from some of our rural cellular  
20 customers. For a major network provider, they  
21 typically use a metric of one tech per 60 sites to  
22 support and maintain. For some of our rural

1 carriers, it takes them 3 hours to reach one of  
2 their sites. If it's out, it's a 2-day job. So  
3 his cost of maintenance goes sky high and you  
4 can't really afford to do the same level of  
5 support and maintenance on those distributive  
6 remote sites. You can't solve everything by  
7 people installing their own things at home because  
8 you're not going to cover the highways, et cetera,  
9 but it can make a significant dent into the  
10 overall support and maintenance costs.

11 MR. KNAPP: Mark?

12 MR. DANKBERG: I'd like to give you the  
13 completely opposite view which is the thing that  
14 we think is the most important is total capital  
15 cost per subscriber. If you look at what  
16 subscription fees are going to be based, it's how  
17 much capital you spent on a subscriber. So most  
18 of the models, whether it's DSL, terrestrial  
19 wireless, fiber, they have very large  
20 infrastructure costs and they try to get very low  
21 incremental CPE costs. Satellite actually is the  
22 opposite. We have very, very low infrastructure

1 costs and higher CPE costs. But the total capital  
2 cost can be lower which for a given level of  
3 service can actually deliver higher quality. The  
4 issue I'd point out is that if you defer the total  
5 capital cost until you get the subscriber, then  
6 penetration rate isn't a big issue like it is in  
7 many other technologies because you don't have to  
8 get high penetration in order to recover the  
9 infrastructure capital cost.

10 MR. KNAPP: Mark, for the satellite, and  
11 I'll get to you in a second, Brett, what kind of  
12 receive antenna are we talking about?

13 MR. DANKBERG: It's an antenna that's  
14 the same as a satellite TV dish.

15 MR. KNAPP: So it's a dish mounted  
16 outside the home?

17 MR. DANKBERG: Yes. Just to put things  
18 in perspective, what we look at is a service that  
19 could deliver 5 to 10 megabits per second on the  
20 downstream, 2 megabits per second on the upstream  
21 with a 2-foot dish with total capital cost per  
22 subscriber of about \$600. That's everything.

1 That includes the CPE, the satellite and the  
2 gateway. The thing that we think is interesting  
3 is if you're at \$600 total, it doesn't really  
4 matter that much if \$350 of that is at the end is  
5 the way I'd put it because the up-front cost is so  
6 low.

7 MR. KNAPP: Brett?

8 MR. GLASS: Our model is actually very  
9 similar to what has just been described for  
10 satellite. Our access points are fairly  
11 inexpensive to deploy. Earlier in the wired  
12 session people were talking about having customer  
13 owned tails on their homes. In a sense that's  
14 what we have because our customers own their  
15 radios and they represent the tail which gets them  
16 back to our access point. They buy that at the  
17 time. We still lose a little money when we  
18 install for a customer, but because they own it we  
19 lose a little bit less. They also cover a lot of  
20 that initial capital expense, and so the model  
21 works very well. We're able to afford to come out  
22 there, set things up for the customer and actually



1 set up the customer's internal home or business  
2 network for them which also gives us additional  
3 revenue potential and makes the customer a happier  
4 customer in the end. So we don't find the truck  
5 rolls are a significant problem. It would be nice  
6 if we could do some client self-installs, but we  
7 can't do it on unlicensed spectrum and get the  
8 quality of service we want.

9 MR. KEITH: I have some statistics to  
10 share on that. For some years now we've been  
11 selling the Canopy product line. It's a fairly  
12 commonly known product. But it is a line-of-sight  
13 product and it's exclusively outdoors. What we've  
14 found out which is an amazing statistic, the  
15 subscriber units sell in the millions, but an  
16 overwhelming amount, 65 percent, of those  
17 subscriber units, were actually self-installed  
18 even outdoors, and it's an outdoor unit which  
19 defies logic because we average in in most of our  
20 models somewhere between \$125 to \$150 just for a  
21 truck roll excluding all other equipment. I don't  
22 know if you find that consistent, but if that's

1 true, then having that statistic means that you  
2 can make products that you can put in that have  
3 tones and various installation tools to make it  
4 easier for the subscriber to get rid of some of  
5 those costs. I don't think that's a unique idea,  
6 I just think that it's more plausible than most  
7 people believe.

8 MR. O'CONNOR: I have a comment about  
9 that. I think if you captured the increase in  
10 system capacity as part of offsetting some of the  
11 costs of the truck roll you might find that more  
12 installations or a larger percentage of your  
13 customers might be eligible for a self-install,  
14 maybe an outdoor antenna. I don't know if the  
15 truck roll would ever play economically in the  
16 rural markets because of the distances, but having  
17 an outdoor antenna, clearly if it can be pointed  
18 correctly so you don't have to worry if the site  
19 goes down and it's not pointing at the next best  
20 serving site, but clearly outdoor antennas are  
21 going to increase your system capacity enormously  
22 but it's got to be done right and it's got to be

1 done cost-effectively. But, yes, if you can take  
2 a credit for the increased system capacity, maybe  
3 some of the up-front costs would be more bearable.

4 MR. KNAPP: We've heard a couple of  
5 comments that there's particularly in the rural  
6 areas lots of vacant spectrum and so forth and  
7 we've got technology that's getting smarter. I  
8 think the Commission through the years has tried  
9 to address some of this through things like  
10 secondary markets and providing for software  
11 defined radios. I'd be interested in your  
12 thoughts on how any of that is working or not  
13 working and what else you think we ought to do not  
14 only on the service side, but to help solve the  
15 backhaul problem. Mark, do you want to try?

16 MR. MCHENRY: I think the Secondary  
17 Markets Initiative is a very good thing. It is  
18 kind of surprising that more people aren't using  
19 it. I think the big issue is the volume. There  
20 is no cheap equipment that works on all these  
21 bands and so you can't get started. It comes back  
22 to what the previous panel was talking about, the

1 cart before the horse problem.

2 MR. DUNNE: I think in our markets it's  
3 a density issue and your coverage issue and  
4 capacity. One of the things is when we deploy in  
5 markets of 20,000, 30,000, 40,000 to 50,000, we  
6 can cover very effectively the high dense between  
7 700 and 1,400 households per square mile. As you  
8 get out to the edges, the economics get much  
9 different. That's why there is so much promise I  
10 believe in dual band radios that leverage 700 and  
11 25 and this is doable within 10 to 12 months if  
12 the volume and ecosystem is there. So I think  
13 there's a tremendous opportunity to do multiband  
14 radios very similar to what's in cellular phones.  
15 This isn't a new concept, and the ability to have  
16 dual band devices and then within the base station  
17 infrastructure the cabinets and the backhaul, et  
18 cetera, you can leverage a lot of costs across  
19 both of those platforms. And to be able to  
20 seamlessly hand off between a 700 megahertz to 25  
21 for example is a great way to start to get a huge  
22 coverage area because back from the customer

1 experience, when they lot into your Website and  
2 say where do you cover, if you cover hundreds of  
3 square miles like Brett's coverage area, that's  
4 fantastic because then people say I can get access  
5 in this huge area. I think it's a combination of  
6 looking at different spectra and figuring out how  
7 to do dual band technology.

8 MR. O'CONNOR: In our area we've tried  
9 to get licensed spectrum and we've called up the  
10 spectrum owners and we've looked to see who had  
11 licenses that we might be interested in, and  
12 invariably they are like Aesop's dog in the  
13 manger, but can't eat the hay themselves but  
14 they'll be darned if they'll let anyone else get  
15 at it. This really seems to be true. The LMDS  
16 licensee, LMDS-A in our area, has been sitting on  
17 the spectrum for the entire term of the license  
18 and now they're petitioning to have it extended.  
19 They've never rolled out anything in our area.  
20 We've gone to them and we've asked them please  
21 partition. We can use this stuff. They go,  
22 sorry, we invested in this to maybe use it one day

1 or maybe use it as an investment that we hope will  
2 appreciate, and it hasn't appreciated that much,  
3 sorry, we're not interested in selling. I'm  
4 beginning to think that we may need a doctrine of  
5 adverse possession or if not that have the FCC be  
6 truly draconian about taking back licenses that  
7 are not used. This is a scarce resource. It may  
8 be artificially scarce, but it is scarce and we  
9 need to do something about that because people  
10 like me can't get what we need to do our business  
11 better.

12 MR. BOSE: I'd like to follow-up on that  
13 point because the unused spectrum, we've been in  
14 discussions with folks similar to that, and the  
15 problem is, because it's scarce, everyone knows or  
16 at least assumes that over time that spectrum is  
17 going to appreciate in value and certainly the  
18 markets have proven that out. The problem is if  
19 they license it to you for some use for the next  
20 5, 7 to 10 years, that asset is tied up and you  
21 couldn't monetize it. So it's actually better for  
22 them financially to not be able to do anything and

1 be able to turn that into a liquid asset in 10  
2 years rather than having it tied up for someone's  
3 use with some kind of ongoing revenue stream. So  
4 because it's scarce it's valuable, it will be more  
5 valuable in the future, and that gives the  
6 perverse incentive not to do anything with it but  
7 let that asset appreciate. I think your  
8 solutions, fundamentally if it's not being used,  
9 the FCC needs to look at it.

10 MR. KEITH: I want to return real quick  
11 to try to make a clarification on the SDR which  
12 was the origin of the question, and I agree. But  
13 Kris Renni right before she left the previous  
14 panel made a clarification about SDR and where  
15 it's actually at today and I want to make a point  
16 that that's really primarily existing on the  
17 infrastructure side. It's limiting to say that it  
18 will ever end up in devices, but I will tell you  
19 that currently the idea of devices being able to  
20 readjust themselves proactively whether it's for  
21 economic reasons or what have you, so when we talk  
22 about handoffs to a variety of spectrum, at the

1 device end it may be more challenging to introduce  
2 SDR concepts to the device side especially when we  
3 get down to portable devices.

4 MR. BOSE: I guess I should comment on  
5 the SDR piece. Certainly the rules have been  
6 great there in terms of able to push technology  
7 and get some products out that we otherwise  
8 wouldn't have. I generally agree with your  
9 comments. It's all been on the infrastructure  
10 side. My view is that the fundamental limitation  
11 is that it always takes more processing power to  
12 build something flexible and reconfigurable than  
13 single purpose and dedicated. On the  
14 infrastructure side, that doesn't matter too much.  
15 The marginal costs to put it in faster and beefier  
16 are small compared to the whole site CAPEX. On  
17 the device side, battery life is still king today.  
18 All I do is SDR. I'm not willing to give up half  
19 an hour of better to get a flexible handset, and  
20 frankly, it would be more than a half an hour. So  
21 it's going to take time. Advances in low- power  
22 processors, battery system, perhaps lower-power



1       semiconductors, to really realize SDR on the  
2       handset side. However, that doesn't mean that you  
3       can't get a lot of the benefit. If you did have  
4       an infrastructure that could talk to any device  
5       that came out there, you could get a lot of the  
6       benefit of this flexible spectrum without  
7       necessarily having it locked to a single device;  
8       not all of it, but a lot of it.

9               MR. DOSHI: May I follow-up on that  
10       point? I think as recommended earlier, a lot of  
11       opportunity though is also with fixed outdoor CPE.  
12       There you have continuous power and there you have  
13       an opportunity. Is there potential to expand and  
14       use that as an alternative? Because I think a lot  
15       of the self-install issues were devices that set  
16       on desktops and others. On the other hand, you  
17       have opportunity to do outdoor installs and use  
18       local power.

19              MR. KNAPP: If I could just couple on to  
20       your point, Rashmi. We've got the highest tech  
21       stuff for the lowest volume of users, and is that  
22       realistic? Yes, it's we're seeing the application

1       come out now, but how far down the road before  
2       it's realistic to see that even fixed uses? Any  
3       thoughts on that?

4               MR. BOSE: I think you could do fixed  
5       CPE today.

6               MR. KNAPP: You could?

7               MR. BOSE: If it's plugged into the  
8       wall, it's not an issue.

9               MR. DOSHI: The question is economics  
10       opposed to technology.

11              MR. BOSE: I think on the economics  
12       side, Barry's point that he made in the previous  
13       panel about adding 50 cents to a handset is a big  
14       deal, it's not quite the same on the CPE because  
15       it's not the same volume and scale of production.  
16       So adding \$10 to \$50 to a CPE, that might be  
17       possible. And technically, I think that's  
18       feasible, and that's the first place I think  
19       you'll see SDR technology on the client side is  
20       multistandard, multiband fixed CPE devices.

21              MR. KNAPP: Mark?

22              MR. MCHENRY: The DSA software is a very

1 small part of SDR. Everything on the radio takes  
2 a big processor. We're doing a project with DARPA  
3 and we're doing four-channel receiver, hand held.  
4 The DSA takes a small DSP chip, a \$20 chip and a  
5 small part of a cell phone GPP. So I don't think  
6 the battery life and the cost is the inhibitor of  
7 DSA. The DARPA project pretty much proves that.

8 MR. KNAPP: Other questions from my  
9 colleagues? Otherwise I've got some questions  
10 from the floor.

11 MR. NEWMAN: I have a two-part question.  
12 We heard a lot of talk earlier today about the  
13 need to define what broadband is, that it's more  
14 than just speed, it's also how much capacity the  
15 user can send over the busy hour, it has to do  
16 with latency definition, reliability, et cetera.  
17 Wireless at least from a capacity standpoint is  
18 probably more limited than cable. So those who in  
19 the service business, Richard has been monitoring  
20 that, one would comment are you primarily going  
21 into areas where there is no wire line  
22 alternative, DSL or cable, or are you going in

1 with a better value proposition? And then if each  
2 panelist would comment on what are the critical  
3 parts of the broadband definition other than just  
4 peak speed?

5 MR. O'CONNOR: I'll go first on this  
6 one. In all our markets, in most markets I should  
7 say, we compete with DSL and cable. Often times  
8 our reach is a little farther than that. But  
9 we're finding 20 to 30 percent come from DSL and  
10 20 to 30 percent come from cable. So I think it  
11 is ease of use, it is pricing, it is flexibility,  
12 it is portability, and eventually mobility will be  
13 a differentiator. I also think the customer's  
14 experience and the service level you acquire.  
15 We're fortunate that in some markets that the  
16 existing incumbent service providers haven't been  
17 able to provide that level of service to date and  
18 you can come in with a new technology and recreate  
19 that customer experience.

20 As far as speed is concerned, it's  
21 interesting because I think there are two issues.  
22 First is the speed is in the eye of the beholder

1 or the eye of the user. Every service operator  
2 knows that you have two or three customers who are  
3 speed testing every other hour and calling you and  
4 saying I've got .2 percent I need to get. But you  
5 really need to manage it to a reasonable level and  
6 the expectation of that the SLAs are and what the  
7 customer experience is. I also think that the way  
8 you engineer the network with packet shaping  
9 equipment and mitigating certain heavy bandwidth  
10 users is part of the equation as well. So it's a  
11 very complex engineering exercise designed to  
12 overall increase the experience for everyone, and  
13 then those folks who need higher bandwidth who use  
14 more of the capacity need to pay a higher price.  
15 It's that simple. So your ability to manage that  
16 is greatly dependent on the investment you make in  
17 the infrastructure you build within your network.

18 MR. DANKBERG: On that issue, the point  
19 that we see as being a big differentiator in  
20 broadband service is the volume usage which is how  
21 many gigabytes a subscriber can use. And while  
22 broadband usage is somewhat of a subjective think

1 when you're Web browsing or doing other  
2 applications, if you're trying to watch a video  
3 it's very apparent whether or not you've been able  
4 to sustain the speed that the video stream needs,  
5 and also it's very apparent how long it takes  
6 until you can get that video to start if you're  
7 going to download it and cache it. One of the  
8 things that we're very interested in and concerned  
9 about in the concept of the government actually  
10 subsidizing broadband infrastructure is that there  
11 be some common metrics that allow us to compare  
12 what the capital costs are for delivering this  
13 given quality of broadband service. What we think  
14 is I agree as Kelly said, the market is fragmented  
15 and not everybody has the same expectations and it  
16 certainly seems reasonable that those who use more  
17 should pay more. But on the other hand, if the  
18 government is going to get into the business of  
19 subsidizing infrastructure, there should be some  
20 mechanism to do an apples to apples comparison of  
21 what these different technologies are capable of.  
22 What we think there is that speed and the fraction

1 of time that you can deliver that speed and the  
2 volume that you're offering to deliver at a given  
3 subscription price would an excellent start for  
4 doing those comparisons.

5 MR. NEWMAN: Let me ask you the tough  
6 question for satellite. Latency.

7 MR. DANKBERG: Yes.

8 MR. KNAPP: Will the high latency  
9 characteristics work for most customers?

10 MR. DANKBERG: I think there have been  
11 two big issues for satellite in terms of what its  
12 competitiveness is. One is broadband and the  
13 other is latency. One of the things that we think  
14 is real interesting, and we think life is  
15 imperfect, you have to make tradeoffs, that the  
16 most desirable technologies can often be the most  
17 expensive and that also customers seem to make  
18 tradeoffs based on what their desires are and  
19 that's why one of the things we started with was  
20 what it is that end users want. What we've seen  
21 is that if you put in front of a customer who uses  
22 video and you give him a choice of a 1.5 megabit

1 service or a 1 megabit service with low latency,  
2 or a 4 or 8 megabit service that has higher  
3 latency but can deliver gigabytes of broadband and  
4 can stream video, now that customer has the  
5 ability to make a choice and say which is more  
6 important to me, the volume and speed or latency.  
7 What we believe is that different customers will  
8 make different choices, but if you look at the  
9 growth of video, what we see more and more, and  
10 it's evolving over time, you'll see customers in  
11 cable networks or even in the telephone networks  
12 going through a series of first they had a 1  
13 megabit and then a 2 megabit, then a 4 and an 8  
14 megabit service, what they're really voting for is  
15 the video use. So to the extent that you could  
16 provide the same speeds and volumes with no  
17 latency, certainly that would be preferable, but  
18 to the extent that you have to trade off capital  
19 costs be it in volume versus latency, we see the  
20 market evolving toward taking acceptable latency  
21 in order to get excellent speeds and volumes.

22 MR. NEWMAN: How about a dish that



1 points toward Brett when they need low latency and  
2 toward the sky when they need high capacity?

3 MR. DANKBERG: Absolutely, hybrid.  
4 Especially one of the things that's really, really  
5 interesting when we talk about backhaul, because  
6 backhaul is really the bottleneck for these  
7 wireless services when it comes to delivering high  
8 volume. If you look at the Cisco usage charts  
9 what you'll see is 90 plus percent of all of the  
10 traffic is not latency sensitive, only a small  
11 fraction is. So one of the opportunities is to  
12 blend backhaul. For instance, video is completely  
13 latency insensitivity and if you were to add up  
14 video to the PC, video to the home, peer to peer  
15 traffic which is basically BitTorrent based video,  
16 that's 75 percent right there. So you take that  
17 and you say I can serve those with low cost  
18 backhaul that's not latency sensitive and then  
19 blend in whether it's wireless or even T-1 for  
20 those low latency applications, you've have a very  
21 cost-effective blend that would serve what  
22 customers want.

1           MR. GLASS: Of course doing this  
2 effectively requires you to discriminate between  
3 the different streams which means that you  
4 actually have to engage in some packet inspection  
5 which to some people I think is anathema. I think  
6 it's actually a good idea. In any case, my take  
7 on the situation is as follows. Stagg, when you  
8 started you alluded to the limitations of wireless  
9 as if that were a given. The fact is the only  
10 limitations on wireless as we can see from that  
11 curve in Shannon's Law is how much bandwidth you  
12 give it and how quiet the spectrum is. There  
13 really isn't any limitation on wireless. In fact,  
14 one might say that fiber is nothing but wireless  
15 in a very expensive tube that you have to bury.

16           MR. NEWMAN: Right, but it's got a lot  
17 of bandwidth.

18           MR. GLASS: At 60 gigahertz right now  
19 with a paid or unlicensed radios going less than a  
20 mile I can easily push between 1 and 2 gigabits  
21 out. In fact, one thing I would encourage the  
22 Commission to do is to look at finding ways to

1 facilitate that sort of thing as using the last  
2 mile or the last few blocks as a solution, make  
3 that easier to do because that's one thing that  
4 we're very interested in trying to do with  
5 wireless.

6 We can push gigabits to the home. We  
7 just don't have the spectrum to do it. The two  
8 things that limit us are, A, we don't have the  
9 spectrum, and B, we need to get that from the  
10 Internet backbone and so we need to make sure that  
11 our backhaul prices are reasonable. So we're  
12 coming around to the same issues again and again.  
13 We need spectrum, we need backhaul.

14 MR. DANKBERG: One point I would add  
15 just in terms of the spectrum is when I showed  
16 that 100 gigabits for a single satellite, this  
17 correlation between capacity and bandwidth and  
18 spectrum is very real and one of the issues in  
19 trying to use spectrum that's good for mobile or  
20 that penetrates walls or that propagated long  
21 distances is there is not very much spectrum  
22 available around 700 megahertz. When you use

1 carrier frequencies of 20 and 30 gigahertz or 40  
2 gigahertz which are already allocated from a  
3 satellite perspective, you have gigahertz to work  
4 with and so those gigahertz of already allocated  
5 spectrum is what allows to deliver hundreds of  
6 gigabits and more to these users, and I think  
7 that's just a factor of physics. One of the  
8 things that we're looking for is how to exploit  
9 that more in terms of what the specifications are  
10 for broadband and to take advantage of those  
11 gigahertz that are already allocated.

12 MR. KNAPP: I had a couple of questions  
13 from the audience. I'll try to blend these and  
14 hopefully I'll capture the thought. They have to  
15 do with most of our discussion has focused on  
16 fixed and the concern is what do people do for  
17 mobile? Is there some way to evolve one into the  
18 other? Are there any thoughts on mobile? One of  
19 the questions talks about how the introduction of  
20 Netbooks for example might drive mobile and people  
21 in rural areas have the benefit of these services  
22 as well. Any thoughts on this?

1           MR. O'CONNOR: I'll take the first crack  
2           at that. Our markets that we've rolled out phase  
3           one of Digital Bridge all are converting to mobile  
4           as we speak. Actually, one is converting  
5           tomorrow. So what we did is we selected a  
6           technology and our vendors and we didn't have to  
7           replace any of the CPE when we converted to  
8           802.16e which is fully mobile. It's pretty  
9           exciting to be able to sell. We're selling  
10          Netbooks and laptops and dongles that are  
11          completely mobile in our markets today in markets  
12          that aren't used to getting technology first, so  
13          the mobility aspect is a very important part of  
14          it. And I also think that something to think  
15          about is the definition of underserved because  
16          underserved is if you live in a big city and get  
17          access to broadband on a bus and can't get access  
18          to broadband on a school bus in rural America, are  
19          you underserved? I think you are. I think that  
20          that's a very important element. What we've done  
21          in our business plan is build on making all our  
22          networks completely mobile and interoperable and

1 also have them interoperable to the larger  
2 markets. So this whole concept of ecosystem,  
3 interoperability of devices, interoperability of  
4 testing is really, really important because that's  
5 going to device infrastructure, the applications  
6 and the usability of the product.

7 MR. NEWMAN: Could you just clarify  
8 because mobility and nomadicity mean two different  
9 things to me. Full mobility is 100 miles an hour  
10 handoffs, et cetera. Nomadicity which is very  
11 valuable but doesn't include that. Are you doing  
12 both?

13 MR. O'CONNOR: I'm talking fully mobile.  
14 We have the smallest markets in America that are  
15 fully mobile.

16 MR. KNAPP: But not a bus at 100 miles  
17 an hour.

18 MR. O'CONNOR: School buses should not  
19 be going 100 miles an hour. Just as an example, I  
20 was in Jackson Hole. One of our markets is in  
21 Jackson Hole, Wyoming. You're all welcome to come  
22 visit. We were streaming video, Skype video. We

1 had Pandora's video or music and we were on a VoIP  
2 call all at once, cell to cell handoff. I wasn't  
3 driving, I was in the passenger seat, but that's  
4 all I was doing at once on a Netbook with a  
5 dongle. So that is incredibly compelling and it  
6 also changes the dynamic of the people who live in  
7 these communities who are thrilled when they see  
8 getting technology ahead of the larger markets,  
9 the first time in my telecom history we've ever  
10 been able to do this.

11 MR. KNAPP: This was TDD?

12 MR. O'CONNOR: Yes.

13 MR. KEITH: First of all, when we talk  
14 about mobility and what it's traditionally been  
15 measured by, it's the approach that it has  
16 everything to do with the device. So it's not a  
17 coincidence that over the past 30 years as the  
18 very first cellular markets began to develop, one  
19 of the key aspects of mobility is on the day that  
20 they wanted to launch service they had to find a  
21 way to provide ubiquity of coverage to where that  
22 person might go. That also brings in what was

1 mentioned earlier about the status of volume and  
2 the amount of device vendors out there that can  
3 produce interoperable devices, that the customer  
4 can choose a device over in Rochester, New York  
5 and still be able to use it when he moves over to  
6 Denver, Colorado.

7           The new technologies, Wi-MAX and LTE,  
8 will definitely achieve that, but it will take a  
9 long time for that to occur, and until that time,  
10 the way we see mobility entering the market with  
11 these new OFDM based technologies is the first  
12 types of devices probably have to enable almost  
13 from day one dual mode, tri mode capability so  
14 that when the consumers want that quality of  
15 service, they will not accept the fact that they  
16 turn on the device and they cannot get access.  
17 That will irritate them because they've already  
18 had better than that already. It's better to be  
19 slow and connected than to be completely  
20 disconnected with a high speed network that you  
21 can't talk to.

22           So I do agree completely, Kelly, with



1 the concept that I also have seen the faces of  
2 customers who have been able to get fantastic  
3 speeds, 2 and 3 megabits of downlink in a fully  
4 mobile environment, and we see them occurring. I  
5 want to point out that they know what they're  
6 getting. They know when they buy this single mode  
7 device where it works and where it doesn't and  
8 they're fully accepting that as they purchase that  
9 product. But ultimately where we need to go with  
10 this is that multiple mode devices, somebody  
11 mentioned earlier the Swiss Army knife, it is an  
12 essential aspect of the launch of these new techs.  
13 It has to be there.

14 MR. KNAPP: I have a question from  
15 somebody named Repeated Questioner, so I'd better  
16 ask it. It says, What innovative broadband  
17 technologies, devices, policies or other solutions  
18 are being developed to overcome the challenges  
19 associated with connecting low income, isolated  
20 communities and tribal communities that may not  
21 have adequate electrical outlets or wiring, access  
22 to a computer or other existing broadband

1 equipment?

2 MR. BOSE: The conditions you describe  
3 aren't that dissimilar to the network we're doing  
4 in Nepal where each village has at best maybe a  
5 generator but probably no electricity and  
6 absolutely no connectivity in. Our SuperPico cell  
7 uses satellite backhaul using VSAT, and it's  
8 designed to be low enough power that it runs off  
9 of solar with a decent battery. I think, Julius,  
10 you may a really good point earlier which was are  
11 we trying to use the most cutting-edge technology  
12 to solve the problems in the smallest markets? If  
13 we are, we're going to lose. That gets me back to  
14 looking at trying to address the problems for the  
15 next billion subscribers which are going to solve  
16 exactly these problems here, the tribal lands, the  
17 rural areas, the low-income areas. I say this a  
18 lot and it's a kind of a joke, but we have one  
19 deployment where we're deployment coverage for 200  
20 rural villages in Alaska and another one where  
21 we're just starting doing the villages in Nepal.  
22 I often tell people that other than temperature,

1       there is really no difference. The calling  
2       patterns are the same, the lack of backhaul and  
3       electricity, it's all the same. So I think it's  
4       good news that the rest of the world is doing  
5       this. We're going to see a lot more technologies  
6       and solutions that are applicable to these  
7       problems.

8               MR. NEWMAN: I totally agree with you.  
9       I've spent much of my past few years supporting  
10      teams outside the U.S. The question I never  
11      thought about until wearing this new hat, are  
12      there policy things that we need to be doing in  
13      the U.S. to make sure we can take advantage of  
14      what gets developed for India, Nepal and whatever?

15             MR. BOSE: I would make a slightly  
16      different question which is what kinds of things  
17      can you do to ensure that it's U.S. companies that  
18      are delivering those solutions to those growing  
19      areas that they can come back here and do that in  
20      terms of trade and incentives and cooperation with  
21      other governments? That's very important.

22             MR. NEWMAN: I think both questions need

1 to be answered, and one benefits our customers and  
2 the other benefits our economy.

3 MR. BOSE: The only fundamental  
4 difference that we've run into so far is moving  
5 technologies from these areas back here is  
6 probably frequency bands and power limits. You  
7 could go to the global harmonization argument. I  
8 don't even bother even wasting any time on that.  
9 I don't think it will ever happen, so let's just  
10 move on. That's really the only issue. To be  
11 honest, to move something from 1800 megahertz to  
12 1900 megahertz isn't that big of a deal.

13 MR. KNAPP: Mark?

14 MR. DANKBERG: I think one thing that's  
15 an important aspect of this when we're talking  
16 about in some sense subsidizing things is one of  
17 the things I think we should be careful about  
18 whether we're subsidizing the right or the wrong  
19 technologies. How do you tell? I think it's  
20 really about economics especially when we're  
21 talking about funding people who are disadvantaged  
22 because the government if it wants to serve the

1 most people should do it in an economically  
2 effective way. Rather than talking about jumping  
3 immediately to what technologies we use, I think  
4 one of the most important things to do would be to  
5 define what do we want to deliver, what does it  
6 mean for broadband in terms of speed and volume?  
7 What is the quality of service? Then compare the  
8 capital costs to deliver that quality of service.  
9 All the time we jump ahead and we talk about what  
10 devices we need or what spectrum we need and I  
11 don't necessarily see these comparisons of capital  
12 cost efficiencies.

13 MR. CURTIS: Let me jump in on that. I  
14 think you will see coming out of this a monumental  
15 effort at doing exactly what you suggest, leave  
16 the details there for now, but there is going to  
17 be a very hard look at a very granular level  
18 geographically I mean and technologically at most  
19 efficient ways to provide service by kind of  
20 technology type, OPEX, CAPEX, full PNL, look at  
21 this as detailed as possible. So having said  
22 that, Stagg introduced me to the concept of giving

1 homework to panelists at the last one. The more  
2 you all are willing to come in and share the  
3 information that a guy like me needs to do the  
4 exact analysis you suggest, otherwise I'll find  
5 the data some place. I'd rather find it from  
6 people who have accurate data than me get it by my  
7 own means. That would be extraordinarily helpful.  
8 That's exactly the path we're going down.

9 MR. O'CONNOR: I just want to make an  
10 observation and maybe this is to your data point.  
11 Two-and-a-half to 3 years ago when we first rolled  
12 out our first Wi-MAX networks, the CPE was close  
13 to \$300. A Wi-MAX enabled Netbook today is \$300.  
14 I find that amazing. That is literally amazing,  
15 and I think that changes everything when you think  
16 about something that is your access device but is  
17 at a functional use to connect you to the world  
18 especially in disadvantaged areas and I think  
19 there's a ton of stuff, and Intel and others are  
20 doing some very innovative things right now to  
21 help drive that. I think that's a great, great  
22 solution for a lot of people.

1           We're seeing modems now because of  
2           what's happening in the global ecosystem drop  
3           below \$100. In our business model, that was  
4           always the tipping point, below \$100, \$70, \$80,  
5           \$50. We're also seeing devices that have built-in  
6           WiFi and voice over IP ports with them as well, so  
7           that it's a fully functional residential gateway  
8           at a very attractive price point. It also allows  
9           from a business perspective for the customer to  
10          buy their modem, and similar to Brett's model,  
11          that they own it, take it wherever they go and you  
12          get away from that lease arrangement and that  
13          capital intensive part of the equation.

14                 MR. BOSE: Also don't forget as Intel is  
15                 starting to embed Wi-MAX capability into the chip  
16                 sets that are in laptops like WiFi is today, the  
17                 marginal cost to the customer is really zero and  
18                 it's just there. That's one of the big advantages  
19                 behind Wi-MAX.

20                 MR. NEWMAN: LTE will also be embedded  
21                 in similar devices too. Right? That's not just  
22                 Wi-MAX.

1           MR. BOSE: Wi-MAX is obviously further  
2 ahead because it started sooner and those devices  
3 exist today. It may get to the same point with  
4 LTE. The difference is in the business model.  
5 Laptop providers are happy to embed WiFi because  
6 their users can use it in a lot of places. It's  
7 not as easy for them to say I'll embed an EVDO  
8 modem that's used with Sprint or an HSPA modem  
9 that's used with AT&T because you start locking  
10 into the service provider and narrowing down the  
11 market. Wi-MAX does not narrow the market. As  
12 Barry said, it's basically an unlocked technology.  
13 As for LTE, it's not clear yet.

14           MR. NEWMAN: HSPA is in over 100 million  
15 subscribers globally.

16           MR. BOSE: Subscribers. There are  
17 phones and there are other devices. Phones you  
18 can buy from your carrier, yes, if the technology  
19 exists, but I think Netbooks, are they going to go  
20 in Netbooks?

21           MR. KEITH: A lot of that data came from  
22 GSM and that's actually correct, it's actually



1 even higher than that. It goes up every day. The  
2 true is let's classify what embedded is. Embedded  
3 has now turned into a modular approach. My point  
4 is it's very easy. In fact, we were in search at  
5 Motorola to find some 3.5 gigahertz Netbooks for  
6 some of our customers in Middle East and North  
7 Africa and in less than 4 months we had an  
8 embedded Wi-MAX product ready to rock, and we also  
9 know that the HSPA is the same. It's very likely  
10 that the PCIE market is going to enable that. The  
11 challenge though is not embedding the technology.  
12 The challenge is that LTE exists on well over  
13 dozen different frequencies today. The base band  
14 will be the same as the PCIE, but the question is  
15 how many different antennas will they put in these  
16 products because you're starting all the way down  
17 from 700 and you're going all the way up to 2.6  
18 and there are lots of them in between and they are  
19 supported by major carriers. So if anything, I  
20 would classify that the issue isn't embedding the  
21 technology in, it's more about whether or not  
22 they're going to have to pick and choose what

1 frequencies they want to support.

2 MR. KNAPP: Rob had a few questions. Go  
3 ahead.

4 MR. CURTIS: A few short ones. Let's  
5 start with the statement on the satellite, Mark.  
6 At this point it may be a complete urban myth, but  
7 in addition to the latency point, I think there is  
8 a perception, no comment on the accuracy of the  
9 perception, but I think there is a perception  
10 about a price per bit issue and I think there is  
11 also a perception about the ability to support  
12 uplink. I think those are two other parts of the  
13 equation that at some point I'd like to hear more  
14 about now would be fine as well.

15 MR. DANKBERG: I think one of the issues  
16 is in wireless. There has been this clear  
17 projection of 1G, 1- 1/2, 2, 3, 4, and people say  
18 2G, that was old. That's only talk about 3 and 4.  
19 So we're talking about new satellite systems, and  
20 unfortunately in the satellite industry things  
21 have been muddied. Existing satellite operators  
22 want to bundle them all together. So with these

1 new classes of satellites, one of the points I  
2 would make is we're going to deliver 100 gigabits  
3 per second to end users for a total capital cost  
4 of about \$500 million. I would challenge almost  
5 any technology to be able to deliver that volume  
6 of bits at that total capital cost for  
7 infrastructure to the places that we can deliver.  
8 So on cost per bit basis, matter of fact, one of  
9 the things we do with satellite is we can place  
10 our gateways right on fiber backbones, so there is  
11 essentially no middle mile cost. One of the  
12 things that we can do is compare what our access  
13 costs are to the absolutely cheapest in the world  
14 peering costs and they're not as different as what  
15 you'd think. So I think that's one of the reasons  
16 we would like to get to these apples to apples  
17 comparisons. I think it will be surprising.

18 On an upstream basis, the technology  
19 that we use in order to get this throughput is  
20 essentially lots of small spot beams which means  
21 that in the satellite itself you have a high gain  
22 antenna. What that allows is much higher upstream

1 speeds out of a very small terminal with the same  
2 amount of power. So we can provide upstream  
3 speeds on customer grade equipment, it's blanket  
4 licensed, with peak speeds in the 10ish megabit  
5 per second range.

6 MR. CURTIS: Again, filings, visits that  
7 dig deeper into this stuff is exactly where we'd  
8 like to go on that.

9 MR. DANKBERG: Yes, we will make as much  
10 information available as you'd like.

11 MR. CURTIS: Vanu, you began to say  
12 something in your comments about network sharing.  
13 I would love to hear success stories maybe you've  
14 seen, specific ideas that might work for the kinds  
15 of markets we're talking about. Places we should  
16 push harder on. I'd love to peel the onion back  
17 and hear at least one more layer than you started  
18 off with.

19 MR. BOSE: Let me tell you why there's a  
20 lot of resistance to network sharing first and  
21 then why it's starting to happen. There are three  
22 fundamental reasons. If you take conventional

1 technology and share it, you can set one set of  
2 network parameters for handovers, quality of  
3 service, et cetera, and each operator has their  
4 own secret sauce. So there are two solutions.  
5 One operator decides and the other is a passive  
6 client on the competitor's network. That's not  
7 good. Or as they've done in Spain, they form a  
8 committee where they both have to agree and it  
9 takes 3 months to change a network parameter so  
10 that they lose independent management of the  
11 quality of the network. Number two is you really  
12 lose protection of your user data. It's all  
13 coming over one system where your hotspots are  
14 visible to your competitor. Number three is you  
15 lose the ability to upgrade independently and  
16 differentiate your service. Let's say you're both  
17 at UMTS. One carrier can't go to HSPA before the  
18 other to try to get better service set because  
19 you're on the same technology. Those are the  
20 challenges. In particular in India they didn't  
21 allow sharing because they felt it would be  
22 anticompetitive, that everybody would lock down on

1 technology and one path.

2           What we're doing there particularly in  
3 trial right now is once you get into software, you  
4 can start applying the IT technologies to the  
5 platform and the technology that's key here is  
6 virtualization. So once you're in software, you  
7 can apply virtualization and turn one base station  
8 into multiple virtual base stations which solves  
9 all three of those problems for operators. We're  
10 only in the trial phase right now, but if that  
11 works then I think that will remove a lot of the  
12 barriers to sharing that people see today, that  
13 the carriers see. Even though economically it  
14 works, there are real concerns on quality, control  
15 and competitiveness that the carriers have. I  
16 think this technology can address that. It's  
17 probably a 12-month period of trial and  
18 development.

19           MR. CURTIS: In that sort of a model  
20 which is fascinating, I'd like to dig much deeper  
21 into that than this session will allow. What are  
22 the piece parts that would be shared?

1           MR. BOSE: What makes sense to share is  
2 the most numerous items because that's where the  
3 cost gets driven up. Everything at the site  
4 because it's at the site where you have a lot. As  
5 you get back into the network it doesn't make  
6 sense to share the switches because there are not  
7 that many of them. That's where you control you  
8 billing and your service delivery platforms so  
9 that should be independent.

10           MR. CURTIS: Share backhaul?

11           MR. BOSE: Absolutely. In fact, we go  
12 IP to the base station anyway, so you just share  
13 through different IP sessions. So we're actually  
14 planning a network build here where we're working  
15 some of the carriers in underserved areas and  
16 looking at where they don't serve today building  
17 out one network that supports multiple  
18 technologies and supporting the major carriers in  
19 those areas. The business models we put together,  
20 there are some elements we can share with you on  
21 cost, are if the carrier built their own network  
22 there they'd lose money on that site, and it's not

1 about CAPEX. If the CAPEX is free you'd lose  
2 money every day on the OPEX.

3           So now the other solution is I could  
4 roam with a rural guy who's there who has his own  
5 network and that's ridiculously expensive. Most  
6 of the carriers today, their roaming costs  
7 annually are approaching a billion dollars. So  
8 what we're looking at is an economic model where  
9 we say we're going to build one network that  
10 supports multiple technologies that each carrier  
11 can run on and our goal is to provide them the  
12 same cost per minute that they have on their urban  
13 networks. That means they can go market retail in  
14 those areas because that's one of the things where  
15 rural gets hurt is even if you get coverage  
16 through a roaming provider, the major is not going  
17 to market service there because every handset he  
18 sells there is just a 5 cents a minute cost to him  
19 to his roaming partner. If you can drop the cost  
20 so that they can market, what you can bring is the  
21 nationwide plans and services into those areas  
22 through the major carriers because you're on the



1 same cost basis.

2 MR. CURTIS: As I'm on the train on the  
3 way home tonight, what are a couple of things that  
4 we should think about in terms of making that  
5 easier and faster, or maybe that's not something  
6 for us to think about.

7 MR. BOSE: I think you're right in the  
8 sense that the argument is primarily economic,  
9 does it make economic sense for the carriers to do  
10 this? Where they're willing to look at it now is  
11 in the really bad areas I think once we prove that  
12 it may move in. I honestly don't see a regulatory  
13 need there right now. They have spectrum there  
14 that we can use to run their network and that may  
15 work. I think that going forward as we succeed  
16 that may change. Let me take that back. The one  
17 place that I think there's a difference is, and  
18 we're running into this as we look at the  
19 different programs, there are open access  
20 requirements. As we're building a wholesale  
21 network, we have no retail subscribers. So a  
22 definition of open access for a wholesale network

1 should be Sprint, Verizon, T-Mobile, anyone can  
2 come along and use it. That's open. What they do  
3 with their own subscribers, that's up to them. So  
4 I think open access is different in these areas  
5 and is a different level of competition. So I  
6 think that has to be teased apart. But that's the  
7 only thing I think we've run into thus far on the  
8 regulatory side.

9 MR. NEWMAN: You just answered one of my  
10 questions. Would you be willing to offer this as  
11 a wholesale open access? I have a question for  
12 Brett, Kelly, Jim and Mark. If Vanu is out there  
13 operating a network like this that's providing  
14 HSPA Plus or LTE mobility --

15 MR. BOSE: Or Wi-MAX.

16 MR. NEWMAN: -- and giving you all who  
17 are already in the business Wi-MAX or WiFi or  
18 satellite respectively, does he become a logical  
19 partner or is he a competitor? Would you welcome  
20 him?

21 MR. GLASS: This fits right into what  
22 I was signaling I wanted to say. We designed our

1 network originally to be wholesaled. From the  
2 beginning we set it up so that another carrier  
3 could bring bandwidth to our network, use our last  
4 mile, and we brand our service so that it would be  
5 completely transparent and they could sell it as  
6 their own service, they would just use our network  
7 of access points that we were rolling out to these  
8 rural areas. We got no takers. Absolutely none.  
9 All of them had been burned by their experience  
10 with being on the ILEC DSL networks and did not  
11 ever want to be on anyone else's network again.  
12 They went to the extra expense of building out  
13 their own access points some of which were on the  
14 same frequencies as ours and interfered, but they  
15 would not share. I think that there might be some  
16 incentives, I'm not sure what, that might get them  
17 to do that. But we actually felt like we made a  
18 mistake, that we thought that people would buy our  
19 wholesale service and then they never did. We're  
20 more than willing to share our network. The only  
21 thing we're concerned about, by the way, as I  
22 mentioned on one of my slides, some of the people

1 who are advocating what they call wireless network  
2 neutrality would require us to allow any device to  
3 get on our network. That would be a very bad  
4 thing because we engineer every link to make sure  
5 that the antenna is adequate and we make sure that  
6 it's compatible with our network. You can do  
7 terrible things to a network like ours if you put  
8 incompatible equipment on it. If you put 802.11b  
9 on a 802.11g access point you can slow it down by  
10 a factor of 10, so it's very important that we not  
11 have people being able to put any equipment they  
12 want to on the network. We'll give them a good  
13 price on it, but we need to sell it to them and  
14 set it up for them. Back to network sharing, we  
15 really would like to do it but so far we just  
16 haven't gotten any takers.

17 MR. O'CONNOR: We do it similar, but a  
18 little bit different model, and with the NRTC  
19 partnership when we deploy into a city of 50,000  
20 to 100,000 with a hub and spoke model from a  
21 deployment standpoint and we actually do allow our  
22 NRTC partners to deploy in conjunction with us,

1 you start to enable an entire DMA, a marketing  
2 area. This is where there's a huge advantage for  
3 the stimulus, et cetera, so a lot of areas that  
4 wouldn't normally qualify for a full deployment  
5 with some subsidy or RUS funding and we deploy in  
6 an entire area, you really can share backhaul  
7 costs, you can share marketing costs and you can  
8 start that ecosystem and interoperable devices.  
9 So that's actually key to more model. So although  
10 it's specifically infrastructure sharing, in many  
11 areas we're private labeling or co-branding with a  
12 partner that enables them to interoperate. So I  
13 think Vanu's comments were on track. I think the  
14 one thing you warn against is you can't at  
15 fourth-generation Wi-MAX or OFDM in the old  
16 cellular model. There are better ways to do it  
17 and we're actually showing that I don't that  
18 roaming is going to be like it was in the cellular  
19 days. I think it's going to be much different and  
20 much better. It won't necessarily be economically  
21 as profitable for operators like us, but if you do  
22 it right you're going to really accelerate the

1 deployment by having this collaborative approach  
2 and I think that's key.

3 MR. CURTIS: That's super helpful.  
4 Thanks.

5 MR. DUNNE: I really can't give you an  
6 authoritative answer on that. I'm sorry.

7 MR. DANKBERG: We're very interested in  
8 the wholesale model. In fact, our business model  
9 is wholesale. I think that Vanu's point about  
10 what the definition of open access should be is  
11 very valid. The one point I would make though is  
12 things will be much different in a free market  
13 environment than they will in an environment where  
14 the government is subsidizing as part of a  
15 national broadband strategy. In the free market  
16 what we've found when we approach people about the  
17 possibility of hybrid satellite and wireless or  
18 hybrid satellite and DSL is that in a free market,  
19 anyone who has invested in their own physical  
20 facilities is highly motivated to drive traffic to  
21 their facilities and not partner with people that  
22 have other facilities. That's just the way it is.

1 In an environment where the government is  
2 subsidizing in order to support disadvantaged or  
3 low-income people and capital efficiency is  
4 important, at that point I think you can achieve  
5 very capital efficient combinations. For  
6 instance, high speed, high volume combined with  
7 low latency for wireless and DSL, but I think that  
8 the government may end up having to force some of  
9 those combinations as a condition of getting  
10 subsidies because there are these free market  
11 incentives to drive traffic to your own facilities  
12 that otherwise would inhibit it.

13 MR. KNAPP: We've run a little bit over.  
14 I apologize for that, but the discussion has been  
15 terrific and maybe the slogan for these panels  
16 should be Leave Them Talking. Our record will  
17 continue to be open. I want to thank all of our  
18 panelists. Before we close I especially wanted to  
19 thank Walter Johnson who put together the panels  
20 this morning, and Dr. Rashmi Doshi who put  
21 together the panels this afternoon. There was  
22 also a lot of other people who helped out putting

1 all of this together and are going to continue to  
2 help out with the sessions we've got ahead of us.  
3 So that's it for the day. And thank you to Krista  
4 Witanowsky. Thank you everybody.

5 (Whereupon, at 4:49 p.m. the  
6 PROCEEDINGS were adjourned.)

7 \* \* \* \* \*

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22



## 1 CERTIFICATE OF NOTARY PUBLIC

2 I, Carleton J. Anderson, III do hereby  
3 certify that the forgoing electronic file when  
4 originally transmitted was reduced to text at my  
5 direction; that said transcript is a true record  
6 of the proceedings therein referenced; that I am  
7 neither counsel for, related to, nor employed by  
8 any of the parties to the action in which these  
9 proceedings were taken; and, furthermore, that I  
10 am neither a relative or employee of any attorney  
11 or counsel employed by the parties hereto, nor  
12 financially or otherwise interested in the outcome  
13 of this action.

14 /s/Carleton J. Anderson, III

15

16

17 Notary Public in and for the

18 Commonwealth of Virginia

19 Commission No. 351998

20 Expires: November 30, 2012

21

22

ANDERSON COURT REPORTING  
706 Duke Street, Suite 100  
Alexandria, VA 22314  
Phone (703) 519-7180 Fax (703) 519-7190