

UNITED STATES OF AMERICA
FEDERAL COMMUNICATIONS COMMISSION

NATIONAL BROADBAND PLAN WORKSHOP
TECHNOLOGY/APPLICATIONS AND DEVICES

Washington, D.C.

Thursday, August 27, 2009

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

1 PARTICIPANTS:

2 Panel 1 - Current Experiences and Trends in
3 Applications and Devices

4 BRIAN DAVID, Moderator
Adoption and Usage Director, FCC Broadband Team

5 JULIUS KNAPP
6 Chief, Office of Equipment and Technology

7 STAGG NEWMAN
Chief Technologist, FCC Broadband Team

8 JAMES MILLER
9 Attorney Advisor, Office of Engineering and
Technology

10 ANOOP GUPTA
11 Corporate Vice President, Technology Policy and
Strategy, Microsoft

12 TIM NAPOLEON
13 Chief Strategist, Digital Media, Akamai
Technologies

14 ROBB TOPOLSKI
15 Chief Technologist, Open Technology Initiative,
New America Foundation

16 EVAN YOUNG
17 Senior Director, Product Marketing, TiVo Inc.

18 BILL GURLEY
General Partner, Benchmark Capital

19 Panel 2 - Emerging Applications and Devices and
20 the Infrastructure to Support Them

21 SUNIL DALUVOY
Senior Manager, New Business Development, Google

22

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

1 PARTICIPANTS (CONT'D):

2 JEREMY LIEW
3 General Manager, Lightspeed Venture Partners

4 DAVID HSIEH
5 Vice President, Solutions Marketing & Emerging
6 Technologies, Cisco Systems, Inc.

7 LAUREEN COOK
8 Vice President, 4G/LTE Strategy, Alcatel-Lucent

9 MATHEW OOMMEN
10 Vice President, Device and Technology Development,
11 Sprint Nextel

12 * * * * *

13
14
15
16
17
18
19
20
21
22

1 P R O C E E D I N G S

2 MR. DAVID: Thank you all for coming.
3 We have a panel that I've been excited about all
4 month in having this group of people together,
5 this one and the second one. I think some of the
6 people on the second panel this afternoon are or
7 will be in the audience. The only thing I will
8 say to preface the set of workshops that we've put
9 together for today is that we purely artificially
10 divided into current and future. As these people
11 begin to talk you'll realize that it's a futile
12 effort to divide current into future. So I am
13 giving everyone clearance to talk about the future
14 if you would like. If there is someone on the
15 second panel who wants to raise a question, funnel
16 it in. We can maybe create a cross-workshop
17 debate if that's useful.

18 Our goal for today in this Applications
19 and Devices Workshop is to get a view from
20 practitioners, people who are looking at the
21 network and the application stack and devices, get
22 a view from them about where we are today in terms

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

1 of utilization, the diversity of utilization, the
2 distribution of utilization across various user
3 communities, and a sense of where we're going.
4 Among other things as this feeds into the
5 Broadband Plan, we need to understand to the
6 degree we can, and we will be wrong, how to think
7 about networks being utilized in 3 years, in 5
8 years and in 20 years. We're not going to do a
9 lot of forecasting as part of this plan mainly
10 because I certainly don't want my name on
11 something that's going to be wrong and laughed at
12 like the famous McKenzie consultant who
13 recommended that AT&T not focus on mobile because
14 there would only a 100,000 users back in the day,
15 whatever it was. I don't want to be part of that.
16 But we do need to look into how utilization is
17 going to change and therefore how networks and
18 devices have to change.

19 With that I'm going to let my
20 co-questioners introduce themselves and then we're
21 just going to turn it over to the five of you to
22 spend your 5 minutes talking about whatever you'd

1 like to talk about, and then we'll throw it open
2 to questions from all venues.

3 MR. KNAPP: I'm Julian Knapp, Chief of
4 the Office of Engineering and Technology.

5 MR. NEWMAN: Stagg Newman, Chief
6 Technologist, Broadband Team.

7 MR. MILLER: James Miller, Office of
8 Engineering and Technology.

9 MR. DAVID: I'm not going to go into
10 detailed introductions. Anoop Gupta joins us from
11 Microsoft and I'll let him kick off because his
12 first name starts with an A and he's sitting right
13 next to me.

14 MR. GUPTA: Thank you. It's wonderful
15 to be here and it's a privilege to have this
16 opportunity to provide an input on an issue so
17 foundational to all of our futures, to the
18 competitiveness of the U.S. and opportunity for
19 all citizens. It's wonderful to have this
20 opportunity to have a dialogue with such a select
21 and influential audience.

22 I don't think there is any doubt for any

1 of us that the Internet will play a critical role
2 in our lives whether at work or at play, and also
3 how we think about our education systems, how we
4 advance the quality of health care and reduce
5 cost, how we deal with the energy and the climate
6 issues, all of the issues that are so foundational
7 and are of deep interest to this administration,
8 and how we enjoy entertainment and connect with
9 our family and friends.

10 I'm not going to use any slides, it's a
11 complex topic, so I found that the simplest way to
12 discuss this is to identify some high-level trends
13 and the policy implications that are going to be.
14 I'm watching the clock so I'm going to go pretty
15 quickly.

16 Trend one that I want to talk about is
17 that I believe the growth in storage and the
18 Moore's Law that drives storage capacity and the
19 emergence of cloud computing where we are taking
20 that storage and pictures and putting them up is
21 going to also drive proportional increases in the
22 bandwidth and the throughput requirements of the

1 network. I just returned from a vacation 10 days
2 covering the Grand Canyon and Bryce and Zion
3 Arches and I took in 10 days 38 gigabytes of
4 photographs and videos. It was a Cannon SLR,
5 nothing special about it that you're doing. It
6 takes HD video. I just got the load, and if I
7 take the average capacity of 1 megabit that we
8 have upstream, it's going to take 3 days to upload
9 it to share with the rest of the family that is
10 there. Microsoft has things like PhotoSend where
11 I took the Balance Rock and Arches, took lots of
12 photographs because we can start building 3D
13 models off of it and sharing with the rest. As
14 you go around and look and people are doing that
15 for all kinds of institutions. So even if you
16 consider me high end today, 3 to 5 years from now
17 I don't think this is going to be uncommon. The
18 number of pixels and the size of flash RAM is
19 going to drive it.

20 What does it means in terms of policy
21 implications? It means we need broadband
22 technology that scales the same way the rest of

1 the silicon does and is future proof, and fiber is
2 one of the technologies today and it's important
3 to get it as deep to the end user. We have talked
4 previously about the priority today should be to
5 anchor institutions, schools, libraries,
6 hospitals, and having open-ended connectivity
7 because then it allows connectivity to the
8 surrounding communities. Wireless is critical.

9 The second very mega trend I want to
10 talk about is that applications are like a gas in
11 the sense that they are limited only by human
12 imagination, and new ones will be invented that
13 expand usage to consume all length bandwidths. So
14 in any prediction we do there is only one
15 application, we will consume the bandwidth that is
16 available whether as we go from standard
17 definition to high definition to Quad HD to 3D
18 video, 3D video as part of Silverlight that
19 Microsoft is already doing. Cameras at \$100 are
20 becoming available. MRIs are 3 gigabytes, remote
21 medicine and all these things.

22 What is the importance of this trend?

1 It is that basically will the U.S. be the
2 innovator of the applications because you need a
3 critical mass community who is going to innovate,
4 or is it going to happen in Korea, Japan or Sweden
5 where you have the applications and critical mass
6 innovation? So I think it is really important
7 that we make sure that the United States is the
8 country where the leading edge deployment of
9 broadband is available so that it is our companies
10 that are doing it.

11 Let me go to a device related trend.
12 The diversity and the number of devices connected
13 to the Internet will increase massively that we
14 see. PCs of course are there, but broadband
15 enables smart phones, they're going to double,
16 TVs, smart electric city meters, smart
17 thermostats, washing machines, rain scales that
18 are connected to the Internet. So we see a
19 massive increase in these devices and it's already
20 starting to happen in the notion of Internetted
21 things.

22 A couple of quick things that people may

1 not think about. One is we are running out of
2 IPv4 address space more rapidly than people might
3 be thinking, and the transition to IPv6 will not
4 be as easy as many people are imagining and I
5 think that a deep public/private partnership is
6 needed in making sure it's a smooth transition.
7 Just like we might have thought about digital TV
8 and Y2K, that is there. Also in terms of the
9 spectrum, both licensed and unlicensed spectrum.
10 As we've seen, all of the mobile people now
11 they're sort of warming up to the WiFi
12 availability and how we go and enable both more
13 spectrum, more efficient use of spectrum as in the
14 case of white spaces, using that spectrum, and
15 looking at the wire line and wireless as
16 complementary rather than competitive or silo
17 technologies, I think a policy framework like that
18 is really important.

19 Let me end there given that I'm done
20 with my 5 minutes. I have a bunch of other points
21 that we can discuss during questions. Thanks.

22 MR. NAPOLEON: I'm Tim Napoleon. I'm

1 the Chief Strategist for Akamai Technologies.
2 Akamai started out as an MIT start-up based out of
3 Boston, Massachusetts. We've since grown over the
4 last 10 years to power the online applications for
5 several sectors of business, everything from the
6 media and entertainment space, from people like
7 Xbox and iTunes, to the financial services space
8 with Websites like Fidelity, to government
9 agencies, and recently even things like the NASA
10 launch on the iPhone. So we have a very broad
11 spectrum of customers who are really leveraging
12 the Internet to both run their businesses and
13 reach consumers in new and engaging ways.

14 A couple of the trends I wanted to talk
15 about, we publish a quarterly report called "The
16 State of the Internet Report." How we get that
17 data for the report is the way Akamai likes to
18 solve the problem of Internet congestion and
19 Internet speed is by putting our services as close
20 to the edge as possible. So we're in nearly about
21 2,000 networks and we have about 45,000 servers.
22 The partnerships that we have with the ISPs is

1 that they take our servers and put them in their
2 last mile networks so that the things like videos,
3 popular software applications, popular webpages,
4 don't transverse the middle mile networks where
5 there is the most constrained capacity, and stay
6 resident at the edge of the network. So what we
7 saw in the last quarter was over 10,000 servers
8 were requested by ISPs and shipped. The primary
9 driver of that is from the ISPs dealing with the
10 explosion of video. The explosion of video is
11 happening for two reasons. Reason number one is
12 there is more video consumption on higher powered
13 computers, so the price point for the MIDs and
14 notebooks and things that can play back Hulu are
15 now under \$500 and have made that a massive
16 adoption for consumers. The next big trend that's
17 driving that is the overall quality of the video.
18 We went from small 320 by 240 kind of grainy, rub
19 your eyes, probably can't watch it for more than a
20 couple minutes, to now we're delivering all the
21 way up to HD video for long format shows. So when
22 you hear the term over-the-top models are going

1 directly to consumers with broadband, that type of
2 model is actually going to be very much a reality.
3 So we're seeing things like rental models from
4 Netflix, sale models from iTunes becoming a larger
5 and larger percentage of media revenue.

6 The last piece I'll use to close out for
7 my time is to go through some data that we're
8 seeing that shows some of this growth. How we
9 collected this data was from end user requests to
10 the Akamai Network from these various ISPs around
11 the globe. On a daily average we're seeing about
12 a terabit per second of traffic through the
13 network and that's an average. We peak well over
14 2 terabits per second when popular things happen,
15 for instance, the inauguration or the upcoming
16 World Cup. They used to always use the analogy
17 for the government that at the Super Bowl when
18 everybody flushed their toilets, the water works
19 should slow down, so we want to make sure that
20 doesn't happen on the Internet.

21 The next piece is the number of people
22 we're seeing on the Internet. On a monthly basis

1 we're seeing about 425 million unique IPs access
2 content on the Akamai Network. Those are global
3 users. Then for domestic users, we're seeing
4 about 115 million unique IPs. Additionally, we're
5 seeing the average global broadband speed, so this
6 is the average connection speed to the network, is
7 about 1.5 megs per second, so that's the global
8 average. The best country for broadband speed
9 which is no surprise is Seoul, Korea, their
10 average connection speed is about 11 megs per
11 second, and that includes both mobile and
12 broadband. As for the U.S. in terms of overall
13 averages of the average broadband speed, we rank
14 about nineteenth in the world, so we have to step
15 that up to get up to number one, it's our American
16 duty, and 3.8 megabits per second is the average.
17 If you think about it though from a stat we track
18 at Akamai on our wall, is total minutes saved by
19 all these people accessing content? We accelerate
20 the delivery of webpages and media, so you'd have
21 to wait longer if you didn't get cached by Akamai,
22 and that has become into billions and billions of

1 hours of time we've saved the general population.
2 So if you can think about broadband speeds as just
3 a time saving function, I think there is a gross
4 domestic product/output productivity argument we
5 can make that by just accelerating the speed of
6 broadband access for these 114 million people who
7 are accessing the Web, we can drive additional
8 productivity out of our economy. So I think just
9 from a productivity standpoint, having fast
10 Internet access is a necessity.

11 MR. DAVID: Thanks, Tim. Robb?

12 MR. TOPOLSKI: My name is Robb Topolski.
13 I am Chief Technologist for the Open Technology
14 Initiative at the New America Foundation and also
15 work in the same role for the public interest
16 groups Public Knowledge and Free Press.

17 I'm a software developer. I worked for
18 the last years at Intel and Quarterdeck Office
19 Systems on networking products. I've been in
20 digital communications for 25 years. I hold an
21 advanced class amateur radio operator's license
22 and have made my focus digital modes. So I've

1 watched the evolution of this and seen the
2 technology applications leapfrog the platform and
3 network and then have seen the platform and
4 network respond to grow in order to handle that
5 increased demand only to see the demand again
6 leapfrog, and this game continues and is healthy.
7 Everybody probably remembers when America Online
8 decided to change their dialup model to an
9 unlimited dialup model and all of a sudden the
10 service that was able to handle the capacity
11 couldn't handle it anymore. In 1994- 1993ish I
12 remember when this rather disruptive technology
13 known as the World Wide Web came to take over the
14 Internet and kind of sucked up every available bit
15 of bandwidth because the World Wide Web came with
16 images and Gopher and such did not. I remember a
17 service called PointCast which doesn't exist
18 anymore because network operators thought that the
19 push technology tended to push too much traffic
20 through their pipes and they shut that down. And
21 I also remember the network responding each time
22 to the inevitable demand and there being times

1 where I would sit in strategic meetings at Intel
2 wondering how are we going to fill these pipes?
3 What new thing can we do to increase demand for
4 our networking products? And these were all
5 decisions that we were trying to make in order to
6 respond to the fact that we had excess capacity,
7 we have felt that breathing room from time to
8 time.

9 Something is different this time. The
10 country that founded the Internet is now number 19
11 and we are having discussions from our top
12 Internet service providers not on how we can
13 provide the next generation of speed, but how we
14 can manage the demand within the network that we
15 have, and I don't think that's healthy at all. We
16 need that leapfrog to continue.

17 As an innovator, one thing that I've
18 been able to count on is the thing that I create
19 here for the Internet works everywhere on the
20 Internet. The Internet has standards and that the
21 standard operating procedure for the Internet in
22 Washington, D.C. or the Internet in Hillsboro,

1 Oregon or in Santa Monica, California, any place I
2 might be developing this, will work every place
3 else because the Internet protocols are the same.

4 When we have ISPs that are managing the
5 network to favor the products that they like and
6 disfavor the products they don't, that breaks that
7 predictability, that interoperability that I count
8 on. Some examples, just this past week the Dutch
9 ISP that goes by the name of UPC announced that
10 they were cutting back on all traffic that's not
11 http based traffic down to two-thirds for 12 hours
12 during their working day. As a developer that

13 tells me either if I'm in the Dutch market I want
14 to make sure that my application is http based
15 whether it's appropriate to be or not, or I don't
16 want to work in that particular area, or I could
17 expect high support costs in that area. But UPC
18 isn't alone. There was an announce about a year
19 ago that BT was doing the same thing. An outfit
20 named Samno said that they detected this
21 unannounced interference. Cox Communications here
22 in the United States has said that they were

1 experimenting with a preferencing/dispreferencing
2 service. The Internet standards promote
3 interoperability, promote predictability, promote
4 low cost of support, and that generates
5 investment, that generates the dollars coming in
6 for those next generation products.

7 As for being number 19, all I have to
8 say is our network is our test bed. Most of the
9 best things on the Web were invented in somebody's
10 garage at home, and if we are operating on last
11 year's network, there is no way that we can be
12 expected to develop next year's killer
13 applications. We can only chase taillights when
14 we're in nineteenth position. Thank you.

15 MR. DAVID: Thanks, Robb. Evan?

16 MR. YOUNG: Good afternoon, and thank
17 you for inviting TiVo to participate.

18 I'd like to talk about three issues with
19 respect to broadband apps, network and policy
20 development. I'm going to use some TiVo specific
21 examples, but we think these apply generally to
22 ensuring free and open competition, innovation and

1 consumer choice when it comes to broadband
2 services.

3 The first issue is access to signal
4 particularly as it pertains to consumer choice
5 among broadband connected devices. You might
6 think that this is a solved problem because with a
7 PC I can access broadband by plugging in Ethernet
8 or just using the 802.11 network. But broadband
9 services can be delivered many ways, some more
10 open than others. You can get broadband via hard
11 line, ATSC encapsulation, satellite, cellular and
12 wireless networks. And while I can hook my PC or
13 other devices to my home Internet connection, this
14 is not generally true across most of the other
15 methods. Consumers are often restricted in the
16 types of equipment they can use on a particular
17 network, particularly cellular, cable or
18 satellite. Competing device makers often don't
19 have access to signal on these networks and that
20 results in restricted consumer choice. Given that
21 cellular and wireless networks are an increasingly
22 popular way to access broadband, consumers

1 shouldn't be restricted to the provider's
2 equipment, they should have choice in the devices
3 and the user interfaces and the default services
4 that are presented to them.

5 In addition, many devices are delivering
6 functionality and services across multiple
7 networks. The iPhone is an example of a device
8 that accesses the cellular network as well as an
9 open wireless network if it's available. TiVo is
10 another example where we access ATSC broadcast
11 cable networks and Internet broadband services in
12 an integrated fashion. You can see running across
13 the lower right hand track all of the different
14 ways this episode or medium can be gotten. I can
15 get it off of broadcast, I can get it off of cable
16 on demand, and via broadband I can get it via
17 Amazon on download or Netflix via streaming. So
18 we believe TiVo and others should be free to
19 innovate in the ways of presenting content and
20 services to consumers and integrating those
21 multiple sources, and for this, access to signal
22 is key. If there were restrictions on access,

1 TiVo wouldn't be able to present all of these
2 options to consumers. I should note that people
3 who use a traditional cable set top will see only
4 cable delivered options. They won't see ATSC
5 channels and they won't see Netflix or Amazon on
6 their cable set top. Consumers using a PC to
7 watch video may be able to access Netflix or
8 Amazon on their PC, but they can't watch linear or
9 VOD cable services there. TiVo is only able to do
10 this level of integration because of the cable
11 card standard. Tru2way is a new and sort of
12 troubling standard that restricts choice of
13 presentation to just what the MSO provides. I
14 won't talk about that here because this is on
15 broadband. But in any case, if the MSO or service
16 provider restricts access to specific services
17 coming over broadband in favor of their own
18 services, this is another restriction of consumer
19 choice.

20 This brings me to the second issue which
21 is net neutrality. We strongly believe in net
22 neutrality. All broadband sites and services

1 should have open and equal access to the consumer.
2 Neither the last mile provider nor the backbone
3 provider should be able to favor or restrict
4 certain types of traffic based on either origin
5 source or on destination device. By that I mean
6 traffic based on origin shouldn't be restricted,
7 but similarly a cable provider's set top should
8 not receive traffic preference over TiVo in the
9 home, nor should a wireless provider's phone
10 receive network preference over an unlocked phone
11 that I use on that network.

12 As multiple services of networks are
13 integrated into single devices, it's important to
14 maintain parity of service across delivery
15 methods. If Amazon or Netflix were rate limited,
16 then a TiVo user might have no choice but to favor
17 the cable provider's services. As another
18 example, I can use Skype on my iPhone only when
19 connected to an 802.11 network. Skype is
20 disfavored on AT&T's 3G data network despite the
21 fact that bits are bits.

22 The final issue that I'd like to address

1 is consumer choice and user interface in accessing
2 these services. With respect to broadband
3 services and especially broadband video,
4 innovations in speed, picture quality and sound
5 quality go only so far. Consumers should have
6 choice in both the services they can access and
7 the way those services are presented to them. In
8 the same way I have a choice among the Internet
9 sites I like and the Internet browser and search
10 technologies I use to get to those sites on my PC,
11 I should have choices in the broadcast and
12 broadband video services I can use on my
13 television as well as the way those services are
14 presented to me. I should be able to choose
15 whatever device and user interface I want and that
16 accesses the services I want. That choice with
17 access to signal and net neutrality are the things
18 that we believe are necessary to guarantee
19 consumer choices and free and open competition,
20 innovation and the development of next generation
21 services.

22 MR. DAVID: Thanks, Evan. Bill Gurley?

1 MR. GURLEY: Thanks, Brian. My name is
2 Bill Gurley. I'm a venture capitalist with
3 Benchmark Capital in Menlo Park, California. Like
4 many people who come before you, I have a bias and
5 my biases are tied to being a venture capitalist
6 so I favor policy and regulation that allows for
7 the creation of new technologies, new markets and
8 new companies that generally threaten the status
9 quo, and I can only assume that the reason we're
10 here is dissatisfaction with the status quo, so
11 I'd make the argument that our interests are
12 aligned.

13 Let me move to the first point. I have
14 three points I want to try and get to quickly.
15 The first one is just more of an FYI. I don't
16 know that it demands policy attention, but I would
17 argue writ large the venture capital industry is
18 capitulating and throwing in the towel when it
19 comes to investing in telecom equipment either
20 wire line or wireless. The real problem is that
21 under the previous administration the kind of
22 stark oligopoly that's been recreated has created

1 a marketplace where even start-up winners don't
2 pay off for venture capitalists. They require
3 hundreds of millions of dollars and don't result
4 in positive ROI. I'm not looking for sympathy,
5 the sympathy line for venture capitalists is quite
6 short, but it's more to let you know that these
7 technologies aren't going to come from Silicon
8 Valley. They very well come from Asia where there
9 is a more competitive market.

10 The second point I'd like to make and it
11 relates to the first and some of the other
12 panelists have mentioned it, venture capitalists
13 very much favor open spectrum. It gives us a free
14 kind of unfettered blank canvas area where we can
15 innovate and invest where we don't have a fear of
16 the kind of control tactics that make it very
17 difficult for start-ups to compete in and around
18 the carrier network. So I would be a fan of any
19 policy, I'm not asking even for all open spectrum,
20 just some open spectrum, because I think if you
21 look at the innovation that's happened even around
22 802.11 from 200K up to 54 megs in a very short

1 timeframe and different applications and different
2 uses, you can see what Silicon Valley can do when
3 they're given room to run.

4 The carriers have two points they like
5 to make when you talk to them about metro scale
6 open spectrum wireless that I think are quite at
7 odds with one another. The first one is they'll
8 tell you that the technology sucks and it doesn't
9 work and you shouldn't rely on it. And the second
10 thing they'll tell you is that the networks are so
11 potently competitive that they'll hurt their
12 profits and therefore will need to be legislated
13 out of existence. You can't have both of those
14 things be true at the same time. It's not
15 logical.

16 We happen to be an investor in a company
17 that makes metro scale WiFi equipment called
18 Tropos Networks. These products have evolved
19 quite a bit since what you may have read about
20 EarthLink and maybe the Philadelphia Network. The
21 majority of Tropos' 750 customers, actually
22 municipalities here in the United States that are

1 using these networks currently to the theme of the
2 panel for a variety of applications including
3 video surveillance, mobile police forces, mobile
4 fire forces, ambulatory, traffic management, and
5 now smart grid.

6 I want to walk through real quickly four
7 examples of these although there are 750, and I
8 invite the FCC or anyone to visit any of these at
9 any given time. Oklahoma City has probably the
10 world's largest open spectrum network, 550 square
11 miles. Every single city employee has become a
12 mobile worker including the entire police force
13 and fire force. In St. Cloud, Florida, the city
14 council there decided for economic development
15 reasons they wanted to have a free WiFi network
16 over the entire city to attract business and for
17 consumers. There are 12,300 users of the network
18 today. For a third of those users, this is their
19 only broadband Internet access. This is a digital
20 divide story, Richgrove, California. This is a
21 very, very, very poor community of 2,300 people.

22 Only 20 percent of the population has a high

1 school education. The IT manager at the local
2 high school got a grant and was able to build a
3 network 1 square mile around the school which now
4 offers free access to that community and they want
5 to expand it. The challenge for a community like
6 this is we went to them and talked about the NTIA
7 grants and they said we've never filed a grant
8 before and we don't know how. In some of these
9 areas, it's a different type of problem that gets
10 in the way of them being successful.

11 Mountain View, California. You may have
12 heard about this. This is a network owned by
13 Google, and I think it's important to look at it
14 just from my understanding of what the technology
15 is capable of. This is a 12 square mile network.
16 It is free with 17,500 users a month and over 600
17 gigabytes a day. To put this in perspective,
18 that's about the same type of traffic you'd have
19 on a DSL network of this size, and I think
20 interestingly, more than the combined data traffic
21 of all the 3G networks in the area. So it's kind
22 of hard to talk about this not being a feasible

1 technology.

2 Lastly I'd mention Ponca City, Oklahoma,
3 which is a network that's only been alive a few
4 months. This is mixed city use, so all the
5 vertical applications I mentioned and public use
6 with 4,000 users a day. This is our latest and
7 greatest N technology. They're seeing 4 to 7
8 megabytes of average performance for each consumer
9 which is above the average Tim mentioned earlier
10 for all of the United States.

11 The last point I'd make before I close,
12 because I think this is important to regulation,
13 is city size matters. One of the things that
14 Tropos has found over the years is that the
15 smaller cities actually can implement these
16 networks much quicker, much more cost-effectively,
17 and the reasons I have on this list are smaller
18 cities lack bureaucracy, right-left issues, red
19 tape, mounting pole issue rights, and literally
20 the Philadelphia Network took 2 to 3 times per
21 square mile of some of these smaller cities and
22 easier 10 times for the time to implement. So I

1 would ask you to please consider the interests of
2 smaller communities, that if you can't help fund
3 them, please don't legislate them away or get in
4 the way of their own determinism.

5 MR. DAVID: Thanks, Bill. In various
6 ways you all touched on a triangle of issues,
7 applications, networks and devices. I have two
8 primary questions. One is other than video which
9 was talked about extensively, what are the other
10 applications that are driving bandwidth intensity?
11 Let's keep it short, the 3- to 5-year window. But
12 related to that is a point that Robb brought up
13 which is around leapfrogging. If you're right,
14 and I think you probably are that networks
15 leapfrog once they figure out that they have to
16 and the R&D and innovation that Bill was talking
17 about finally happens, the network leapfrogs where
18 devices are and then the devices catch up. My
19 question you is do we have examples where the
20 devices literally held back the network, not that
21 they took some time to fully utilize the network,
22 but where a network really wasn't useful for an

1 extended period of time at its inception because a
2 device didn't exist to use it? I don't have any
3 good examples. It's not a leading question. I'm
4 wondering if you think about 3G to 4G wireless or
5 2G to 3G or others. I'm interested in whether
6 devices ever lag the network in a significant way.
7 So maybe you can wrap those two questions
8 together. Robb, do you want to start?

9 MR. TOPOLSKI: I just came to
10 Washington, D.C., from Portland, Oregon, which was
11 one of the first networks for Clearwire.
12 Clearwire is a 4G WiMAX ISP with an aggressive
13 rollout schedule over the next several years.
14 When they came to Portland they did not have any
15 voice devices. They were strictly data devices,
16 either an in-home receiver/transceiver or an in
17 the mobile receiver/transceiver, but either one
18 was data. I think that really hurt Clearwire in
19 that that adoption was not what it would have been
20 if they also had a voice phone or device that
21 could make and receive calls.

22 MR. GUPTA: I wanted to talk about a

1 couple of things, one related to video and the
2 other not related to video. One is traditional
3 video is very latency tolerant and we should not
4 forget nonlatency or latency-sensitive
5 applications. Telepresence and telecommuting can
6 be a huge thing. Microsoft uses 220,000 hours of
7 conferencing per month. That saves us around 100
8 million miles a year of less air travel and around
9 \$200 million in savings, and that requires low
10 latency. Project Natal which is a gaming
11 situation that we have where you don't need
12 controllers so we are really democratizing the
13 grandma going and interacting with the kids
14 requires very low latency where you're playing
15 ping-pong or soccer or lacrosse in the
16 communities, and those are different kinds of
17 applications that we'll need, and if you start
18 thinking of remote control surgery. So that is
19 one source of application that I don't want us to
20 forget about as we think about YouTube streaming
21 videos and things like that, that those are
22 critical.

1 The second area I want to talk about
2 that will be driving it is science and research.
3 There is a third branch of science emerging.
4 Traditional sciences were theoretical sciences and
5 experimental science, and the third science is
6 computational science which is driven by massive
7 amounts of computation and massive amounts of data
8 that are brought together. The Hadron Collider in
9 Switzerland produces around 10 to 15 petabytes a
10 year. There are some telescopes that will be
11 producing terabytes of data. The data goes into
12 these clouds and these massive data centers, but
13 the researchers are sitting across all of these
14 universities and accessing and driving how we
15 design the wind turbine blades or the next car or
16 something like that, and I think that will impose
17 a new requirement on network speeds both upload
18 and download as we look at visualizations, and
19 that's definitely another consideration people
20 should be thinking about and that's happening now.

21 MR. NAPOLEON: I think the key point of
22 the other killer application for the Internet

1 beyond video was collaboration. I'll use a
2 specific example, a start-up in Nashville called
3 StudioNow. If you've ever been in corporate
4 communications and you've had to produce a video,
5 especially if the video had a lot of regional use
6 where you had to go out and interview potentially
7 many different cities, you'd have to fly a video
8 production crew around to each city. You'd then
9 have to take that footage back and edit that.
10 You'd have a bunch of limiting factors, how many
11 editors you had at your studio and the time that
12 you took to travel. They built some software on
13 the Web that allowed people and all the
14 videographers around the country to certify
15 themselves. The marketing and communications can
16 put up their script. They can shoot in every
17 single region. Every person edits and they bring
18 everything back. So there was a recent project
19 that historically took 6 to 9 months to finish up
20 and they were able to finish it up in a few weeks.
21 The interesting thing about the Internet is the
22 collaborative effect of parallel process. You

1 have to almost have a new mindset in business that
2 you are no longer limited by your resources,
3 you're limited in your ability to collaborate and
4 coordinate resources. So the distributed aspects
5 of the Akamai Network also is starting to have
6 software applications that are coordinating
7 distributed resources, whether that's humans or
8 other devices and that distributed coordination is
9 a very, very powerful effect.

10 MR. GUPTA: On the science I was talking
11 about, distributed collaboration absolutely
12 resonates because the large files or whatever
13 you're sharing and driving across the network is
14 an element.

15 MR. KNAPP: Progressing along the same
16 line of thought that Brian had raised, when I
17 think back looking at the evolution of the PC and
18 the processing capability advancing and then the
19 software applications would catch up with that and
20 we had that kind of a leapfrog going on. I was
21 fascinated, Tim, by the figures that you had, here
22 are the average speeds and the capacities and so

1 forth. In thinking about applications, are there
2 any accepted norms for average speeds that weigh
3 in when you think about I'm going to develop this
4 application? Is there any accepted norm in the
5 industry or is it done by each company? I'm going
6 to stop there because I have a couple of follow-up
7 questions.

8 MR. NAPOLEON: There are two answers to
9 your questions, yes, we found things from Jupiter
10 and others that we've done research that if a page
11 doesn't load in a certain amount of time, the end
12 users will abandon it. There's another problem
13 that makes it even more challenging for the
14 technologist, it's that people gravitate toward
15 the best experience they've ever had. So just
16 like when you first went over to your friend's
17 house and first saw a Redskin's game in HD, you
18 went home and said a new TV set is coming in this
19 weekend. The thing happened in that as soon as
20 you have a great broadband experience or you see
21 the speed of a very fast and good connection,
22 you're like I've got to have that now. So you've

1 had that experience probably when you got a new
2 laptop or a new PC. You didn't really realize how
3 slow your PC was before until you had the new
4 experience. I think it's not a fixed target that
5 we're aiming for. It's constantly evolving, and
6 so that's what the challenge is.

7 MR. KNAPP: Anybody else?

8 MR. TOPOLSKI: I'd just like to add to
9 that that as somebody who is developing products
10 for a particular network segment, we try to figure
11 out what's the worst-case scenario. What's the
12 slowest that they have access to? Because that's
13 what's going to drive our support costs. Those
14 people on that boundary are going to be the people
15 who are calling. So when we have a market where a
16 significant number of consumers are sub-1.5
17 megabit, we've got to write the application such
18 that it works for that and above and we're stuck
19 there. It might get better for somebody who's
20 faster, but at that point that's where we have to
21 cut it.

22 MR. GUPTA: One point on that. One is

1 certainly for companies like ours we want to
2 skilled audience so there's a business model that
3 works. That's important. One of the technology
4 approaches we are taking is making sure that we
5 have scalable codex and technology delivery
6 mechanisms. When you say you can watch the video
7 on the phone and you can watch it on the PC and
8 you can watch it in a single package in some
9 sense, you can scale across that thing and make
10 tools that do it. So that is one of the
11 technology ways you address a broad audience, that
12 you let the people have experiences based on the
13 capability.

14 MR. YOUNG: Just to echo that, if you
15 look at next generation over the top video
16 services, often these are competing against ATSC
17 and cable services. With ATSC I can get 19.2
18 megabits through the air, cable varies depending
19 on what the cable provider tries to do. If I'm
20 trying to do an HD stream to the home even using
21 very, very good compression, I often have to have
22 4 megabits to do that. So in the consumer's mind

1 they're going to go to whatever is the best
2 perceived service and if one of them is being rate
3 limited in a particular say, I think that's where
4 you get into some trouble.

5 MR. KNAPP: Is that real time or
6 buffered into the box?

7 MR. YOUNG: A few years ago when we
8 started developing some of these over-the-top
9 services with Amazon we did that on a download
10 basis because a lot of consumers if they had 1-1/2
11 megabit DSL, they couldn't get something in real
12 time so that a 2-hour movie would take you 4 or 5
13 hours to deliver. That's the way we were able to
14 achieve video quality, by just letting it take
15 longer. These days that isn't competitive in
16 terms of immediacy if you've got to wait for one
17 versus one that's coming over live. So these days
18 there are a lot of new and interesting steaming
19 technologies, a lot of them like Netflix, they
20 will change the video quality depending on what
21 kind of bandwidth you have. So that's good for
22 immediacy, but again these days a lot of consumers

1 don't want to watch something at VHS quality when
2 there's another alternative.

3 MR. DAVID: Stagg?

4 MR. NEWMAN: One of my roles is to give
5 homework assignments, so let me give the
6 assignment and then ask the question. It would be
7 great if each of you could give us your
8 definitions of broadband from two perspective.
9 First, the layperson's perspective, what does
10 Julius say to Senator Rockefeller when he goes up
11 to talk? Then the technical perspective, downlink
12 speed, uplink speed, and importantly, how do we
13 think about burstiness, volume, those types of
14 technical parameters when we define broadband.
15 What I'm really concerned about is how do we
16 develop a policy that with rational investment
17 keeps getting that broadband better and better.
18 I'll show my age, but since I've been connected to
19 the network, it wasn't even the Internet when I
20 started, it's gone up by a factor of 50,000. In
21 other words, it's doubled almost every year. I'm
22 going back to 110-bit-per-second modems, and they

1 did exist. My question now is what do you see is
2 the compounded annual growth rate going forward of
3 both the volume of users per month? Right now
4 it's somewhere between 2 and 10 gigabyte per
5 month. How do you see that growing? What will
6 cause discontinuous changes as opposed to
7 continued 40 or 50 percent keg or whatever the
8 number is? Similarly, what do you see as the need
9 for peak speed and how that should evolve?

10 MR. GURLEY: I'll start. I think to a
11 certain extent especially if you have unlimited
12 use priced networks, the innovators of the world
13 are going to develop applications just as they
14 have with processors that are going to use up as
15 much bandwidth as you make available to them, so
16 you're never going to reach any level of being
17 finished because there is always going to be
18 something that comes along and until we get to
19 two-way live real time video teleconferencing,
20 you're not going to have reached any kind of
21 assento (?) I feel your pain. I think it's a
22 really interesting problem especially for the

1 United States where the topology is so diverse and
2 it makes it very difficult. Therefore, an
3 acceptable level of broadband in one specific
4 location may be dramatically different from
5 another location.

6 MR. GUPTA: I think one is we should
7 differentiate between what is baseline broadband
8 which we want every citizen to have, versus what
9 might be average or peak as a nation to
10 competitively develop applications. On a baseline
11 basis, the layperson definition I would use is so
12 that a citizen can participate meaningfully and
13 productively in the nation that is there, so the
14 common things so that they are not left behind,
15 they can be there whether it's education services
16 or whether it's health services, whether they have
17 to go to the Web to do their homework. All of
18 those capabilities, the citizen, every citizen,
19 it's a fundamental right and it's not a privilege
20 at that level. Technically I think these
21 definitions need to be updated over time. We've
22 talked about at least 4 megabit downlink and the

1 megabit per second uplink. It's 2 megabits right
2 now, but these need to be updated given the
3 quality of video that is there and what people
4 need to do. So that's the level I would talk
5 about.

6 In terms of compounded annual growth
7 rate, as I talked about, my own kind of gut and
8 this is not any law or something like that, is
9 that the same thing, Moore's Law, that is driving
10 storage capacity increases will also drive the
11 Internet consumption rate because today I'm
12 putting a 16 gig card in my camera and even if the
13 number of uploads and downloads is not changing,
14 I'm taking that and putting it up and it's super
15 easy and that is there. Or what we do with hard
16 drives when we download and fill those up. So
17 that is at least a fundamental right, and the
18 participation in the Internet itself is very high,
19 so I think the number of consumers is not going to
20 drive that massive growth rate. That part is
21 stabilizing. But the storage and the Moore's Law
22 capacity will drive the growth rate needs that we

1 need to support.

2 MR. TOPOLSKI: It's important that they
3 both grow. Comcast is arguably the largest
4 residential United States ISP, AT&T is probably
5 the largest overall ISP, but Comcast has
6 advertised a 250 gigabyte limit where they divide
7 between excessive use and not excessive use. That
8 limit has stayed the same now since it was
9 announced a year ago, yet the annual consumption
10 growth trend tends to be somewhere around 40
11 percent. Even during this time, that 250 gigabyte
12 limit which was applied to accounts that were 6
13 megabytes per second and 12 megabytes per second
14 and used to take several days if you were
15 downloading at full speed to hit that limit, now
16 they have 50 megabit connection services with the
17 same limit. So now you can hit your excessive use
18 limit, where it used to take days, now it take
19 hours. That's not really progress. We have
20 faster speeds, but no more capacity.

21 The question of growth rates also can't
22 take a back seat to the fact that we've heard that

1 we're number 19 now. Whatever we do, if we're
2 still going to be the innovation leader of the
3 Internet, we need to get back into first place
4 ranking somewhere and then raise the bar from
5 there rather than raising the bar from here.

6 MR. NEWMAN: I want to follow-up. I was
7 going to make the observation after Anoop's
8 comments that given the amount of pictures I'm
9 getting from my sister who is hopefully not
10 listening, I was going to suggest a much lower
11 limit on the Internet. Following-up on what
12 you've said, take the 250 gigabyte limit and let
13 me wear the hat of somebody who did worry about
14 CAPEX budgets at one time. The median according
15 to Cisco's data is somewhere between 1 and 2
16 gigabytes per month. If everybody started going
17 to 250 gigabytes every day or every month, we've
18 got a real CAPEX problem. What pricing policy do
19 you think would be acceptable that balances the
20 needs for the investors in the network to be able
21 to pay for the CAPEX investment because Bill from
22 the financial side is going to say they're not

1 doing it as a charity, versus users being able to
2 have a reasonable experience and you who are doing
3 great things at the edge of the network being able
4 to get to your users?

5 MR. TOPOLSKI: It's a fantastic problem,
6 but one of the things we started this meeting out
7 with was talking about the diversity of
8 applications that are going to start appearing on
9 the network. The thing that is going to report
10 several times a day how much power I'm utilizing
11 or what appliances are utilizing that power, those
12 things are going to use incredibly small amounts
13 of bandwidth and they're going to take that median
14 number and pull it way, way down because they use
15 incredible small amounts of bandwidth. Yet the
16 size of HD video screens seems to grow with a
17 Moore's Law like regularity, and those uses are
18 going to increase the number and pull that number
19 back up. So the industry has to think about
20 whether or not it makes sense to target part of
21 its marketplace with an unlimited service and
22 whether it might consider power users and strength

1 users and offer them, like you might buy extra
2 minutes on a cell phone or something like that,
3 additional ways to either purchase bandwidth or
4 offer them categories of bandwidth that have less
5 precedence during the peak hours, and these are
6 choices that they can offer. We haven't got there
7 yet. I think the ISPs are a little afraid of
8 pricing according to use, and they ought to be
9 afraid of that because most of their customers
10 would reject that. But on the other hand, they're
11 not thinking that some customers be the right
12 customers for creative products like that.

13 MR. DAVID: Just to put a final point on
14 that, are you saying philosophically, and I'm
15 interested in a diversity of opinions on this,
16 that you're okay with prioritizing based on
17 something else in the traffic, latency, jitter or
18 whatever, but you don't find caps in any way
19 acceptable. I'm wondering how that economically
20 ties together if you view it from --

21 MR. TOPOLSKI: I don't think the premise
22 is right. I don't think that caps are

1 unacceptable, but I don't think it makes sense to
2 have a service at a 250 gigabyte cap at 50
3 megabits per second and that cap not grow over
4 time. We are dumbing down the future of the
5 Internet by having a cap that doesn't grow. It
6 can only grow to this high and after that we're
7 going to stunt its growth. That's the part that I
8 object to.

9 MR. NAPOLEON: I think it's at least on
10 the entertainment and media side it's a function
11 of maximizing the RPO from the consumer. So if I
12 have \$130 or \$140 RPO on the video side for my
13 traditional cable thing and I've got \$39 come in
14 on my broadband services side and I tie those two
15 businesses together, I'm going to optimize both of
16 those. If I split those two businesses apart and
17 think about the broadband services part of that
18 business, what I'm going to be focused on is what
19 killer apps can I put onto that broadband service
20 plan to get more subs to my broadband service
21 plan. So when you see areas where you have
22 companies that are true ISPs where they're

1 providing broadband access, they're very, very
2 willing to push broadband video and music and
3 other things because that's drives consumer
4 interest in the broadband service plan. So I
5 think the real thing that we need to think about
6 is allowing true ISPs that want to offer broadband
7 services to have that ability to do so, that when
8 you tie separate business models together they're
9 not going to think of those as separate joint
10 businesses, they're going to try to maximize RPO
11 and that's where we're at today.

12 MR. GURLEY: I'd reiterate Tim's point
13 which is I think the U.S. has a very specific
14 problem where the two companies that are pushing
15 broadband the furthest right now being Comcast and
16 Verizon both have business models that are
17 dependent on the video stack revenue, right now
18 for Comcast it's 75 percent of their revenue, for
19 Verizon, the amount of capital they're spending on
20 the FiOS network determines that that video
21 revenue be there. If you had a third competitor
22 provider that didn't have a video business, then

1 Tim's issue wouldn't exist and you could look at
2 that player as a kind of test point for these
3 different pricing schemes, but you don't and so
4 you're left I think looking maybe at a British
5 Telecom or some of the Korean ISPs and seeing what
6 are they offering and for how much, what kinds of
7 services, and using that as a checkpoint to watch
8 what's happening here.

9 I think tiered pricing as long as these
10 caps are really for the BitTorrent server guys are
11 perfectly fine. Where you really get into trouble
12 is if everyone has a cap and then you have these
13 per megabyte things above it that allow you to
14 differentiate your video service versus the other
15 one on a price basis, that's exactly what Tim was
16 talking about and that's where I think the U.S.
17 has got a big risk area as we think about policy.

18 MR. NAPOLEON: You by all means want to
19 avoid situations like in Australia where you have
20 Telstra who does metered bandwidth so that none of
21 the broadband services via ISPs can pencil out
22 because consumers have to pay a huge premium for

1 their bandwidth because it's metered bandwidth.
2 That really stifles innovation. When you have
3 models like in Japan where you have Yahoo! BB,
4 it's a very, very profitable business and it's
5 completely IP delivered video and broadband in one
6 package. So when you do allow ISPs to compete
7 directly, they are very successful globally.

8 MR. DAVID: James?

9 MR. MILLER: We were talking about
10 initially what kinds of applications are out
11 there, was there an age when applications weren't
12 filling the gap. We talked a little bit about
13 minimum bandwidth requirements perhaps and how
14 caps relate to that. The flip side to that might
15 be what kinds of applications are not being
16 delivered right now for lack of bandwidth or other
17 kinds of characteristics of networks. Just to go
18 to Anoop's example of pictures or Robb's beginning
19 discussions with BitTorrent discussions and
20 hosting barber shop quartet recordings for example
21 off of BitNet, is there a kind of step function
22 that users are going to use bandwidth differently

1 or the ways that they want to use it maybe are
2 being inhibited in some way from the network side?
3 Specifically, I think the kinds of things that
4 we're talking about would be user generated
5 content versus stuff that's prepared and delivered
6 video. Do you see that as a different model? I
7 wonder if we could get some of your thoughts on
8 that.

9 MR. YOUNG: I think to echo a little bit
10 of Bill's last point, because folks like Comcast
11 and Verizon are thinking about video services, my
12 upload speed on those is relatively modest, so
13 things like consumer telepresence is something
14 that you probably won't see developed. That's
15 something more in the realm right now of business
16 where a business can have a large line backed up
17 to them and they can afford the upstream bandwidth
18 quite easily. That's one example. Certainly if
19 I'm gaming with an Xbox or a 360 and I can chat at
20 50 kilobits per second, it's not video and it's
21 not that kind of presence.

22 MR. TOPOLSKI: In South Korea the kids

1 there love this face-to-face video conferencing
2 ability that they have and they game face to face.
3 When I visited a South Korean Website, and
4 unfortunately I don't read Korean, I tend to look
5 at what floats to the top of the popularity pile
6 just to see what the site is about. I hit some of
7 these South Korean sites and my computer just
8 drags because the amount of information, the
9 richness of this information, is so heavy and it
10 just didn't come through the pipes at any speed
11 that will make my computer display it in a
12 relatively reasonable amount of time. A minute or
13 2 minutes after I've started loading the page, I'm
14 still loading the page. The kids in South Korea
15 have video conferencing capabilities that our CEOs
16 that fly around in big jets don't have because the
17 bandwidth isn't here and it's there.

18 MR. NAPOLEON: I think one other
19 critical component that we're seeing is
20 traditional DVD and Blu-Ray revenue in the media
21 and entertainment industries in some Asian markets
22 is under a lot of attack from privacy, so one of

1 the ways that media companies are combating piracy
2 is going to more and more online subscription
3 based models. I'll use the example of World of
4 Warcraft which is a giant U.S. export to South
5 Korea and they actually have TV shows about the
6 game there. That model of having a connected data
7 center gaming experience that is subscription
8 based has gotten around the privacy concerns and
9 allows Blizzard to be a profitable U.S. based
10 media company. So I think that subscription model
11 of virtual worlds in gaming is really critical for
12 the future of U.S. media companies to provide new
13 streams of revenue that will replace some of their
14 physical based media that's susceptible to piracy.

15 MR. GURLEY: The only quick thing I
16 would mention is mirrored storage. I think the PC
17 vendors would probably if the bandwidth were
18 available provide mirrored storage of your local
19 drives which would be pretty intensive in addition
20 to the video upload.

21 MR. GUPTA: I totally agree with Bill
22 Gurley in terms of how these PCs are backed up as

1 you migrate from one to the other and in a
2 seamless way is important. The other thing is I
3 think we are focusing a lot and there were a lot
4 of good points made about PC connectivity and wire
5 line. One of the things that we see is how as the
6 PC, the mobile phone, the TV, and the experience
7 converge especially on the mobile bandwidth,
8 instead of 250 gigabyte caps, they are 5 gigabyte
9 caps. With the Zune HD that will come out, it
10 will allow 720P video viewing directly on the
11 device, and as I look at the convergence now I
12 want to be able to take my Zune next to the TV,
13 have it downloaded and it can play the 720P video
14 on the large screen or I can watch it on the small
15 screen. So as we start looking at these
16 convergence models, I think the demand for mobile
17 capacity will grow hugely and optimizing it will
18 mean a mixture of licensed and unlicensed spectrum
19 that is there that is used so that if you're
20 downloading at home, it's coming on fiber most of
21 the way and lost a bit, it goes over an unlicensed
22 spectrum, and so as from both a policy perspective

1 instituting it and from an application design
2 perspective, getting more efficient about the
3 combined use of the fiber and the wireless,
4 licensed and unlicensed, I think will become
5 pretty critical to delivering the experiences we
6 want to deliver.

7 MR. NEWMAN: I think you've made a great
8 point. We tend to want to think about what's the
9 standard for the broadband access pipe, but given
10 we've got multimedia, multiband devices, and as
11 you said your software is getting intelligent
12 enough to figure out the quality of the connection
13 of the end device and adjust to it, should we be
14 thinking much more about a world of many different
15 types of access? Might the extreme position be if
16 I have an LTE network out there, direct satellite
17 TV which is out there, and then ViaSat said they
18 were going to have 100 gigabit per second
19 satellite pipe, should we be thinking about what
20 my device figures out what to do rather than
21 thinking about a standard for the pipe from the
22 home?

1 MR. GUPTA: I think that is certainly a
2 feasible thing to do, in fact. Let me add another
3 twist to it. For energy we are thinking about
4 time-of-day pricing. So if you charge your new
5 electric car, and it comes in a few years, its
6 consumption is going to be around 20 kilowatt
7 hours, and in an average consumer's day, daily
8 consumption in households is around 20 kilowatts
9 an hour. You're doing a lot and you want to
10 charge it in the night and not during the day.
11 Similarly, caching can make a huge difference in
12 consumption. So if I watch the news or other
13 feeds, they could have come at a different point
14 and devices will get smarter not only in terms of
15 the network they use but also the time when the
16 caching happens on the device itself when the
17 content is brought in so that we can optimize
18 network usage. We see a lot of smartness in the
19 software to enable these scenarios.

20 MR. YOUNG: I would say absolutely we
21 should be thinking about multiple networks and
22 similar services delivered across those networks.

1 For years there was very little competition in a
2 wire line phone. That's all there was. Now there
3 are lots of ways I can do phone services. I can
4 do it via Skype, I can use mobile phone and I can
5 use my old AT&T POTS line if I want to. Per your
6 earlier question about how should we think about
7 policy in this area, I think you want to think
8 about a way in which parallel services can develop
9 across these multiple networks whether it is
10 satellite, ATSC, wireless, Clearwire and some of
11 these other things. In many places I've got only
12 one choice of where I can go get video from, and
13 what if I could get it over something like
14 Clearwire? You take a look at the way that cable
15 and satellite have started to one-up each other in
16 terms of how they deliver video, but other kinds
17 of services, something like ATSC has been somewhat
18 left in the dust for various other structural
19 reasons, but I think you want to think of in terms
20 of the end user application, if there is only one
21 kind of network where that end user application
22 can work, that's going to limit choice. If there

1 is only one person or one entity that can really
2 deliver let's say IMAX quality video in the
3 future, you want to really make sure that there's
4 another network alternative for that.

5 MR. KNAPP: To build also upon Stagg's
6 question again, Bill, I was intrigued when you had
7 talked about the muni networks, and I'm assuming
8 you were talking about unlicensed, and Anoop
9 referred to it as well. Are any of the devices
10 used with those networks combined? I'm assuming
11 this is WiFi.

12 MR. GURLEY: Yes.

13 MR. KNAPP: Are they combined devices so
14 that when I'm in that area I can use the WiFi and
15 if I move out of the area I can use my --

16 MR. GURLEY: Some of the police forces
17 have a combination in the car that uses the
18 network if they're in the city. If they leave the
19 city premises it will fall back to a 3G or that
20 kind of network, so we're seeing those types of
21 things. I think the thing we're seeing that's
22 more endemic is iPhone usage on these networks

1 which I think is an interesting data point related
2 to the multiple networks and connectivity. If
3 Apple hadn't come along, it's not clear to me that
4 that innovation or that opportunity would have
5 been exposed. You had a powerful player come to
6 the market that was able to demand certain
7 features and functions that others didn't have and
8 now you're starting to see it organically pop up
9 and proven out. There wasn't any interest from
10 the provider's point in that happening prior to
11 that. But, yes, there is certainly mixed use
12 across these different networks at different
13 points in time.

14 MR. KNAPP: We had a couple questions
15 come in order the Net, so let me try one, "All of
16 the developments that are described by the panel
17 require large amounts of bandwidth. However, do
18 the problem of high charges for the middle mile,
19 also known as special access bandwidth in rural
20 areas, can cost from \$100 to \$400 per megabit per
21 second and providers are forced to ration
22 bandwidth in order to provide an affordable

1 product. How should this be addressed?" Does
2 anybody want to take a stab at that?

3 MR. GUPTA: In response to the NLI what
4 we have said is that some of the stimulus money or
5 the ongoing National Broadband Plan, getting fiber
6 to the anchor institutions would that gets fiber
7 to the communities because the schools are located
8 in the communities, with the requirement of open
9 interconnection so that this high- speed link that
10 is coming in then can be used to connect the rest
11 of the community. Leveraging WiFi, leveraging
12 white spaces, or leveraging wire line connectivity
13 is certainly important nationally just like we
14 built the national library systems and all the
15 rest of the things, is a step that would be very
16 beneficial to that cohort.

17 MR. KNAPP: Is there anybody else on
18 that question? Robb, you look like it's right on
19 the tip of your tongue.

20 MR. TOPOLSKI: An acquaintance of mine
21 provides services to his neighborhood for a fee,
22 but they are Web only services. He has made the

1 decision that he is going to inspect the traffic
2 and disallow certain high-bandwidth services so
3 that he can do his business at all, and he suffers
4 actually from this backhaul problem, that the cost
5 of backhaul is extremely expensive. So in order
6 to hit these people and give them high-speed
7 email, high-speed Web surfing at a price that they
8 afford, he has had to make decisions such as no
9 peer-to-peer file sharing, and he has done that.
10 We have gone around and around about that, but
11 what I would hate to see happen is something that
12 the FCC does says that he can't be in business at
13 all. If he's made that decision and that works
14 for him and that works for his customers and
15 looking at those facts it's deemed to be rational
16 and reasonable, then I think that that ought to be
17 allowed.

18 MR. GURLEY: I would reiterate that
19 point. I'd say the first thing is let's not pass
20 legislation that prohibits experimentation or city
21 development or anything that might happen in these
22 areas. They're not scalable for large companies

1 to go after anyway. And a lot of what's being
2 served by people like your friend are hacked
3 together open spectrum networks that can work and
4 do people get service that way, and the cheaper
5 the technology is because there is more open
6 spectrum technology and more competitive, the
7 cheaper it's going to be for them to deliver.

8 The second thing I would know the FCC
9 has talked about, I'd just revisit the traditional
10 POTS program that you have with all the CVECs that
11 are used, it's cost-plus, it's being abused in a
12 lot of different ways and I think kind of
13 rejiggering that toward broadband instead of telco
14 lines would be very effective.

15 MR. KNAPP: I would also announce that
16 if there are questions from the audience, we've
17 got somebody with cards back here. They can come
18 around. Just flag them down and they'll come
19 around and you can write down your question and
20 they will bring them on up.

21 MR. DAVID: I wanted to follow-up on
22 something Anoop started to talk about and maybe

1 draw it out a little bit further. Staggs asked
2 about a definition of broadband. If anyone is
3 following or blog you saw last week we posted a
4 thought piece on that. We've gotten a lot of
5 interesting commentary on it. You raised an
6 interesting point which didn't come out cleanly in
7 any of that commentary which is maybe there should
8 be two definitions. Maybe there's a baseline
9 which I think you described in your words as a
10 right and not a privilege. You didn't say what
11 you thought that number might be today, and let's
12 assume that we can find a way to evolve that. But
13 then I'm interested in the premium target
14 broadband as well looks like to you today. Then
15 more interestingly perhaps if we're going to do
16 this right and really define this for a variety of
17 purposes, we're interested in your input on how to
18 define it in a way that it evolves naturally and
19 doesn't need to be revisited in a series of
20 workshops and panels on national broadband
21 strategy every 2 years, but that it evolves as
22 technology and utilization evolves.

1 MR. GUPTA: This is on the -- in some
2 sense. One is we should think about baseline and
3 we should think about it for households, and we
4 should think about it for anchor institutions, and
5 it will be different kinds of limits and
6 bandwidths that you want to provide.

7 MR. DAVID: That was an interesting
8 point. Should we think about it as a fixed line
9 and wireless independently or do you want to leave
10 that to --

11 MR. GUPTA: I want to leave that because
12 you people will start using combinations and
13 wireless becomes licensed wireless or cellular or
14 WiFi wireless, it starts getting --

15 MR. NEWMAN: How about fixed versus
16 mobility which is not a technology distinction?

17 MR. GUPTA: But even fixed versus
18 mobile, say if I have high fixed coming and then I
19 have WiFi at 54 megabits per second, it's not
20 fixed versus even mobile. By mobile you mean
21 cellular.

22 MR. NEWMAN: I'm talking about from the

1 user's standpoint, mobile meaning --

2 MR. GUPTA: Anywhere.

3 MR. NEWMAN: -- anywhere.

4 MR. GUPTA: Maybe it could be that. I'm
5 just thinking out loud. The second definition is
6 just about national competitiveness. All of us
7 are building on the same technology and we need to
8 be there as the number one nation. How do we get
9 there I think is important.

10 MR. DAVID: I want to get other views,
11 but before we leave you, how would you think about
12 that number? If you prefer to avoid actually
13 putting a number on the table, that's fine, but
14 maybe the thought process would make that an
15 acceptable number today that would allow us then
16 to evolve it.

17 MR. GUPTA: I will leave that, but we
18 know the countries who are ahead of us. We know
19 what they are using. Some of them have very
20 different geographic and cost constraints where it
21 might be very densely packed. So we need to be
22 pragmatic about it, but within that pragmatic

1 outlook we should look at the sufficient number of
2 our nation's people need to be the best and number
3 one in connectivity.

4 MR. NAPOLEON: I really like the time
5 saved. The benchmarking of what's it take for
6 these set of tasks and just benchmarking that
7 across a basket of goods, that just as you would
8 have an inflation index you would have a time to
9 download index across a basket of goods and then
10 you could get a relative sense of what our speeds
11 are versus other countries and it's something
12 that's very metric driven and you can watch it.

13 MR. GURLEY: I think there's an
14 interesting point that they're making where for
15 the first time I think maybe from a policy
16 standpoint, the U.S. is way behind and so we don't
17 need to ideate or pontificate about what might
18 happen or where. We can just simply go to one of
19 the other 18 nations that are ahead of us and
20 measure what they're doing, look at the
21 applications they're accomplishing, look at the
22 price points, look at everything that's being

1 charged and ask is that something that we want for
2 our citizenry or not and what does it take to get
3 there? But I don't think we have to make
4 something up. I think we can just go watch.

5 MR. NEWMAN: Let me ask for help on
6 that, a homework assignment again, because the
7 OECD measures are not good scientific measures.
8 One way you get way ahead in the OECD metrics is
9 to have very few people per household. I don't
10 think we want to mandate to kill the first born in
11 very household. Providing us accurate benchmark
12 information from the consumer standpoint would be
13 great for those of you who are in multinational
14 companies, to let us know what is really happening
15 out there.

16 MR. KNAPP: This is the third time I'm
17 doing this, coming right on top of Stagg's
18 question, I'm thinking the same way. We, at least
19 the engineers think in terms of megabits per
20 second, but what really matters here is the end
21 applications to users. If there were a way for us
22 to better understand if we got to this benchmark

1 however you quantify it, here are the kinds of
2 applications, I think this is along the lines of
3 what you were getting at, Tim, here are the
4 applications that would work well, if you really
5 want to get to the situation where the folks at
6 home can do video-to-video conferencing you got to
7 get here. The same homework question, just a
8 little different way. Is there some way that we
9 can quantify this so that you can understand that
10 you set your target here and here are the kinds of
11 applications that you might be able to run or if
12 you set it low you're not going to achieve that?

13 MR. GUPTA: With that approach I worry a
14 little. Certainly it's a valid one because
15 anything you do you want to intersect in multiple
16 ways to validate what you do. Because when you
17 say maybe you can do person-to-person video
18 conferencing, how many people are doing it. Is it
19 HD? One of the big things we see is actually
20 machine to machine using massive amounts of
21 bandwidth. So imagine that the security
22 monitoring thing for your house or your building

1 or small business is video that gets generated,
2 captured and analyzed by another machine to say
3 when you record, when to do something else. So
4 there is going to be actually a whole bunch of new
5 applications which are going to be
6 machine-to-machine usage of data and that's why if
7 we are not ahead then somebody else is going to be
8 generating those applications while we are saying
9 what is in our basket in some sense. So we just
10 need to be cautious in how we look at it, the way
11 that it's a valid approach.

12 MR. GURLEY: I would just say spend 3
13 weeks in Seoul.

14 MR. NAPOLEON: That's funny. I just
15 spent 3 weeks in Seoul.

16 MR. KNAPP: Is there anybody who hasn't
17 been to Seoul in the last week?

18 MR. NAPOLEON: You can see though that
19 there's a sense of pace there that is pretty
20 exciting. Our elevators take 7 seconds to close,
21 their elevators you kind of have to dive into
22 them, so there is definitely a quickness and a

1 pace that goes along with the speed of their
2 bandwidth.

3 MR. KNAPP: This is kind of along the
4 same lines. It asks, "Should the network be
5 designed to support any amount of information,
6 games, or should the software games be designed to
7 work across the network? Because no matter how
8 big the pipe is, games can be designed that will
9 fill up the pipe and more." So this question is
10 mostly focused on games, but I think the basic
11 question comes back to this question, the
12 interplay between the applications and the
13 capacity of the network. Is there some
14 responsibility on the part of the application's
15 designers to be efficient?

16 MR. NAPOLEON: The application designers
17 have every motivation to be efficient, to work
18 within the network that's available today. If
19 they don't then their product doesn't get adopted
20 or it costs too much to support or somebody else
21 beats them to a better whatever it is that they've
22 invented. So there is really no need to ask

1 application developers to do this. They do this
2 anyway. They're used to making it fit into
3 whatever the network is that we have today. But
4 there is a reality and the reality is that the
5 connection came first and then the traffic across
6 it. You get into a problem when you wait for
7 demand to accede to the network because that has a
8 tempering down or a tamping down effect. The more
9 healthy cycle is to have the network grow ahead of
10 the demand so that the first-run innovations work
11 and then improvements happen after that.

12 MR. DAVID: I think we've hit the end of
13 our time today for this panel of the workshop. I
14 really appreciate you coming, a couple of you from
15 the West Coast. We have some West Coast folks on
16 the second panel as well. I think it's important
17 to get a diversity of views and diversity of
18 places from which you come, and I think we had a
19 pretty fruitful conversation. I would leave with
20 the second panel one thing we didn't expressly
21 touch but might be interesting, beyond spectrum
22 and beyond universal service and beyond net

1 neutrality, in the next 6 months what should be
2 thinking about as for the government, not just the
3 FCC, but the rest of the federal government should
4 be doing. Bill raised the topic of innovation at
5 the state and local level, really at the local
6 level from a network deployment standpoint. I
7 think that's a compelling topic that we're going
8 to address next week in a workshop on the 1st with
9 a series of state and local CIOs and others. But
10 we may want to come in the afternoon back to what
11 else does this community think the FCC and the
12 rest of government should be focusing on, not just
13 what we should not be doing, but we have this
14 6-month period to figure some things out, what
15 should we be doing that hasn't been done that way
16 today? Thank you very much. Stagg?

17 MR. NEWMAN: I just want to add to that
18 list because Blair just asked me, should we have a
19 long-term research program focused 10 years out?

20 MR. DAVID: Thank you very much.

21 (Recess)

22 MR. KNAPP: Good afternoon. Sorry to

1 break up all the terrific conversations that are
2 going on. But if I could ask the panelists to
3 come up front and for our audience to take their
4 seats so we can get started with our second panel.

5 So, good afternoon. And we're on to the
6 second part of this afternoon's session. This one
7 is focusing on emerging applications and devices
8 and the infrastructure to support them, and talk a
9 little more on the infrastructure side.

10 As Brian said earlier, it was kind of
11 difficult as we went through this in
12 distinguishing between current and emerging or
13 future. I think the lines blur. And we're --
14 feel free to talk about what's going on now as
15 well as the vision that you see in the future.

16 We've just had one change in the
17 panelists. Brian, unfortunately, wasn't able to
18 stay for the second part. He had a travel
19 commitment to make. And we've got Alison Neplokh,
20 who's joined us from the Media Bureau.

21 And with that, I think we'll just go
22 ahead and dive right in. We will have -- this

1 time I'll announce it at the start -- somebody
2 over here to take questions from the audience as
3 we go through, and from the web as well.

4 So, Sunil?

5 MR. DALUVOY: Great. My name is Sunil
6 Daluvoy. I'm with New Business Development at
7 Google. And first of all, I'd like to thank the
8 Broadband Task Force for inviting me back here at
9 the FCC. It's been about almost 10 years since I
10 left the Commission, and it's amazing how some
11 things have not changed. Some of the debates in
12 this town are still the same, but under a
13 different name.

14 But also, what I find quite amazing is
15 the things that have changed. In about just a
16 short two years since my company has advocated
17 openness and the Commission adopted it in the 700
18 megahertz, the amount of devices and applications
19 we're seeing is truly astounding. So, I'd like to
20 spend a little bit of time talking about that
21 today.

22 And from where I sit in New Business

1 Development, my job at the company -- to give you
2 a quick background -- is to do deals to help build
3 the company's new products. That involves
4 technology, licensing deals, content licensing
5 deals, and strategic partnerships. So, I come
6 with that background to give you a perspective
7 from the valley from where I sit, not to advocate
8 any particular regulatory model or view. I'll
9 leave that to the policy team to do that.

10 And from that vantage point, what I'm
11 seeing from the application side is quite
12 impressive. We have now such a tremendous amount
13 of data, where I think is incredible is you see a
14 set of applications help us manage and use all
15 this data. And I think that's important for us to
16 take into account, whether it be just information
17 as power concept, for instance, like flu trends,
18 understanding when outbreak of flus that are
19 occurring, applications that help us manage that;
20 power meters to help individuals and understand
21 how much their power consumption is and to change
22 it accordingly, too.

1 But it isn't just education. Some of
2 this information and applications that are taking
3 advantage of it are -- just help us deal with
4 everyday problems: A company like How Cast to
5 help us visually learn how to change a tire or,
6 you know, how to cook fish, for example; another
7 company like Bill Shrink, again, help us manage
8 how to pick the right cell phone carrier, device,
9 and cell phone plan. Now, all these applications
10 are incredibly useful, incredibly powerful. And
11 most of them are free. And what they've done is
12 take advantage of a tremendous amount of data
13 that's already out there and make it manageable,
14 make it useful for people to use.

15 But it isn't just about consumption,
16 too. I think what we'll see is a number of
17 applications that are just about also creation.
18 Now, with any phone you can take a picture and you
19 can upload it to your web album. And you can also
20 take a video at the same time and upload it to
21 your YouTube home page. The idea that we're not
22 just passive consumers, but active creators is

1 also very compelling trend that we're seeing in
2 where I sit at Google.

3 And the variety of this stuff is partly
4 due to the fact there's some common
5 standardizations in platforms that are occurring.
6 In the case of smart devices, whether it be the
7 iPhone App store, the Android, the BlackBerry, or
8 Palm, they're all providing developers a sense of
9 common platform to develop and lowers their costs
10 of development. And just in the last short period
11 of time -- last time I checked -- we have over 1.5
12 billion apps downloaded in the -- from the iPhone
13 App store, and 65,000 of them were third-party
14 apps.

15 And these -- so these applications allow
16 for -- another sense is augmented reality. What I
17 mean by that is you have eyes, which are on the
18 cameras. You have basically ears, which are
19 microphones, and skin, which is your touch
20 screens. And you also know your locations. With
21 all these different sensories combined, what these
22 devices can do is quite interesting. I mean, you

1 can basically, with an application like Open
2 Table, find out the restaurants that are nearby
3 you and book a reservation with three or four
4 clicks on your phone.

5 My favorite application is called Taxi
6 Magic. You can basically -- again, with a few
7 clicks on your phone -- have a taxi pick you up
8 where you are by dispatching a number of different
9 taxicabs that are available. And it just appears.
10 And really, it seems like magic to me.

11 Even Google is involved with this stuff
12 in building an application called Latitude, which
13 allows you to share your location with people that
14 you've already agreed to or your friends group,
15 your family, and you can see where they are, too.
16 We think that sharing of the information is
17 incredibly powerful and leads to further
18 applications that we can only imagine at this
19 point.

20 The next part I'd like to discuss is,
21 really quickly, devices. I see my time is running
22 faster than I thought. I think there's an

1 interesting paradox here. You know, on one hand,
2 you have, because of the cloud computing, a
3 convergence of devices, whether now the phone can
4 be the GPS or navigator, it can also be the music
5 player and the camera. On the other hand, because
6 of the cloud computing, you've a plethora of new
7 devices, whether they be the tablet or the
8 netbooks. So, I think that's an interesting thing
9 that it enables because of this connection to this
10 broadband pipe.

11 So, again, I'll leave the rest for the
12 question. But I wanted to commend the Commission
13 for the -- hosting this workshop and I wish we had
14 done more of this when I was here. And happy to
15 participate and Google -- as they can, in sharing
16 data and providing tools to inform the inquiry.

17 MR. KNAPP: Thank you, Sunil. Jeremy?

18 MR. LIEW: Hi. Thanks. My name is
19 Jeremy Liew, I'm a managing director at Lightspeed
20 Venture Partners. We're a venture capital firm
21 with about \$2 billion under management. And I
22 lead our Internet and media consumer face -- and

1 gaming -- consumer facing investments. I
2 appreciate the opportunity to share some views
3 here today.

4 I think the discussion about what
5 broadband is is a good place to start with some
6 comments, you know, and I think there are three
7 separate elements of broadband that are, I think,
8 important to sort of tease out. Each of them have
9 different implications for usage.

10 And the first is simply the always
11 on-ness of broadband. And I think, regardless of
12 speed, when you find, you know, that your Internet
13 connection is always on, you see a meaningful
14 increase in the use of information look-up. And
15 so there's a whole bunch of use cases around that
16 I think are independent of, you know, megabits per
17 second or anything else.

18 We've seen that with PCs and we're
19 increasingly seeing it with mobile devices, and
20 with the iPhone in particular, where you're seeing
21 this instant on, constant connection leading to a
22 whole bunch of utility use cases -- information

1 look-up use cases, including a bunch of the ones
2 that Sunil mentioned that I think you just --
3 these are new behaviors that are important.

4 The second one is the one that I think
5 is the most time has been spent on and that is the
6 speeds for the downloads. And, you know, I think
7 they've been discussed at some length, so I won't
8 go into that in any more detail.

9 And then the third one is obviously the
10 speed of upload. That is an area that I think has
11 had less focus for immediate consumption and more
12 for, you know, this idea of content creation and
13 sharing. And, you know, I think that we're just
14 starting to see the beginning of those use cases
15 emerging over the last couple of years. Because,
16 as always, whenever you see new medium, the
17 behaviors that existed in an old medium translate
18 the quickest. And you need a little bit more
19 percolation time before you see some new behaviors
20 emerging.

21 And so, similarly, with the Internet,
22 you saw video consumption, news, sports, and so

1 forth, and commerce, which all had established
2 precedence from the offline world, migrate before
3 some of this more organic content creation that
4 really needed time to bake.

5 The second point that I'd like to
6 address is around the idea of devices. And I
7 think, you know, there's a discussion on the other
8 panel about what's the right way to measure
9 broadband. Is it megabits per second or is it
10 time?

11 I come out on the side of time. And I
12 think that's really important from a consumer
13 perspective because, you know, the difference
14 between 50 and 100 megabits per second might be
15 milliseconds or fractions of a second when it
16 comes to serving a web page. And so that's sort
17 of immaterial to a user.

18 One of the interesting things about the
19 proliferation of mobile devices is that render
20 speed, which is not a significant factor for PCs,
21 becomes quite a significant factor for the time
22 from when a user, say, makes a click and sees a

1 web page served or so forth. And, in fact, when
2 we were doing some diligence for one of our
3 investments in our mobile browsing company, we
4 learned that for iPhones the average time to
5 render a page is about 40 seconds, of which about
6 8 seconds -- this is an iPhone connected to WiFi.
7 It takes about 40 seconds, on average, between
8 when you click on a page and when that page
9 completes loading. Only 8 seconds of that is
10 composed of data coming in and roughly 32 seconds
11 is composed of that processor on that phone trying
12 to show the pictures and the web page that you've
13 looked at. And so even significant increases in
14 bandwidth speed may not, in fact, meaningfully
15 change the user's perception as to how long things
16 take. So, that's an interesting perspective as
17 well.

18 I think the last point that I'd like to
19 make is, as always -- and this point was made on
20 the last panel -- applications and usage follow
21 the presence of the infrastructure and network.
22 And oftentimes what you see is relatively

1 frivolous uses of increased bandwidth in the
2 beginning. And again, it takes time for these new
3 applications to percolate, often a gestation
4 period that's measured in years rather than months
5 or weeks. And so any work that we do to try to
6 improve the broadband infrastructure of this
7 country, I think we will have to be willing to
8 accept that there's going to be a period where the
9 use of that infrastructure may be frivolous, it
10 may not be -- it may be more entertainment focused
11 than utility focused. And we just need to be
12 prepared for that and prepared to defend against
13 that because it requires a little bit more time
14 before new applications emerge.

15 MR. KNAPP: Thank you. David?

16 MR. HSIEH: Great, thanks. Actually, I
17 have some slides. Are they available?

18 MR. KNAPP: Yeah, they're --

19 MR. HSIEH: Oh, perfect.

20 MR. KNAPP: They're loaded and all set.

21 MR. HSIEH: Thank you. So, my name is
22 David Hsieh. I run marketing for Cisco's Emerging

1 Technologies Division, which is sort of shorthand
2 for all of the cool new internally developed
3 technologies. So I have a lot of fun. And I'd
4 like to talk a little bit about, in particular,
5 one emerging technology that I think has some very
6 interesting implications for broadband deployment.
7 So, if you go to my next slide.

8 Cisco, as you can imagine, does a fair
9 bit to study broadband traffic patterns. And it
10 probably comes as no surprise to anybody that
11 we're seeing tremendous growth in broadband usage
12 globally across the Internet. But what's maybe
13 more interesting is if you sort of go down a level
14 from that, and if you could just click to my next
15 slide and look at what's causing the growth.

16 Obviously there's, you know, lots of
17 different applications emerging. But the one that
18 is the, you know, primary driver of broadband
19 growth is video. And it's, you know, started with
20 peer-to-peer video and, you know, probably people
21 bootlegging a few videos here and there. But more
22 and more, it's becoming mainstream video content,

1 so Video on Demand and downloads for entertainment
2 and things like YouTube, et cetera.

3 But we're seeing a very strong trend
4 towards the growth of video communication as a key
5 driver of bandwidth usage. And we think that that
6 will be a, you know, a very powerful future
7 enabler for a variety of different purposes.

8 And so, you know, that's a -- we think a
9 very important part as we look across the
10 broadband initiative to think about the future of
11 video deployment.

12 And if you'd go to my next slide. We
13 see this not only across the open Internet. We
14 also see this across businesses. We see
15 businesses adopting the use of video as a way of
16 essentially virtualizing their employees and using
17 it as a way to, you know, compensate for the
18 globalization of their businesses. And so, you
19 know, not only large businesses have made
20 significant investments in using video as a way to
21 drive, you know, communications and to foster, you
22 know, collaboration across their company, but, you

1 know, they do it across company boundaries, so
2 small companies doing it with their partners and
3 suppliers and customers and whatnot.

4 Cisco has an area that we've invested
5 very heavily in. Many of you have heard about
6 Cisco TelePresence, which essentially is a way to
7 initially virtualize a meeting. And so, you know,
8 if you imagine taking a conference table like the
9 one pictured here, cutting it in half and moving
10 one half of it 1,000 miles a way and connecting
11 the two halves by network -- and if you can click
12 through to the next slide -- then you get a sense
13 of what Cisco TelePresence is. And essentially if
14 you are in a TelePresence meeting, we've tried
15 very hard to create a virtual meeting environment
16 where you feel like you are sitting across the
17 table from somebody just as if they were, you
18 know, within an arm's reach although they may be
19 separated by hundreds or thousands of miles.

20 If you go to the next slide. So this
21 sort of gives you a sort of more life-like picture
22 of what TelePresence looks like. And we're seeing

1 -- if you can just sort of just -- I have a build,
2 if you can just click through. This is full
3 high-definition video. So, people show up in
4 life-size on these 65-inch plasma displays. And
5 we've created a sense of immersion by not only
6 combining multiple video channels, but also
7 special audio, certain lighting capabilities, et
8 cetera, and the inclusion of data services. So
9 you can share presentations and slides or
10 projected information and create, essentially, a
11 virtual meeting between any number of locations
12 anywhere around the globe.

13 If you go to my next slide. Sorry -- if
14 you could build that one more.

15 Now, you've seen sort of one that
16 comprises a virtual room, but there are a number
17 of other endpoints that are available. So, you
18 can have smaller systems, you can have bigger
19 rooms. And so, the bigger rooms would be useful
20 for things like remote education or, you know,
21 virtual classrooms. The smaller systems, which
22 could be in a, you know, small conference room and

1 a private office in a home office, are examples of
2 ways that you can have individuals participating
3 in meetings. And, of course, these systems can
4 interact with each other.

5 And Cisco's been very public about
6 bringing this all the way into the home with a
7 consumer TelePresence effort. So, you'll see, you
8 know, Cisco TelePresence and technologies like it
9 creating a breadth of video capabilities that
10 allow you to meet with anybody in the world as if
11 you were there, without having to travel.

12 Last slide -- sorry, one more. And so,
13 you know, if you sort of look at how TelePresence
14 might, you know, be deployed in a rural community,
15 certainly, you know, there's some easy
16 applications, right? So, telecommuting is one.
17 And we actually have a number of examples of that
18 inside of Cisco. It enables small businesses or
19 remote businesses to conduct business globally and
20 meet with customers, partners, suppliers, without
21 being handicapped by their location.

22 It gives businesses access to global

1 expertise, right? You can use TelePresence as a
2 way to consult with experts in a particular field.
3 You can imagine a, you know, a farmer who can use
4 TelePresence to actually talk to a farming expert
5 or a grain expert or whatnot.

6 There's an opportunity for businesses to
7 provide a whole range of consumer-to-business
8 services: Consulting services, you know, banking
9 services, planning services, and whatnot. A
10 phenomenal opportunity for remote education and
11 actually bringing, you know, the world's best
12 instructors to a virtual classroom and, again,
13 making distance and location not a handicap.

14 And then, you know, there's also
15 obviously a range of applications built around
16 social communication and enabling, you know,
17 people in outlying areas to be part of, you know,
18 global communities. Again, without regard to
19 their location.

20 So, we think that, you know, this
21 category of technologies is a great opportunity
22 to, you know, help, you know, rural communities

Phone (703) 519-7180 Fax (703) 519-7190

1 feel closer, but without loosing their, you know,
2 unique location attributes. And as we look at how
3 broadband plays, this is the kind of application
4 we think should be considered.

5 MR. KNAPP: Thank you, David. Laureen?

6 MS. COOK: Hi, my name is Laureen Cook,
7 VP of LTE/4G Strategy at Alcatel-Lucent. Thank
8 you very much for including Alcatel-Lucent in
9 today's program.

10 One of the main topics we'd like to talk
11 about regarding applications in device trends is
12 the fact that there is no killer app. Killer app
13 is definitely a misnomer that we have been in
14 search of for years throughout various
15 reiterations of cellular service.

16 As we approach converging services, such
17 as LTE and fiber to the home, we see
18 user-generated content and technologies that
19 require mash-up components that will be the
20 driving force behind the future of application and
21 device trends in the future.

22 We're currently working and spending a

1 great deal of time is -- at Alcatel-Lucent on
2 emerging technologies. Looking at LTE, looking at
3 the -- looking at technology from the consumer's
4 perspective. As there is no one killer
5 application. You need to look at the various
6 segments of the market. And when we look at the
7 marketplace, we see that the consumer generation,
8 or the consumer customer, in particular, the
9 millennial generation is looking for
10 personalization and ubiquity of services.
11 Immediacy. From any access point, anywhere,
12 they're looking for a common portal to their data
13 when they want it, where they want it, and how
14 they want it.

15 When we look at the enterprise, we're
16 looking -- the enterprise is looking for increased
17 business productivity tools. And they're looking
18 for a reduced computing cost for their employees.

19 When we look at the operators, operators
20 are looking for new potential revenue streams from
21 non- traditional telco sources. As voice is
22 becoming more of a commodity over time, we're

1 seeing that various forms of data stream -- high
2 bandwidth, high definition data -- hogging revenue
3 stream's become more and more a key factor in the
4 proliferation of the operators and their
5 competitiveness going forward.

6 As a manufacturer of telecoms' equipment
7 -- as one of the largest manufacturers of
8 telecoms' equipment in the world in terms of
9 volume, we have a great deal of experience in
10 working within these three segments. In
11 particular, we're focusing on five major segments
12 that we think are of significant importance to
13 this panel, which is enterprise and e-Health care,
14 media and entertainment, automotive connectivity,
15 digital signage, and cloud computing. And what
16 we'd like to do is share some of our insights with
17 our customers and our experiences with the network
18 operators and the end users to help formulate the
19 -- and of all the industry, as it should going
20 forward.

21 Thank you.

22 MR. KNAPP: Thank you. Mathew?

1 MR. OOMMEN: Sure. First of all, thank
2 you very much for having me here. And at Sprint,
3 I'm responsible for the device development,
4 systems development, and technology development
5 for Sprint.

6 When I walked in today, I heard Julius
7 -- that was probably the comment that I heard when
8 Julius was talking about -- I don't want to
9 necessarily in the next panel talk more about
10 spectrum. And I think you mentioned net
11 neutrality. And --

12 MR. KNAPP: That was Brian.

13 MR. OOMMEN: Well, I appreciate that.
14 And sorry that Brian's going to miss. But we
15 appreciate you guys inviting us so that we can be
16 enabling how to empower consumers and make
17 broadband what we call as pervasive and
18 affordable. Next slide, please.

19 So, if you look at it, (inaudible) has
20 obviously been at his work and it's driven by
21 consumers demand for driving innovation. What do
22 we mean by innovation? Initially, the device was

1 all about voice. Voice was, indeed, the killer
2 app. Whatever we say and not, in terms of the
3 previous past. Everyone was looking for how to
4 get the best experience out of voice.

5 But today, it's about the services that
6 you want at your offices, the services that you
7 want from a business management, home management,
8 entertainment management, et cetera. And there is
9 an equal system around it, if you will, that is
10 allowing us to create an enhanced value in
11 productivity with some improved device
12 capabilities, whether it is enhanced memory,
13 whether it's enhanced new highs, or personalized
14 applications and services.

15 If you look at the next slide, please.
16 The next slide is very important to me, because
17 that is a lady, as we all know, by the name of
18 Susan Boyle, who became an icon, actually, of the
19 global world. There was about 20 million hits in
20 11 days across YouTube. And by the way, Sprint
21 does not discriminate anyone having any
22 applications on a network.

1 And so how did the Boyles of the world
2 become successful? Because Sprint believes in
3 openness. Sprint believes in enabling openness
4 not just at the network level, not just at the
5 device level, not just at the systems level or the
6 platforms level, but a uniform way of enabling
7 open as a platform for third-party developers to
8 create new, unique applications and services.
9 Also, allowing the customer who has to be
10 empowered to create their own UI and experience.
11 To me, that is the differentiation.

12 I heard someone talk about broadband.
13 What is broadband? Broadband is defined by the
14 customer, be it an enterprise customer or a
15 typical consumer. Next slide, please.

16 So, if you look at -- if Susan Boyle was
17 on one edge of that balance -- actually, just
18 because of where we are in terms of as a broadband
19 revolution or revolution from the U.S. standpoint,
20 we asked -- the United States, in my opinion,
21 should be driving the broadband revolution.
22 Unfortunately, we've been outsmarted by the rest

1 of the world.

2 I had the privilege and the opportunity
3 before I came into Sprint the last six years to
4 drive the broadband of a country called India,
5 where broadband was not pervasive, but it's
6 getting to be pervasive because there's over 300
7 million users, where in 2003, it was 16 million
8 users. Just to give you a comparison.

9 Now, use -- number of users is obviously
10 not what I'm talking about. It is that consistent
11 experience that needs to be given so that the
12 Boyles of the world -- you know, I describe Boyle
13 as an individual who is what I would say is simply
14 ordinary, but amazingly extraordinary. And that
15 amazing extraordinary was happened because it was
16 the world of the Internet.

17 The Internet is as quick as, today, our
18 speed of thought and the ability for someone to
19 type it in and it is available to the rest of the
20 world. But it -- to be really pervasive, in the
21 definition of broadband, every single component of
22 that network connectivity have to be successful.

1 Whether you call it first mile, whether
2 you call it middle mile, whether you call it end
3 mile, or whether you call it the mile that is
4 associated with your device, it has to work in
5 synchronization.

6 So it is very important for us as a
7 nation to offer this country and as a leader to
8 the rest of the world that we have applications
9 and services that can change the world, not just
10 when you have sitting under the tower or not just
11 when you are sitting in particular carriers' data
12 centers. Irrespective of where they are, we want
13 to empower them and make sure that they can use
14 this to enhance their value and productivity.

15 MR. KNAPP: Thank you. How do you see
16 -- on the one hand, clearly it's desirable that
17 people have the freedom to use whatever
18 applications that they want to, for innovators to
19 develop them and for users to have a good
20 experience.

21 How do you see that affecting -- first
22 I'll talk about the business models that exist

1 today. Do you see those as changing with an
2 application's environment that we're -- maybe the
3 systems designer can't be sure of what the
4 traffic's going to look like. If you could maybe
5 just go down the line and comment on that.

6 Sunil?

7 MR. DALUVOY: Yeah, I think that's a
8 great point, Julius. I mean, the idea that voice
9 is commoditized, I guess, the way you're defining
10 voice in pricing voice today, I guess, is going
11 towards is diminishing in value per minute.

12 But you can have other ways of
13 generating that revenue. Just my own personal
14 use, my -- I think I pay to my cell minutes of
15 12,000 minutes back 4 years ago. I use about 500
16 minutes now. But my data is through the roof.

17 Now, the pricing plans for these -- at
18 least, I'm talking mobile world -- they don't
19 change as quickly as our usage changes, so there
20 is a little bit of a lag and gap that occurs
21 there. So I think they will have to, you know,
22 change their pricing model to reflect the usage.

1 But there's plenty of -- the value and utility of
2 that service or that network in that device is
3 increased over the past five years. So just
4 looking at it from a per-minute pricing, and that
5 going to zero, does not reflect any type of dire
6 straits these carriers are in. It does require
7 them to be more creative in their marketing and
8 figuring out how to price these plans
9 appropriately.

10 There was a point that was made in the
11 earlier panel -- I think I went with the pricing
12 caps or just bandwidth caps. Again, this is a
13 matter of pricing. Why shouldn't the user just
14 use more, than be priced accordingly so they know
15 what they're getting into? So, if they're
16 extracting a lot of cost onto the network, they
17 should be paying the same amount or reflected,
18 relatively speaking.

19 MR. LIEW: Was your question focused on
20 the business model for the people who are
21 providing broadband? Or for the people who are
22 providing the apps that sit on top of that

1 broadband?

2 MR. KNAPP: It was -- it's actually for
3 the providers. But if you have any insights on
4 the app side that -- we're glad to hear that, too.

5 MR. LIEW: I'm not going to have a lot
6 of insight on the provider side.

7 MR. KNAPP: Right.

8 MR. LIEW: But I think, you know, for
9 the folks -- for the app providers who are
10 building this functionality that will sit on top
11 of the broadband that ultimately consumers are
12 finding the value in, you know, ultimately there's
13 only two business models for those folks: You're
14 either going to get the user to pay you or you're
15 going to give it away for free and support it with
16 advertising.

17 On the, you know, getting people to pay
18 you side, you know, there's a variety of, you
19 know, of people who are having some success with
20 that. But I think on the advertising side, you
21 know, one of the earlier questions on the panel
22 was, what else in government could affect, you

1 know, this landscape, this universe? And the FTC
2 has been talking a little bit about some privacy
3 work to do with behavioral targeting.

4 Behavioral targeting probably represents
5 30 to 40 percent of the revenue for advertising
6 supported business models today, probably more for
7 startups, perhaps a little bit less for bigger
8 companies and established media brands. And
9 depending on where some of the proposed policy
10 comes out on, you know, opt in for -- opt in
11 third-party cookies for behavioral targeting -- I
12 don't want to get too down in the weeds on it.
13 But there's implications for the ability for
14 start-up companies to actually be able to have
15 sufficient revenue from advertising models to be
16 able to provide the sort of services that
17 consumers are looking for.

18 MR. KNAPP: David? Do you ever worry
19 about the traffic getting so heavy that the
20 highway won't carry it?

21 MR. HSIEH: No.

22 MR. KNAPP: You can always build more.

1 MR. HSIEH: Yeah, exactly. You know, I
2 think -- you know, one perspective, particularly
3 as we look at, you know, video as a driver of, you
4 know, usage, video is an experiential technology.
5 So, you know, when there are glitches in your
6 video, you see them, right? You know, and if
7 anybody's ever tried to watch a ball game, you
8 know, over the Internet, right? And had a, you
9 know, bad connection or a congested connection or
10 whatnot, and, you know, you sort of see the play
11 stop and then maybe you get a little jitter and
12 all of a sudden you've missed a key shot or, you
13 know, everybody's running down the field the other
14 way, you have no idea what happened.

15 You know, understands how the experience
16 relates to the quality of service you get. And,
17 you know, we think that in general it's good to
18 have different levels of service, right? That,
19 you know, carriers and providers can charge for
20 to, you know, allow different levels of
21 capability, you know, at different price points.
22 And when we look at things like Cisco

1 TelePresence, we make, you know, heavy use of, you
2 know, capabilities in carrier networks that offer,
3 you know, quality of experience guarantees. You
4 know, they can get packets from one end to the
5 other end, you know, in a reasonably guaranteed
6 way and within certain types of conditions, which
7 allow you to have the kind of robust, interactive
8 communication that people want.

9 Now, you know, you could opt not to pay
10 for that level of service and you might have a,
11 you know, quality of communication that's not as
12 good. And I think, you know, that's where you can
13 let market forces come to play. But, you know,
14 certainly, you know, making sure that there are
15 differing, you know, levels of quality that
16 carriers can offer and not homogenizing everything
17 we think is an important capability.

18 MR. KNAPP: Laureen?

19 MS. COOK: Okay. Well, as we approach
20 the rollout of LTE, the business models are
21 definitely changing. Voice has become more of a
22 commodity. We have on a global basis learned from

1 the mistakes of the past with the rollout of 3G,
2 where there were no devices or applications to
3 fill the pipe.

4 The value here of the ecosystem becomes
5 extremely important in the rollout of high
6 bandwidth products and services going forward.
7 The business models are changing in that there
8 will not be one particular operator or one
9 particular content provider or one particular
10 applications developer who can do it all. We see
11 the value of the ecosystem and the leveraging of
12 various components of the ecosystem as becoming
13 more important in the development of mash-up
14 technologies and mash-up applications that are
15 required by our consumers.

16 We're already beginning to see
17 collaborative business tools that are utilizing
18 both social networking sites and are utilizing
19 both business applications at the same time.
20 We're seeing machine-to-machine applications with
21 cloud computing. I mean, we'll be seeing a lot
22 more of that going forward.

1 So, therefore, the value of the
2 ecosystem and understanding that companies need to
3 come together both on the service provider,
4 infrastructure, applications development, and
5 content development side, and come together to
6 form an ecosystem that will produce these new
7 devices and applications as we're ready to rollout
8 these networks, will make the rollout of these
9 networks far more beneficial and robust to the
10 population at large.

11 MR. KNAPP: Okay. Mathew.

12 MR. OOMMEN: Yeah, I mean, just to tag
13 from where Laureen left off. Because I believe
14 when we talk about mash- up, the real mash-up can
15 only be done when the network provider or the
16 service provider is open to allow third-party
17 developers to very easily have access to his
18 assets.

19 So, if you look at Sprint. I know a lot
20 of entities have been talking about open quite
21 recently, and cloud computing. And we always get
22 lost, what exactly is open and what exactly is

1 cloud computing? And what happens is I think it's
2 very important to work with the third-party
3 developers.

4 What I mean by that is, there's been
5 about 335 open devices on Sprint's network that
6 has got nothing to do with Sprint. And obviously
7 for us to having certified those 335 devices in a
8 very timely manner, there was a cost and effort
9 associated with it. But we made it easy for them
10 to come in and access our infrastructure.

11 What we see is the open network becoming
12 more like transaction-based services, if you will.
13 So we expose certain network components, whether
14 you use location-based services, whether you use
15 call control, whether you use messaging, or
16 whether I use the power of the pre as an example,
17 right. How do you expose certain components of
18 the devices, the network, the systems? And all of
19 that collectively forms your open ecosystem from
20 that carrier.

21 And I think it's very important for the
22 carrier to be adaptable to a changing business

1 model and enable new business models. However,
2 for the investments of the carrier, it's important
3 that the application ecosystem is willing to pay
4 that return on investment that is required by the
5 service provider, which I think ultimately is
6 required for any successful business.

7 MR. KNAPP: Stagg.

8 MR. NEWMAN: Yeah, I'd like to follow up
9 on that, but first a brief digression.

10 At this point, I hear there's no killer
11 app. But it occurred to me if we combine Cisco's
12 TelePresence with Sprint's mobility and offer
13 video TelePresence to the driver of cars, we might
14 have our true killer app.

15 But the real follow-up is, you know, a
16 lot of talk about the ecosystem and end-to-end
17 service development. As a policymaker, help me
18 think about that. Because when I first started
19 working for Bell Labs -- I will show my age, 1976
20 -- first thing is, I was asked -- and probably
21 Walter and Rashmi or some of the others in Bell
22 Systems were -- showed that if we allow the -- if

1 the FCC is making us let other people hook things
2 to the network. And the network's going to crash
3 and burn, and we were all charged to show why the
4 network would crash and burn if we allowed other
5 things hooked up to the Bell System network, i.e.,
6 phones.

7 We all failed. Somebody told me 10
8 years later they found one incident. But now
9 we're in a network and networks with very complex
10 devices -- you told us how important end-to-end
11 performance is, but what does a policymaker think
12 about -- what is the performance that we worry
13 about? Because we're not going to specify
14 end-to-end performance, and I don't think you want
15 us to. And we aren't going to specify
16 architectures, and I don't think you want us to.
17 So, how do we think about the performance and
18 policy in this world of end-to-end experience and
19 networks and networks, et cetera?

20 MR. OOMMEN: Since I generated most of
21 the points from Stagg, I think I will win a
22 response to it.

1 And because the definition, in my
2 opinion -- from Sprint's standpoint, Sprint has to
3 offer its customers the best experience at the
4 lowest cost structure. Because the customer is
5 looking for respective of the customer be doing
6 business applications or be in the mobile device.

7 When I -- to me, the biggest mobile
8 device is your car. So, your vehicle -- when
9 you're in your vehicle or whether you're at home,
10 at your office, the ability for Sprint should be
11 to give the most affordable service. How -- or
12 what's happening is, we've got more than 90
13 percent of the access, whether you call it special
14 access, whether you call it middle mile, et
15 cetera, being controlled by very few carriers.
16 That inhibits us in terms of having a level
17 playing field. That inhibits us in enabling the
18 Susan Boyles of the world to be successful in
19 terms of being pervasive, if you will, at the
20 right price point.

21 So, where we see help in working with
22 FCC would be how do we ensure that when we talk

1 about broadband, that the broadband based on each
2 customer's experience or each consumer's
3 experience of Stagg's killer app that he talked
4 about. If you really have to make video pervasive
5 without the blurry images that David was referring
6 to, you really need to have that connectivity that
7 runs across from an end- to-end perspective.
8 Because, you know, what do they say? Your network
9 is only good as the weakest link.

10 So, we can continue to put more
11 bandwidth, but at what cost? Because, you know,
12 ultimately it is our competitors and our peers who
13 have that access and we will have to figure out a
14 mechanism that enables the consumers in the United
15 States to have the right experience.

16 MR. LIEW: I might just add to that. I
17 agree. I don't think you want to be specifying
18 architecture and I don't think you want to be
19 specifying end-to-end performance, but that
20 doesn't mean you shouldn't measure it. Because
21 that is ultimately going to be the predictor of
22 what sort of apps and what sort of user

1 experiences you're getting at the end of the day.

2 MR. DALUVOY: Stagg, to your point. The
3 end-to- end development you talk about, I think,
4 is -- you don't have to reiterate to the rest of
5 the panel here, set any standards or set any kind
6 of minimum thresholds, but it is tied to
7 competition policy, too. So, to the extent that
8 the OEM and device manufacturing sector is
9 competitive, to the extent that the carrier sector
10 is competitive, they will set what the consumers
11 ultimately want and desire, and that will drive
12 the innovation there.

13 MS. COOK: At the end of the day, the
14 customer just wants ubiquitous service, be it from
15 fiber to the home or via LTE. They don't care.
16 All they know is, at their touch point, at that
17 point in time, they want to be able to be offered
18 a consistent user experience. End of story.

19 MR. LIEW: I think they'd even trade off
20 consistency just for the user experience.

21 MR. OOMMEN: No, I concur with that.
22 Again, just as a short extension to what Lauren's

1 saying, whether we call LTE, ultimately that LTE
2 has to have a backhaul, that LTE need to have some
3 wired connectivity.

4 Because the content necessarily is not
5 sitting in a data center that has got all these
6 LTE backhauls. It is connected on very high,
7 whether you call it DWDM or not, to get into the
8 technology, but big, fat pipes. So, if we have to
9 be successful, whether it is LTE, whether it is
10 WiMAX, respective of technology from a 4G
11 standpoint and make broadband pervasive, we have
12 to break the connectivity bottleneck.

13 MR. NEWMAN: Let me try to get you all
14 to be more specific because we're still at the
15 platitude level, folks. What is the one thing you
16 would recommend policymakers do given the problems
17 you see out there?

18 MR. DALUVOY: I'll take a stab at that,
19 Stagg. One thing I mentioned before is, to be
20 very specific -- maybe too specific -- is, perhaps
21 look at spectrum from within the Commission and
22 look at ways you could open up existing spectrum

1 for the backhaul problem.

2 One example is CARS band frequency.
3 Look at ways you can open up the eligibility and
4 restriction usage to allow CARS band providers to
5 use it as backhaul in rural areas and high cost
6 areas.

7 These technologies already exist, the
8 cable guys have been using this for many years.
9 They've been using it less because they've been
10 moving it to terrestrial fiber networks. And so
11 you have a plethora of, you know, of spectrum
12 available out there. A pretty good chunk. And my
13 last recall is, the only application interference
14 tests, the people need to file to get it.

15 That's one example of dealing with the
16 backhaul issue.

17 MR. NEWMAN: Good, thank you.

18 MR. KNAPP: Don. Don't forget to file
19 on proceeding that just started today.

20 MR. LIEW: You know, another comment I
21 would make is, I think we're sort of getting hung
22 up on this idea that it needs to be a certain, you

1 know, speed, a certain megabits per second. And
2 that requires policy or technical solutions to
3 that.

4 As I said before, I think just the
5 always on-ness is actually more important than any
6 particular level of speed. And, you know, there
7 are still parts of America where it's actually
8 hard to get any broadband connection. And I would
9 go after that problem before, you know, before
10 trying to set some sort of minimum standard for
11 speed that has to be nationwide.

12 MR. OOMMEN: Again. Not to harp on the
13 point -- and I will come down to the ground
14 reality is, I think, we need some sort of
15 regulatory framework that gives us a level playing
16 field. And I've -- in the special access,
17 specifically.

18 And with respect to -- I've heard about
19 the spectrum story. And I agree, Sunil, we need
20 spectrum, as much spectrum as we can get.
21 However, I don't want any one of us to take the
22 notion that spectrum is going to fix backhaul

ANDERSON COURT REPORTING

706 Duke Street, Suite 100
Alexandria, VA 22314

Phone (703) 519-7180 Fax (703) 519-7190

1 because they are two separate issues.

2 Remember, RAM capacity is the capacity
3 between your mobile device and your mobile
4 station, whether you call it a BTS, a BSC, MSC,
5 whatever it is. Now, there is a backhaul capacity
6 which is much bigger than your multiple carrier
7 spectrum/RAM that is required, which is a separate
8 problem.

9 So, I would like to separate the two
10 issues as two distinct issues that need to be
11 addressed. Because addressing one does not solve
12 the pervasiveness of broadband at affordable cost
13 so that we are not laggards, but leaders in the
14 broadband space as we look at our emerging
15 broadband business.

16 MR. HSIEH: Just to add to your point.
17 I would love to see us view broadband connectivity
18 as a fundamental element of our country's
19 competitiveness on a global basis. And, you know,
20 if you look at where we stack up in broadband
21 deployment versus other countries, it's shockingly
22 low. I mean, considering what an, you know,

1 economic and political, et cetera, power we are
2 for us to be such laggards in broadband deployment.
3 And I think, you know, we should be looking at it
4 as fundamental to our competitiveness globally.

5 And we should seek to lead in
6 connectivity. And, you know, treat it as a way
7 that will help power the innovation of our
8 economy. And anything short of that, I think, is
9 --

10 MR. NEWMAN: Let me follow up on that.
11 Because I hear you --

12 MR. HSIEH: Sure.

13 MR. NEWMAN: -- and Jeremy saying two
14 different things. Right.

15 Currently, somewhere around 7 percent of
16 the country does not have broadband access other
17 than via satellite. That's not a big swing in the
18 addressable market in the U.S. So, are you saying
19 the real problem is the broadband we have is not
20 good enough? Or is it an adoption problem, that
21 it's those 40 percent who aren't taking broadband
22 we've got to convince them to take it? Or so --

1 are you saying we need to improve --

2 MR. HSIEH: Sure.

3 MR. NEWMAN: -- the broadband that's out
4 there, we need to get more people adopted, or we
5 need to get the unserved served?

6 MR. HSIEH: I would say, yes.

7 MR. NEWMAN: But prioritize.

8 MR. HSIEH: I think, you know, having a
9 better broadband infrastructure that enables, you
10 know, higher speeds at lower costs for, you know,
11 as much of the population as we can manage would
12 be our number one priority.

13 And, you know, if I look at, you know,
14 like my home use. I think I have 20 megabits per
15 second download speed and 3 megabits per second
16 up, which is pretty good --

17 MR. NEWMAN: Where do you live?

18 MR. HSIEH: I live in Walnut Creek,
19 California. And I am the envy of most of the
20 people I meet, who can't get anything even close.
21 But, you know, if you look at me compared to
22 somebody who lives in -- and I know there's a

1 discussion around South Korea earlier. But if,
2 you know, I lived in South Korea, I'd be able to
3 get a whole lot more for a whole lot less, right?
4 And so, you know, what would I do with that
5 bandwidth? To be honest, I'm not completely sure.

6 But --

7 MR. LIEW: I think that's the point,
8 though, right?

9 MR. HSIEH: No, no. But my point,
10 though, is that if you have it, right? And it is
11 available at some price that, you know, that helps
12 spark innovation, right? Because, you know,
13 you've got startups out there who say, boy, what a
14 great idea except, you know, our addressable
15 market's like, you know, a half of 1 percent of
16 the U.S. Based on the bandwidth or the quality of
17 service that this -- you know, that this
18 application needs.

19 MR. LIEW: But they actually aren't
20 saying that. They're not saying, you know, if
21 only there were more high speed connections we
22 could build a business. Because they're -- I

1 mean, startups -- well, startups that think like
2 that don't stay in business for very long. They
3 say what's the biggest market I can address today
4 and what service can I provide to them?

5 And I think, you know, to answer the
6 question that Stagg posed, I would take a
7 different perspective, which is, I think there's
8 an equity argument that says that, you know, the 7
9 percent of people who don't have access to any
10 sort of broadband at all need to get that. At any
11 speed. Not -- we shouldn't wait for some, you
12 know, 20 megabit, you know, standard before we
13 roll something out. We should get something to
14 them right away, because just having that always
15 on access changes their usage.

16 And then secondly, there are an awful
17 lot of people who have access to broadband that
18 don't take advantage of that access. And in large
19 part it's because, like video, it's experiential.
20 Until you try it, you don't know what you're
21 missing. It's really hard for someone who hasn't
22 experienced broadband access to imagine what

1 they're going to use it for. And that's where
2 this idea of kind of frivolous and trivial uses
3 are always the first use of any new technology,
4 broadband included. And we just need to get
5 comfortable with that.

6 So, you know, a good parallel is one
7 laptop per child, which was roundly criticized at
8 one stage because, you know, people were saying,
9 look, we're giving all these laptops to all these,
10 you know, underprivileged kids and they're just
11 using them to play games. Is that actually what
12 we wanted to achieve?

13 And obviously that's not the end game.
14 But once you start them using a computer, even if
15 it's to play games, that sets a baseline from
16 which they can start learning and discovering a
17 whole bunch of applications in addition to that.
18 And I think broadband is -- has a lot of parallels
19 to that.

20 So you have to accept that there will be
21 frivolous usage, and you have to even be prepared
22 to encourage it for people who have the ability to

1 access it, but have chosen not to yet.

2 Ms. COOK: But to Jeremy's point, I
3 mean, it may be perceived as frivolous usage in
4 the beginning, but that does change over time.
5 And we've already begun to see that.

6 We take a look at gaming, we take a look
7 at social networking and logistic-based services.
8 There are whole economies that are being born from
9 the Internet that were never possible before
10 because of these "frivolous services" that people
11 were playing with.

12 E-commerce is now being generated over
13 social network sites such as Facebook. So, by
14 expanding the Internet and by expanding broadband
15 access to all people, it gives them the
16 opportunity to build and develop. And, again, to
17 Jeremy's point, it may be a bit frivolous in the
18 beginning, but that does spawn on further
19 technological development and applications and
20 devices in the future.

21 MR. DALUVOY: Stagg --

22 MR. OOMMEN: No -- sorry.

1 MR. DALUVOY: Stagg, I guess in terms of
2 how you prioritize your policy issues really
3 depends on how much you believe it is a supply
4 issue, or is it a demand issue. And Jeremy made
5 this point earlier about the demand part. You may
6 have all this broadband out there and everyone has
7 3G or even 4G, but there are other factors that
8 affect people's usage of things.

9 For example, Jeremy pointed out the
10 processing power on the device. You know,
11 sometimes a bigger cause in the delay. And just
12 because you have the bigger pipes out there,
13 bigger spectrum out there does not necessarily
14 result in a commensurate increase in demand. And
15 one example I can share with you from Google is
16 that the bigger driver for our usage on mobile
17 devices was flat rate data pricing, not the
18 bandwidth. The (inaudible) PCIS introduced flat
19 rate data pricing, \$5 a month. They -- five times
20 more Google searches than some of their
21 competitors. They're much larger -- and they were
22 also under 2.5 GCDMA network.

1 Again, the pricing plan and the
2 economics around that affect the demand much
3 greater than necessarily throughput. Of course,
4 maybe if we get into what this Commission's
5 jurisdiction and where the coverage is, and this
6 is, of course, a broadband task force. Maybe you
7 focus on the supply part, but I think you should
8 also take into account the demand piece here, that
9 there's a number of applications that are very
10 compelling, that are very useful, that have
11 nothing to do -- there's enough throughput out
12 there today.

13 That's not to diminish the fact that we
14 shouldn't -- that we should be proud of where we
15 are in the rankings in the world. I'm not
16 suggesting that at all. I'm just suggesting the
17 demand piece is much more nuanced and it has much
18 more to do with economics and pricing than
19 throughput.

20 MR. OOMMEN: So, just to add to Sunil.
21 I think pricing is very important. But for one to
22 provide the right price structure, you need to

1 have the right cost structure. Because when
2 you're just the application creator, you don't
3 necessarily always understand the cost of
4 infrastructure that the infrastructure players
5 have to go through to create that infrastructure.
6 So, it is critical to understand the cost
7 structure in providing that infrastructure.

8 But I also -- Jeremy, to your point. I
9 think we as a nation owe it to that 7 percent of
10 the people, which is why those spectrums out there
11 is very important in meeting certain segments of
12 the society, from a pervasiveness. But, also, we
13 want to make sure that broadband is enhanced at an
14 affordable price because we are in a digital
15 economy, not a U.S.-centric economy, but the
16 digital global-centric economy.

17 We've been talking about health care
18 reforms here, I mean, pretty extensively the last
19 few weeks, with all these town hall meetings.
20 Could we disrupt the way -- you know, he talked
21 about TelePresence. Could we disrupt the way
22 health care is done in this country? And I think

1 we have opportunities to do that as well, and
2 broadband could be that big catalyst.

3 MR. NEWMAN: One more question and then
4 I'll shut up for a while.

5 Building on what you just said and what
6 Jeremy said about the unserved, if we follow the
7 normal rollout path for 4G, you know, we'll get 4G
8 to rural areas about 2020. Suppose we could
9 magically do something and get 4G out there
10 starting from the rural areas inwards in the next
11 2 to 3 years. Would that meet the need for
12 broadband connectivity to homes and businesses?
13 You know, would that really move the needle on
14 things?

15 MS. COOK: You would try to -- you would
16 have to find a way to make that cost-effective for
17 the operators to roll that out. And that's where
18 the challenge comes in.

19 MR. NEWMAN: What do we need to do to do
20 that?

21 MR. OOMMEN: I think I've said it once.
22 I'll say it again. But I think that's a critical

1 component.

2 I think -- Stagg, I'm glad you brought
3 that up because we have to not do the ordinary.
4 We have to do the extraordinary. Because if we
5 continue to follow incremental steps of saying I
6 would get 4G there in 2020, I think we have missed
7 the whole journey.

8 We have to do 4G in 2015 or 2012 or 2013
9 should be our strategy. And to do that, we have
10 to bring in certain regulations in certain areas.
11 And not to say that we want a fully regulated
12 service in every area. We just need to make sure
13 that there is fair and competitiveness, so that it
14 goes back to Laureen's point about affordability
15 is very key to that as well.

16 So that there is a business model and
17 not what we saw in 2000 and 2001, where we created
18 a bubble and realized that there was no business
19 model to justify that. And I'm sure the policies
20 that you want to make, you know, enhances the
21 possibility of a sound business model, if you
22 will.

1 MR. LIEW: Stagg, I think it would be
2 terrific to have, you know, 4G starting in the
3 rural areas. But I'm not sure that it's
4 necessary. And, you know, I mean, David says he
5 has 20 megabit download -- downstream speeds. And
6 I would say, David, why do you pay for 20 megabit
7 downstream speeds?

8 And he will probably say because I had 3
9 before and it wasn't enough. And why'd you get 3?
10 Because I had 1 and it wasn't enough. And why'd
11 you get 1? Because it was 500K and it wasn't
12 enough. You've got to start with some level of
13 access to stimulate the demand for more. If --
14 you know, I mean, otherwise, you have this problem
15 of it being uneconomic for folks to roll out at
16 the, you know, latest.

17 MR. NEWMAN: Well, I was really trying
18 to -- is it, some think that's the question.
19 Would it be sufficient in rural areas to quickly
20 deploy -- call it 3.5G.

21 MR. LIEW: Three, 2, EDGE, fine,
22 whatever. Just give people instant on access and

1 they'll start using the Internet for things they
2 didn't use before. They'll start looking up phone
3 numbers instead of pulling out the Yellow Pages.
4 They'll start checking the menu before they get in
5 the car. They'll check the movie times, and
6 they'll say, gee, it'd be great to be able to see
7 that trailer before I get in the car, although I
8 know what time it is. And I need a faster
9 connection. And they'll call their operator, and
10 they'll be willing to pay for it.

11 And then they'll say, jeez, if I didn't
12 have to get in the car at all, I could just watch
13 it on -- you know, sitting at home. I need a
14 faster connection than that. And that's how it
15 goes.

16 MR. OOMMEN: And Jeremy, I mean, I agree
17 with you. But I do have a slightly different view
18 as well, because --

19 Stagg, I think I am -- I, you know,
20 having had the privilege, like I said, of being in
21 a different place at a different period of time to
22 see where they missed on the 2G. Certain

1 countries missed the entire 2G. If they tried to
2 offer the same 2G, I would have been very
3 disappointed. But they did 3G and beyond.

4 So I think we as a nation should not be
5 disappointed in offering -- not that we shouldn't
6 offer it and the trickles of the speed or
7 connectivity. Please don't get me wrong. I think
8 we really need to take care of our 7 percent,
9 which is critical. But at the same time, if there
10 is an opportunity for us to give them the big
11 bandwidth, because health care in itself could be
12 a great application that rural community can have
13 without driving 100 miles to find the nearest
14 doctor. Which is even common within the United
15 States, whether we accept it or not.

16 So.

17 MR. LIEW: Yeah, I'm not saying not to
18 give it to them, I'm just saying --

19 MR. OOMMEN: No, no, I hear you.

20 MR. LIEW: -- that, like, you know, I
21 would not wait until 4G --

22 MR. OOMMEN: Absolutely. Totally agree.

1 MR. LIEW: -- is the only option. I
2 would say, what's the fastest and cheapest way?
3 And if it's, you know, even a relatively, you
4 know, small pipe, better than nothing and better
5 than waiting.

6 MR. OOMMEN: Sure.

7 MS. COOK: But 4G. LTE is being
8 deployed as we speak right now. So, one could
9 argue that that probably is the fastest time to
10 market with the biggest pipe that they could get
11 in the most economic format.

12 And applications like e-Health care and
13 like e-Learning, which are huge initiatives here
14 in the United States, they're very viable right
15 now over the LTE, 4G networks as we speak. We're
16 running applications that are, again, mash-up
17 applications with leveraging the partners in our
18 ecosystem right now around e-Health, around
19 Telemedicine, around e-Surgical suites as well.
20 So, that will help fill that gap as well.

21 So, you might as well if you're going to
22 roll out broadband to that 7 percent, you might as

1 well give them the biggest pipe possible.

2 MR. LIEW: I don't have a dog in the
3 technology choice right here.

4 All I'm saying is, we shouldn't say
5 we're going to provide something that provides
6 TelePresence, health care, or it's not worth
7 doing. Right? There's plenty that's worth doing
8 before that point.

9 MR. DALUVOY: Well, you know, this is a
10 great opportunity with the examples that are
11 raised. I think one of the questions you asked,
12 Stagg, what should we do? Well, you as the FCC
13 perhaps should coordinate activity with Department
14 of Health, Department of Energy, Department of
15 Transportation because all these different
16 agencies are going through massive upgrades,
17 whether it be the smart grids or construction
18 programs and new roads and health care reform,
19 they all have a broadband nexus to it.

20 So, if one specific activity could be
21 how to coordinate better with these agencies to --
22 instead of viewing broadband as a sole individual

1 industry, it's a compliment to enable or empower
2 those other efforts.

3 MR. KNAPP: Let me give a chance to
4 Alison and James. Either one.

5 MS. NEPLOKH: I'll go first, I guess.
6 When you were talking about a lot of mobile
7 applications, you tend to talk about very low
8 bandwidth things. The augment your reality, or
9 calling a taxicab, getting a menu, getting show
10 times. When you talk about wired-type access, you
11 talk about TelePresence and a lot of
12 machine-to-machine communications that uses a lot
13 more bandwidth.

14 Is this merely a side effect of these
15 bandwidths that are available today? Or is that
16 actually something that even going into the
17 future, the mobile applications tend to be the
18 lower bandwidth type of applications.

19 MR. DALUVOY: I mean, the biggest thing
20 is openness principles, right. That enabled -- if
21 you look at what the iPhone did, it's like -- it
22 wasn't just the bandwidth. There's also the

1 brilliant design and the UI and everything that
2 combined together. And the openness idea that
3 your third party -- the 65,000 apps are by
4 third-party developers on the iPhone.

5 So, again, it goes -- it was mentioned
6 earlier: No matter how smart any one agency or
7 company think they are, it's incredible what
8 people come up with. So, there's no killer app
9 per se that one's going to be the silver bullet,
10 to Laureen's point. But there are so many out
11 there that are very interesting that no one could
12 have imagined.

13 Have you guys seen the USAA automatic
14 check depositing app? You take a picture of your
15 check with your iPhone and you deposit it
16 automatically in your checking account. I mean,
17 that's just amazing, you know. This wasn't a mom
18 and pop, this was a bank that came up with it.
19 But, you know, this is just -- it reduces a
20 tremendous amount of friction, and it's incredibly
21 efficient. It's just -- I mean, those are the
22 kinds of things you see done.

1 That may require some kind of bandwidth,
2 some kind of optical recognition, some technology
3 in there. But I'm just saying, just focusing on
4 the bandwidth piece of it is not providing the
5 complete picture.

6 MR. OOMMEN: Yeah. Just to, again, to
7 add. This is very interesting, again, because we
8 know of so many different carriers that have
9 restricted applications and services running.
10 These are the nation's largest carriers. Why have
11 they restricted if bandwidth is not an issue?
12 That's a question that I would pose.

13 If bandwidth is not an issue and
14 bandwidth is affordable, I cannot fathom why it is
15 an issue. Also, everyone talks about iPhone and
16 the 65,000 applications and the 1.5 billion
17 downloads very liberally. How many times have we
18 seen the pain the customers are going through of
19 not able to have the right experience on those
20 applications and those carriers? Are we reading
21 only one side of the picture?

22 Should we not read the other side as

1 well?

2 MS. COOK: Well, as we approach LTE and
3 it's deployment, that sort of goes away because we
4 do have the bandwidth and we do have the low
5 latency. So, those issues that were roadblocks
6 before for high definition, video hogging,
7 applications, and devices now become irrelevant.
8 There's more of a blurring as we see between fixed
9 and wireless. And as I have said earlier, the
10 user experience must be close to ubiquitous
11 regardless what the touch point is.

12 In the LTE environment media becomes a
13 huge -- video becomes a huge component that --
14 which will touch all the different verticals
15 within LTE. Video for video gaming, downloading
16 high definition music, high definition video
17 streams. But also as it relates to things such as
18 new avenues and new revenue streams such as
19 digital signage.

20 Digital signage opens up a whole new
21 medium which was never, ever possible before in a
22 3G environment. Now that you'll be able to deploy

1 and differentiate content at the drop of a hat
2 over the network, we'll be able to get even public
3 service announcements out to rural places before
4 that never could have access to some very critical
5 pieces of content either for the -- either from an
6 advertising perspective or from a public service
7 perspective.

8 Digital signage is a great example
9 because it also leads to the prospective sales and
10 e-commerce. And access to the Internet -- it
11 utilizes new applications and new devices that
12 we've never looked at before.

13 We talk about the connected car. The
14 car becomes your real mobile device. And in that
15 device, all kinds of applications can be layered
16 into there. Devices -- all kinds of services can
17 be layered into there to help stimulate e-commerce
18 -- again, public service, gaming, entertainment,
19 e-Health, home monitoring, smart grid technology,
20 smart home technology applications. All are
21 possible as we go into the LTE environment with
22 the low bandwidth -- a high bandwidth and low

1 latency issues.

2 MR. LIEW: To specifically answer your
3 question, the reason that there aren't a lot of
4 high bandwidth applications for mobile is because
5 there isn't high bandwidth. So, companies that
6 are relying on it go away.

7 So, that will come. It will come as --

8 MS. COOK: It will come. Open the pipes
9 and they will come.

10 SPEAKER: Good point.

11 MR. KNAPP: So, do you think there are
12 things sitting there that would be killer apps --
13 great apps that people decide, well, I can't do
14 this now because this speed isn't there.

15 MR. OOMMEN: Yes.

16 MS. COOK: Digital signage, classic
17 example.

18 MR. OOMMEN: I would say, more than
19 that, anything that you do in your home on your
20 desktop. My kids play Xbox left and right.

21 MR. KNAPP: Mine, too.

22 MR. OOMMEN: Could you actually do that

1 on your mobile device effectively and efficiently
2 and affordably? I think, no.

3 So, I think there are numerous
4 applications that one can think of and the
5 experience, irrespective of the screen. Would you
6 not want to have the same experience?

7 I know we keep saying bandwidth is maybe
8 not necessarily a bottleneck. I think it's a huge
9 bottleneck in terms of -- again, don't get me
10 wrong. It's not just bandwidth. It is the
11 processing associated with it, the memory
12 associated with it, the call functions and how
13 that is utilized at different levels. Whether
14 it's device, network, system. So, there's a
15 various components on it, but it is a critical
16 component in that process.

17 MR. KNAPP: I take your points. And let
18 me have James -- he had a question, too.

19 MR. MILLER: Just --

20 MR. KNAPP: And then I'm going to -- I
21 had questions coming in and they're great
22 questions, so I want to try to get to these, too.

1 MR. MILLER: Well, we've talked a lot
2 about the openness and, you know, kind of vehicles
3 to get where we're headed. We talked about the
4 benefits of having, you know, the access to
5 multiple networks on a device. You know, a lot of
6 the benefits of a flexible platform -- of a
7 flexible, you know, device, in effect.

8 And what kind of -- you know, we've
9 talked a little bit about some of the business or
10 development kind of considerations that go into
11 enabling that. But if we drill down a little bit
12 more into that, there's a lot of intellectual
13 property considerations that maybe people have to
14 deal with. Talking a little bit more about -- you
15 know, we've talked a little bit about the pricing
16 side. On the development side are the tools
17 available today, or is it everyone's expectation
18 that they'll just naturally evolve in the current
19 context to provide for these features?

20 You know, for example, cyber security
21 aspects. Is it sufficient for all e-commerce
22 applications and Telehealth, they're going to have

1 different considerations, maybe, than social
2 networking, which also have privacy issues. But
3 might maybe have a different kind of take on that.

4 So, maybe if we could drill down a
5 little bit either on the development or the
6 business side of, you know, how that openness
7 actually comes into play. And, Jeremy, you talked
8 a little bit about that as well as Sunil.

9 MR. LIEW: Yeah, on the development side
10 I have no doubt that if there are opportunities to
11 make money, that companies will start to address
12 those opportunities and I'll fund them. I don't
13 think that that's something that you need to worry
14 about too much.

15 You know, on the sort of -- on the
16 privacy/security stuff, I think, you know, those
17 are areas that, you know, if the industry isn't
18 self-regulating, then I think there's absolutely a
19 role for government to, you know, to put some sort
20 of set of guidelines or minimum levels and, you
21 know, I think that's something that is
22 appropriate.

1 MR. HSIEH: I concur with Jeremy. I
2 think market forces will take care of itself.
3 And, you know, when you provide the, you know,
4 kind of right infrastructure you'll enable a range
5 of innovation. And, you know, the nature of that
6 innovation will solve the critical problems,
7 whether it be security or privacy, et cetera, as a
8 part of that innovation process.

9 MS. COOK: Now, security is an issue.
10 However, market forces will definitely prevail.
11 When we take a look at social networking
12 applications, which are probably those that are
13 the most vulnerable right now, most of them going
14 forward are all opt in. So, if you choose to opt
15 in, it's a risk and a reward, right? That's
16 something that the consumer decides himself.

17 So, I think what we need to do as an
18 industry is take a look at where we are, and
19 define what is really important along security
20 lines. However, opt in -- which is the case with
21 most of the applications going forward -- pretty
22 much is self-regulating.

1 MR. KNAPP: Yes, Sunil.

2 MR. DALUVOY: I think security and
3 privacy has always been an issue. It's been an
4 issue even from the Carter phone decision. So,
5 but the same risks that bring along with new
6 technologies, they are -- there's plenty of
7 rewards and in the industry, and the government
8 could careful watch. They'll solve those issues,
9 I think.

10 MR. KNAPP: Okay. Let me get to some of
11 these questions that have been coming in. Some of
12 them we've touched on indirectly, but I think
13 there's a little different slant to this.

14 First one. Should ISPs be allowed to
15 prioritize traffic to ensure that advanced
16 applications work? Should application providers
17 and or advertisers be allowed to pay the ISP for
18 higher quality of service so as to enable
19 consumers to enjoy their content?

20 Jeremy, you want to take a stab at that?

21 MR. LIEW: Absolutely.

22 MR. KNAPP: Yeah, good.

1 MR. LIEW: Yeah, I mean, it's how
2 markets work, right? It's -- yeah, absolutely
3 they should.

4 MR. KNAPP: So, you'd support that.
5 Sunil?

6 MR. DALUVOY: I would just add that, you
7 know, our belief is the non-discriminatory
8 treatment of traffic. So, you know, if you're
9 going to -- like, if a subscriber pays for a
10 platinum package in cable, anyone can also pay for
11 that and get those same channels.

12 Same way, I think, that a main point
13 that we'd want to add to that or wrinkle -- a very
14 important one -- is that the non-discriminatory
15 aspect to it.

16 MR. KNAPP: So, you would disagree. In
17 other words, the application provider or the
18 advertiser could not pay the service provider to
19 make sure theirs went through faster or with a
20 better quality.

21 MR. DALUVOY: Shouldn't discriminate
22 among the traffic from the origination or if it's

1 a peer-to-peer or video traffic versus, you know,
2 a peer-to-peer music traffic.

3 I think that's going down a wrong path.
4 Definitely, it goes against the principles of
5 openness.

6 But if you were to tier based on the
7 service of your consumption of bandwidth, I think
8 that's appropriate. Just because as your costs
9 are directly related to revenue. And I think that
10 operators should be allowed to recover the cost
11 related to revenue, revenue related to cost, that
12 is.

13 But discriminating based on packets? I
14 think that just leads to a number of abuses that
15 could only curb innovation. Part of the benefits
16 that we're seeing on the iPhone is exactly -- or
17 even just the mobile web right now, or BlackBerry
18 app or Android -- is the fact that it has been
19 robust and open. And once you start discriminate
20 based on that, then the application developers who
21 can pay the most can then only get access to the
22 users.

1 This is going down a path where the
2 development cost becomes so high, then it becomes
3 who has the best interest. And at the end of the
4 day, the big companies will figure that out. But
5 I mentioned so many applications today that have
6 nothing to do with Google. These are just small
7 apps, three- or four-person shops that come up
8 with these crazy interesting ideas. That I think
9 everyone benefits from.

10 MR. OOMMEN: Okay, just --

11 MR. KNAPP: Okay, Laureen and Mathew --

12 MR. OOMMEN: I think I agree with Sunil
13 that we shouldn't be differentiating traffic.

14 However, I think it's also -- I think,
15 one point that he alluded to is very important for
16 us to understand this. There has to be a plan
17 that allows the user to consume, whether it's
18 X-amount of traffic, and once he is in that plan,
19 whether it's for the consumer's choice to see what
20 he wants to do, and it does not necessarily in the
21 best interest to have that traffic being tinkered
22 anywhere along the path.

1 But I would again highlight it is also
2 important that that application does not hamper
3 the network. Because we -- you know, everyone
4 keeps talking about security. I know we talked
5 about a one-instance case. It's kind of like an
6 insurance policy. Right?

7 Today at Sprint, whether you call it
8 Google Voice, whether you call it Skype, you call
9 it whatever you want, we at Sprint don't mess with
10 anybody's traffic. We allow all kinds of traffic.
11 However, we have certain terms and conditions,
12 just like we drive on the highway. You know, you
13 can do whatever you want, but there are within
14 certain rules.

15 So, we don't tinker with it. But we
16 still believe that there has to be a business
17 model and the customer has to adhere to the
18 business model, not managing the profile of the
19 traffic.

20 MR. KNAPP: Laureen?

21 MS. COOK: Actually, I would definitely
22 agree with what Mathew and Sunil on that.

1 Non-discrimination unless the customer opts for
2 it.

3 We take a look at a 3G service provider
4 right now in the UK, Blyk. Their whole business
5 model is built around advertising. However, when
6 the customer subscribes to their service, they
7 know that that's part of the deal because they're
8 getting next to free airtime. So, as long as the
9 customer is aware and he opts in and agrees to the
10 service terms and conditions, that's fine.

11 MR. LIEW: That was the question, right?

12 MR. KNAPP: Right.

13 MR. LIEW: Is that, should you be able
14 to discriminate based on the price that a customer
15 pays?

16 MR. KNAPP: Yeah. I feel like -- I
17 think it was also, though, from the sending end,
18 too. But I don't think we have to dwell on it
19 anymore.

20 MR. HSIEH: I would hate to see anything
21 -- I mean, there are going to be applications
22 where the quality of the experience will be

1 determined by the network. And so, I'd hate to
2 see anything that prohibited the ability to use
3 the network in a particular way to provide a
4 higher quality of experience.

5 MR. KNAPP: Okay. Another question. Do
6 the panelists agree or disagree with the premise
7 that the nation's network backbone must be fiber?
8 If so, how far out must the fiber reach? To the
9 home or to intermediate points?

10 Anybody want to take a try at that?

11 MR. DALUVOY: I think from a policy goal
12 we should try to get it to the home, as far as we
13 can.

14 MR. HSIEH: More is better.

15 MR. DALUVOY: Yeah.

16 MR. KNAPP: More is better.

17 MR. OOMMEN: I think I'm of the same
18 opinion. More is absolutely better. But we need
19 to make sure that the affordability factor is also
20 taken into account in that context. Because
21 without affordability -- you know, you want
22 someone to be willing to pay for. And there has

1 to be a business model around anything that we're
2 trying to do.

3 MS. COOK: Absolutely. More is better.
4 But at what price?

5 MR. NEWMAN: (inaudible) fiber.

6 MR. OOMMEN: Yes. I think more is
7 better. So that means if you can get the fiber
8 inside the home as well for the right cost
9 structure? Yes. I mean, that was, I think, the
10 general consensus of the panel.

11 MR. NEWMAN: But earlier panel, though,
12 talked about fiber fed wireless. And maybe
13 wireless is good enough if the fiber's close
14 enough.

15 MR. OOMMEN: It's -- again, so that's
16 another can of worms.

17 MR. DALUVOY: It's different policy --
18 sorry --

19 MR. OOMMEN: Sorry, go ahead.

20 MR. DALUVOY: From a policy objective, I
21 think is the goal. You may not get there --
22 you're not going to get there right away. But

1 just in terms of encouraging deployment of fiber
2 as far down into the network as possible should be
3 objective. I think that you'll never, you know --
4 not never, but it'll take several years in which
5 complimentary technologies will close that
6 endpoint.

7 MR. OOMMEN: So, in fact, that's exactly
8 what I was trying to just add to what Sunil's
9 saying is, you know, at the end it's spectral
10 efficiency. How many bits can you pump in within
11 a certain amount of spectrum? I don't know that
12 -- or rather, I don't believe that right now, we
13 have the luxury of having too much spectrum as
14 well. So I think in the near term, based on
15 technology and science for the near term as well
16 as the available spectrum, we will have to have
17 wireline technology embedded with wireless
18 technology.

19 It's not necessarily one versus the
20 other, which is why it is paramount that we need
21 to have this end-to-end relationship up and
22 working. It's not just one piece or the other.

1 MR. NEWMAN: I would observe, we have a
2 750 gigahertz -- I'm sorry, 750 megahertz pipe.

3 MR. KNAPP: I was wondering what you
4 were getting at --

5 MR. NEWMAN: I said is this a
6 millimeter?

7 MR. KNAPP: What's the factor of 10 to
8 the 3 among engineering friends or what -- we do
9 have a 750 megahertz clean pipe passing 90 percent
10 of the homes in the country called coax. Is that
11 enough?

12 MR. OOMMEN: It's definitely a start,
13 which is why doxys 3.0 and beyond has happened. I
14 mean, we have seen anywhere from 150 megabits to
15 250 megabits, you know, happening on a 6 megahertz
16 off that channel within doxys' of cable. Have --
17 you know, as part of a digital cable from a last
18 mile standpoint.

19 However, I don't think that is enough.
20 And I haven't seen it to be economical enough, so
21 let me just add that as well. It's not just a
22 question of speed at that point. It's a question

1 of cost and viability as well.

2 MS. COOK: We keep going back to
3 wireline and wireless are complimentary services
4 and cost effectiveness, and they're the two issues
5 that need to be looked at.

6 MR. KNAPP: Does the expanded deployment
7 of fiber help wireless deployment for backhaul? I
8 mean, how critical is it for that in this issue we
9 were talking about before, for rural coverage?

10 I mean, if you got the fiber out to the
11 small town and then -- so, how important is that?
12 How much of a help is this?

13 MR. OOMMEN: I think it's a huge help.

14 MS. COOK: Huge.

15 MR. OOMMEN: I think it's a huge help.
16 We just need to make sure it is given to the right
17 guys so that we can -- the rest of the general
18 public have access to it at the right price.

19 MS. COOK: You've got to look at the
20 global picture --

21 MR. OOMMEN: I think it's a huge one --

22 MS. COOK: Yeah.

1 MR. KNAPP: David, this is more directed
2 -- I've had the opportunity to come over to
3 Cisco's facility in Washington and participate in
4 a video conference worldwide, and it's terrific.

5 I'm -- can you say a little bit more
6 about what the company's experience has been and
7 how extensively this is being deployed and what
8 you think of the realistic visions for how it
9 might change back business practices?

10 MR. HSIEH: Sure. So, some -- you know,
11 relatively interesting statistics.

12 I mean, first of all, you know, one
13 thing we've learned is that there's a sort of a
14 tipping point in usage of video, when you get sort
15 of the right formula. So if you look at, for
16 example, the typical deployment of video
17 conferencing. So, traditional video conferencing
18 -- even high-def video conferencing, by the way.
19 In most organizations, their utilization is
20 usually less than -- for 90 percent, it's less
21 than an hour per day per system. And in fact, in
22 many organizations -- and you can all check inside

1 your own organizations -- it's less than 20
2 minutes per day per end point, which is not a
3 whole lot.

4 Across people who use TelePresence --
5 and it's not just Cisco TelePresence systems. Our
6 competitors have the same data. Most TelePresence
7 endpoints get, you know, four, five, six-plus
8 hours of usage per day per endpoint across their
9 entire system of endpoints.

10 So, you know, you're seeing a sort of a
11 several order of magnitude increase in usage. And
12 it's because, I think, the -- you know, we created
13 it -- you know, we've reached a tipping point in
14 the quality of experience where the immersive
15 qualities of the interaction make it useful for a
16 much broader set of applications than you would
17 with, you know, other types of video technologies.

18 You know, today there are, you know,
19 roughly about 1,000 around the planet that have,
20 you know, reasonable deployments of TelePresence.
21 But even in a, you know, global economic downturn,
22 you know, the TelePresence market is growing at

1 well over 100 percent year over year. So we're
2 seeing a, you know, tremendous growth as companies
3 look at this as a way of, you know, reducing air
4 travel and improving internal efficiency. And,
5 you know, globalizing their operations in a
6 productive way.

7 And I was actually thinking as I was
8 coming up here that the next time I come, I would
9 like to visit via TelePresence.

10 MR. KNAPP: Yeah, we could have you --

11 MR. HSIEH: And you could just put a
12 monitor right here, you can beam me in via
13 TelePresence, I'll do this from my office.

14 And you wouldn't lose anything. Because
15 me being here in person didn't, you know --
16 wouldn't add anything versus me being here via
17 TelePresence.

18 And I would invite all of my fellow
19 panelists to attend via TelePresence as well.

20 SPEAKER: You know, that's --

21 MR. MILLER: Nevertheless, we
22 appreciated you coming.

1 MR. HSIEH: Yeah, right.

2 MR. OOMMEN: To make that pervasive from
3 a form standpoint or from a connected car, I think
4 that is an outstanding application to have
5 TelePresence across the screens and across various
6 domains of your blended lifestyle.

7 MR. HSIEH: And, you know, just as a
8 side to this, you know, TelePresence has
9 applications beyond meetings or beyond, you know,
10 social purposes. Cisco, for example, have done a
11 number of trials of something we call
12 HealthPresence, which is actually a
13 TelePresence-enabled, you know, video medical
14 kiosk which is designed for rural communities.
15 And we did a very successful pilot with the
16 National Health Service of Scotland where they did
17 exactly that. And, you know, we showed some
18 phenomenal results in the improvement of quality
19 of care, accessibility of care in rural
20 communities that had, you know, no doctor service
21 whatsoever and were able to, you know, go to their
22 neighbor, you know, their, you know, local

1 HealthPresence system and, you know, meet with
2 the, you know, type of doctor, whether it's a GP
3 or specialist that, you know, could help treat
4 their conditions.

5 And it was incredibly effective.

6 MR. KNAPP: As we come to the close, I
7 mean, one of the takeaways, at least for me -- and
8 tell me if I'm -- if I have it right or off base,
9 you seem to be supporting, first of all, that
10 everybody should have some level of access,
11 however it's defined.

12 Jeremy, I didn't hear you say a
13 baseline. Your assertion is we'll just get them
14 connected and they'll want more and more and
15 that'll drive the market.

16 And also suggesting that there might be
17 tiered levels of service. So, not everybody
18 necessarily is going to need the robustness for
19 TelePresence, but they would pay for different
20 levels of service in tiers. Is that what I've
21 been hearing?

22 MS. COOK: Yeah.

1 MR. KNAPP: And keep it open. Is that
2 kind of the --

3 MR. LIEW: And accept some level of
4 frivolity in the uses.

5 MR. KNAPP: Accept frivolity.

6 MR. LIEW: And that's the -- you know,
7 that's -- I mean, I think that's sort of one of
8 the things you need to sort of be prepared for
9 from a public relations perspective as well
10 because you're going to get a lot of, you know,
11 frivolous use.

12 MR. HSIEH: Actually, I thought Sunil
13 had an interesting point about, you know, whether
14 we can tie together, you know, other initiatives
15 to sort of help on the demand side for broadband.
16 Right? Because, you know, we can make the
17 business model more effective for the service
18 providers, you know, if this attached not just to
19 a broadband deployment initiative. But it's tied
20 to a smart grid or energy initiative, and a health
21 initiative, et cetera. And to the extent that,
22 you know, you can coordinate policy on that and

1 help, you know, create incremental demand? I
2 think that would actually be a phenomenal
3 achievement.

4 MR. LIEW: I think this is exactly the
5 tension --

6 MS. COOK: That would help stimulate.

7 MR. LIEW: I think this is exactly the
8 tension because these are such noble enterprises.
9 And you can talk about TeleHealth and, you know,
10 you can talk about smart grid, and these are
11 things that everyone can believe in and get
12 behind. And you switch it on, and people are
13 going to start playing games. And you'll be like,
14 whoa.

15 MR. KNAPP: No, they'll do both.

16 MR. LIEW: Where's my TelePresence?

17 MS. COOK: They'll multitask.

18 MR. LIEW: They will eventually get to
19 these things, but they won't happen right away.
20 And you've got to be prepared for that lag.

21 MS. COOK: Right.

22 MR. OOMMEN: See, when Jeremy talked

1 about the \$100 laptops, right? I mean, for kids
2 to play with, that is a starting point. But we
3 ask the policymakers from an FCC standpoint,
4 stimulating the economy from a health care,
5 energy, and education as core fundamental building
6 blocks for making, and where broadband is an
7 enabler layer, I think it's phenomenal. And for
8 us to be the leader in that space, I think there
9 is a great opportunity in front of us today with
10 the 4G entry path, just again making sure that the
11 wireless and the wireline component -- and I will
12 reiterate that -- are complimentary. One cannot
13 necessarily replace that in the near term.

14 So, we need to make sure that the
15 wireline processes and the policies are
16 complementing the wireless policies and processes.

17 MR. NEWMAN: Can I give a homework
18 assignment to this group, then?

19 SPEAKER: Do we get graded?

20 MR. NEWMAN: Yeah.

21 MR. KNAPP: They probably want me to cut
22 it off right now, Stagg.

1 Go ahead.

2 MR. NEWMAN: Jeremy, you've got the
3 bankroll. Google, you've got the information.
4 Cisco, you make pretty cool devices nowadays, you
5 got into the consumer market. Alcatel-Lucent,
6 you're in the network market and you've got the
7 network. Design an emergency pendant that we can
8 all wear that when we're in trouble, it's going to
9 either call the police, the fireman, or the
10 doctor.

11 MS. COOK: In reality, it already
12 exists.

13 SPEAKER: Sounds like my homework's
14 done. All right.

15 MR. NEWMAN: So, how does -- what does
16 the government have to do so that everybody has
17 one of these, we're wearing it, and it's
18 cost-effective?

19 MS. COOK: It's part of the ecosystem of
20 LTE.

21 MR. DALUVOY: A tax credit?

22 MR. NEWMAN: Okay. Those are the type

1 of ideas. This is a homework. Come back and say,
2 okay, we can have it -- you know, how do we --
3 you're saying the piece parts are there.

4 SPEAKER: Yeah.

5 MR. NEWMAN: How do we get it out there
6 so that we're all benefiting from that?

7 MR. OOMMEN: Yeah. From an end-to-end
8 standpoint.

9 MS. COOK: We're working very closely as
10 Alcatel- Lucent in that ecosystem to help bring
11 these new services and devices to the forefront of
12 launch of LTE as we speak, so issues around smart
13 metering, e-learning, e-Health care, it's all
14 there. It's just a matter of having economies of
15 scale, rolling out the networks, and getting it
16 out there.

17 MR. HSIEH: You know, I was thinking
18 that, you know, we've had Cash for Clunkers, and I
19 was reading this morning that there'll be Cash for
20 Appliances. And so, maybe the FCC could help lead
21 Cash for Communications as sort of the next
22 initiative.

1 MR. KNAPP: I can't close on a better
2 note than that, so.

3 MR. HSIEH: Trading your dial-up modem
4 for broadband. How about that?

5 MR. KNAPP: I want to thank all of our
6 panelists. And it's been terrific.

7 (Whereupon, the PROCEEDINGS were
8 adjourned.)

9 * * * * *

10
11
12
13
14
15
16
17
18
19
20
21
22

1 CERTIFICATE OF NOTARY PUBLIC

2 I, Carleton J. Anderson, III do hereby
3 certify that the forgoing electronic file when
4 originally transmitted was reduced to text at my
5 direction; that said transcript is a true record
6 of the proceedings therein referenced; that I am
7 neither counsel for, related to, nor employed by
8 any of the parties to the action in which these
9 proceedings were taken; and, furthermore, that I
10 am neither a relative or employee of any attorney
11 or counsel employed by the parties hereto, nor
12 financially or otherwise interested in the outcome
13 of this action.

14 /s/Carleton J. Anderson, III

15

16

17 Notary Public in and for the

18 Commonwealth of Virginia

19 Commission No. 351998

20 Expires: November 30, 2012

21

22

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190