

UNITED STATES OF AMERICA
FEDERAL COMMUNICATIONS COMMISSION

NATIONAL BROADBAND PLAN
THE BROADBAND AVAILABILITY GAP WORKSHOP
TECHNICAL PAPER NO. 1

Washington, D.C.

Thursday, May 6, 2010

1 PARTICIPANTS:

2 Panelists:

3 ROBERT CURTIS

4 ROHIT DIXIT

5 KEVIN KING

6 TOM KOUTSKY

7 BJ NEAL

8 STEVEN ROSENBERG

9 JOSEPH SOBAN

10 Speakers:

11 PHIL BELLARIA

12 DAVE BLESSING

13 TOM BROWN

14 JOE GILLAN

15 REBEKAH GOODHEART

16 CHUCK HELLER

17 BOB LOBE

18 CHRIS MILLER

19 MICHAEL PELCOVITS

20 LARRY SPIVEK

21 LYNN STANTON

22 SHARON STROVER

1 P R O C E E D I N G S

2 (3:02 p.m.)

3 MR. CURTIS: Thanks to everybody for
4 coming. I'm Rob Curtis. I'm the director of the
5 Deployment Team for the National Broadband Plan.

6 Before we get going, a couple of brief
7 announcements. First, as I'm sure you all know,
8 it's been a pretty busy news day at the FCC, but
9 the purpose of this event is to focus on the data
10 that applies to the broadband plan and not the
11 Title 1-Title 2 question from earlier today.

12 Inquiries on that matter should be addressed to
13 Jen Howard. Statements regarding that issue are
14 on the web.

15 Second, for those who are online,
16 questions can be e-mailed to
17 leo.fitzpatrick@FCC.gov and on twitter to #bbplan.

18 I guess we'll start here. So today we
19 want to take you through a quick run of the \$24
20 billion availability gap. We'll start with a
21 quick overview of the gap itself, and then we'll
22 drill down into a few key areas. We're not going

1 to be able to cover all the analyses today, so
2 we've selected a few areas that we think are key
3 to understanding the whole. The goal today is to
4 take a first step towards making a reasoning
5 transparent in hopes that we'll facilitate and
6 induce suggestions for improvement, and we'll try
7 to save a bit of time for questions at the end.

8 Before we get started, I wanted to take
9 a few minutes to introduce you to the team. Back
10 in July Blair asked me to build a team to address
11 our national deployment issues. I had no idea
12 what a talented team would be able to put together
13 in a very short period of time. My deepest thanks
14 to them all.

15 To my right is Steve Rosenberg, who's
16 going to be leading a lot of the presentation
17 today. And behind me back there in -- well, I'll
18 go down in the order -- is Joseph Soban, B.J.
19 Neal, Rohit Dixit, Kevin King, Rebekah Goodheart,
20 skip the guy in the white shirt, let's go
21 Bellaria, Tom Brown, and Tom Koutsky.

22 Without these guys' help, there's no way

1 we could have gotten done what we did in, you
2 know, the six to eight months of the time we had.

3 Finally, also, thanks to Jim Stedman and
4 Mark Guttman, and all the folks at CostQuest who
5 really made this possible.

6 So we were asked to provide an analysis
7 to the most efficient and effective mechanisms for
8 ensuring broadband access by all persons in the
9 United States. Answering that question required
10 us to solve two qualitatively different problems.

11 First, we needed to determine the number
12 of the unserved as well as their proximity to
13 current broadband infrastructure. This step
14 required the creation of a baseline model using
15 the best available infrastructure and availability
16 data.

17 Second, we needed to determine the level
18 of funding to induce operators to deploy
19 ubiquitous broadband. This step required us to
20 create an economic broadband model and make a
21 number of assumptions about the evolution of the
22 industry that would induce a deter entry. This is

1 a journey and not a destination, and we look
2 forward to your feedback and suggestions for
3 input.

4 It's worth highlighting a few key
5 principles on the right side of this page. First,
6 we didn't build a cost model, we built an NPV, or
7 an economic model. We included revenue.

8 Second, we focused on incremental
9 economics. What this means is that,
10 fundamentally, we assumed the extra cost and the
11 extra revenue of deploying broadband on top of
12 what already exists, and we assume the existence
13 of current infrastructure.

14 Finally, we built a granular model to
15 ensure that we accurately captured the economies
16 of scale with the diseconomies of scale.

17 This map shows broadband availability at
18 the county level where blue is high availability
19 and red is low, calibrated to the 4:1 availability
20 target. The total unserved by 4 down, 1 up across
21 all counties is about 5 percent of the population,
22 or seven million housing units and 14 million

1 people. This chart disaggregates the \$24 billion
2 investment gap and represents a 20-year explicit
3 model without a terminal value. A couple of
4 things here are worth noting. First, this output
5 is the result of dozens of discreet model runs in
6 combinations of many assumptions about the way the
7 market might evolve. We try to highlight a few of
8 these key assumptions as we go through today's
9 discussion.

10 This is a side note: It's not your
11 typical cell model, and this may come up in the
12 context of how we get input on it. It doesn't
13 easily run on a PC.

14 Second, as you can see from the
15 inclusion of revenue in the calculation, it's not
16 a cost level; it's an economic model that
17 disaggregates cost and revenue as well as initial
18 from ongoing cost. One implication of this
19 disaggregation is that the model is fairly
20 insensitive to the discount rate or cost of money
21 since the initial cost isn't discounted and only
22 the spread between ongoing cost and revenue is

1 discounted.

2 We affectionately call this our "hockey
3 stick rush". The left axis is density, the right
4 axis is gap, and the horizontal axis is
5 percentiles of unserved census blocks. It clearly
6 depicts the high correlation between density and
7 cost, and that's a theme that will repeat itself
8 many times today.

9 Also notice the steep slope of the cost
10 curve which highlights the importance of a
11 granular model that accurately captures diseconomies
12 of scale.

13 This map shows the geographic
14 distribution of density where darker shading
15 corresponds to greater density. To state an
16 obvious fact, the east is considerably more dense
17 than the west, and try to keep this image firmly
18 in mind as we now look at the next page.

19 There are two things going on in this
20 map. First, look at the rolling darkness of the
21 colors, and keep in mind that darker is more
22 expensive. If you compare this chart with the

1 previous page, notice the dark colors tend to be
2 in low density geographies indicating that cost is
3 driven by density.

4 Second, look at the different colors red
5 versus blue. Red indicates that we estimate DSL
6 is cheapest; blue indicates we estimate that
7 wireless is cheapest. As we'll explain a bit
8 later, what tends to drive the color difference is
9 terrain. In flat areas, cell radii can be large,
10 which drives down the cost of fixed wireless.
11 These flat areas tend to be shaded blue for
12 wireless.

13 In more mountain areas, cell radii can
14 dramatically shrink driving up the cost of
15 wireless and the cost of DSL down. So stepping
16 back, DSL tends to be least expensive where
17 density is low and terrain is hilly or
18 mountainous. Wireless tends to be less expensive
19 where density is higher and terrain is flatter.

20 Although we estimate that the area to be
21 covered by DSL and fixed wireless is similar, we
22 estimate that 90 percent of the unserved housing

1 units could be most efficiently served with fixed
2 wireless. The over-indexing to wireless is
3 predominantly driven by higher population density
4 in flat areas.

5 This chart shows the present cost of
6 each technology cut by density, but, importantly,
7 it doesn't include revenue, and it doesn't include
8 the effects of terrain. Notice that fixed
9 wireless and 12-kilifoot DSL are least expensive
10 across the entire range of densities modeled.

11 In addition to considering the cost of a
12 particular technology, we also took a longer-term
13 view and considered the cost of upgrading the
14 higher capacity networks if and when the need
15 arose. What we found is that by building a future
16 proof network immediately is likely more expensive
17 than paying for future upgrades as the need
18 arises, largely due to the time value of money.
19 With one exception, the analysis behind this chart
20 tried to account for the salvage value of the
21 preceding network. The exception is the case of
22 fixed wireless to FTTP, which is second from the

1 left.

2 In one sense, there's no salvage value
3 since little fixed wireless infrastructure would
4 be part of a fiber to the premises built. On the
5 other hand, there may be significant salvage value
6 in that a mobile broadband network would continue
7 to provide value after its life as a fixed network
8 is past. This value is not captured in these
9 calculations and may be significant.

10 This chart is a reprise of a chart we
11 put out in September and demonstrates that the
12 investment gap is highly dependent on the speed of
13 broadband considered. The gap ranges from 1.5
14 megabits -- or for \$15 billion for a 1.5 megabit
15 target to \$320 billion for a 100 megabit speed
16 target. As Steve will explain shortly when he
17 drills down into the base case, the \$24 billion
18 gap is a combination of the two
19 4-megabit-per-second cases that you see on this
20 page.

21 Steve?

22 MR. ROSENBERG: Thank you. I want to

1 take as little bit of time to dive deeper into the
2 most expensive areas, so, as Rob said, the areas
3 with low density/high gap parts of the hockey
4 stick shown here in a slightly different format --
5 let me orient you to this graph -- first off, each
6 vertical line represents one county.

7 So what we've done is aggregate up the
8 census blocks to the county level. There are
9 roughly 2,800, a little bit more, counties
10 represented on this page from lowest-gap county to
11 highest-gap county. The vertical axis you'll
12 see goes up by an increment of 10 each step up the
13 graph. The reason there is to show some of the
14 differential at the lower end of the hockey stick,
15 and you show it as you did before. You just get
16 that same sharp increase at the end, and you can't
17 see much of the variation.

18 What you see over on the left-hand side
19 is some very low-gap counties down around and
20 below \$10,000 for the entire country indicating a
21 small number of relatively inexpensive homes to
22 serve with broadband all the way up to the right-

1 hand side where you see very high-gap counties in
2 excess of \$200 million for some of them. This is
3 indicative of a combination of very expensive
4 housing units and a relatively large number of
5 them.

6 You also notice here the color shading.
7 The dark blue areas are areas where the initial
8 investment is smaller than the gap. What that
9 means is if you build this network through some
10 combination of private and/or public financing,
11 what you find is that that network will operate
12 profitably. On the other hand, the light blue
13 areas are ones where there is an ongoing gap,
14 where the total gap exceed the initial CAPEX.

15 Even if you fully subsidize a network
16 billed in that area, even if the network were
17 handed over to a private operator free of charge,
18 they could not operate that network profitably; it
19 would need ongoing support in order to continue
20 operations. You'll note it's not a one-to-one
21 correlation, but, generally speaking, the
22 higher-gap counties over on the right-hand side

1 are lighter blue. So the larger the gap the more
2 likely you are to end up in an area where you need
3 some level of ongoing support.

4 Worth pointing out, by the way, this is
5 for unserved housing units only, so this is not
6 representative of the country as a whole.

7 Generally speaking, areas that have service are
8 profitable to operate in, and you in fact won't
9 have any gap whatsoever.

10 Looking now at another map, this one is
11 focusing on the number of unserved. We're going
12 to continue talking about the most expensive, but
13 I want to orient you again to a slightly different
14 map. This starts off here with the number of
15 unserved in each county, so we've taken the
16 percents chart of unserved that Rob showed at the
17 very beginning, the red to blue color bar, and
18 converted this now to the absolute number of
19 unserved. And what you see is on the coasts
20 darker shading representing more unserved in each
21 county.

22 What that means is that even in a county

1 that has a high level of service, over 90 percent,
2 in counties that are very high population you may
3 end up with a relatively large number of unserved
4 housing units there. So, for example, in Southern
5 California, you'll see some dark blue shading in
6 areas that are both according to our work and the
7 work done by California State nothing effort a
8 very high percentage of service, you see a
9 relatively large number of unserved housing. What
10 you have is a large county with a large
11 population, and out West there, in fact, a large
12 geographic area as well, so a large number of
13 individuals.

14 If you look at this map, what you see is
15 that there is a wide dispersal of unserved across
16 the country, and an overlay onto that now a series
17 of yellow dots. What these represent are the
18 areas of highest gap. So what we do is we
19 calculate the gap, and we'll talk a little bit
20 more about this. We calculate the gap for every
21 census block in the country, and order all of
22 those census blocks of unserved housing. I think

1 it's order of magnitude two million census blocks
2 that have some -- that have unserved housing units
3 in them.

4 We then take the -- take those, order
5 then from highest gap to lowest gap, and then
6 figure out where are the 250,000 most expensive
7 housing units in terms of having the highest gap.
8 And that's what's represented on this chart. Each
9 of those yellow dots represents a census block
10 with at least one housing unit. In total, there
11 are 250,000 housing units spread out about 75,000
12 census blocks. There are 75,000 dots there.

13 If you look -- if you recall that hockey
14 stick, this represents about 3-1/2 percent of all
15 unserved represented by these roughly 70,000 to
16 90,000 dots. If you then look at the gap, removing
17 those 250,000 housing units from the equation, you
18 get a very different picture. Instead of a gap of
19 \$23.5 billion your gap is down to about \$10.2
20 billion. What that means is that those 250,000
21 housing units spread largely across the Midwest,
22 the middle part of the country, particularly the

1 upper Midwest, represent about \$13 out of the \$24
2 billion gap. If you are able to serve those
3 through a technology that does not have the same
4 cost structure as terrestrial-based technologies,
5 potentially with satellite, as suggested here, the
6 gap could be reduced to about \$10 billion.

7 So that's an overview of the answer. If
8 you have had a chance to read the paper -- and I'm
9 guessing that not many people have made it through
10 the whole thing -- what you'll see is that this is
11 basically going through chapter 2 -- chapter 1, I
12 guess it is now -- of the paper, just reviewing
13 some of the results. What we're going to do now
14 is talk a little bit about the approach, about how
15 we build the baseline and some of the decisions we
16 made in building the financial base case.

17 So we start off, as Rob said, needing to
18 understand how many housing units there are in the
19 country that lack access to broadband service, in
20 this case at 4 megabits down 1 megabit up. It's
21 worth pointing out and being very explicit that
22 the data that exists are far from ideal. Over

1 time we hope to improve that situation. We are
2 going to be happy to incorporate more data as we
3 get it and incorporate it going forward, but we
4 had to build a baseline based on the data that we
5 have available right now to us.

6 So what did we do? First is we took a
7 fundamentally different approach to different
8 technologies to build the baseline. So let me
9 walk through that starting with 100 percent of the
10 country. We find that about 90 percent of the
11 country have DOCSIS available. That is based on a
12 commercial data source where we looked at the
13 franchise boundaries for cable companies that
14 offer two-way cable service. So this isn't just
15 cable television, this is two-way cable service.

16 There are some risks here. Anecdotally,
17 we hear that the commercial database does not
18 necessarily include, or does not indicate any
19 information, about when a cable franchise does not
20 build out to the edge of the franchise boundary.
21 So it is possible, certainly, that particularly
22 with small and mid-size cable companies, that the

1 cable franchise is not built out fully, and we may
2 count some areas as served that do not currently
3 have service.

4 At the same time, we've also heard that
5 these cable databases do not include some of the
6 smaller systems, and so we may tend to
7 underestimate the availability of DOCSIS-based
8 broadband in some areas.

9 Moving down now to the third row where
10 only DSL is available, so I'm going to focus on
11 the area where DSL is available. We do an
12 analysis of where DSL is available nationwide, but
13 we're really focusing in on those homes, those
14 housing units that had DSL available, but are
15 outside of a cable footprint because those that
16 are within the cable footprint we already consider
17 having broadband service available.

18 As we look at the Telco footprint, the
19 data here are particularly difficult to work with.
20 There is no commercial dataset analogous to the
21 one available for cable and, in fact, there are
22 very few data sources at all. What we did is

1 based on a number of state mapping efforts that
2 were completed prior to our starting this work.
3 So there's a large number of state mapping efforts
4 underway right now through NTIA as part of the
5 BDIA work. Obviously, those data are not
6 available yet. We hope to get those in the near
7 future and update our work as appropriate.

8 What we did do is we looked at the
9 states that had completed mapping efforts, and we
10 put a pretty high bar on the state data that we
11 wanted to incorporate. So we limited ourselves to
12 states that had broken out broadband by
13 technology. As I said, we were treating cable and
14 DSL differently. We wanted to be able to tell
15 those apart.

16 We limited ourselves to states that
17 conducted analysis on a fine enough geographic
18 level to differentiate service levels. So if a
19 state had, for example, done an analysis of DSL
20 availability at the county level, we felt like
21 that was too coarse to really give us information
22 about where service was actually available within

1 the county footprint.

2 We also eliminated states where coarse
3 assumptions had been made about the availability
4 of DSL. So, for example, particularly in some of
5 the early state efforts there were assumptions
6 made about, say, drawing an 18-foot circle around
7 the location of known DSLAMS. We felt like that
8 that would not be indicative of real service
9 availability, so we excluded those states. And we
10 also did not look at states that had only
11 information about DSLAMS located in CLs. So we
12 know that DSLAMS can be in RTs. Looking only at
13 COs would tend to systematically understate
14 availability or at least have that potential.

15 So what that means is that we have a
16 limited number of states. The good news is that
17 we have faith that state's -- this data we used --
18 are accurate and indicative of availability. The
19 bad news is we're basing our work on a limited
20 number of states.

21 Given the state data, what we then do is
22 take all of the data for that state and do, run a

1 massive regression analysis. So we take the
2 availability information to census block level by
3 speed where it's available. And we understand
4 that one of the things that correlate most with
5 broadband availability, we then run a regression
6 to quantify that and apply that to the rest of the
7 country. So it is our best way to take data in
8 areas where we have it and apply it to areas where
9 we don't.

10 Standard statistical analysis applied
11 about making sure that the effort was predictive
12 of sample data, however, as it says here on the
13 page, there are a couple of risks associated with
14 this approach. Number one, as with any
15 statistical approach, you will tend to
16 overestimate availability in some areas and
17 underestimate it in others. In aggregate, we
18 believe that those will wash out, that we have
19 every reason to believe that the errors have a
20 normal distribution, so to speak.

21 The other potential risk is that the
22 data on which we base our analysis is not

1 representative of the entire country, so if you
2 fundamentally believe that the states for which we
3 have data look very different from others, you may
4 have reason to believe that the regression
5 analysis is not going to be predictive.

6 Having said that, where we have seen
7 complaints about lack of service and we look at
8 our maps, we haven't looked at anything yet and
9 said, well, we really missed the boat on that.
10 But, quantitatively, we can't rule out that
11 possibility. We need more and better data to
12 really understand how predictive this is, and then
13 when we have the better data we'll use that
14 instead. So this, we recognize, is something that
15 we had to do in the short term to really
16 understand availability.

17 The results are what you see here. As I
18 said, 90 percent of the country as DSLAMS-3
19 available, and so that's the large bar on the
20 left-hand side. We code that here as 10 megabits
21 per second. In any case, it is certainly above
22 the 4-megabit-per-second target rate that we have

1 for download speeds.

2 Then you see distributed across the rest
3 the speeds that we estimate a network is capable
4 of delivering based on distance from either known
5 or forecast locations of DSLAMS. What you see is
6 in some ways counterintuitive. A large BOLLIS at 6
7 megabits, and then much smaller number for 4, 3,
8 1-1/2, and then the submegabit categories. But
9 what that reflects is that the area out to several
10 thousand feet -- I think for 6 megabits it's about
11 9,400 feet -- is much, much larger than the rings
12 between 9,000 feet and 12,000 feet, and between
13 12,000 and 15,000 feet. So the areas of that
14 innermost ring is by far the largest. Then when
15 you overlay the fact that DSLAMS tend to be in
16 areas that are of high density as opposed to out
17 in sort of the suburbs or the exurbs of even small
18 towns, this starts to make a bit more sense.

19 So that's sort of an overview of how we
20 built the baseline and what the results are. I'm
21 going to shift now and talk about the financial
22 base case, and just to make sure to be super

1 explicit about this, when we talk about "the
2 baseline," we are talking about where service is
3 currently available, where the infrastructure
4 currently exists. Base case is the financial
5 metric.

6 The base cases Rob alluded to was
7 actually a very complex series of data runs, so I
8 want to walk through that in a little bit of
9 detail just so people can understand what
10 assumptions we made. First off, one of the major
11 complicating factors that we face is the likely
12 presence of 4G out in the country in future years.
13 It doesn't exist now except in a relatively small
14 number of urban areas, not in the unserved
15 footprint. It's currently only offered by Sprint
16 and Clearwater through WiMAX, but there are
17 commitments from a number of carriers to roll out
18 4G in the next few years. We wanted to make sure
19 we accounted for that.

20 The reason is that we believe that while
21 4G, commercial 4G rollouts that are targeted
22 towards mobile broadband and mobile telephone use,

1 are not in and of themselves going to be
2 sufficient to be a fixed replacement. In other
3 words, commercial 4G build-outs are going to
4 require incremental investment. We do believe
5 that some number of people will be able to get a
6 fixed replacement service with 4Gs. So if you
7 think about a 4G build-out, you will have some
8 number of people close enough to wireless bay
9 stations to be able to have a high enough signal
10 strength to get 4:1 service.

11 Absent incremental investment, we do not
12 necessarily believe that that signal strength will
13 be high enough everywhere to be a fixed
14 replacement, and so we're going to calculate that
15 cost. But the fact that there are a number of
16 people, perhaps a majority of people -- we don't
17 know -- who will have 4G service that is fixed
18 replacement, we wanted to account for that both in
19 the impact it will have on wireline deployments
20 and an impact it will have on wireless. So let me
21 walk through what I mean by that.

22 Start with the top rotor a the top of

1 the page looking at 12,000-foot loop DSL. As Rob
2 mentioned, 12,000-foot loop DSL and wireless
3 solutions are always the lowest cost, so we are
4 focusing on them as part of the base case. For
5 12,000-foot loop DSL. In 4G areas, we are going
6 to assume that there is a single competitor.
7 That's the 4G operator.

8 As I said, we don't know that the 4G
9 operator will be able to offer service to everyone
10 in the footprint, however what we didn't want to
11 have is a situation where we assumed no
12 competition a 4G player offering service that
13 actually took money away from the wireline
14 provider and not accounting for that. What that
15 would mean is that the wireline provider could
16 have worse economics than we would model if we
17 assumed zero competition. So we assumed one
18 competitor in 4G areas. Outside of 4G areas we
19 assume no competition.

20 What we are then able to do is calculate
21 the gap for 12,000-foot loop DSL for every census
22 block in the country that has unserved --

1 obviously, that they have unserved there is no
2 doubt -- and then roll that up to the county level
3 for DSL. So we have a county-level gap for the
4 12,000-foot loop DSL.

5 Let me move down now to the bottom of
6 the page. I'm going to focus in on the eight-mile
7 radius wireless, and I'll talk a bit about the
8 radii in a second. A similar situation, a
9 division between 4G areas and non-4G areas, here
10 the issue is if it is a 4G area, if a carrier has
11 deployed 4G service, they are going to have lower
12 costs. They already have a certain amount of
13 infrastructure in place that they can leverage for
14 offering the fixed service. So what we want to do
15 is account for that.

16 We also want to make sure -- as Rob
17 mentioned, this is an incremental model -- we want
18 to make sure that we are only accounting for
19 incremental revenue. So in 4G areas for wireless,
20 we only looked at fixed revenue, so the revenue
21 for providing fixed service not mobile service,
22 and we only allocate -- we only looked at 73

1 percent of the cost. That 73 percent -- we go
2 into it in the paper -- is a cost based on the
3 amount of traffic driven by fixed service. So
4 what we do is we say fixed cost and fixed revenue
5 in 4G areas.

6 Outside of the 4G footprint, we're
7 assuming it's a Greenfield build, so all revenue,
8 both mobile and fixed, and all costs is accounted
9 for in the model.

10 We make that calculation for each of
11 four radii: Eight-mile, five-mile, three-mile,
12 and two-mile. The reason we do that -- Rob is
13 going to talk about it in a minute -- is to
14 account for terrain variation and making sure that
15 we have an appropriate cell size for different
16 geographies. But we do the calculation for each
17 geography at each radius. We then choose a cell
18 size and whether it's a 4G or non-4G area based on
19 our data. And as I said, Rob will talk about the
20 cell radius choice. And again, we're able to
21 calculate a single wireless gap for each census
22 block. So we choose the right case from among the

1 eight wireless cases that we describe on the
2 left-most part of the page.

3 We then again roll up the wireless gap
4 in each census block to the county level. That
5 brings you to the octagons -- I think it's
6 octagons -- and sort of the right third of the
7 page we now have a gap for both wireless and
8 wireline, and we can look at both the lowest cost
9 and second lowest cost technology to determine
10 what the gap is in each county.

11 So as we go through this, we not only
12 calculated the base case, so, as I said, there
13 were just 10 data runs to describe what the base
14 case is and how they're combined. We also looked
15 at what we'll call sensitivity. So different
16 assumptions and how they might impact the outcome,
17 how they might impact the size of the gap. Those
18 are each described in greater detail in the paper,
19 but I wanted to present them all here to give you
20 sort of an overview. I'm not going to walk
21 through each of these.

22 There are several that we're going to

1 talk about in more detail coming up, and a couple
2 that we've talked about a little bit, so, for
3 example, the one, top one there, highlighted in
4 gray focus on terrestrial solutions but estimate
5 the potential impact of satellite. As I said
6 earlier, moving from a \$24 billion gap roughly to
7 a \$10 billion gap, means that there's a \$13
8 billion effect of the accounting for the impact
9 that satellite might have. So that's one we
10 talked about already.

11 We talked a little bit about 4 megabit
12 downstream and 1 megabit upstream in terms that if
13 you were to pick different levels -- Rob talked
14 about that in the table -- we'll talk a little bit
15 more about that, how we make sure that we deliver
16 4:1 service.

17 And then a couple others that we are
18 going to talk about across the next few pages is
19 funding only one network, assuming the
20 second-lowest gap and remaining technology-
21 neutral. So let's dive into these, first off
22 starting with funding only one network.

1 Obviously, it is possible to fund more than one
2 network in these unserved areas, but it has a
3 pretty big impact on the economics.

4 On the left-hand side, we talk about
5 what the gap would be, if instead of funding only
6 one network we choose to fund both a wireline and
7 a wireless network. There are good arguments to
8 be made about whether that is a worthwhile thing
9 to do. We just wanted to be able to say this is
10 how much it cost. and that's what's shown on the
11 left-hand side. The gap on one network, as we
12 said, is \$23.5 billion. The incremental gap of a
13 second network is an additional \$10.7 billion for
14 a total of 34.2.

15 What's going on here is when you build
16 the second network, you incur more costs and you
17 have the same revenue -- actually slightly less
18 revenue given our assumptions about the effect of
19 competition on revenue. So you're adding cost,
20 you're not adding revenue, and so the gap goes up.

21 On the right-hand side another
22 hypothetical. If for some reason wireless were

1 excluded from the solution set for whatever
2 reason, be it technology-based, policy-based, what
3 have you, if you wanted to have two competitors
4 both based on wired networks, what would that look
5 like? One of those networks is relatively cheap.
6 That's the gap for 12K, a 12,000-foot-loop DSL,
7 with one competitor everywhere.

8 The second competitor would not be able
9 to use the same loops that the 12,000-foot-loop
10 provider is using. You only have one set of loops
11 going into the house. If you have -- if you want
12 a second facility as base competitor, they need to
13 build out to the premises. You could use any
14 number of technologies. He will use the fiber to
15 the premises gap on top of that for one
16 competitor, an incremental \$67 billion for a total
17 of \$87 billion for two wired networks. So
18 anywhere from a roughly \$10 billion to \$50 billion
19 impact of having more than one network.

20 Second, assuming the gap of the second
21 lowest-cost technology solutions, when I walked
22 through the base case, I said that we got a gap at

1 the county level. And then we can calculate what
2 is the lowest cost-gap and second lowest-cost gap.
3 So we see here the gap for wireless base network
4 everywhere is about \$13 billion. If you just
5 assume we're going to put wireless everywhere,
6 it's \$13 billion. Pretty soon we're going to have
7 12,000-foot-loop DSL everywhere. That's \$18.6
8 billion.

9 If you now optimize, if you say, well, I
10 can choose the lowest cost technology in every
11 county, so you pick wireless where it's lowest,
12 DSL where it's lowest, the total cost is only \$8
13 billion. The cost of the second lowest-gap
14 technology, so sort of the loser in that
15 head-to-head competition in every county, is up to
16 \$23.5 billion; that's the \$24 billion gap that we
17 talk about. And we do this, as we mention in the
18 paper, to account for the effects of a market-base
19 mechanism.

20 If you have two providers, you have
21 about the same cost structure, about the same gap,
22 they're probably going to be at about the same

1 price. If you have two that have a differential,
2 given perfect information the lowest gap provider
3 can offer service or can bid, so to speak,
4 depending on how the mechanism works, at that
5 higher rate. And so that's the one that we take
6 into account here.

7 The next piece I want to talk about is
8 what we call here 4:1 actual network. So we don't
9 just want to talk about offering a
10 4-megabit-per-second down service, 1-megabit-per-
11 second up; we want to make sure that a network is
12 actually capable of delivering it. And for this
13 we turn to some data on usage.

14 On the left-hand side here, what you see
15 is a breakout of the percent of use by different
16 categories of users. And what you see is the
17 heaviest one percent of users account for up to a
18 quarter of traffic on the network. What that
19 means is that if you wanted to calculate the
20 amount of usage, you need to kind of have a sense
21 for how many -- what the traffic looks like across
22 different categories of users.

1 We talk about that in terms of busy hour
2 offered load, BHOL, and that's what we show on the
3 right-hand side. If you average across all users
4 on the network some of whom are not doing
5 anything, some of whom are engaged in intense
6 streaming, some of whom are doing web-page
7 surfing, across all users what you see is an
8 average use of between 111 and 21 -- we're down to
9 17 -- kilobits per second. What that means is the
10 average user, the median user over on the far
11 right is using between 17 and 21 kilobits per
12 second on average. As you include more and more
13 users, you actually move higher and higher in the
14 average usage because you're pulling in the seven
15 percent who use 65 percent of the total, the 1
16 percent who use the quarter. As you add more and
17 more people, you get a higher busy hour offered
18 load.

19 If you look just at the 90 percent usage
20 figure, you get between 36 and 43 kilobits per
21 second. So what you're saying is 90 percent of
22 users in the busiest hour of the day use about 40

1 kilobits per second. You also see that that
2 number grows over time, so what we are seeing is
3 that average use is doubling roughly every three
4 years, so this is a shift. This isn't sending
5 twice as many e-mails every three years, it's a
6 shift from e-mail to web surfing, from web surfing
7 to rich web surfing, from low resident video to
8 higher resident video, so from YouTube to Hulu,
9 for example.

10 So we see growth in the busy hour
11 offered load year on year. We forecast that out
12 of assuming the same rate of growth that we've
13 seen in the recent past, and instead of seeing
14 about kilobits per second you see 160 kilobits per
15 second several years out. So if you focus on
16 delivering a network capable of serving up
17 4-megabits-per-second down, 1-up, you get 160
18 kilobits per second to serve 90 percent of users
19 at the busy hour, and that is how we calculated
20 the cost associated with our network.

21 So we didn't engineer a network here,
22 obviously, but this is the step that we took to

1 account for what engineering might look like in
2 during the accounting, during the financial
3 projections.

4 We also wanted to make sure that we took
5 into account middle mile and backhaul issues. We
6 know that this can be a pretty important part, so
7 we need a series of conservative assumptions about
8 backhaul that I want to walk through.

9 What we see on this page is a map of
10 central office locations across the country, about
11 20,000 of them. These are ILEC central offices
12 only. We focus on ILEC central offices because,
13 honestly, the data is easier to get at, it's
14 easier to know where the ILEC central offices are
15 than where IFC pops are, where cable nodes are,
16 all those things. So we focus on these, focusing
17 only on the locations of ILEC facilities is a
18 conservative point of view because we're omitting
19 a lot of fiber that's out there from these other
20 types of providers.

21 Each of the COs was coded according to
22 the kinds of services it offers, so what you see

1 here green dots indicate fiber services offered
2 from the CO. We took that as a pretty strong
3 indication that that CO is fiber fed.

4 We then coded yellow and red dots for
5 COs that offered DS-1 and DSL services,
6 respectively. In all likelihood those are
7 fiber-based, fiber-served COs, but we're not 100
8 percent sure so we coded them in yellow and red.

9 And then blue dots represent COs where
10 we do not have any information on the services
11 offered that would indicate one way or the other.
12 So not evidence of absence but an absence of
13 evidence about whether there's fiber there.

14 What we get is 90 percent coverage, so
15 we see 90 percent of COs have fiber using this
16 analysis. That is probably very conservative.
17 What we have seen filed in the record is that over
18 95 percent of COs have fiber. What we've heard,
19 anecdotally, is that it's 97-98 percent. So this
20 is almost certainly conservative, but what it
21 shows you is that, again thinking back to the
22 density map that Rob showed awhile ago, especially

1 on the East Cost where there's high density,
2 there's a lot of COs, and there's a lot of green
3 COs in particular.

4 We then created a color of fiber route
5 map, but I want to be clear on this: This is not
6 a map of fiber routes; this is a calculation of
7 how you might connect up all of the COs that we
8 saw on the last page. So what we did is we
9 understood the homing tables. We understood which
10 COs maps to which regional tandems, and we took a
11 least distant tree approach to connecting every CO
12 to its local regional tandem. Then we ringed up
13 the regional tandems in a way, again least
14 distance routing.

15 So the actual routing may look nothing
16 like this, but it's indicative of where fiber
17 exists. We did this, number one, because we
18 wanted to see what the map looked like, but we
19 also used this as an input for the cost
20 calculation, using this as a proxy for the cost of
21 providing backhaul services using ILEC fiber. And
22 again, there's a number of ways in which this is

1 probably a conservative estimate of the amount of
2 fiber that's available.

3 You'll not, for example, if you look at
4 the state of Nevada, you'll note a lot of fiber
5 that just isn't ringed up, and that comes from the
6 fact that we didn't require that COs were joined
7 in a ring, and in all likelihood that is part of
8 what's going on out in the real world. You'll
9 also notice again in Nevada there were a number of
10 COs that, since we don't have information on, we
11 don't fiber them up. So a conservative assumption
12 about where fiber exists and therefore the cost of
13 providing fiber for backhaul services.

14 Bob?

15 MR. CURTIS: I think it's back to me.

16 MR. ROSENBERG: Yep.

17 MR. CURTIS: So what we're going to do
18 now is drill down relatively briefly on what we
19 came up with as the two low-cost technologies.
20 I'll run through wireless and then hand it back
21 over to Steve to wrap us up, and he'll go through
22 DSL.

1 So if you look at this page, you'll see
2 that there's a \$13 billion investment gap for
3 fixed wireless, and that was the smallest gap of
4 the different technologies that we modeled.

5 A couple of other things to call out on
6 this page, notice that OpEx actually exceeds the
7 sum of initial and ongoing CAPEX, predominantly
8 doing of the cost of -- I'm sorry, ongoing CAPEX
9 -- predominantly doing of the cost of tower
10 releases. So on this page I'm going to walk you
11 through how we thought about capacity in the
12 wireless network. We took a great deal of care to
13 ensure that the wireless network that we modeled
14 could actually support the 4:1 target.

15 The primary cost here I ran a wireless
16 network is the cost of the number and the cell
17 sites required to provide both adequate signal
18 density and capacity. So first we determined how
19 many cells would be required to provide adequate
20 signal density to hit the 4:1 target, which in
21 turn required that we solve for cell radius. We
22 assumed in this model a 700-megahertz spectrum,

1 which does have excellent propagation
2 characteristics.

3 Our link budget indicated that the
4 limiting factor for cell radius was the
5 1-megabit-per-second uplink as opposed to the
6 4-megabit-per-second downlink. So we set our
7 radius smaller than would be required to hit the
8 4-megabit downlink target.

9 As we indicated before and we'll dive
10 deeper into in a few minutes, terrain is also a
11 very important driver of cell radius. We took
12 that into account. In addition to these coverage
13 sites which we describe on the left-hand side of
14 the page, we also thought about capacity, so we
15 looked for cells that needed to be split to
16 provide additional capacity. We split cells when
17 the total usage on the cell exceeded its capacity.

18 Cell capacity, as we thought about it,
19 is fundamentally a function of three things: 1,
20 the usage in the busy hour which Steve described a
21 few minutes ago; 2, spectral efficiency measured
22 in bits per hertz; and 3, the amount of spectrum

1 available. As we'll see in a few minutes, it
2 turns out that providing adequate signal density
3 for 1 megabit per second in the uplink results in
4 low subscriber density per tower, which makes the
5 waterless model relatively insensitive to changes
6 in capacity demanded.

7 Using RF planning tools, we identified
8 different cell radii for different terrain types.
9 Assuming deployment in the 700-megahertz band and
10 the signal density of about 140 db, as required by
11 our link budget for the uplink, we selected a
12 variety of cell towers ranging from eight to two
13 miles radii depending -- ranging from 8 to 2 miles
14 depending upon the terrain type.

15 Using the detailed terrain data, we got
16 from, you know, a variety of data sources, we
17 varied all the cell radii based on elevation
18 variation. Yellow and green on this map are
19 smaller radii while blue and dark blue are larger
20 radii. Notice two things from this map:

21 First, cell radii are smallest where DSL
22 is the cheaper alternative.

1 Second, you can clearly see the effect
2 of the mountain ranges in the Appalachians on cell
3 size and placement.

4 This chart shows two different measures
5 of the wireless economics relative to terrain.
6 Wireless, it turns out, is highly sensitive to
7 terrain type which, of course unfortunately for
8 us, is fixed and shows why wireless is not the
9 most efficient technology in the West and in the
10 mountains. But despite the sensitivity to terrain
11 shown in this chart, we think our results are not
12 actually terribly sensitive to terrain since we
13 take the mountains and the plains as a given.

14 This chart indicates that because of the
15 low subscriber density per tower, which we
16 discussed just a few minutes ago, the fixed
17 wireless gap is relatively insensitive to changes
18 in capacity or demand. The chart shows the effect
19 of altering cell capacity by increasing or
20 decreasing spectrum allocation. The chart would
21 look similar if we pulled either of the two other
22 capacity levers, i.e., spectral efficiency or busy

1 hour offered load.

2 Notice that even if we reduce capacity
3 by 50 percent by cutting spectrum in half in this
4 case, we barely move the gap. The implication is
5 that even if busy hour offered load doubled, the
6 gap impact would be minimal. We believe these
7 sensitivities are, however, somewhat unique to
8 unserved areas largely due to the low density of
9 those areas.

10 Finally, although the gap is insensitive
11 to spectrum allocation in capacity, it's highly
12 sensitive to spectrum band used. We estimate that
13 moving from the 700-megahertz band to the PCS band
14 would nearly double the fixed wireless gap. And
15 there's a note not shown on this page, if you
16 assume that instead of going to 2-by-10 megahertz
17 you go down to 2-by-5, so, in fact, you get a 4X
18 decrease in capacity, we estimate the gap only
19 moves up to about \$14.1 billion or about a billion
20 dollars. So 4X change in capacity creates about a
21 10 percent sensitivity of the gap.

22 Steve is now going to take us through

1 DSL.

2 MR. ROSENBERG: Thanks. Similar graph
3 now looking for DSL instead of wireless, the total
4 gap, as I mentioned earlier for DSL, 12,000-foot
5 loop DSL, is \$18.6 billion. A couple of things to
6 note here:

7 First, in contrast to wireless, here the
8 initial CAPEX is much higher. Really, you're
9 paying for loop shortening down to 12,000 feet, in
10 some areas several miles of loop shortening as
11 opposed to the tower release that you face with
12 wireless.

13 Second, the assumption that you can get
14 for one service implicit in here mentioned in the
15 paper is that we're talking about 24 American wire
16 gauge loops using a DSL 2-plus. We do not
17 believe, for a number of reasons, that that is --
18 that one requires 24 wire gauges as opposed to 26,
19 but, obviously, if you're at 24, you may, in fact,
20 be able to get longer loops as with 24 wire gauge.

21 Next, I just want to put up a couple of
22 graphs. These are not in the paper, that are

1 taking data straight out of the paper. Just to
2 array, if you wanted to serve just the unserved
3 areas with different technologies, what that looks
4 like, and so you see 15, 12, 5, 3K DSL and fiber
5 depremises, increasing speed across the horizontal
6 and gap to serve the unserved with a truer wire
7 line on the vertical.

8 The curve fit is not anything terribly
9 meaningful. I don't actually expect the costs to
10 move along that curve, but it is indicative; you
11 can see it turning over once you've shortened your
12 loops to 3,000 feet you're most of the way there.
13 You've done a great deal of the work to getting
14 fiber depremises, the incremental cost between
15 3,000-foot loop DSL and fiber deprem is relatively
16 small. So if you're talking about staying within
17 the unserved footprint, really the biggest cost is
18 getting up -- or reducing loop links down to about
19 5,000 feet.

20 On the other hand, if you now say, well,
21 what would it cost to serve the entire country at
22 these speeds, you get a very different looking

1 curve. This goes back to the table that Rob
2 showed earlier that talked about the gap at
3 different speeds bringing in different numbers of
4 housing units.

5 So what you see here is as you move up
6 in speed, you move up in the number of unserved
7 all the way up to, when you get to 100 megabits,
8 you know, and you're talking about fiber deprem,
9 in our analysis we didn't pull out areas that were
10 already served by fiber depremises, so it's 130
11 million housing into the whole country. What you
12 see is that because you're including more and more
13 housing units, the gap actually starts to curve up
14 the other way, much more expensive as you go up in
15 speed because you're drawing in more and more
16 housing units.

17 So I just want to take a moment to thank
18 everybody, first off, all of you for joining us
19 here in the Commission room. For those who are
20 online, thank you for your attention. I also want
21 to add my thanks to the team. It has been a real
22 privilege, something I've really enjoyed maybe

1 more than I thought I would, so thank all of you,
2 those who are here and those who are not.

3 A couple of other comments. As you see
4 on the page, there are some -- there's an aniline
5 and paramount outstanding. I don't think they
6 have yet come out in the Federal Register, but
7 we'd love comments and thought in response to
8 those pieces and any future ones that come out on
9 these topics.

10 And then, you know, when Rob and I
11 started right from the very beginning back in
12 August, we kept saying please give us more data.
13 I don't know if people took us seriously then. I
14 think you can look at this now and understand that
15 when we say that we mean it. So to the extent
16 that you have data that can help our analysis, to
17 the extent that you have information that you feel
18 will improve the work that the Commission is able
19 to do, please make it available to us. I think
20 that we will be able to do good things with it and
21 come to a better more fact-base analysis.

22 I think we want to open things up to

1 questions. If you are in the meeting room, I
2 think just go up to the microphone there. Please
3 announce who you are and your affiliation just so
4 we can get that labeled appropriately, and then
5 we're also taking questions online as well.

6 MR. GILLAN: And since I can't embarrass
7 myself --

8 MR. ROSENBERG: Whoa.

9 MR. GILLAN: -- Joe Gillan affiliated
10 with nobody. Can you get a slide 7 -- I think
11 it's 7, it might have been 8?

12 MR. CURTIS: Eight? Seven? Eight.

13 MR. GILLAN: That one. That one, yes.
14 The red is DSL, the blue --

15 MR. CURTIS: Blue's wireless.

16 MR. GILLAN: -- is wireless.

17 MR. CURTIS: Yes.

18 MR. GILLAN: Okay. All right, this is
19 the question where I might embarrass myself: As I
20 understand your analysis, that shows that DSL is
21 basically cheaper and the last wireless is cheaper
22 on the East. But because you're assuming a

1 market-based disbursement mechanism, you
2 effectively calculate the \$23.5 billion which I
3 won't round to 24 since \$500 million still means
4 something today. Since you model that usually
5 taking the second-most or the second less
6 expensive technology, so in effect, is it true
7 that what you end up with is actually the reverse
8 to that? That you ended up effectively modeling
9 the cost of wireless in the West and DSL in the
10 East?

11 MR. ROSENBERG: I would say in terms of
12 calculating the cost that's correct; in terms of
13 showing which is less expensive, the map that we
14 have up shows that. I think the big key to
15 remember is that we are not kicking the technology
16 winners or losers. We're just saying we believe
17 both are capable; we believe this one is cheaper
18 in this area and the other one is cheaper in the
19 other area. But what you said is correct.

20 MR. GILLAN: I wasn't drawing an
21 inference. I was just trying to make sure I
22 understood the core -- the relationship. Thanks.

1 MR. CURTIS: Yep.

2 MR. ROSENBERG: I have a question here
3 in from e-mail. Are there any plans to release
4 data on served and unserved census blocks for DSL
5 and cable technologies? The answer is yes and no.
6 We are, I think today we're working with the
7 internal IT group to post the output of the model
8 runs that we have done on the FCC website. All of
9 the data that we are making available is at the
10 county level. The reason for that is, No. 1, just
11 a practical one, putting up several million rows
12 in a database gets a little bit tough to deal
13 with. But also we have denounced this, based in
14 part on commercial data, and we are not able to
15 just release, prerelease, so we cannot release
16 things at the census block level.

17 Going forward, we will try and figure
18 out the best we can to make sure that all of the
19 data that can be released will be. Negotiating
20 data rights is appropriate.

21 MR. HELLER: Hi. I'm Chuck Heller from
22 Wilkinson Barker and Knauer. My question,

1 actually, deals with a decision to exclude
2 satellite from the base case calculation, and the
3 capacity issue I understood there's a sentence
4 that appears in sort of similar but slightly
5 different forms in the technical paper in a number
6 of places and also in the plan about the impact of
7 the disbursement mechanism. And I have a guess
8 about what that means, but it was a little -- I
9 was a little unclear on exactly what that meant.
10 I was hoping you could explain it.

11 MR. CURTIS: Yes, so it derives in part
12 from the capacity limitation. There's a question
13 if you've got a technology that can serve a subset
14 of the whole, what the optimal way to introduce
15 that technology to the entire solution of the
16 problem, do you use it to serve some part of a
17 census block? Do you use it to serve all of a
18 particular census block and none of some other
19 census block? And until, you know -- well, I
20 should say after a lot of deliberation on this
21 topic, what we determined was that until the
22 decision gets made as to how disbursement is going

1 to happen, it's not possible to identify the
2 optimal use of satellite.

3 So we excluded it from the base case but
4 tried to go to great pains to explain, you know,
5 as Steve did, that it's efficient and useful in a
6 lot of -- as a large part of the solution to this
7 problem. It's just hard to pinpoint exactly how
8 to use it optimally, so that's why it's not in the
9 base case.

10 MS. STANTON: Lynn Stanton, TR Daily.
11 Could you just explain why you -- the \$22.5
12 billion reflects the second best? That just kind
13 of went totally past me, why you wouldn't figure
14 out the cheapest way to do it rather than the
15 second cheapest way to do it.

16 MR. CURTIS: Do you want to try that, or
17 do you want me to try it?

18 MR. ROSENBERG: Yeah, I'll try that one.

19 MR. CURTIS: Okay.

20 MR. ROSENBERG: You know, let's use --

21 MR. CURTIS: I'll pile on.

22 MR. ROSENBERG: Yeah. Let's just take a

1 simplified example, so imagine that Rob and I are
2 operating competing companies with competing
3 technologies. I can do something for \$10, he can
4 do it for \$100. Let me also, for simplicity,
5 assume a disbursement mechanism, don't know if
6 this is what it's going to look like but imagine a
7 world where the government is auctioning off
8 support levels. I could do it for \$10, but the
9 government is saying, well, who wants to do it for
10 \$10? Nobody wants to do it for \$11. Maybe it
11 goes, you know, from the top down, from the bottom
12 up, don't know. But if I have perfect information
13 that I can do it for \$10, but it's going to take
14 him a hundred, I would want to do it for \$99.99.
15 So I want to hold out for as much as I can.

16 Now, we may complain as taxpayers that,
17 you know, that's not what we would want to have
18 happen, but with the market mechanism, if there's
19 relatively few competitors, it may be hard to
20 prevent that from happening.

21 MS. STANTON: Because you're still in a
22 market-base mechanism for determining support.

1 MR. ROSENBERG: Correct.

2 MS. STANTON: Okay.

3 MR. ROSENBERG: Now it may also be the
4 case, and it's been pointed out that if you have
5 multiple, say, wireless providers, you know, we
6 assume a single wireless provider is 700
7 megahertz. Well, if there were three wireless
8 providers at 700 megahertz, then you would assume
9 that that cost, the 700 megahertz, would prevail
10 because one of the three would do it at that gap.

11 It's not clear that we have enough
12 wireless providers with that kind of spectrum to
13 be able to do it, and as soon as you get into,
14 say, TCS spectrum, it starts to look a lot more
15 expensive. And so that's why we did the interplay
16 between wireless and wireline as opposed to two
17 different wireless players. Ultimately, it may
18 prove we may have to dig into that sort of
19 wireless piece a little bit more deeply, but for
20 this we wanted to make sure we had a number that
21 reflected both sets of technology.

22 MR. CURTIS: So and -- sorry, I said I'd

1 pile on, and I will -- that's exactly right in
2 terms of the technical answer. I think there's
3 also a practical issue which is the reality is
4 there were some 1,500 service providers in the
5 country. They all have slightly different
6 strategies on entry, exit, you know, what their
7 business plan is, do they want to provide fixed
8 wireless? Do they want to build out DSL in these
9 areas? And short of knowing what the, you know,
10 hurdle rates, the business plans simply to be more
11 than say, you know, even if we make money we just
12 don't want to play there. Other people may say,
13 that's in our sweet spot, so we'd go there for
14 less.

15 We wanted to make sure that we got
16 enough that we were right and did the best we
17 could to think about simplifying 150 -- 1,500
18 firm, you know, game theory problem in a way that
19 we could realistically capture and model. And so
20 the second cheapest has the virtue of both being
21 consistent with a market mechanism and also
22 ensuring that there is, you know, some reasonable

1 way of accounting for a bunch of different
2 business strategies, none of which were
3 transparent to us as we were going through the
4 process.

5 MS. STANTON: Thank you.

6 MR. ROSENBERG: That's fair.

7 MR. PELCOVITS: Thank you. Hi, Michael
8 Pelcovits, Microeconomic Consulting and Research
9 Associates. I had three questions, if you bear
10 with me, but one you just answered, so I'm down to
11 much less.

12 But maybe a little follow-up. If you're
13 saying you didn't want to assume two wireless
14 carriers bidding against each other, since we are
15 -- that's really sort of within the nature of a
16 market-type of mechanism -- if we assume wireless
17 is cheapest, why would you not have two wireless
18 carriers bidding against each other to be the one
19 to put out 4G facilities?

20 MR. ROSENBERG: This gets back to the
21 similar (inaudible) to say about the practical
22 nature. We just do not have the data about where

1 different wireless operators are providing serves
2 are going to provide 4G at what spectrum bands,
3 and the ability and the time we had to analyze
4 that, so we made a simplifying assumption about a
5 single 700 megahertz player and ran sensitivities
6 off of it.

7 I think you're raising the right
8 question, you know, if we have a market-base
9 mechanism over time, and if we believed there's
10 multiple wireless players, I think we have to look
11 at that to understand what the impact on the
12 ultimate gap will be.

13 MR. PELCOVITS: If you don't mind,
14 another question. If you go to slide 20, I had a
15 question about the, under the cost of the 4G where
16 you're assigning 73 percent of the costs to fixed,
17 if I understand that. If this is an incremental
18 cost analysis, which I believe is one of the
19 principles that you described initially, is it
20 really true that, if you've already billed or
21 you're already going to build the system for
22 wireless, that the incremental cost to that in

1 capacity for fixed would be much less than its
2 whatever -- 73 percent based on its share of
3 usage?

4 MR. ROSENBERG: So let me give a
5 multipart answer to that. First off, I've been
6 told by economists that any cost allocation is
7 essentially an arbitrary exercise and, in fact, if
8 my understanding of history is remotely correct,
9 cost allocation battles within Commission
10 proceedings have been somewhat toxic. So fully
11 understand that that is an assumption that will
12 bear some scrutiny.

13 The reason we did it that way, a
14 couplefold: No. 1, it's certainly the case that
15 without knowing the signal strength or signal
16 density to which commercial 4G deployments are
17 made, it's not clear that you won't have to add
18 more towers for fixed service than you have for
19 the mobile service. So one negative per second
20 up, even with the fixed CPE, requires a pretty
21 high signal density, and it may be the case that a
22 single tall tower that you would build for a

1 mobile deployment will have to have four smaller
2 ones put around it to fill in the signal strength
3 for wire -- for fixed service.

4 Having said all that, we really wanted
5 to have a reasonable but conservative assumption.
6 Ultimately, going back to the first answer, we
7 recognize that short of maybe Ramsey pricing, it's
8 a decision that reasonable people could differ on.
9 And so fully acknowledge that the high cost
10 attributed to fixed based on traffic is just that:
11 It's high and if you were to do it based on
12 revenue, you might get, you know, the exact
13 opposite of that and much lower cost on fixed
14 services.

15 MR. PELCOVITS: I mean, I guess it's
16 just a question I have is, if you're doing an
17 incremental analysis, then there is no need for a
18 cost allocation at all.

19 MR. ROSENBERG: Well, the issue -- and
20 this is something that we weren't able to do, and
21 I'm not sure we would be able to do it with a lot
22 more time. You know, what you really want to

1 understand is, okay, how many towers are built for
2 mobile service? Where are they? How many more
3 towers do you need to supplement that for fixed
4 service? Were they awarded their costs? That
5 would be the ultimate measure.

6 We didn't have any opportunity to do
7 that. I mean the data don't exist. We'd have
8 been guessing multiple times to do that. I think
9 that would probably be a non-allocated cost method
10 of doing it, what are the actual incremental costs
11 associated? Maybe we'd get there.

12 I mean one of the big questions in the
13 NO, in the NOI that's outstanding is whether we
14 should be looking at incremental costs or total
15 costs. And if you want to look at total costs,
16 that question kind of goes by the wayside.

17 MR. PELCOVITS: Okay. If you don't
18 mind, one last question: I think, if I'm not
19 mistaken, there were numbers in here of how small
20 or what the size of the gap would be if you
21 included satellite and there was also an estimate
22 of what the gap would be if you used the lowest

1 cost as opposed to the second lowest cost
2 technology. Have you done an estimate of what it
3 would be if you allowed for satellite and for only
4 having, using, the lowest cost technology? I'll
5 sit down.

6 MR. ROSENBERG: I don't think we did
7 that. Honestly, the model runs were each model
8 run took between 45 minutes and 12 hours, and
9 there were 10 of those in the base case, and we
10 ran multiple sensitivities. We weren't able to
11 run sensitivities on multiple things at one time
12 and cover the game board, so to speak. I don't
13 think we did that.

14 My instinct says that the hockey stick
15 will be recreated for any scenario, but that it
16 won't be quite as steep. So my instinct is that,
17 roughly speaking, you will probably save a
18 comparable amounts in percentage basis with the
19 cheaper technology, but I don't think we did that
20 analysis, so I'm not sure.

21 MR. BLESSING: Hi. I'm Dave Blessing
22 with Parrish Blessing. I think you guys did a

1 really good job at a really hard task, and you
2 acknowledge to your credit that there's a lot of
3 uncertainty and a lot of error that's kind of
4 built into that. And by "error" I'm --

5 MR. ROSENBERG: Uncertainty?

6 MR. BLESSING: I mean statistical error,
7 okay? And that's what I'm wondering. Have you
8 guys taken a look at developing kind of a
9 confidence interval around your estimates, both
10 overall by technology, you know, at the county
11 level, or even within a county?

12 MR. ROSENBERG: So worth pointing out
13 that the people who did the statistics were part
14 of a contractor team, so we are not statistical
15 experts, so if there are any in the room, please
16 forgive the answer I'm going to give.

17 You know, there's a couple ways that you
18 deal with error in regressions. One of them is to
19 just look at the standard error. Well, even if
20 you have only one state, you've got a couple
21 hundred thousand census blocks as your source
22 data, so the standard error is vanishingly small.

1 We don't think that that's really informative, so,
2 you know, looks great, set that aside.

3 We are able to -- another standard
4 technique is to take your source data set, divide
5 it in half, create the regression with the half
6 that you're using, apply it to the other half, see
7 what your predictive power is. With that, I think
8 that -- though keep in mind we did multiple
9 different regressions. It wasn't a single
10 regression, it was a different regression for each
11 speed inside and outside of the cable footprint.
12 So a total of I think it was 10 regressions.

13 The predictive power of one-half of the
14 data by the other half was between 80 to 90
15 percent for those, so pretty good predictive
16 power. The bigger question, I think, is, what
17 does it mean -- yeah, when you apply data taken
18 from, say, Pennsylvania and Alabama to Oregon,
19 what does that look like? And until we get data
20 that we have more confidence in on Oregon -- I'm
21 picking on Oregon, I don't if they have a great
22 state now, if it's coming today, so I don't want

1 to single them out.

2 MS. STANTON: (inaudible), well, I think
3 that now it's like Washington has a great map.

4 MR. ROSENBERG: Washington is great now.
5 So, you know, we have to get data whether it's
6 from the NCIA, BDIA effort or, as recommended in
7 the plan, revision supports 77 to really test that
8 part which I think is where we stand a greater
9 risk.

10 MR. SPIVEK: Hi, Larry Spivek from the
11 Phoenix Center. First off, I want to congratulate
12 you on a really great job well done. I think it's
13 been really needed in the telecom debate for a
14 long time, and you had a really difficult task.
15 But I do feel compelled to ask about the elephant
16 in the room.

17 You guys have come up with a \$24 billion
18 number, and to quote our friend Joe, that's a lot
19 of money. And the big question is, is that the
20 FCC has embarked as a major policy endeavor to
21 impose price regulation in the form of open
22 access, and this is going to have an effect on

1 firm profitability and deployment costs, et
2 cetera.

3 And so my question to you guys is, did
4 you model this? Did you model the open network?
5 Did you model that if you wasn't, you know,
6 existing, would that number, \$24 billion, be
7 lower? Or if you didn't, would the number be
8 higher? Or is it revenue neutral? And I think
9 that's important to get out on the table. Thank
10 you.

11 MR. CURTIS: So it feels like the
12 disclaimer from earlier in the session snuck into
13 the elephant in the room.

14 We did the best we could to model the
15 status quo network, and, you know, that's --
16 that's how we think about it.

17 MR. SPEAKER: (inaudible) this is --

18 MR. PELCOVITS: It's a follow-up to your
19 question, and it's a lot easier than the last one.
20 On the question of error, estimation error -- and
21 I just want to make sure I understand the report
22 and your model -- as a practical matter, you have

1 estimation error in -- is the \$23.5 billion right
2 or wrong?

3 But when you look at individual
4 counties, you're not really even trying to
5 necessarily predict with precision the investment
6 gap in each individual county. You're saying at
7 the total, once you aggregate across all these
8 counties, the counties were an estimation
9 technique to get at a \$24 -- \$23.5 billion number.

10 So when people think about how accurate
11 or inaccurate this is, they really should be
12 thinking about how accurate or inaccurate it is at
13 the aggregate level, not at the street geographic
14 unit. Is that correct?

15 MR. ROSENBERG: Yes. Your answer was
16 better than mine, so, yes, thank you.

17 MR. CURTIS: That almost felt like a
18 statement instead of a question, which we applaud.

19 MR. PELCOVITS: Yeah, thank you.

20 MR. CURTIS: Yes, it is.

21 MR. ROSENBERG: It is correct, and
22 though we -- you can look at individual counties

1 and the gaps there, what he said is absolutely
2 correct. The higher level of aggregation the more
3 likely any statistical errors in availability are
4 likely, more likely, to wash out. We tend to try
5 and talk about things at the country level for
6 exactly that reason and do not encourage people to
7 say, well, you've clearly got this one county
8 wrong.

9 We hope that there's enough census
10 blocks in any one county that that won't happen,
11 but, you know, until we get better data we won't
12 really know.

13 MR. CURTIS: Yes. I don't know that
14 this is a direct answer to that question, but it
15 seems like it should be said, in terms of the way
16 we estimate the numbers well, and the way we think
17 about the sensitivities. You know, there is a
18 kind of sensitivity where you worry about did we
19 get the cost of the DSLAM card right or wrong?
20 Did we get our two right or wrong? Did we get a
21 take-rate right or wrong? And when you aggregate
22 all these things up with the number of variables

1 that we have, I think in the aggregate those
2 things largely tend to wash.

3 All right, if you look at the
4 sensitivities on the page that Steve ran through
5 awhile ago, what you see is that there are big
6 swings not in terms of whether the model ran right
7 or whether we got the amount of fiber that you
8 need to put in the ground right. I don't think
9 that that's where, you know, it makes sense for
10 people to spend time thinking; it's sort of the
11 bigger policy questions, one network or two? Our
12 competition questions: Are we going to get two
13 wireless competitors, or are we going to get a
14 wireless and a DSL competitor?

15 Those are the kinds of places where you
16 see the sensitivity and the sort of inaccuracy in
17 the estimates come in, their market structure,
18 their market dynamic, their, you know, competitive
19 dynamic sorts of questions that are, I think and
20 hope, is really where the action turns out to be.

21 MR. LOBE: I'm Bob Lobe with Rocco Lobe
22 Sulzer Associates. I have had a whole bunch of

1 questions but I'm trying to limit them. The first
2 one gets back to this \$23.5 billion. I was
3 confused between whether it's county or census
4 blocks and how you added, because in some places
5 you added the negative and positives across the
6 county, and sometimes you didn't. So does the
7 \$23.5 billion come from a sum of all the negative
8 net present values at the census block level or at
9 the county level?

10 MR. ROSENBERG: Great question. And
11 it's confusing to us, too, as we make these charts
12 up to make sure we get that right.

13 What we did is we defined counties, and
14 I'm going to call it a market though markets will
15 be defined by a disbursement mechanism, but just
16 within this room right now let's call that a
17 market. So we assume that a provider will provide
18 at a county level, whether it turns out to be that
19 or something else to be determined.

20 What we assume, then, is all that you
21 basically sum within a given county, and so if
22 there are positive NPV census blocks within that

1 county, we assume that they will offset losses in
2 negative census block -- negative NPV census
3 blocks within that county.

4 So, basically, if I choose to serve
5 Montgomery County, Maryland, just across the
6 border here from Washington, I don't know is
7 there's any unserved there, but take that as an
8 example, if it turned out that in the denser parts
9 of the county down by Washington, you were
10 actually able to turn a profit in a couple of
11 census blocks, we would assume that because that
12 entire market is being bid out through the market
13 base mechanism at once, that that would offset any
14 losses of county more less dense areas.

15 We did not want to assume that if there
16 was an NPD positive county in a state that that
17 would offset losses in another county. In other
18 words, that you might have different carriers in
19 different counties.

20 So when we talk about the \$23.5 billion
21 gap, it is at the county level, is it NPD
22 positive? If it is, then we assume that there is

1 no gap there. We don't include it. If it's NPD
2 negative, we have that gap and that, all of the
3 NPD negative counties add up to give you the gap.

4 Does that make sense?

5 MR. LOBE: Sense?

6 MR. ROSENBERG: Not that --

7 MR. LOBE: I understood what he told me,
8 okay. The second question, we were getting at
9 incremental, and I was wondering when the wireline
10 side, when you talked about the incremental costs
11 of the wireline, did you run the cost promodel
12 twice, once with it serving everybody and once
13 with it serving only the served people? And
14 that's the difference being the unserved people
15 would be the incremental cost? Is that what --
16 how you got the incremental cost of DSL? Or did
17 you get the incremental cost of DSL some other
18 way?

19 MR. ROSENBERG: Some other way. What we
20 did is basically we assumed that a twisted pair
21 copper infrastructure existed, that COs existed,
22 that COs that we knew were fiber fed were fiber

1 fed. And then we modeled where things like roto
2 terminals, DLCs would be. That we just assumed
3 was there, no cost associated with that.

4 What we then do is, along road paths
5 determine where would you put a DSLAM in order to
6 shorten loops to 12,000 feet, and what would the
7 cost of that DSLAM be building it, operating it,
8 what would the cost of trench and fiber to be to
9 connect that DSLAM back to the CL. So when we
10 talk about an incremental model, it's what
11 infrastructure do we have to build and operate,
12 put in the ground and operate, in order to deliver
13 the service?

14 So we do not get into the cost of
15 building, maintaining twisted pair copper
16 networks. We don't get into the cost of building
17 or maintaining voice switches or central office
18 equipment beyond central office located DSLAMS.

19 MR. LOBE: I realize that you're not
20 rebuilding everything. You're assuming some of
21 the places are there. But given that you assume
22 where the wire center is and the poles are, et

1 cetera, do you run the model twice, or how do you
2 get the increment? How do you determine the
3 difference?

4 MR. CURTIS: Let me take a try.

5 MR. ROSENBERG: Okay.

6 MR. CURTIS: So we have the baseline
7 that tells us which households don't have
8 broadband. So five million households. And let's
9 say we're doing the DSL case. We assume there is
10 wired infrastructure from a known CO to that
11 house, and we, based on the baseline, make some
12 estimate based on the regression of what kind of
13 service they have. Maybe they have DSL that gives
14 them 768 but not four. All right, so that implies
15 a DSLAM at some distance from the home.

16 If you then think about that home, the
17 increment is to say there's a DSLAM at 18,000
18 feet. The DSLAM needs to be at 12- or 10,000
19 feet. All right, so what is the cost of moving
20 the DSLAM from 18,000 feet to 12,000 feet, and
21 then what's the -- you know, what's the
22 construction cost? The cost of the move, the cost

1 of the blade, the cost of the chassis, that is the
2 increment of providing the upgrade from 768
3 kilobits RS to 4 megabits RS.

4 Does that make sense?

5 MR. LOBE: Yes. And then my third
6 question gets to your number of unserved houses
7 East. When you went through the slide of talking
8 about when you went from 10 to 5 percent of the
9 DSL, and you ran regressions, you said that there
10 would be a problem if the state you chose were not
11 representative.

12 I'm wondering if you know that in
13 Pennsylvania there's a Chapter 30 law that says
14 that all the rural carriers already have DSL. So
15 why would Pennsylvania be considered
16 representative?

17 MR. ROSENBERG: Interesting. I don't
18 know if the Pennsylvania data showed that
19 everybody has service available, and it's worth
20 pointing out that among the unserved only a third
21 are in nationwide's -- in rural carriers'
22 footprints. Also worth pointing out that

1 Pennsylvania only had data at one speed, I think
2 it was 768, but it may be 1.5 megabits, I'm not
3 sure.

4 So it's a fair question, and as I said
5 at the beginning when describing this, we would
6 love better data. We would love not to have to do
7 the regression. So if there's more data that we
8 can incorporate, if we can improve the 477
9 collection, looking forward to doing that.

10 MR. HELLER: Chuck Heller from Wilkinson
11 Barker again. So when you computed the gap, I
12 know you included revenue. Obviously, this is not
13 a cost problem, you included revenues as well. I
14 know you include video revenues. Did you include
15 also video programming costs? Yes?

16 MR. ROSENBERG: Yes. So where we had
17 video, and we don't assume video revenue for
18 either 12,000-foot DSL or wireless, but for
19 3,000-foot, 5,000-foot DSL, FTTP and cable, we do
20 it. I'd have to check to confirm this, but I
21 think it's a percent of video revenue to account
22 for a programming cost.

1 MR. HELLER: And just very quickly one
2 more, the last question. This model is the vision
3 is this is just sort of for purposes of the
4 Connect America Fund exercise, not a mobility fund
5 exercise in terms of the --the rubric that the
6 plan sets out?

7 MR. ROSENBERG: Yeah, I draw a slight
8 distinction there, you know. This was for the
9 purposes of the national broadband plan. I think
10 it's to be determined exactly what the model
11 looked like -- looks like for the Connect America
12 Funds. But you're right, this is not getting into
13 the mobility fund that was mentioned in the plan.

14 MR. HELLER: Thank you.

15 MR. BLESSING: Dave Blessing. And just
16 to clarify what this gentleman had said, is it
17 your intent that this model is to just estimate
18 the broadband gap, the investment gap? Because
19 one of the primary questions in the NOI is whether
20 or not we need to replace the current USF model
21 with a new model. And, you know, fairly or
22 unfairly, this is the leading horse in that race.

1 So my question is, is this model -- do
2 you envision this being able to make those
3 distribution decisions down the road for USF
4 funding under the broadband plan?

5 MR. ROSENBERG: I think we -- you know,
6 No. 1, we need to be careful and not assume that
7 we know what the answer is, given the NOIs and,
8 you know, presumably a series of NPRMs and FNPRMs
9 coming forward. I think that we envision that
10 this kind of approach might be very useful. I
11 think that understanding the cost in revenues at
12 this kind of granular level in building it up
13 could be very helpful for those kind of
14 disbursements. But we don't know that that's the
15 answer.

16 So in answer to your question, I think,
17 yes, we envision it being possible, that this
18 would be helpful, but we don't want to necessarily
19 assume that that's the case.

20 MR. MILLER: Chris Miller at Verizon.
21 Just a question on the unserved, identifying the
22 unserved households. Do you think the model could

1 be and, if so, how could be changed or modified to
2 reflect those homes that are being served today
3 but are only served because of existing subsidy
4 streams?

5 MR. ROSENBERG: You know, yeah, given
6 all the work that we've done, I have confidence
7 that the model can be modified to do just about
8 anything. It's a question of the pain involved in
9 doing so and the amount of data we need to do it.

10 To me, the answer is yes. I think that
11 gets into whether you would want to look at total
12 cost or incremental cost. To me, what you're
13 asking about is, is fundamentally a question about
14 do we need to have a total cost model to
15 accurately reflect the total support levels needed
16 for deployment? I think that's a fair and open
17 question, and we'd love to get feedback from
18 everybody here and elsewhere on the NOI on that
19 topic.

20 Any more questions? I see one.

21 MR. CURTIS: Yeah, we have a question
22 from online, and it's, what assumptions changed

1 after the model was complete and why? So that's
2 an interesting question.

3 MR. ROSENBERG: You'll be volunteered to
4 answer that one.

5 MR. CURTIS: Yeah, you know? After the
6 model was complete. That's an interesting
7 question in and of itself. I think that the way
8 to think about that is the way I was responding to
9 Joe's question a little while ago. The kind of
10 the hard assumptions didn't change, as far as I
11 can recall, almost at all. And by that I mean,
12 you know, what is the cost of this, the cost of
13 that, what did it -- you know, how much does it
14 come out in cost to move fiber?

15 We spent a lot of time as a team
16 thinking about the correct way to think about the
17 strategy problems so that we could most accurately
18 depict what we thought the real economic
19 environment was, and that wasn't changing the
20 assumptions, that was trying to come up with the
21 most accurate, realistic way of describing and
22 modeling the problem. And that was, I would say,

1 the model was complete in the sense that it ran
2 and gave us output, and then once we had a tool,
3 we began to run scenarios and think about, you
4 know, how to change it. And in that sense, you
5 know, we continued to run the assumptions and the
6 sensitivities that we had and have displayed for
7 quite some time.

8 MR. ROSENBERG: So it looks like we may
9 be winding down now.

10 MS. STROVER: Sharon Strover, Rural
11 Utilities Service and University of Texas. I
12 appreciate the extent to which you really try to
13 encompass a lot of the heterogeneity in rural
14 regions across the states. I'm wondering if you
15 gave any consideration to cases that some people
16 might consider more special or special: Alaska,
17 Hawaii, American Samoa, and so forth. What was
18 your thinking on those kinds of regions as you put
19 your model together?

20 MR. ROSENBERG: Let's take Alaska.
21 Alaska's first.

22 MR. CURTIS: I'm sure you had no idea.

1 At the risk of something like a broken record, I
2 wish we have better data. So we did not have
3 sufficient demographic data outside of the 50
4 states, and District of Columbia to model the DSL.
5 So American Samoa, Puerto Rico, we just don't have
6 enough data to do that well.

7 Alaska's another great example. There
8 are, I think, central offices in Alaska that,
9 according to the data that we relying on, have
10 either no paved roads or no population. I'm
11 pretty sure -- I could be wrong -- but it strikes
12 me as odd that somebody would have built a CO if
13 there's nobody living out there. But that's what
14 the data say. So when we look at the wireline
15 costs associated with Alaska, it's pretty low.

16 Now, because we're using second-lowest
17 cost, not lowest cost, we don't think that that's
18 going to be a massive swing on the gap, the \$23.5
19 billion gap. But it's clearly indicative that we
20 need to get better data in areas like that.

21 MR. ROSENBERG: Anyone else? Let me
22 take (inaudible).

1 MR. CURTIS: That's a cherry-picked area
2 as well, I assume.

3 MR. ROSENBERG: This question: How will
4 you address cherry-picked areas where unserved
5 areas exist inside a census blocks and tracks? It
6 came in via Twitter.

7 We have -- the most granular unit of the
8 model is the census block. For those who aren't
9 as familiar with the census terminology as I know
10 and, fortunately, am, census blocks are the
11 smallest units that the Census Bureau supports.
12 There's roughly 8-1/2 million census blocks in the
13 country, roughly 6-1/2 million with population.
14 Most of those are actually fairly small
15 geographically. There's a relatively small number
16 that are greater than, say, two square miles,
17 which is a cutoff the NTIA drew in their agreement
18 with carriers on the BDIA mapping piece.

19 So we do not pull apart census blocks.
20 As it is, dealing with that much data is, in a
21 model, is pretty challenging. Trying to get down
22 below that to, say, 130 million housing units

1 would be really tough computationally.

2 So, you know, within census blocks our
3 assumption is that though everybody won't be
4 necessarily clustered in one place within that
5 block, we're seeing a certain homogeneity within
6 the block and not assuming that carriers are
7 pulling that apart. Outside of blocks, you know,
8 block-to-block comparison, we're assuming only
9 that the census blocks get aggregated up in this
10 case to the county level where carriers are making
11 a decision, and so a carrier whose serving would
12 serve everybody within a county, some of whom may
13 be profitable, some of whom might be unprofitable.

14 MR. CURTIS: Then I've got a question
15 from Twitter: If you offer subsidies and large
16 carriers say no to deployment, will you help
17 establish nonprofit telegroup cooperatives?

18 I guess the first answer is, you know,
19 we're sort of agnostic about who actually deploys.
20 We simply want deployment. We did what we could
21 to make sure that there was a business case --
22 that is the point -- and it's to build a business

1 case for someone to enter, and, you know, I think
2 we got that part of it right, and I guess it's
3 worth pointing out that there are at least a few
4 recommendations in the plan that address at the
5 very least municipal entry in places where the
6 markets and market mechanisms, you know, may not
7 be efficient or otherwise fail.

8 So I think the bottom line is, gone out
9 of our way to try to find a way to ensure entry by
10 someone, large, small, brand new, or what have
11 you. And that's kind of the goal.

12 MR. ROSENBERG: And that's all we've got
13 from online.

14 MR. CURTIS: Anybody else? Great. Hey,
15 thank you all for coming. We really appreciate
16 it, and really do look forward to and encourage
17 comments and feedback. It's a beginning, it's not
18 an end. Thanks.

19 MR. ROSENBERG: Thank you, all.

20 (Whereupon, at 4:30 p.m., the
21 PROCEEDINGS were adjourned.)

22 * * * * *

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

1 CERTIFICATE OF NOTARY PUBLIC

2 I, Carleton J. Anderson, III do hereby
3 certify that the forgoing electronic file when
4 originally transmitted was reduced to text at my
5 direction; that said transcript is a true record
6 of the proceedings therein referenced; that I am
7 neither counsel for, related to, nor employed by
8 any of the parties to the action in which these
9 proceedings were taken; and, furthermore, that I
10 am neither a relative or employee of any attorney
11 or counsel employed by the parties hereto, nor
12 financially or otherwise interested in the outcome
13 of this action.

14 /s/Carleton J. Anderson, III

15

16

17 Notary Public in and for the

18 Commonwealth of Virginia

19 Commission No. 351998

20 Expires: November 30, 2012

21

22