

UNITED STATES OF AMERICA
FEDERAL COMMUNICATIONS COMMISSION

NATIONAL BROADBAND PLAN WORKSHOP
TECHNOLOGY/FIXED BROADBAND

Washington, D.C.

Thursday, August 13, 2009

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

- 1 PARTICIPANTS:
- 2 Panel 1:
- 3 JULIUS KNAPP, Moderator
- 4 ROBERT CURTIS
- 5 STAGG NEWMANN
- 6 VICTOR FROST
- 7 ADAM DROBOT
- 8 VINT CERF
- 9 JOHN T. CHAPMAN
- 10 HENNING SCHULZRINNE
- 11 PAUL MISENER
- 12 RONALD T. REPASI
- 13 WALTER JOHNSTON
- 14 BILL ST. ARNAUD
- 15 Panel 2:
- 16 JULIUS KNAPP, Moderator
- 17 ROBERT CURTIS
- 18 STAGG NEWMANN
- 19 DAVID BURSTEIN
- 20 PAUL HENRY
- 21 MARC GOLDBURG
- 22 JASON LIVINGOOD

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

1 PARTICIPANTS (CONT'D) :

2 DAVID YOUNG

3 GEOFF BURKE

4 STUART LIPOFF

5 RONALD T. REPASI

6 WALTER JOHNSTON

7 MARK DEPIETRO

8

9

10 * * * * *

11

12

13

14

15

16

17

18

19

20

21

22

1 P R O C E E D I N G S

2 MR. KNAPP: May I have everybody please
3 take their seats and the panelists come to the
4 front table? I'll give you just a minute to get
5 settled. Good morning, everybody. I'm Julius
6 Knapp, I'm with the Office of Engineering and
7 Technology. Thank you for coming today. We had
8 three sessions yesterday that were just fantastic,
9 so they set the bar really high. And I know we've
10 got a great group of panels and speakers today,
11 and so I have every reason to expect it's going to
12 be at least as good.

13 This morning's session is going to focus
14 on fixed broadband deployment. For a long time,
15 you'd think of fixed as being wired things, but
16 actually now wireless can also offer fixed
17 services, so we've got a combination here.

18 Just a couple of quick ground rules, a
19 reminder to please turn off your wireless devices
20 so that we don't have interruptions or feedback.
21 We've got, for each of the panelists, five minutes
22 allotted for a presentation that will be followed

1 by questions from the Commission staff and from
2 folks over the internet or the audience. So with
3 that, unless there are any other questions, we'll
4 get ready to roll. Victor, if you could start
5 with the first presentation.

6 DR. FROST: Sure.

7 MR. KNAPP: Thanks.

8 DR. FROST: Yeah, I wanted to start out
9 by, thank you for providing me the opportunity to
10 participate today. I'm from the National Science
11 Foundation, and just to set the stage a little bit
12 for background, NSF has a mission to support
13 basic, scientific, and engineering research. The
14 Foundation's activities support discovery,
15 learning to cultivate a science and engineering
16 work force and the development of research
17 infrastructure.

18 Within the Foundation, I'm with the
19 Computer Information Science and Engineering
20 Directorate called CISE. The goals of CISE are to
21 provide leadership and research and understanding
22 of the principals and uses of advanced

1 communications and information systems in the
2 service of society.

3 CISE's approach to achieving these goals
4 is to support investigator initiated research in
5 all areas within its portfolio. The Directorate
6 also serves to maintain cutting edge national
7 computing and information infrastructure to
8 support research and the education of the next
9 generation of computer scientists and engineers.
10 So, clearly, research within the National Science
11 Foundation and CISE plays a role and contributes
12 to the networking broadband technologies that are
13 being discussed today. We're all aware that over
14 the years, communications technologies have moved
15 from hundreds of bits per second to gigabits per
16 second, and we're seeing several trends that are
17 emerging that may impact our future as much as the
18 increase in raw data rates.

19 One of those is, we expect the emergence
20 of radios operating in very high carrier
21 frequencies, enabling inexpensive gigabit per
22 second rates. These radios will be ubiquitous and

1 their impact is yet to be determined.

2 Mobility, access to entertainment and
3 information on the go is going to continue to
4 shape the technology landscape. The line between
5 fixed broadband and traditional mobile
6 communications may be blurring. Today some people
7 are using 3G technologies for their home internet,
8 and this trend may increase as we move from 4G and
9 higher wireless rates.

10 We're aware that the FCC recently
11 permitted shared access of white space in the TV
12 spectrum. So in the not too distant future, it's
13 going to be possible to build cognitive networks
14 out of cognitive radios. By learning the
15 characteristics of the local environment, these
16 systems will be able to optimize the use of scarce
17 resources, for example, spectrum. And cognitive
18 networks has the potential to be one of those
19 disruptive technologies as time moves on.

20 We're also seeing the emergence of cloud
21 based applications. These are applications that
22 reside and run in the network. Some people just

1 call this cloud computing, but it has the
2 potential to be broader than just computing.

3 Here the ultimate consumer device may
4 evolve to something that just enables media output
5 and user input, and applications may be selected
6 and executed like you select a TV channel today.

7 We're also likely to see the emergence
8 of new content, for example, providing real time
9 experience using video. This content will raise
10 new issues, some technical, but most not. We'll
11 be challenged to find some ways of managing the
12 associated traffic to maintain user experience.
13 And here the QOS issues may arise to support to
14 content, new business models may evolve beyond the
15 current internet flat rate, for example, selling
16 content application bundles more like in the cable
17 TV industry. The last trend I'd like to mention
18 is virtual networks. We talk about virtual
19 machines, virtual memory, virtual links, now we
20 can talk about virtual networks. A key attribute
21 of virtualization is that each user has the
22 impression that they are the sole user of that

1 particular resource.

2 It's important to realize that
3 virtualization may provide an opportunity for
4 diversity of networking architectures to evolve
5 and simultaneously exist. Virtualization also has
6 the potential to be another one of those
7 disruptive technologies.

8 So cognitive networks and virtualization
9 are significant emerging concepts, and these are
10 two that the FCC maybe want to consider to develop
11 an understanding of while they and how they impact
12 the future of developing a national broadband
13 plan.

14 Since it looks like I have about 30
15 seconds left, just a couple of comments in terms
16 of some of the research that NSF is conducting
17 now. The internet -- current internet
18 architecture has been able to scale in terms of
19 speed, distance, and the number of users.
20 However, the evolution of the network, the current
21 trajectory of incremental changes may not support
22 the needs as we move forward. NSF is involved in

1 innovative and creative multi disciplinary
2 research to design and evaluate new trustworthy
3 architectures for the internet. There are several
4 programs underway in that particular area.

5 One more aspect I would like to mention
6 is that computer scientists and engineers need an
7 experimental infrastructure upon which to test out
8 their new services, networking architectures, and
9 technologies. And toward that end, NSF has been
10 supporting the development of a new network
11 research infrastructure called the Global
12 Environment for Network Innovations, it's called
13 GENI.

14 So within CISE, there's many research
15 programs that are addressing significant
16 communications, information systems and
17 networking, research problems. And I want to
18 thank you again for the opportunity to participate
19 in the important discussion today.

20 MR. KNAPP: Thank you, Victor. Adam.

21 (Pause)

22 MR. KNAPP: Bill, are you on the

1 network?

2 MR. ST. ARNAUD: Okay, yes. Good
3 morning, everybody. I assume you can hear me
4 okay?

5 MR. KNAPP: Yeah, we're fine.

6 MR. ST. ARNAUD: Okay. Well, first of
7 all, I'd like to thank FCC staff for inviting me
8 to just give a short talk at this event tonight.
9 I applaud your initiative in this area. I think
10 the work you are doing, looking at the challenges
11 of broadband, will not only affect the U.S.
12 national strategy, but other countries in the
13 world, as well.

14 So I'm Bill St. Arnaud, I'm the Chief
15 Research Officer for CANARIE, which is the
16 Canadian equivalent of Internet 2, and it's a bit
17 broader than Internet 2 in that we have been taxed
18 with Canada's telecom internet strategies,
19 networks, and applications. But we do work very
20 close with Internet 2, National Lambda Rail,
21 National Science Foundation, Educause, and various
22 U.S. institutions like the University of

1 California San Diego.

2 As everyone knows, the internet really
3 started with the R&E community, funded through the
4 NSF. Not many people realize that the R&E
5 community also has an important role and has been
6 a major -- architectures and business models. The
7 R&E community has long experienced and operated
8 their own networks, both on a national and
9 regional basis, and many university networks are
10 equivalent to those that we've deployed in a small
11 city. So we have a lot of practical experience in
12 operating and deploying next generation type
13 networks. And new broadband concepts, like
14 condominium networks, customer control networks,
15 hybrid networking, all have started with this
16 community, are not slowing spreading into
17 commercial deployment. So I think it's very
18 important that we recognize the important role
19 that the R&E community can play in this national
20 broadband strategy.

21 Now, in my opinion, one of the biggest
22 challenges we face in terms of a national

1 broadband strategy is developing the business
2 case. Many people think that the government is
3 going to invest billions of dollars in national
4 broadband deployment, like we've seen in
5 Australia.

6 But in this era of trillion dollar
7 deficits, near bankrupt state and municipal
8 government, I very much doubt that governments
9 will have the capability or the wherewithal to
10 make any significant investments in broadband.

11 So we really have to look at the private
12 sector as the primary vehicle for deploying
13 broadband, particularly next generation access.
14 But even there, the business case for deploying
15 broadband is also very weak, especially if you
16 want multiple facilities based competitors. I
17 think there's a general agreement among
18 policy-makers and other people that facilities
19 based competition with multiple providers is the
20 ideal solution, if we can achieve that, because
21 competition drives innovation, lower prices, and
22 more choices for the consumer.

1 But the business case for the next
2 generation broadband, even on a monopoly or
3 duopoly basis, is also very weak at this point in
4 time. And the big challenges are, of course,
5 revenues from triple play are going to be
6 declining as more and more services are deployed
7 over the internet, between voice and video and
8 broadcast TV and so forth.

9 Tape rates are a problem, and even with,
10 you know, monopoly type applications, Verizon
11 FIOS, for example, says they only can reach maybe
12 40 percent of their target market, and it's all
13 predicated on a very high take- up, and revenues
14 typically are about \$130 per month.

15 And so these are really challenging
16 numbers just for a single monopoly or duopoly
17 situation. Trying to stimulate multiple
18 competitive providers is going to be very
19 difficult, if not impossible. So what we believe
20 we need to do is to work with the R&E community,
21 experiment with new business models and
22 architectures, they'll try to solve this

1 conundrum. The next slide, please, if my slides
2 are up there. Now, there's already some good
3 examples of this. For example, some analysts,
4 Derek Slater and Tim Wu at Google have been
5 promoting an idea called Homes with Tails, and
6 this is where the customer owns the last mile, and
7 so there's been some discussion about that.

8 An initiative we have in Canada, and now
9 undertaken in several other countries, is
10 something we call Green Broadband, and that's to
11 bundle the cost of fiber deployment and internet
12 service with the consumer's energy bill.

13 This allows -- the consumer is
14 encouraged to reduce energy consumption, but also
15 provides a very steady and predictive revenue
16 stream to the service provider, which is not
17 predicated on triple play.

18 Now, both of these things are very
19 experimental at this point in time and unproven,
20 but I think this is the type of thinking we need
21 and experimentation to look for alternate
22 solutions for deploying broadband. A couple other

1 good examples --

2 MR. KNAPP: Bill, could you wrap it up
3 maybe in the next 20 seconds or so?

4 MR. ST. ARNAUD: Okay. A couple other
5 good examples are KPN in the Netherlands, working
6 with Reggenfiber and condominium fiber, and
7 Swisscom working with utility companies in
8 Switzerland. So the bottom line is that we
9 believe that working with the R&E community, we've
10 got to experiment, find ways -- fund new models in
11 deploying broadband architecture that address some
12 of these problems. Thank you.

13 MR. KNAPP: Thanks very much, Bill. Now
14 on to Adam; sorry.

15 MR. DROBOT: So thank you very much for
16 this opportunity. I'm Adam Drobot and I am
17 responsible for applied research at Telcordia.
18 And my folks have been looking at the issues of
19 broadband for a long time, focusing on a couple of
20 key points during the last few years. So what I'd
21 like to do is walk through those points. I'm
22 fairly bad at taking instructions. I was asked to

1 look at two concepts, probably be more than that,
2 so let me start on that.

3 I'd say the first notion I'd like to
4 impart is that if I look at the future, and I look
5 at broadband and what that word means to me, it's
6 more than access, it's more than the core, in
7 fact, it's a very complex system that's
8 hierarchical in nature, it has networks at the
9 core that carry great capacity and enable both
10 aggregation of traffic and distribution of it, all
11 the way out to the nodes, which are individual
12 residences. If you map that system out, it has
13 roughly five layers of hierarchy in it.

14 The first thing I'd like to impart is
15 that to reach a point in the future where
16 broadband actually serves the citizens of the
17 country, has an impact on their lives and an
18 impact on public institutions, there really has to
19 be balance in the way that whole system is built
20 out, okay, and that balance means much more than
21 transport and the carrying of bits, because what I
22 see in the future, if I look to my left, Vint

1 Cerf, there's a lot more in that infrastructure,
2 it's computing, it's storage, it's a lot of
3 processing of information that makes stuff useful
4 for our citizens.

5 So I think having a holistic picture and
6 having the tools to, in fact, examine it before
7 one sets policy I think is fairly important.

8 I'd say the second point I would like to
9 make is that if you look at that system, there are
10 things that happen on very desperate time scales.
11 If I look at wireless and I look at what is in our
12 hands, something like a handset, you know, what we
13 are seeing is that those are coming out on six to
14 12 month centers essentially, very fast moving
15 technology. If you start incorporating that kind
16 of technology in health care, in telematics, in
17 other disciplines, how we put together the set of
18 policies, how you build the commercial
19 infrastructures and capitalization of stuff that
20 allows that to be built out in a natural way I
21 think is an important issue.

22 On the other extreme I would say are the

1 laws and policies that we have as a nation. They
2 seem to crawl, they don't anticipate what
3 technologies can do, and, in fact, I would say it
4 may be one of the weak links that we have in the
5 chain today, okay.

6 So if you're moving on exponential time,
7 on internet time, how do you get the rest of the
8 machinery of society to do something that is
9 non-prescriptive in nature, I would say is more
10 behavioral in terms of the approach, that allows
11 us to get to the full exploitation of the
12 technologies that we have.

13 I would say another key point is really
14 the deployment of broadband, okay, and this is not
15 the glamorous part of building something that runs
16 the terabits, it's really the craft work, the
17 digging of ditches, putting stuff along telephone
18 poles. I see a lot of incremental improvement,
19 but I don't see the fundamental improvement and
20 productivity in that area, and this is one of the
21 things we've been turning our attention to.

22 And the reason that's important is that

1 whoever pays the bill to wire up the nation at
2 high broadband speeds, in our estimation, is
3 something that would be well north of \$300
4 billion. To be able to justify that kind of
5 spending, whether it comes out of the public purse
6 or it comes out of the private sector, okay,
7 having a significant increase in productivity I
8 think would make those figures a lot more
9 palatable essentially.

10 If I were to look at basic conclusions
11 and look at the landscape today, my hope is that
12 we sort of get out of the stalemate that we have
13 today as a nation in being able to move forward in
14 this important area. Thank you.

15 MR. KNAPP: Thank you, Adam. Vint.

16 MR. CERF: Well, first of all, Adam,
17 that was spectacular, you finished with eight
18 seconds to spare. This should be a training
19 program for members of Congress. First of all,
20 I'm not using Powerpoint; power corrupts and
21 Powerpoint corrupts absolutely. Second, I think
22 one of the most important takeaways for me anyway

1 is that it's not just broadband, but it's
2 broadband access to internet that's really
3 important. And I don't mean that to sound
4 egotistical, I only say it because internet, as a
5 technology, allows an extremely flexible way for
6 anything to connect to anything else and for the
7 band widths and capacities of the interactions to
8 be very, very flexibly allocated.

9 This panel refers to mobile broadband,
10 but I'd like to translate that into, it's probably
11 radio based, because mobile and wires don't work
12 too well. If it's radio based, that doesn't
13 constrain you to mobile only, it also could be
14 fixed, as well as mobile use of radio.

15 What can we say about today's use of
16 internet? Well, one thing we can see is, there's
17 more demand for two- way symmetry in the
18 capacities that are available. We have the
19 wherewithal to generate video and audio and other
20 things, as well as to receive it, and so that's
21 what you see, you see these devices with video
22 recorders in them and are capable of generating as

1 much as they receive.

2 What's nice about packet switching,
3 what's nice about radio is that it can be very
4 flexibly allocated. Packets can flow, they use up
5 a bit of capacity, and then the next packet can go
6 and use up the next piece of capacity, it's not
7 dedicated in any way to any particular
8 application. In the radio world, you have lots of
9 different ways of allocating the spectrum. You
10 can use time division multiplexing, you can use
11 co-division multiplexing, you can use frequency
12 division multiplexing. There are lots of
13 dimensions for sharing of the capacity.

14 What we haven't done very well in the
15 internet world is to make use of the fact that
16 radio can be broadcast, that is to say, multiple
17 parties can hear the same transmission. Most of
18 what we've done on the internet is to turn the
19 broadcast medium into a point to point medium. I
20 think we've missed some opportunities there and we
21 should be pursuing that.

22 One thing that you could also see about

1 the use of mobile communication is geo location.
2 These devices now can know where they are, either
3 because of GPS receivers or because you know
4 something about where the cell towers are and you
5 can do some triangulation. We've seen a change in
6 user behaviors because of that. They're
7 interested in information that relates to where
8 they are, and we see this in the queries that come
9 to Google. So this notion of geographic awareness
10 and the value of geographically indexed
11 information has become enhanced by having mobile
12 access to information sources. Cloud computing,
13 you mentioned the holistic view, all the pieces of
14 this thing, cloud computing is a really big part
15 of the utility of broadband, from my point of
16 view. We exploit that, and others do, as well, at
17 Google.

18 I think I also want to draw attention to
19 open source notions, because one of the things
20 which has enhanced the value of having access to
21 broadband resources and to internet has been the
22 sharing of software that allows people to develop

1 new products and services.

2 Google believes implicitly that by
3 sharing capability, you enable others to make more
4 value of the underlying facilities. So we've
5 released things like android operating system and
6 the chrome browser, and later it'll be the chrome
7 OS, we'll all be open source available. We build
8 API's, application programming interfaces to
9 google earth and google maps as a way of allowing
10 others to generate value from the underlying
11 infrastructure.

12 If I were to summarize a philosophical
13 position that I would strongly urge, it would be
14 to maximize the utility of the broadband
15 infrastructure investment. And by this, I'd like
16 to argue that it is not necessarily maximizing the
17 revenue generated by a party who builds that
18 infrastructure, but rather to make that
19 infrastructure go to work for a broad range of
20 application providers. If we want to maximize the
21 utility of the broadband investment in the United
22 States, it needs to be very widely accessible to

1 parties who can bring value to that investment,
2 and that may be many, many more companies than the
3 one that actually builds and operates the
4 underlying component. So I'm a strong believer in
5 trying to create real value and open
6 entrepreneurial opportunity for anyone that can
7 take advantage of that broadband facility. Thank
8 you, Mr. Chairman.

9 MR. KNAPP: Thank you, Vint. John.
10 Let's make sure that we reset the clock. Okay.

11 MR. CHAPMAN: Hi, I'm John Chapman, I'm
12 with Cisco Systems and I want to talk a little bit
13 about broadband access in the cable industry. So
14 on my first slide, I take a look at -- I just want
15 to explain how the cable system works today to
16 kind of give you a perspective. We basically have
17 a frequency spectrum of somewhere around 750
18 megahertz to a gigahertz, and that's divided up
19 into classic TV channels. So for broadband, we
20 basically put data over those TV channels. I
21 wanted to measure the efficiency of the spectrum,
22 so I took a look at the services that are on in

1 today, which is really analog video, broadcast
2 digital video, switch digital video, and upcoming
3 now is DOCSIS, where we put the data over it, and
4 video in that might be like an mpeg four and the
5 other services are mpeg two.

6 If we normalize DOCSIS at 100 percent,
7 it turns out analog video is using about two
8 percent efficiency of the spectrum. And so
9 there's a series of legacy -- on cable that, as we
10 migrate from where they are today to future
11 services and future transports, we can actually
12 pick up a lot of efficiency.

13 I calculated today that the network is
14 probably running at ten percent efficiency, which
15 means just through service migration alone, we can
16 get a 10X improvement in band width. Next slide.

17 And so kind of to put that together, so
18 if we were to take analog video, migrate it to
19 digital video, and migrate that to switch digital
20 video, and eventually migrate the whole thing to
21 IP video over an IP infrastructure, so we have a
22 converged transport of data, voice, and video, we

1 would see huge efficiencies, increases in the
2 network, with not a lot of -- without having to
3 rebuild the network. There's a lot of potential
4 in the existing network. Next slide. I mentioned
5 DOCSIS, I just want to touch briefly on what
6 DOCSIS is. It's the technology for building
7 broadband pipes over the cable infrastructure.
8 The cable infrastructure is actually a hybrid
9 fiber coax, it's a partial fiber, partial coax
10 infrastructure.

11 It's about 13 years old at this point in
12 time. It originally came out at one to two
13 megabits per second of the downstream. A typical
14 installation today has 12 to 24 megabits in the
15 downstream, which is a lot of -- considering that,
16 you know, T1's were one and a half megabits and
17 were seen as the backbone of the internet just a
18 few years ago.

19 And DOCSIS 3.0, which is coming out
20 right now, will combine four to eight channels
21 together. And already we've seen operators like
22 Cablevision deploying 100 megabits per second in

1 the downstream.

2 The technology itself can be scaled in
3 the future. We think that we could probably push
4 DOCSIS one day to take the whole downstream of one
5 to five gigabits, and we think that we could push
6 it to a gigabit in the upstream. Next slide. The
7 question seems to be on everybody's mind is, when
8 are we -- do we need a gigabit cable modem, will
9 we ever get there?

10 SPEAKER: Yes.

11 MR. CHAPMAN: Well, if you're old enough
12 to remember a 300 mod modem, you're young enough
13 -- you're going to be young enough to see a
14 gigabit cable modem. That's 300 to a billion bits
15 difference in less than half a lifetime. Next
16 slide.

17 So the two concepts I think that I want
18 to leave the FCC with, one is that the existing
19 network that the cable guys have has a lot of
20 potential in it. It's not necessary to rip up the
21 existing networks and replace them all with fiber.
22 A pure fiber network is not the only ticket in

1 town to get to massive broadband deployment.

2 There's a lot of upgrades that can
3 happen I think on the cable plant, from minor
4 upgrades to major upgrades. That might be things
5 that the government can influence. Certainly,
6 technology is like mpeg four, which is a higher
7 level of video compression. NIP, which is just a
8 much better mechanical mechanism for getting bits
9 to flow, can help a lot towards delivering
10 services.

11 And I think the other thing I would
12 leave the FCC with is to encourage investment, to
13 set up an environment where, you know, today, when
14 cable operators go to upgrade their network, the
15 immediate response from Wall Street is, that's
16 going to cost money, profits are going to go down,
17 stock prices goes down, and it's a negative
18 environment for investment. In reality, when you
19 put money into your network and you get more
20 services out of it, it's very much of a positive.
21 So I would definitely encourage our customers to
22 keep investing in their networks. I think that's

1 it. Thanks very much.

2 MR. CERF: You're ahead of the game
3 here.

4 MR. CHAPMAN: I guess Powerpoint doesn't
5 ultimately corrupt.

6 SPEAKER: It keeps you on schedule at
7 least.

8 MR. KNAPP: Thank you, John. Henning.

9 MR. SCHULZRINNE: Good morning. My name
10 is Henning Schulzrinne, I'm at Columbia
11 University. Next slide, please. I'd like to
12 highlight what I believe are some of the changes
13 that we will likely see over a time frame that I
14 would estimate somewhere in the decade range, so
15 certainly within the investment horizon or the
16 planning horizon that we should be thinking about.

17 The first five kind of major
18 architectural -- As Vint already hinted out, we
19 are moving very much from an asymmetric consumed
20 content model to a symmetric model, for a variety
21 of reasons, namely that we will see more upload,
22 backups, user generated services, video, but we

1 will also see a symmetry, not just in band width,
2 and I think this is an important consideration,
3 but also in network -- We tend to think of kind of
4 a single device, logically, and then gets split by
5 a network address translator into consumer only
6 devices. That has -- network architecture of the
7 internet as it was envisioned originally, making
8 it extremely difficult, cumbersome, and unreliable
9 to provide services that are necessary, where data
10 from sensors and other sources is provided from
11 the end user to the network to other users.

12 ITV6 and the liberal provision of
13 address space to end users will be crucial, not
14 just symmetric band width. We will simultaneously
15 see higher and lower peak to average ratio, higher
16 in the sense that users will expect to burst at
17 very high rates because, for example, they want to
18 download video content very rapidly or upload
19 photos, for example, and lower in a sense that
20 instead of just being a daytime activity, lots of
21 activities will be taking place throughout the
22 day, such as backups, video downloads for

1 consumption later and so on. We'll see two kinds
2 of new applications. The substitution
3 applications, obviously, was already hinted in a
4 sense that instead of having dedicated
5 infrastructure and channels for video, we will see
6 all IP infrastructures, but also new application
7 that impose not just new band width requirements,
8 but new architectural requirements.

9 I will just highlight three of them,
10 namely, energy management, home safety, and
11 medical monitoring, as applications that each
12 impose very new requirements in terms of
13 availability, reachability, and reliability.

14 Also, I believe we have traditionally
15 made a division between having a distinction
16 between residential services, which are seen
17 largely as by the name consumer services and
18 business services. I think that distinction will
19 largely disappear except for the very largest
20 companies.

21 Anything up to a mid size company with
22 multi gigabit of network will find the same

1 infrastructure used as opposed to running saying
2 dedicated T1 lines to them. So we have to plan
3 not just for the notion that these are Facebook
4 users, but these are actually going to be small
5 and mid size enterprises who crucially depend on
6 network services liability. In general, I believe
7 beyond band width, we need high reliability for
8 many of the services, medical monitoring being an
9 easy to understand example, and that reliability
10 mainly to be maintained even in the face of power
11 outages. Next slide, please. If you look at new
12 services, we have multi homing, where not just an
13 end user will not just have a single network such
14 as a cable or DSL type network, but also want to
15 combine those networks primarily for backup. That
16 is very hard to do from a technical, as well as
17 from a business model perspective. I don't want
18 to pay for two networks, I want to pay for a
19 backup network in case my primary network goes
20 down.

21 I want to move content closer to the
22 edge of a network, so we need to think about

1 vendor neutral and content neutral means of
2 hosting content and computation close to the edge.

3 I see ISP's and similar services as
4 providing more than just bits. They're uniquely
5 positioned to provide services such as identify
6 services, for example, to certify the identity of
7 a user name, address, and role, as well as certify
8 specific and geo location. We need both services
9 for security, we need them for E commerce, and we
10 need them for reliable next generation 911
11 services.

12 I also see a role for preventing -- for
13 using internet service providers to provide much
14 better security to the network at large, because
15 with that type of band width, a single node can do
16 much more damage than a modem connected node, for
17 example, could ever do. So those are some
18 examples of the changes which go beyond just
19 adding more bits per second, go to adding more
20 services, and thinking about the kinds of services
21 that we would need in the future. Thank you.

22 MR. KNAPP: Thank you, Henning. Paul.

1 MR. MISENER: Thanks, Julius, very much.
2 And Juli and Walt sought from Amazon a cloud
3 visionary; unfortunately for you, you got the
4 neutrality guy instead, but fortunately, these are
5 related. We recognized about five -- six years
6 ago that our infrastructure within Amazon.com was
7 built for peak, peak selling season, peak times
8 during the day for different time zones and so
9 forth, and as a result, we had a lot of extra
10 capacity for storage and computation and other
11 forms of processing. And so we decided to open up
12 that infrastructure to others to use, and this is
13 the birth of cloud computing at Amazon.

14 We currently offer a whole suite of
15 cloud computing applications. There's, obviously,
16 storage, there's also a data base and computation
17 facilities that are available for users on a per
18 usage basis, which allows consumers and SME's to
19 obtain computing capacity and facilities that they
20 would not be able to otherwise. If they had to go
21 out and buy a very sophisticated computer with
22 lots of storage just for some limited

1 applications, it would be uneconomical for them;
2 rather, they can come and buy ala carte from
3 Amazon and other providers such as Google and
4 Microsoft.

5 These kinds of services obviously rely
6 on consumer's ability to get at them. And so a
7 very important philosophical point, which is
8 translated into a regulatory position over the
9 years, is, Amazon has long favored the fundamental
10 openness of the internet, which is good for
11 consumers, it's good for the entrepreneurs who
12 serve those consumers.

13 It's also -- importantly, this is
14 something, a policy matter squarely before the
15 FCC, this is dealing with the openness of the
16 infrastructure that is available to consumers and
17 small businesses. And I completely agree with the
18 point that those will become, and already largely
19 are becoming indistinguishable.

20 Companies like Amazon are able to obtain
21 the telecommunication services that they need in a
22 negotiated environment. There's not a concern on

1 that side of the web. We are in more or less
2 equal bargaining position with network operators
3 and we're able to obtain those services in a
4 collaborative fashion. Consumers and small
5 businesses aren't in the same position. They
6 often face one or two service providers and set
7 prices. And so this is clearly an area where
8 government oversight and intervention is
9 appropriate.

10 So what is the status of neutrality?
11 Well, I think we're in a position of détente, and
12 it's actually an unhelpful detente in the sense
13 that I think both consumers and network operators
14 would benefit if the détente were broken. I think
15 Adam said earlier it's a stalemate. I think he
16 was talking about technology, but there also is
17 this fundamental regulatory stalemate in which we
18 find network operators are unsure of what they may
19 and may not do without receiving unfavorable
20 attention from regulators, and consumers may not
21 be benefiting from new services that would
22 otherwise be available to them.

1 So we were concerned five and six years
2 ago, not we, but the network operators primarily,
3 of the unintended consequences of regulation.
4 Well, at this point, I think we're seeing almost
5 the flip side, the unintended consequences of no
6 regulation, where we're not able to get the
7 clarity for the service providers, nor the new
8 services for consumers. And so just as a -- sort
9 of a softball almost or a straw man for what sorts
10 of services I think could fall under sort of
11 lawful or regulatorily permitted kinds of services
12 offered by network providers, certainly metering.

13 There's been some almost allergy to the
14 thought of charging consumers who use more band
15 width more money. Well, I think that's entirely
16 appropriate. That certainly happens for companies
17 like ours. We certainly are charged a lot more
18 money than smaller internet services providers or
19 edge providers like Amazon.

20 That kind of metering of consumers, the
21 24/7 gamer, makes perfect sense. It's
22 economically efficient and it would certainly

1 reward the network operators who could provide
2 that kind of capacity.

3 Obviously, private networks have always
4 been with us, they're certainly appropriate.
5 Those have always, or typically been in the
6 circuit switch mode. But a private network in the
7 pack of switch mode seems to be perfectly
8 reasonable, as well as edge serving by network
9 operators, who -- they ought to be able to be in
10 that same sort of a business. One of the
11 questions that always comes up is, paid QOS,
12 whether that ought to be appropriate, and it's
13 always seemed to me that that would be appropriate
14 so long as other customers of the network operator
15 are not effected. So as long as it's not a zero
16 sum game, sure, provide it, it's more or less like
17 another private network that just happens to
18 reside in the core rather than around the edge.
19 I'll get it down exactly to zero. Thank you.

20 MR. KNAPP: When I looked, it said one,
21 plus one. Thanks. Those presentations were
22 fantastic, thank you all. One of the points that

1 we had touched on, we, I think in the past, have
2 thought about broadband in terms of the internet.
3 And as we're thinking about new applications going
4 forward, there's so much more that's coming, the
5 smart grid, health care applications, we talked
6 about safety and security and so forth. How
7 should we be thinking about what broadband is, how
8 do you define it, aside from I mean the classical,
9 how many bits per second? And maybe, Adam, do you
10 want to take a shot at that first?

11 MR. DROBOT: Sure; let me try actually
12 from two different perspectives essentially, okay.
13 You know, when I look at a consumer or a business,
14 they really don't care one damn whether it's, you
15 know, what the speed is, it's what it does for
16 them. So tongue and cheek, if I look at the
17 language that we use, we have, you know, a 2G, 3G,
18 4G world, and I'd say that there is a 5G and a 6G
19 world behind that, so let me try and define what
20 those are. So the first of those, which I would
21 say is 5G, is the ability, in fact, to give me the
22 material that I want when I want it, and I'll

1 define that access as -- So on top of ability and
2 speed of stuff, how quickly can you deliver
3 something to me when I need it so it's relevant.

4 That doesn't happen without having a
5 computing plant and a lot of other infrastructure
6 that's in the system, okay. Whether it's provided
7 by outfits like Google, Amazon, they're the
8 services that are already in that 5G world, okay.

9 Then I'd say beyond that is really the
10 world of anticipation, okay, much more computing
11 intensive, much more personalized essentially, and
12 this is where I see applications such as health
13 care, you know. Why do I want to monitor someone?
14 So I can know how to do something ahead of time,
15 okay. Running out of gas on a highway, okay. If
16 you look at what your secretary does for you, it's
17 really anticipate what your needs are going to be
18 downstream, how to schedule your time, how to find
19 opportunities for you, okay. And the point I'd
20 like to make is that when you look at the life
21 cycle, okay, of putting a broadband system
22 together, there will be a lot more that probably

1 goes into this part of the infrastructure than
2 goes into just providing the pipes essentially,
3 okay. So that's one way of framing it, I would
4 say.

5 MR. KNAPP: Hang on a sec. Yeah,
6 Henning.

7 MR. SCHULZRINNE: Vint I think was
8 first.

9 MR. KNAPP: Oh, I'm sorry.

10 MR. CERF: Don't worry about it, go
11 ahead.

12 MR. SCHULZRINNE: I think we should
13 think of a network as a fundamental utility
14 infrastructure, that is, compliments the
15 infrastructures that we're used to, energy
16 transportation and so on. And we think of those
17 infrastructures, if you're not a civil engineer,
18 as working best when you don't have to think about
19 it.

20 We have to think far too much about the
21 network today as a normal consumer. When I talk
22 to my non- networking friends, and they tell me

1 that they had to use ping and a trace route, I say
2 what have we -- we should not -- this is not an
3 infrastructure which is ready -- a normal consumer
4 does not need to know the difference between an
5 amp and a volt, and most probably don't. We now
6 have to create an infrastructure essentially
7 invisible to new applications, because by the very
8 nature, applications will change much faster, and
9 the network should not be in the way of those
10 applications. All too often, as I hinted out
11 earlier, in the past few years, new applications,
12 voice and video being one example, have become
13 much more difficult to deploy, become much more
14 brittle, and have much poorer performance because
15 a network was not invisible and very much
16 interjected itself by port filtering, by having
17 restricted address space, by having asymmetric
18 band width -- of address -- of services. So we
19 know what happens when we don't have invisible
20 network, we get delayed and inferior services.

21 MR. CERF: So I certainly resonate with
22 what Henning is saying in this regard. I think of

1 broadband as an enabler more than anything else,
2 and treating it almost like a utility. I don't
3 mean to drag in necessarily all of the baggage
4 associated with the term "utility", but the idea
5 that it is utilized by a broad range of consumers
6 is important.

7 For example, in the case of electricity,
8 we don't dictate what appliances you plug into the
9 system. The internet, in theory, need not dictate
10 what applications you run or what devices are
11 connected to it. It's openness and freedom of
12 invention is exactly what has created so many new
13 opportunities. And it seems to me that as we try
14 to fashion policies with regard to broadband
15 deployment, we should keep in mind that this
16 unbound notion of access to high capacity is what
17 enables all kinds of new opportunity.

18 One thing I would remind everyone is
19 that high speed provides you with two different
20 important values; one of them is the ability to
21 move a large quantify of information quickly,
22 which gets to Adam's point about how quickly do I

1 get a response when I'm trying to get something
2 done.

3 The other thing is that latency, for
4 certain kinds of applications, goes down as the
5 speed of the transmission pipe goes up. And you
6 don't necessarily need to use a large quantify of
7 something to benefit from low latency. So when
8 you're dealing with twitch games, kids are
9 shooting at each other, or you're trying to have a
10 video conference or something, the latency part is
11 really important.

12 So the benefits of being able to get
13 access to broadband then use it in different ways
14 is what's essential here. And I would be unhappy
15 I think if our regulatory policies or our
16 implementations and deployments constrain the
17 flexibility with which we can actually use the
18 broadband resource.

19 MR. KNAPP: John.

20 MR. CHAPMAN: I would just add to what
21 my colleagues have said, you know, in networking,
22 we have a layered model, and I think it would be

1 good to take a look at the definition of broadband
2 with respect to that layered model. So broadband
3 isn't an all encompassing term that takes in
4 everything. Really at the lower layers we have,
5 you know, what Henning was talking about, port
6 filtering and stuff, it's really the operations of
7 IP, it's the mechanics that make a link work.
8 They are strictly mechanics.

9 It has the internet protocol, or maybe
10 it's an ATM protocol or something like that, but
11 that's what makes the pipe work, and that's what
12 people run into. Broadband is actually I think a
13 service that links you from your home into the
14 internet. And the internet is a collection of a
15 whole source of applications that you're going to
16 be talking to. So if it's a health care
17 application or if it's a Google search or
18 something like that, those are applications on an
19 internet. Broadband is the connectivity between
20 those two points. And I mean I think it's
21 important really to keep the layering and focus on
22 the one part of the layer that you really wanted

1 to find.

2 MR. KNAPP: John, one of the things that
3 you had pointed out, and we still have a lot of
4 the capacity on the cable pipe that's delivering
5 analog TV, which there are requirements that have
6 to be maintained for a few years, and eventually
7 that's going to be recaptured. Where do you see
8 that going, devoted more towards the broadband
9 side, I mean internet access, or are we going to
10 get another 25 TV programs?

11 MR. CHAPMAN: You know, I think analog
12 reclamation is one of the biggest bang for the
13 bucks. Every analog channel you get rid of, you
14 can replace it with ten video channels on digital,
15 and once you go over to IP, it can be 20 or 30,
16 and I think where we really end up seeing the
17 growth, there's only so many TV channels you can
18 put out there.

19 I think that the actual content -- I
20 mean here's another interesting way of answering
21 the question, because I have Vint to my right. I
22 would say the cable guys are the Googles of the

1 '70's. They're the old guys from 20 or 30 or 40
2 years. They were content aggregators back in the
3 '70's, who aggregated content from antennas. Now
4 we have guys at Google aggregating content from
5 everybody's living into the network and generating
6 some really good, new content. But back in the
7 '70's is all about, instead of two or three TV
8 channels, how can we aggregate these together. So
9 they aggregated content together, and there was no
10 network at the time, so they had to build a
11 network really as the byproduct to get this all
12 out.

13 So where are they going today? I think
14 they have to migrate towards the new content.
15 There is only so many TV channels out there. As
16 we get more efficient at packing those TV channels
17 in there, it will open up more room.

18 I think that we're going to be see
19 gigabit pipes on their network within the next
20 five years, and I think that there's a lot of
21 business to be had in pulling in content from the
22 internet and delivering it to the user. So, yeah,

1 I mean I think it's really all about migration of
2 legacy services towards newer transports, and I
3 think IP TV is one of those newer transports, and
4 --

5 MR. CERF: Thank you. Well, first of
6 all, I think there's only a finite amount of
7 quality in the universe, and I can prove it to
8 you, because if you look at the quality of any
9 typical television program today, when you have
10 500 channels or 1,000, it's pretty clear that each
11 one of them has about .01 percent of the quality of
12 the thing we had 30 years ago. So there's -- and
13 that -- I mean it speaks, in some sense, exactly
14 to your point, which is that there's only a finite
15 amount of content produced in that fashion that is
16 going to be of interest to people, and that's an
17 important fact economically, because if people are
18 not very interested, then it's going to be hard to
19 use advertising as a generation -- revenue
20 generator to pay for the cost of all this stuff.

21 So as the notion of these media,
22 television and music and everything else, migrate

1 towards the IP transport, people's behavior
2 patterns I think are going to change, too.

3 A lot of people don't pay any attention
4 to when something is transmitted over the air or
5 through the cable at all. They're not interested
6 in the timing, they're not interested in being
7 aligned with 8:00 on Wednesday, they're more
8 interested in watching whatever that content is
9 whenever they want to, and so as a consequence,
10 they download it and play it back. Downloading is
11 a very interesting proposition, because if you're
12 not watching while it's being downloaded, you
13 don't care whether it's delivered exactly at the
14 right times, and you don't care if a packet gets
15 lost because you can retransmit it, or there's one
16 that's delayed and the video would break up,
17 nobody cares because it's just a file transfer.
18 The consequence of that is a great reduction in
19 pressure on the packet switch net to deliver
20 things exactly on time and everything else.

21 It doesn't mean that there isn't going
22 to be any real time, there absolutely will be.

1 Video conferencing is an example of that,
2 emergency broadcast, news and things of that sort,
3 sports events are all things that people care
4 about when they happen, but 85 percent of all the
5 videos that people watch is actually pre-recorded
6 stuff.

7 The consequence of that is that a huge
8 chunk of the transport capability of cable and
9 fiber and everything else could be allocated to
10 this much more flexible way of using the band
11 width to obtain entertainment, whether it's music
12 or video or anything else, or purpose it for other
13 applications when you're not using it to download
14 or watch streaming video.

15 So I'm a big fan of what you're doing,
16 John, in terms of opening up the capacity to
17 provide broadband flexibly allocable capacity that
18 people can purpose for whatever reason they have
19 in mind.

20 MR. KNAPP: I've got a long list of
21 questions. This material is so good. But I'm
22 going to give my colleagues a chance to ask them

1 because I know they've got at least as long a
2 list. Stagg, go ahead.

3 MR. NEWMANN: Okay. Let me preface
4 this; when Paul and I were at the Commission,
5 there was sort of a motto of do no harm, and two
6 things we did, you can argue whether that was
7 right or wrong, there was pressures from the Hill
8 to take the internet and the common carrier title
9 two regulation primarily so it could be taxed to
10 subsidize telephone service, exactly the opposite
11 of what a corporation would do, right, you take
12 the old and fund the new, we were being pressured,
13 and we chose not to do that, we chose to regard
14 internet, not as a telecommunications service.

15 There was strong pressure from AOL,
16 which was a narrow band dial-up company at the
17 time, and the traditional telephone industry to
18 apply open access obligations on the cable
19 industry because the business case for DSL was
20 negative and AOL had no broadband strategy, and we
21 chose not to do that, we chose to allow the cable
22 industry to invest to scare the Telco industry to

1 spur investment, okay. You can argue whether
2 that's right or wrong, but that's sort of the
3 short form of the history. Now I'm hearing from
4 all of you, we're moving to a much more complex
5 networking area, from cognitive radios, et cetera,
6 storage and computing being a critical part of how
7 we think about the infrastructure.

8 Where as policy-makers do we need to
9 focus our thinking and where should we say, do no
10 harm, let the marketplace take care of it, to
11 foster this next round of technology development?

12 MR. CHAPMAN: I'd say it would be
13 helping the various service providers move to the
14 next paradigm, as opposed to mandating -- I mean
15 competition will get them there. We see like
16 Verizon and the cable companies are having a field
17 day at who can make the fastest link, and that's a
18 market driven force, which is very -- which is
19 working really well for consumers right now.

20 And I think allowing, for example, the
21 Verizon guys to get into the content aggregation,
22 which they've done on their own, and allowing the

1 cable guys to be able to migrate away from legacy
2 services and get -- upgrade their networks and
3 build faster networks, I think all that is -- it's
4 really helping clear out the old so that people
5 can build the new.

6 MR. MISENER: Well, Stagg, thanks very
7 much for that. I think the consumers are
8 legitimately concerned about the ongoing openness
9 of the internet. And there have been widely
10 publicized incidents where that openness has been
11 closed temporarily and intentionally, and as a
12 result, there is this détente, about which I spoke
13 earlier, wherein network operators don't know
14 exactly what they may do, or even have sort of
15 general parameters in which they may operate, and
16 consumers are continuing to be concerned about it
17 and maybe aren't seeing the new services the net
18 ops could offer, if only we had a rational
19 discussion about it.

20 So I really would hope that the
21 policy-makers would focus their attention where
22 the market is working least well. It's not a

1 total market failure, it's not a monopoly anymore
2 in most places as a duopoly, and there is some
3 level of competition. Would I call it perfect
4 competition? Of course not, and I'm not sure
5 anyone would.

6 But at the same time, I don't think it's
7 fair to impose, you know, the sort of Damocles
8 over network operators forever more, where they
9 have no idea whether they're going to get slapped
10 for one particular new service or not. I think it
11 would be helpful to them if consumer groups and
12 edge service providers, as well as network
13 operators, came together and could break this
14 détente with rational rules --

15 MR. KNAPP: Henning, go ahead.

16 MR. SCHULZRINNE: Okay. I think the
17 core aspect which I see is currently missing is
18 the lack of transparency, particularly for the
19 consumer side. For a normal consumer to have no
20 cost transparency, no performance transparency,
21 and no predictability, we have no cost
22 transparency in a sense it's often hard to predict

1 how much it's going to cost them to actually run
2 the service because of fees and various other
3 things.

4 Switching costs to high, so once I
5 commit to a particular service, I cannot, like
6 going say from being to -- or vice versa, it's the
7 switching cost are high and will become higher
8 because infrastructure in the home will start to
9 depend on whether I choose cable or not. And I'm
10 not going to tear up my wall because I decided the
11 cable company didn't quite deliver what they
12 promised.

13 Transparency performance, I have no way
14 of finding out, as a normal consumer, whether
15 cable or fiber or wireless is more reliable and
16 what the real performance is. Up to ten megabits
17 per second tells me very little as a normal
18 consumer. And all other long term purchases of
19 that nature I can predict hopefully as to what my
20 performance is, because consumer report tells me
21 for -- what my maintenance costs are going to be,
22 no such reasonable way to do that.

1 The final is transparency in the sense
2 of, we tend to protect the encumbrance, both in
3 terms of applications and in terms of
4 infrastructure. What we don't see are the ones
5 that never make it, simply because they're not at
6 the table when decisions are made. And I think
7 the fundamental value of a network is things we
8 don't know yet.

9 We couldn't have protected social
10 networks and video when we wrote legislation 20 --
11 15 years ago because they didn't exist as viable
12 businesses, they were, at best, garage businesses.

13 So that's why I think network
14 transparency that allows everybody access to the
15 same network services where technically feasible
16 provides exactly the type of predictability. As
17 long as they offer the same service to others that
18 are offered to my own customers, I can offer the
19 service, that's a rule that I can understand as a
20 technologist, and having a finite and small number
21 of interfaces which are long term. We've had
22 those number of interfaces, this is basically IP

1 and the optimal layer primarily that have been
2 stable on time units which legislators and
3 regulators can deal with. They haven't changed,
4 whether it's IP -- it doesn't really matter, for
5 three decades, roughly speaking at this moment.
6 So focusing on those interfaces, those are the cut
7 points that are high value, high return interfaces
8 to look at.

9 MR. KNAPP: Can I ask the other
10 panelists' reaction; do you agree or see it
11 differently? Yeah, go ahead.

12 MR. DROBOT: I have I'd say two things,
13 having heard this. You know, the first is that,
14 as much as we know today, we probably don't know
15 more about what the future will be. This is an
16 era of experimentation, a lot of stuff is
17 happening, and I'd say the first place to do no
18 harm is not to stop that experimentation.

19 At the same time, okay, I think we see a
20 struggle, and that is that the infrastructure in
21 which that experimentation, okay, has to be paid
22 for somehow. Whether it is paid for out of the

1 public purse or it is done through the private
2 sector, leaving too much ambiguity prevents the
3 set of rules under which investments will actually
4 happen. Those have to be predictable. And we are
5 sort of living in an era right now where the rules
6 aren't there. And I would say what that does is
7 prevention of capital, encouragement of a lot of
8 things that really underlie a very complex system,
9 okay, and so when one part of it falls behind, the
10 rest of it sort of stumbles essentially.

11 MR. KNAPP: Vint.

12 MR. CERF: So a couple of things; first
13 of all, I can -- I believe that the sense of
14 competition is viewed differently depending on
15 which eyeball is looking at it. If you're a
16 consumer and you ask yourself, how much choice do
17 I have in broadband provision, the answer is
18 often, not very much, sometimes it's zero because
19 it isn't available at all.

20 That may happen a lot in the rural parts
21 of our country, or it might be one provider, it
22 could be a Teleco with fiber, or a DSL or it could

1 be a cable carrier, or it might be two, it might
2 be a cable company and Teleco both offer you
3 broadband access to internet. But it's not very
4 uniform, and there aren't a large number of
5 facility based providers, and there probably won't
6 be. I mean the economics of facilities based
7 provision may not allow for five, six, seven,
8 eight, nine, ten different competitors. So that
9 suggests that whatever policies we adopt with
10 regard to broadband have to take that kind of
11 thing into account, that where there isn't very
12 much competition, we need to be conscience of the
13 need to keep the provision of services open as
14 possible.

15 And I accept that as a cable company or
16 as a telephone company, you feel competition where
17 there is competition, so not for a moment do I
18 argue that there is none, it's just that it isn't
19 uniformly spread from the view point of the
20 consumer trying to choose what services are
21 available.

22 And I agree with Henning, that switching

1 costs are very high now compared to what they used
2 to be. When it was dial-up internet, you just
3 dialed a different number, that was easy; today,
4 it's a truck roll, and it's a lot more
5 complicated, so that's one thing.

6 The other thing, with regard to the
7 detente question, in a conversation recently, a
8 very interesting thought was put on the table. I
9 was ranting about non- discriminatory access to
10 the internet and my deep concern that the people
11 providing the underlying broadband facility would
12 somehow constrain competition at the higher
13 levels. And so while I was having my rant, it was
14 pointed out to me that I was at one end of a
15 spectrum, and that the spectrum included the
16 notion of differentiable services, which might not
17 be anti-competitive, they might simply enable
18 certain kinds of services that wouldn't work if
19 you didn't have some differential quality to
20 access to the broadband.

21 And then as you run along that spectrum,
22 you get to the point where the differentiable

1 services become anti- competitive. So now the
2 question is, how do I figure out where, you know,
3 a particular proposition lies on that spectrum.
4 And I don't for a moment suggest that this should
5 be a problem that our legislators try to solve.
6 This is the kind of problem that you almost have
7 to deal with on a case by case base.

8 But recognizing that there is a
9 spectrum, and recognizing that there's value at
10 one end and there's potential hazard at the other,
11 suggests to me that mechanisms and procedures
12 should be looked at to maintain this openness, but
13 also recognize that there a the possibility of
14 doing something different in the net to support
15 different kinds of services that are not
16 necessarily anti-competitive.

17 MR. KNAPP: Bill, we know that you're
18 still out there, I just want to let you know we
19 hadn't forgotten you. If at any point you want to
20 jump in, just give a holler. We had a question
21 from the floor that I think is a good tie into
22 what we were just talking about, and I'll

1 paraphrase a little bit, that a lot of the
2 discussion seems to be centered around there's an
3 infinite capacity or band width available, but the
4 reality is, it costs money, particularly in the
5 rural areas. So how should traffic be prioritized
6 or band width rationed or some tiered pricing
7 levels for certain services and so forth,
8 recognizing that if everything is open, and we
9 can't predict the applications, how concerned
10 should folks be about the traffic jams and how
11 that gets managed? I thought that might get some
12 reaction. Go ahead, Vint.

13 MR. CERF: An immediate reaction to
14 that; one of the problems that I see happening is
15 a concern for how much does any one user actually
16 consume. And it's possible for some users to
17 consume more than they are paying for. So what do
18 we do about that?

19 The first observation is that you want
20 to constrain users to -- I want to say this very
21 carefully, it is the band width, it's the bits per
22 second that are the problem. It's not the volume

1 of traffic that you move, it's the speed with
2 which you move it. If I move a terabyte of
3 traffic over a two month period, no one will
4 notice. If I move a terabyte of traffic over the
5 next ten milliseconds, everybody will notice,
6 because I'm taking all of the capacity of the
7 system. So if you're worried about consumption or
8 over consumption, your problem is how to limit the
9 band width that any one user is consuming.

10 There have been some very let me say
11 clumsy attempts to cope with that problem by
12 putting volume limits on what users can send in
13 the course of a month, for example, and I don't
14 think that gets to the key problem, it really is
15 what's the band width that the user is consuming.

16 MR. KNAPP: And to follow on with
17 Victor, and Henning, and Bill. You also talked
18 about research, and not only be interested in what
19 research that's being done and where the holes
20 are, but how it ties into -- are you looking at
21 these kinds of things down the road, and I think
22 Victor has ---

1 DR. FROST: Well, I just wanted to make
2 a point about the constraints and things. A lot
3 of this goes back to the business model you're
4 working with, who pays for it, and right now,
5 revenue is generated off the internet by
6 advertising, and not necessarily the end consumer
7 is paying the full load of all the servers and the
8 technology that is in the network. So maybe
9 different business models, where, if I wanted to
10 get more band width or wanted to get a certain
11 download, the advertiser may pay for it, not the
12 end consumer. And so it may be a model of how
13 different business models could evolve to support
14 ---

15 MR. CERF: You surely don't mean that
16 advertising is the sole means of revenue
17 generation in the internet because people pay for
18 access to the internet, they pay money for that,
19 and it has nothing to do with advertising. I pay,
20 you know, I don't know, \$100 a month for my access
21 to the internet.

22 DR. FROST: That's right.

1 MR. CERF: So I mean that's another
2 revenue source. And in the business world, people
3 pay a lot of money, including Google, to get
4 access to the internet to provide services, so you
5 surely didn't mean that that was the sole ---

6 DR. FROST: No, that's not the only ---

7 MR. CERF: Okay.

8 DR. FROST: But there is another means.

9 MR. CERF: Okay, sorry.

10 MR. KNAPP: Victor, if you can just
11 follow on the research side that you talked about
12 before. What is it that's being -- where are the
13 holes in the research?

14 MR. CERF: Oh, there's some big ones. I
15 mean the point that I tried to make earlier about,
16 you know, the ability to share radio land with in
17 a more flexible way, that's one big hole, because
18 we just don't do it very well. The second big
19 hole is that security in this internet
20 architecture sucks, that's a technical term.

21 And, you know, we treat the symptom
22 right now. We tried to deal with botnets that

1 generate spam and that generate denial of service
2 attacks. When we try to defend against the DOS
3 and we try to deal with -- by filtering the spam,
4 we're treating the symptom, we're not treating the
5 cause. The cause is that computers are
6 vulnerable, they are easily penetrated.

7 MR. DROBOT: Operating systems.

8 MR. CERF: Yeah, exactly. And so it's
9 not just the operating systems. The biggest hole
10 in the computers today, especially PC's and iPods,
11 is the browser. What does it do? Think about it
12 folks. What does the browser do? It goes out
13 into the internet and it pulls a file from some
14 destination site and then it interprets it. The
15 copyright guys go nuts because the internet is a
16 big copying engine, that's how it works, at least
17 the world wide web part. So the biggest hole we
18 have is that the computing assets of the internet
19 are vulnerable to being overtaken by the bad guys.
20 The only way we're going to fix this problem is to
21 build much, much more secure computers. And
22 that's not a trivial task, it's going to mean

1 combinations of hardware and software, it's going
2 to mean cyber hygiene, as in, you know, you brush
3 your teeth once a day as opposed to once a year,
4 because if you do it once a year, it doesn't do
5 any good, that means you have to have some serious
6 ability to detect that your machine is infected,
7 you have to have ways of disinfecting it, you have
8 to have ways of defending against the various
9 kinds of worms and other bad things, bad mail ware
10 that show up. That's a space where serious
11 research is needed.

12 MR. KNAPP: Bill, is this a chance for
13 you to chime in and share some of your thoughts on
14 this?

15 MR. ST. ARNAUD: I just want to echo the
16 comments made by the panel. I think there's two
17 types of experimentation we need to do; one is --
18 technology in addressing these issues of security
19 and so forth. I think it's also important that we
20 do experimentation on the business models. We've
21 got to find a way of funding this deployment, and
22 I think, as I mentioned before, some of the ideas

1 coming out of Google on the Homes With Tails is an
2 example of this type of thinking that we need to
3 look at how we fund the deployment of broadband.

4 MR. DROBOT: So Julius ---

5 MR. KNAPP: Yes.

6 MR. DROBOT: -- let me do the following
7 thing. If you look at pipes that are severely
8 constrained, and you expect that the same level of
9 service is going to happen over those as over
10 bigger pipes, the fact of life is, no matter what
11 you do with QOS, it ain't going to happen.

12 MR. CERF: Any time you substitute ---

13 MR. DROBOT: There is no substitute for
14 real band width. And I think you have to turn to
15 -- it's not a technology proposition or a research
16 one, it's really the following; if you have
17 societal goals and aspirations, what you'll find
18 is that commercial outfits will do what is good
19 for them, okay. If you want to have this
20 universally available, you have to pay the bill
21 for it, and I don't know of any substitute for
22 that.

1 MR. KNAPP: Henning, go ahead.

2 MR. SCHULZRINNE: All right. Because I
3 want to kind of emphasize a point that I think
4 Vint was starting to allude at, is, we tend to
5 think of band width as something kind of a
6 constant. We really need to add two components to
7 band width, namely time and location. Vint
8 already hinted that many of the high band width
9 application are time deferrable. So -- and I
10 noted very briefly in my introductory remark that
11 we have unfortunately a flattening curve of band
12 width usage in residential market, and I looked at
13 that for Columbia University over a day, so that's
14 not as big an opportunity as it used to be, but
15 it's still a factor two or so in terms of
16 utilization at least, even for a large entity like
17 Columbia.

18 So we have research opportunities to
19 essentially make it easier for application to
20 defer usage. In the electrical grid, in the smart
21 grid effort, which I'm peripherally involved in,
22 we call that demand reduction and demand

1 management. Opportunities to do that and the
2 research opportunities that go along with that are
3 -- and that goes in the second one, is also
4 location.

5 If you look at the band width cost in
6 many networks, it is not the last mile, because
7 that has been paid for. If you have -- and this
8 is a little different for the HFC architecture,
9 but if you look at fiber to the home, and if you
10 look at DSL, the DSL cable isn't going to consume
11 anymore cost by using it 24/7, it's the internet
12 access paid by the DSL provider that does that.
13 So making band width usage more local, making --
14 pulling the band width in so that you don't end up
15 downloading the same movie, that same channel 50
16 times to every provider, to do that in a way which
17 is neutral, so that you do not -- right now, only
18 the content aggregators can do that. They have
19 the facilities to do that. ACAMI and similar
20 companies can do it in a limited way, but I can't
21 do that as a new provider.

22 From a research perspective and from a

1 policy perspective, the ability to offer such
2 services close to the edge, hosted very close to
3 the last dedicated mile that you have, provides
4 tremendous opportunities to reduce the cost of
5 wide area band width access which is the biggest
6 shoe for wireless, it is the biggest shoe for some
7 of the rural providers, because that's where the
8 difficulties of rolling things -- and if we can
9 help with doing that, we'll reduce all our cost of
10 the network, we provide new opportunities for
11 content, and we allow people, other than the
12 traditional content aggregators, to provide
13 content on an equal footing.

14 DR. FROST: One of the things I think,
15 to bring some of the points together is, Henning
16 was talking about transparency, and a way you can
17 do that is network management type of issues. If
18 you want to get information about what happened
19 and what's happening in performance back. You
20 mentioned security, you mentioned moving the
21 information closer to the user, all of these are
22 fundamental architectural issues.

1 And in terms of research, one of the
2 bigger programs at NSF is, or I don't know bigger,
3 but it's a program in my group, in my directorate
4 is, the future internet design initiative that's
5 going on, to try to look back and say how do all
6 these things -- how should we look at these
7 things, maybe from a clean slate perspective or a
8 different perspective, so we get the attributes
9 that everybody is talking about in a common
10 architecture.

11 And this goes back to the concept of
12 virtualization that may be an opportunity for how
13 a new architecture could evolve, that if you have
14 a virtualizable network, then these attributes
15 that would have economic benefit, but maybe
16 disruptive to the current internet deployment,
17 could start seeing deployment in one of these
18 virtual networks.

19 MR. CERF: I've been involved the fund
20 program and in the GENIE program and so on. Dave
21 Clark at MIT has been a long term participant in
22 the evolution of the internet, and he wrote a very

1 -- together with some colleagues, a very famous
2 paper about the tussle. Tussles have to do with
3 people who have different objectives, and they're
4 in the same arena, and they're struggling with
5 each other.

6 There are lots of tussles that are
7 technical, but there are also tussles that are
8 economic and that are political and that are
9 policy. The reason I bring this up is that it's
10 really important in the context of research to
11 keep in mind that whatever it is that you're doing
12 is going to ultimately be projected into a tussle
13 space, which is not purely technical.

14 I really like the idea of saying what
15 would happen if we started with a clean slate, not
16 that I believe that we will have a clean slate,
17 but the question is, if you did have one, what did
18 you learn from the last 30 years of this network
19 use, what have you learned from its projection
20 into our business community, our social fabric and
21 everything else, and what does that do to inform
22 the architecture and design of a new more secure

1 and more flexible system? So I'm really glad that
2 NSF is doing that.

3 MR. KNAPP: I'd just like to let the
4 audience know, if they have questions, we've got
5 Rashmi Doshi here with index cards, and he'd be
6 happy to take your questions. And I know Rob has
7 a question, and give him an opportunity to ask,
8 too.

9 MR. CURTIS: Yeah, thanks, Julius.
10 It'll take me a minute to set up, but it's about
11 the intersection between the value stream and the
12 application part of the value stream and the
13 infrastructure part of the value stream.

14 If you look at and believe, and I'll
15 synthesize, a lot of the Wall Street research
16 today, right, you might conclude that there's kind
17 of a capital crisis, and a lot of the Telecom
18 providers, and it's probably accentuated in rural
19 areas, and I think they would say it might be
20 caused by two or more things, one is over supply
21 of infrastructure in some places, coupled with the
22 inability to extract value from the bits and the

1 infrastructure in a sufficient way to get a decent
2 return on capital, which, you know, causes a
3 depression return on invested capital, causes a
4 depression in cash flow to build out
5 infrastructure in other places. And I think that
6 the thesis they would have is, there's a, you
7 know, perhaps an imbalance in the way you extract
8 value from the bits. A lot of the extraction is
9 going on in the application layer, less is going
10 on in the infrastructure layer, and that's
11 eventually going to clog our ability to build out
12 the infrastructure and get the band width
13 everywhere that we want.

14 So the question is, and let's just start
15 general reaction to that, and we can argue, or
16 they can argue, I don't want to get into that, but
17 the argument, is it a factual debate about, you
18 know, whether there is a capital constraint and
19 how the value stream works, or is there another
20 theoretical, more abstract, you know, point that
21 we're -- that they're missing?

22 MR. CERF: So I have an immediate

1 reaction to this, which may not be well thought
2 out because it's so immediate, but my first belief
3 is that the investment in the infrastructure,
4 which absolutely costs money, no debate there, we
5 now want to extract the maximum value from that
6 investment.

7 Now, it depends a lot on what circle you
8 draw around the value sources that are extracting
9 value from that investment. I don't want to
10 overuse analogies here, but if we think about
11 roads, maybe think about the postal service, think
12 about some of the other utilities, the value
13 that's extracted is extracted by more parties than
14 the one providing the basic infrastructure, and
15 that turns out to be a good thing. Why is it a
16 good thing? Well, it tends to increase the total
17 economy, it tends to increase the amount of taxes
18 that people end up paying, it increases the
19 government's ability to perform its function, and
20 it improves things for everybody, not just the
21 provider of that infrastructure.

22 So I think -- I'm not an economist, and

1 I don't know that anything I've said makes any
2 sense from the usual economic lens, but I believe
3 implicitly that the ability for the maximum set of
4 parties to extract value from that infrastructure
5 is what we should be going for. And if that means
6 thinking of new business models and new structures
7 through which to create that infrastructure, then
8 we should be pursuing that.

9 DR. FROST: I just think that the thing
10 to keep in mind is, the infrastructure --- the
11 roads are like the pipes, but the view of the
12 infrastructure is the servers, is the cloud
13 computing, is everything else from the outside
14 that you see, so it's not just, you know, building
15 the better pipes isn't all that we need to do, we
16 need to have this overall system.

17 MR. CERF: But you don't want one party,
18 you don't want to rely on one party to do all the
19 invention of the ways of using those roads.

20 DR. FROST: Oh, I agree, yeah,
21 absolutely.

22 MR. CERF: So I mean that's why, you

1 know, to just sort of tout Google a little bit, we
2 really do try to open things up so that other
3 people can get value out of our investment, too.

4 MR. KNAPP: Go ahead, Adam, and then
5 Henning.

6 DR. FROST: Let me take one crack at it
7 and sort of break the question into two parts.
8 You know, the first one is, if you look at overall
9 societal benefits for the economy, all of that, I
10 think if you look at sort of the ICT world in
11 general, you know, the economic studies have shown
12 that somewhere between 35 to 40 percent of all
13 growth and productivity has come from the ICT
14 sector, okay.

15 MR. CERF: Wow, over what period of
16 time?

17 DR. FROST: Over the last two decades.

18 MR. CERF: Thank you.

19 DR. FROST: And it's incredible; I mean
20 if you look at it, it's had incredible impact. If
21 I look at the investment in broadband, and again,
22 I look at this broadly, with mobility at the heart

1 of it, okay, my feeling is that the next wave of
2 productivity comes out of this, okay, and probably
3 more profound and larger than the last round
4 essentially, okay. So what you find is that there
5 is a lot of incentive for us as a nation, for
6 competitiveness, to really go and make those
7 investments.

8 At the same time, if you look at the
9 individual providers who are responsible for those
10 networks, I think there are two kinds of problems
11 that they suffer at this point. You know, the
12 first one is, you know, this Wall Street view, if
13 I invest in you, are you going to have the rates
14 of returns, and you know, the fact of life is, in
15 the rural areas where the cost of build-out is
16 high, okay, the answer is, you will not get your
17 return, okay.

18 And I heard I think from my friend at
19 Verizon, Dick Lynch, okay, what he said is, look,
20 Verizon will do what is good for Verizon, okay, we
21 will build out where we have customers, where it's
22 economical, beyond that, okay, it has to be

1 subsidized in some way.

2 So as a matter of policy, I think that
3 is an important area to examine. One more
4 dimension to it, though, okay, and that is coming
5 back to this complex system with balance, okay,
6 what you are finding is that the rate of growth in
7 traffic, while we can do research and try to
8 reduce it in a lot of ways, okay, the technology
9 curves are not keeping up with that rise in
10 traffic, which means that somewhere in our supply
11 chain, the investment in the research and the
12 precursors that lowers the cost of technology over
13 time was not made.

14 MR. CURTIS: Let me push on that a
15 little bit, and maybe, just for the sake of being
16 provocative. If you believe that building out
17 rural infrastructure creates value, that must mean
18 that you believe there are enough parts in the
19 value chain that capture sufficient value to cover
20 the cost, all right. So that means in some, you
21 know, ideal economic sense, there's plenty of
22 value to pay for the infrastructure. Now all

1 pointed question I wanted to get reactions.

2 MR. DROBOT: Well, no, but I mean the
3 point is to take a run of 50 miles or 100 miles
4 cost more than a run of, you know, one mile.

5 MR. CURTIS: Absolutely.

6 MR. DROBOT: Okay. And unless you put
7 exorbitant rates for the person on the other end
8 of the pipe, okay, there is no return, that has to
9 be subsidized.

10 DR. FROST: But is the point you're
11 making that it's not just the person putting the
12 wire in ---

13 MR. CURTIS: This is exactly the point.
14 It's a much broader -- we've talked -- the entire
15 session has been about a much broader value eco
16 system, right, and the only -- if you believe that
17 that total value eco system is enough for
18 somebody, let's say the government, to subsidize,
19 right, because you're creating societal value,
20 right, then theoretically there's enough value in
21 the system to pay for the 100 mile run, it's just
22 a question of how you cut it out.

1 MR. DROBOT: Yeah; how you cut it out,
2 whether you do some distribution as you do through
3 the Universal Service Fund or mechanisms of that
4 sort, or create other economic incentives, okay,
5 something like that has to be done to make this
6 happen.

7 MR. CURTIS: Got it.

8 MR. SCHULZRINNE: One of the points that
9 I think this has been alluded to, but I wanted to
10 emphasize it because it plays to this particular
11 discussion is, the expectations for return are
12 quite different from different parties. These
13 days, I'm lucky if I get one and a half percent on
14 my CD. And I don't expect, as an individual
15 consumer, ten percent returns on my investment.

16 I have, however, no opportunity at this
17 point and this place to the House of Tails and all
18 of these type of things, I have an opportunity
19 realistically to make a long term investment to
20 buy my own fiber in a community, and I would be --
21 I buy my own PC, I don't -- we are in the
22 broadband world, lodging the world of renting

1 phones like we were for AT&T. They're selling us
2 an instrument and a service. We got much better
3 phones once we were able to, with a long term
4 commitment where I didn't have to, unlike say in
5 the set top box model, where I would be foolish to
6 buy my own set up box because the likelihood is
7 two years from now, that set up box will no longer
8 work or no longer have a functionality.

9 If we have guarantees of stable
10 interfaces at core layers, and we have some
11 historical background, we know we can do that,
12 Ethernet IP being examples of that, or upgradeable
13 interfaces in net, I think there's ways to capture
14 the consumer value that is not available right
15 now, simply treating it as not as -- I don't
16 expect, not anymore at least, most people, a ten
17 percent return on my home, I get it because I want
18 to live there.

19 And we should treat broadband in many
20 ways as a nicer set of carpets a nicer set of a
21 swimming pool, and I'm willing to invest in that.
22 And if we can capture some of that value, that

1 makes the calculation not completely different,
2 but it provides an additional uncaptured fund, we
3 have no means of doing that right now.

4 MR. CURTIS: That's an interesting way
5 to think about it.

6 DR. FROST: I don't want to advocate
7 this as a -- but the value -- who gets value from
8 it? What is the value to Amazon to be able to get
9 to 10,000 people in rural Kansas? That's 10,000
10 more potential customers to them. Now, should
11 they be contributing to building that
12 infrastructure out to those 10,000 customers?

13 MR. DROBOT: Wait a minute ---

14 MR. CERF: They are, they pay their ISP.

15 MR. DROBOT: -- they already pay -- they
16 already pay to get access to the internet for the
17 purposes of delivering this stuff, right?

18 DR. FROST: Well -- but he's getting to
19 the value chain. I mean I'm not advocating that,
20 but I'm saying there's value -- is the total value
21 then being ---

22 MR. CERF: I want to take Henning's

1 point for just a second and make an observation.
2 People -- we're not enabling people to make long
3 term investments in something that will be useful
4 over the long term. If you buy a PC, it's not
5 clear how useful an investment that is if it runs
6 out of gas, right, that's why cloud computing is
7 such an interesting proposition. But right now,
8 there isn't even any opportunity for me to invest
9 in paying for a piece of fiber that, you know, I
10 can make use of over a long period of time or
11 allowing communities to cooperatively make that
12 investment. I've seen attempts to prevent people
13 from building their municipal networks and so on,
14 and I've always scratched my head about that
15 thinking, wait a minute, there is one example of
16 an economic -- an attempt to make an investment as
17 a thoughtful community in order to have a long
18 term asset. So Henning has got a point there, and
19 I don't know why you wanted to get Amazon to pay
20 for it. Well, if Amazon wanted to pay for all of
21 it, it would be okay with me.

22 MR. KNAPP: Just say yes or no, Paul.

1 MR. MISENER: Well, let me take a shot
2 at this, too. It's not a binary proposition,
3 right. This is not should we do it all or should
4 we do none. It seems to me that there are
5 diminishing returns. For the consumer who happens
6 to live in the middle of a 400 square mile ranch,
7 does it make sense to subsidize a broadband
8 connection for him or her? Well, the answer is
9 no.

10 But a reasonable national broadband
11 policy seems to make a lot of sense.
12 Policy-makers like Senator Dorgan for years have
13 been pushing for this sort of thing, and it
14 probably does include subsidies. You think about
15 it, you go to a hotel room, there's a TV and a
16 telephone, I never use either, and yet I have to
17 pay for my internet access. It's the kind of
18 thing where we are already, as a government,
19 subsidizing a lot of programs that are arguably a
20 lot less worthy than subsidizing broadband
21 deployment.

22 MR. KNAPP: Well, I have to intercede, I

1 have to be the bad guy, because I think we should
2 probably go for a couple hours more here. But I
3 want to thank all of you, Victor, Adam, Vint,
4 John, Henning, Paul, and Bill, we haven't
5 forgotten you, it was just a fantastic session,
6 and I hope you'll be open to us following up and
7 there may be some things we want to talk to you
8 about a little later on. But please join me in
9 thanking this terrific panel, thank you. We'll
10 have a short intermission and we'll make it 12
11 after 11:00 that we'll start.

12 (Recess)

13 MR. KNAPP: We're about ready to start
14 the second session this morning. Can I please
15 have everybody take their seats? Well, thank you.
16 We've got another great line-up of panelists here
17 for the second session. This is focused a bit
18 more on the technology side, although clearly
19 there's overlap between all of these sessions on
20 deployment and vision and the technologies. And I
21 think everybody was here for the first session,
22 but just as a reminder again to turn off your cell

1 phones, and for our speakers, we've got a five
2 minute clock here up front, and in the interest of
3 time, we will dive right in. We're going to
4 shuffle it up a little bit, and our first speaker
5 will be Doctor Paul Henry.

6 DR. HENRY: Thank you very much, Mr.
7 Knapp. I come to this panel with about 40 years
8 of experience in the research laboratories of AT&T
9 and of Bell Laboratories. I bring a -- I have a
10 variety of experience in different kinds of
11 communication systems, and over those years, I've
12 seen many ultimate solutions come and go. So I am
13 not fixed on -- I am not obsessed with predicting
14 any particular winner, and I'm -- as a disclaimer,
15 I'm not a spokesperson for AT&T.

16 What I hope I can do in this panel is,
17 using the experience I've had in these various
18 research adventures, I hope I can contribute at
19 least some insight as to what different sorts of
20 technologies are capable of doing or incapable of
21 doing, because one of my primary messages is that
22 there are a lot of varieties out there to help us

1 achieve broadband access, and to my view, there is
2 no silver bullet. Could I see the next slide,
3 please? What I'd like to do is just outline on
4 this slide very, very quickly those considerations
5 that I think are important when we try to decide
6 which technologies are good and which are not so
7 good. And, of course, the first consideration is,
8 who are we trying to provide broadband access to.
9 An issue there is the unavailability of access to
10 some people versus the unsubscription of broadband
11 access by those people to whom access is
12 available. And from a technological point of
13 view, of course, it's the first one, the
14 unavailability, that's important to me and the one
15 that I will try to address.

16 As you also know, in boat number two,
17 broadband access means different things to
18 different people. And depending on what it is we
19 want to provide with broadband access, there will
20 be different demands placed upon the technology
21 and different technologies will appear to be
22 better or worse. And so, of course, we need to

1 settle on what it is we're attempting to provide.

2 These technologies are frequently
3 characterized in terms of raw bits per second.
4 But one of the things that I want to emphasize
5 here is that in addition to raw speed, there are
6 other issues often labeled under quality of
7 service which can dominate the simple raw speed
8 issue. And in particular, one of the matters
9 which isn't discussed frequently which I think is
10 so important is this notion of sharing. All
11 networks, sooner or later, share their resources,
12 some implicitly and some explicitly. But
13 depending on how the sharing is done, and
14 depending on the nature of the traffic which is
15 being carried, the user experience can vary
16 greatly.

17 So one of my messages, in addition to
18 there being no silver bullet, is, it's very
19 important for us to understand how we will go
20 about implementing sharing of precious resources
21 in our broadband networks. Where will we provide
22 these resources? Well, of course, there are urban

1 situations and there are rural situations. And
2 again, different technologies come to the floor,
3 depending on what we're trying to do.

4 In urban areas, we're trying to maximize
5 the number of users per unit area, per square
6 kilometer. In rural areas, on the other hand,
7 what we like is technologies which can cover large
8 geographical areas at relatively small cost. And
9 in that case, for example, wireless tends to show
10 its strength, whereas in urban areas it might not
11 be as strong. We can comment on this perhaps a
12 little bit later. So how are we going to do all
13 of this? There are many different technologies.
14 Can I see the next slide, please? There we go.
15 I'm just using this slide to point out that there
16 are lots of different ways, lots of different ways
17 to skin the broadband cat. And each one of these
18 technologies outlined here is capable of providing
19 broadband service depending on how you define it.

20 We haven't talked much, for example,
21 about satellite service, but high altitude
22 satellites can provide broadband broadcast, which

1 might, for example, take the load off terrestrial
2 networks in a rural environment.

3 In any case, there are many, many
4 different technologies available. Some use
5 existing infrastructure, like broadband power
6 line, and might, therefore, in some sense, seem to
7 have an economic advantage; others require the
8 construction of new infrastructure, for example,
9 like Fiberpon.

10 In any case, each of these technologies
11 needs to be tested against the use cases that we
12 establish for what constitutes broadband. And
13 after testing all of these technologies against
14 these use cases, we then proceed to decide which
15 of them gives us the biggest bang for the buck in
16 the environment we're investigating. Thank you.

17 MR. KNAPP: Thank you, Paul. Mark.

18 MR. DEPIETRO: Thank you, thank you for
19 the opportunity to speak here. My name is Mark
20 DePietro, and I look after strategy and business
21 development for Motorola's home and network
22 business. And within the context of that

1 business, we provide equipment and services that
2 cover the spectrum of voice video and data
3 solutions, we provide sat top boxes, cable modems,
4 CMTS equipment, pon equipment, and we also run a
5 couple services, and in particular, we run some
6 authorization services on behalf of some small
7 operators, and it's in the context of that service
8 that I've been able to observe some things that I
9 think need to be brought into awareness in the
10 context of this discussion, and that is that the
11 broadband services really have to exist and be
12 considered in the context of the other spectrum
13 that is available to the operators.

14 And, in particular, if you look at some
15 of these small operators, some of their cable
16 plants only go up to 550 megahertz. In fact, the
17 authorization service that we offer, well over
18 half of those customers top out at 550. And if
19 you recall the slides that John Chapman put out in
20 the previous session, that's typically where
21 digital begins. So in order for these operators
22 to be able to deploy broadband and then strengthen

1 deployment, they have a problem, they're
2 landlocked with these analog services. So what we
3 really need to do to be able to, in the immediate
4 future, help them go faster and actually be able
5 to offer broadband, is find a way to help them
6 accelerate the transition from analog to digital.

7 Now, one way to do that is by making
8 available to them very low cost end devices that
9 will help facilitate, because if you contrast the
10 550 megahertz system to a broader one, if you have
11 more bandwidth, you can actually carry signals,
12 and that gives you breathing room to do a
13 transition.

14 If you only have 550, you really can't
15 do that, and every time you want to add a digital
16 service or a broadband service, you have to take
17 away an analog video service, and that is an
18 immediate and harmful potential decision relative
19 to keeping them in business.

20 So one thing that we think is very near
21 and immediate is, granting some waivers for these
22 very low cost digital transition adapters that

1 will enable these operators to actually do the
2 spend that's going to be required to do the analog
3 to digital transition that will make possible the
4 offering of these faster broadband services. And
5 I mean if you think about it, every analog channel
6 consumes six megahertz, that's an opportunity cost
7 of on the order of, using lazy style math, about
8 40 megabits per second of internet opportunity
9 cost. The other thing that we think, along those
10 same lines of band width being very precious,
11 other technologies like switch digital video that
12 will further free up opportunities to deploy
13 broadband, and just by way of introduction, the
14 switched technology, instead of transmitting in a
15 broadcast fashion the TV services all the time,
16 only do so when there's demand.

17 And to the point that I think Vint made
18 in the previous panel, it carries with it a couple
19 strengths that, you know, pure point to point
20 does, in a sense that it's multi cast. So a
21 number of different subscribers can benefit from a
22 single transmission, but it also has the

1 characteristics of unicast such that it's only
2 present when at least one person has asked for it.

3 So we think that the recent actions from
4 the Commission encouraging those services need to
5 be kept up, and similar technologies that really
6 are aimed at band width conservation, very
7 important to continue to encourage those. And
8 then I think the third thing that I would say is,
9 you know, given that cost is an issue, especially
10 for these smaller operators, anything that adds
11 cost, but has questionable benefit going with it,
12 any regulation in that arena should be looked at
13 with a fresh set of eyes, and there's two in
14 particular that I can mention, one of them is the
15 requirement to burden every digital set top with a
16 cable card, that represents an increment cost that
17 could be redirected towards broadband. Another
18 one is the requirement for high definition set
19 tops to carry a 1394 interface. Both of those are
20 costs that arguably don't have a lot of benefit
21 going along with them and represent opportunity
22 costs relative to capital needed for broadband

1 deployment. And I think I'm going to end about 20
2 seconds early and defer to the gentleman on my
3 left here.

4 MR. KNAPP: That's great. Thank you,
5 Mark. And we'll go to Marc.

6 MR. GOLDBURG: Well, thank you. Good
7 morning. My name is Marc Goldberg, I work with a
8 company called ASSIA, which develops network
9 optimization tools for DSL operators. Could I
10 have the slides, please?

11 You know, we were asked as participants
12 to highlight several issues of key interest to the
13 FCC in the course of considering broadband. So on
14 the first slide, which is the next one, I've tried
15 to address three issues in the context of fixed
16 access and also in the context of consumer access,
17 so I'm going to limit my comments to that point.
18 Next, please. So, you know, today there's
19 basically three principal options for wired or
20 fibered fixed access. There's pure fiber, fiber
21 to the home, there's cable, and there's fiber to
22 the node, plus DSL. And sort of similar to Doctor

1 Henry here, I tried to come up with some estimates
2 for what the through puts were of these
3 technologies. You know, fiber to the home systems
4 today, there's an optical fiber, maybe GPON goes
5 to a network node that's split 32 ways, and you
6 can just divide 2.4 by 32, so you get sort of 75
7 megabits per second sustained per customer.

8 Cable is a shared medium, it's a little
9 different sort of calculation, but you take the
10 peak speeds, which will be at least 155 megabits
11 per DOCSIS 3, divide that by some appropriate over
12 subscription factor, and again, you end up with a
13 number of about 22 megs.

14 DSL today, the current version of the
15 technology is something called BDSL 2, that's
16 being deployed today to provide 50 to 75 megabit
17 per second type rates, and the next couple of
18 years, some standards are completing that will
19 allow us to go well in excess of 100 megabits. So
20 the point of this is that, I mean as Doctor Henry
21 said, there's a number of sort of good high speed
22 alternatives for broadband, and so the next issue

1 is, what are the appropriate selections. And if
2 you could bring up the next block. You know, each
3 one of these selections, they all offer high
4 speed, but they all have different cost
5 structures, especially considering geography,
6 demographics, what infrastructure is already
7 available in terms of copper and DSL, excuse me,
8 copper and fiber.

9 One thing about the U.S. is that,
10 overall, we have a relatively low population
11 density compared to places in the world that are
12 actively pursuing pure fiber to the home
13 deployments.

14 There's a lot of talk about fiber to the
15 home. In most places, the operators are falling
16 back to a combination of fiber to the home and
17 fiber to the node. Places like Korea, Singapore,
18 which either have or will have pervasive fiber to
19 the home, have population densities that are much
20 higher than the U.S.

21 And if you look at places similar to the
22 U.S., in terms of, you know, geography and

1 infrastructure, we have a couple case studies you
2 could look at. There's Verizon's, you know,
3 excellent FIOS service versus AT&T's Uverse
4 service, which is BDSL. Based on published
5 numbers, there was about a 5X capex difference in
6 the deployment cost. So I'm just focusing on
7 capex for the moment. The OFCOM, which is the
8 United Kingdom's counterpart to the FCC, has
9 recently concluded a study. Again, I'm looking at
10 fiber to the home versus what they call fiber to
11 the curb, but essentially fiber to the node.
12 Again, they've concluded there's probably a 5X
13 premium in the UK for a national fiber connection.

14 And so I think, you know, and Paul and I
15 did not collude on our comments, I think the right
16 answer is, there's going to be a mix of
17 technologies.

18 The third point, if you could bring that
19 up, I think is the one that's maybe less obvious
20 and actually was mentioned by Doctor Frost from
21 NSF earlier, which is that once one made the
22 technology decision, the things that actually

1 drive the performance of the network and the
2 services the customers receive, and, in fact,
3 probably the economics of the network are, what
4 are your deployment and network management
5 practices once you've made your core technology
6 choice. Next, please. Can I get the next slide
7 please? So here I'm just going to give you a DSL
8 specific example. So we've seen the very high
9 peak rates on the previous slide. We all know
10 that in the labs, you know, people demonstrate
11 hundreds of megabits or gigabits and sort of claim
12 success, but those same rates are never seen in
13 the real world for economic reasons and for
14 technical reasons.

15 The technical reasons are that there's
16 all sorts of impairments. So in the case of DSL,
17 it's bad copper, it's interference from the
18 appliances in your home, it's the fact that
19 signals get weaker as the loop links increase, and
20 these are all things that an operator has to
21 manage to try to get close to those peak rates
22 while at the same time having an economic system.

1 Next, please. One more. In fact, you can just
2 bring the rest of the bullets up.

3 I think the point here is that every
4 technology, when the operator goes to deploy it,
5 they've got this quality versus coverage or
6 capacity versus coverage trade- off and depending
7 on the network technology practices that the
8 operator chooses, you can move that trade-off
9 curve, you know, greatly extend the possible
10 range, greatly extend the possible data rates, and
11 thereby be able to offer more services to more
12 customers more economically. But the technologies
13 that the operator chooses as part of their
14 deployment practices really determine that in
15 addition to the original technology choice of
16 fiber versus cable versus DSL in this case.
17 Thanks.

18 MR. KNAPP: I'm going to jump back, and
19 Dave, if you're ready, jump in.

20 MR. BURSTEIN: Hi, slides are handy, if
21 they get them up, but let me start talking so I
22 don't waste my time meanwhile. I'm Dave Burstein.

1 I had a very what I think was a nice presentation
2 about how, if you're going to talk about networks,
3 you can't live in the past.

4 Morse Law works and will continue
5 working for the next ten years. Networks take
6 three and five years to build, they're going to
7 run for ten and 20 years. So anybody in the real
8 world thinking about networks, the ones these guys
9 are selling to, thinks three years ahead, looks at
10 the technology, and plans things out in a world
11 where there will be 92 percent of the United
12 States having ten megabits of wireless available
13 to them, 80 or 90 percent of the United States
14 having 50 megabits on DOCSIS cable available to
15 them, some have even more from FIOS and fiber and
16 so on, and works starting with that. My slides
17 aren't found? Okay. I'll improvise just fine.
18 I'm going to switch off very quickly, though,
19 because something much more important came up in
20 this. First, what Mark said about SDV, switch
21 digital, turns out to answer, makes irrelevant a
22 question that came up in the last session. There

1 is no longer an issue about how many channels you
2 put on a cable network, or there won't be in 2012.

3 Technology has fixed that, the switch
4 lets them have literally 5,000 or 50,000 channels
5 available at very small cost that they don't have
6 to worry about, so they can take part of it for
7 data and use it, and they have more than enough
8 for all the channels, you know, 500 HD channels,
9 that technology works, he sells it, Time Warner is
10 putting in a whole lot, that's no longer an issue.

11 Typical example, if you know the
12 technology, you look differently. That goes right
13 back into an FCC issue of whether or not you have
14 a must carry LP TV that's brutally expensive right
15 now, so it didn't happen, even though three
16 Commissioners wanted it. It's trivial in 2012, it
17 should automatically be policy.

18 This is typical of what I learned and
19 why I'm here. It is ridiculous for me to tell you
20 what DOCSIS 30, when the guy who invented it, John
21 Chapman, taught me, was on the panel before, but
22 unlike most of the people you're hearing, I earn

1 my living as a reporter, in fact, I sell ads to
2 that guy over there, and so on, and I have to find
3 out what's actually happening in the real world,
4 which is why I'm going to switch this one around
5 and go to something very different.

6 Rob is going to hate my guts and
7 probably try to keep me away from the FCC from
8 now, because I'm turning around and saying that
9 most of what he said was nonsense and will result
10 with fewer than half as many unserved being
11 reached by the broadband stimulus and blowing a
12 very large percentage of the \$100 or \$300 billions
13 he's allocating in the broadband plan implicitly.
14 And here's what's going on and why I say that.

15 I checked with David before about
16 whether or not there are any congestion problems
17 on FIOS, and the answer from Verizon is no. The
18 same thing is true on AT&T Uverse, the same thing
19 is true on nearly any decent large DSL or fiber
20 network.

21 So we just heard a whole big session,
22 well, a big part of the session, talking about how

1 you deal with congestion and how you have to
2 arrange network economics and incentives and so on
3 to deal with the congestion problem. It turns out
4 it's non-existent on most of the networks we're
5 talking about. Second, we heard Marc over there,
6 Marc was just saying that the best way to get to
7 the unserved, and we talked this the last session,
8 the second thing they should do in the broadband
9 policy is, take those little cable companies,
10 three million of the seven million unserved belong
11 to folks like them, for a quarter of the stimulus
12 money, you can give them all 50 meg, and Marc will
13 be delighted to sell them the equipment. This is
14 a no brainer, nobody is talking about it, that's
15 why I'm throwing it at you really hard and
16 offending Rob.

17 The second no brainer is that what I'm
18 hearing from everybody is the problem for most
19 broadband networks, is the cost of band width.
20 There are two ways to bring down the -- there are
21 two ways to bring down the cost of band width; one
22 is, spend \$20 or \$40 billion directly or

1 indirectly over building the fiber in place, the
2 other is getting serious about special access, and
3 make sure that Laramie, Wyoming doesn't pay \$100,
4 for it cost \$10 to deliver because of monopoly.

5 Finally, why Rob is going to hate me;
6 Rob talked about theory, he talked about ideal
7 cases, I talk about real world. Real world, as
8 Vint Cerf mentioned, has weak competition. Most
9 of the two-way models don't apply in weak
10 competition. Most of the incentive models don't
11 apply in weak competition. The biggest problem
12 that Jules has, thinking of the FCC, is, he thinks
13 he can solve problems with competition, which I
14 would love, but we're only going to have two land
15 line and high speed networks in the U.S., we've
16 got to come up with a policy that works. Thank
17 you. And sorry for the rude things.

18 MR. CURTIS: Real quickly, love you,
19 Dave. We're --- to be clear, two things, just so
20 everybody is clear, not my point of view was being
21 provocative about prevailing Wall Street point of
22 view, that's number one. Number two, this is a

1 fact-finding mission, all right, so want to hear
2 and get on the table all of the different points
3 of view, and, you know, encourage that continue.
4 I'm not offended at all. This is exactly what
5 we're supposed to be doing, so thank you.

6 MR. BURSTEIN: And I told Rob it wasn't
7 personal, but I really think these are big issues,
8 and I'm seeing -- I sat here for a day already
9 yesterday hearing 90 percent of stuff that has no
10 application to the real world. If you had the
11 real Wall Street people in here, you'd hear very
12 different things than most of what you heard,
13 because they also have to deal in the real world.
14 We need to work there.

15 MR. KNAPP: Okay. Let's see where we
16 were. Jason.

17 MR. LIVINGOOD: Sure; thank you very
18 much. Thank you, Mr. Knapp. I'm honored to
19 participate today. My experience with broadband
20 began in 1996, at the very beginning of the
21 broadband residential internet market. I joined a
22 25 person team that was working to transform cable

1 model technology from a very small technical trial
2 into what would be a scaleable broadband service
3 that could be deployed across our entire
4 footprint.

5 That seemed at the time like a huge
6 gamble, particularly to many analysts. Even Andy
7 Grove from Intel at the time said that there was
8 little reason to expect that cable would be a
9 viable delivery system for internet access. So I
10 can say that we were delighted to prove so many
11 skeptics wrong.

12 In those early days, we pursued the idea
13 that someone in their home could have affordable
14 service as fast or faster than a T1 line, which at
15 the time cost thousands of dollars a month. That
16 was totally innovative on our part, and it seemed,
17 to me, that the introduction of broadband would be
18 transformative to our economy and our world, which
19 I think has been the case. Since then, Comcast
20 and other cable companies have invested tens of
21 billions of dollars in what are called HFC or
22 hybrid fiber coaxial networks, which I'll describe

1 in a moment, that are on the slide.

2 The network we built now serves over 15
3 million customers of high speed internet service
4 and passes over 50 million homes. It might be
5 helpful to see a picture of what these look like,
6 so this is the first slide. HFC is quite simply a
7 mix of fiber and coaxial cable. And as you can
8 see here, we run fiber from our backbone and
9 regional networks all the way down to cable modem
10 termination systems.

11 Those CMTS' are out in -- then pass
12 signals out to our nodes, which are also connected
13 via fiber and located in local neighborhoods. And
14 from there, we use coax cable to carry service all
15 the way into the home.

16 Today a node serves between, on average,
17 250 and 500 homes, though that depends and varies
18 based upon population density and band width
19 demand. Over the years, we've split these nodes
20 time and again, and we continue to do so to stay
21 ahead of demand. And as you heard from John and
22 some other folks, in addition we now have some new

1 tools called channel bonding which I'll talk about
2 in a moment. The massive investments we started
3 making in the '90's to convert our networks to
4 two-way HFC made the high speed internet service
5 that we have today possible. So did our
6 innovation in creating the data over cable or
7 DOCSIS spec that we have. That's evolved into the
8 DOCSIS 3 standard today, which we are very
9 aggressively deploying and will be completed in
10 our network next year.

11 And if you turn to the second slide, you
12 can see a little bit about DOCSIS spectrum.
13 DOCSIS spectrum is divided into both upstream and
14 downstream channels. A single downstream has the
15 equivalent of six megahertz of capacity and
16 transmits at a speed of 38 megabits per second
17 downstream and 27 upstream.

18 With DOCSIS 3, as you can see here, we
19 can now combine or bond multiple channels
20 together, and as a result, we'll now be able to
21 bond four downstream and four upstream channels.
22 This will provide us capacity to provide over 150

1 megabits per second downstream and over 100
2 megabits per second upstream to customers.

3 In addition, vendors are now testing
4 eight channel bonding that could provide hundreds
5 of megabits per second, and I see no limit in the
6 next few years to bonding advances that could
7 potentially enable gigabits per second. But in
8 order to be able to bond more of those channels,
9 we need to be able to make more efficient use of
10 the spectrum that we have in our network today.
11 So it's, therefore, critical that we shift today's
12 analog video transmissions over to digital as
13 rapidly as possible.

14 The FCC should keep this priority in
15 mind as it develops the broadband plan, ensuring
16 that cable operators have the flexibility to
17 deliver higher internet speeds while at the same
18 time introducing and enhancing other services.

19 We've built a very robust and expandable
20 access network, as you saw in the previous slide,
21 but it's worth noting that we've coupled that with
22 a very capable regional and national backbone

1 network that we think is one of the leading
2 converged internet voice and data networks in the
3 country.

4 And while we deliver great speeds to our
5 customers, we also want to make sure that those
6 customers are getting the full value of those
7 speeds by educating them about the need to upgrade
8 their equipment in their homes to be able to take
9 full advantage of the speeds that we're talking
10 about here. In closing, I hope we've explained a
11 little bit that cable has brought us nearly
12 ubiquitous broadband coverage in the United
13 States, and that DOCSIS 3 in particular allows us
14 to offer a world class state-of-the-art service.
15 We're ready and able to deliver even more speed
16 and other useful features in the future, and in
17 particular, the near future. And we are committed
18 to continuing to invest in the network and
19 innovate to satisfy customer demand, both now and
20 for many years to come. Thank you.

21 MR. KNAPP: Thank you, Jason. David.

22 MR. YOUNG: I'm David Young with Verizon

1 and I'm very pleased to be able to be here today
2 to talk about our FIOS deployment. You've heard a
3 lot of mention of it over the last two days, and
4 we are extremely excited. It was about five years
5 ago that our executives made the decision to do
6 something pretty dramatic and different and
7 unexpected.

8 When we first announced that we were
9 going to do this back in 2003, there was a lot of
10 skepticism that we were going to do it, and then
11 when people realized that we were serious about
12 it, there was skepticism about whether we could
13 actually be successful in doing it. But over the
14 intervening five years, it really has proven to be
15 transformative. We've transformed the access
16 network from copper to fiber, running fiber all
17 the way from our central office to the customer's
18 home using a passive optical network. And when we
19 first rolled it out in 2004, using the BPON
20 technology, that provided a shared 622 megabits
21 per second to 32 homes.

22 We've since upgraded to GPON, which has

1 a combined capacity to those 32 homes of 2.4
2 gigabits per second, and the standards are being
3 worked on now to move to the next generation PON
4 architecture, which will provide a ten gigabit per
5 second shared capacity. And we expect those
6 standards to be completed in the next year.

7 The service offerings were five, 15, and
8 30 megabits per second when we first rolled it out
9 in the downstream direction, and two and five
10 megabits per second in the upstream direction.

11 Those have since evolved to now the
12 entry level speed is 15 megabits per second down
13 and five megabits per second up. The sort of mid
14 tier is 25 down and 15 megabits per second in the
15 upstream direction, and our top tier today is 50
16 megabits per second down and 20 megabits per
17 second in the upstream. So the home network, as
18 we deployed this, needed to also be able to
19 support the higher speeds that we were delivering
20 over fiber that, you know, we hadn't been able to
21 deliver over DSL or other technologies, and so it
22 was important when we began doing this to focus on

1 a broadband home router that would be capable of
2 supporting the very high speeds that we planned to
3 deliver with fiber.

4 And in doing so, we've created a home
5 network and really transformed the home network
6 environment, as well, and we've done this by
7 providing a home router as part of the service
8 that offers WIFI, as well as wired connections
9 within the home. And it also connects our set top
10 boxes using IP over the coaxial cable in the home.

11 And so the high speeds, both upstream
12 and downstream, I think are transformative to the
13 customer experience, because, as you heard in the
14 previous panel, there's a need for greater
15 symmetry and a need for end users to be producers
16 of content, as well as consumers of content.

17 In 2005, we added video to our product.
18 Originally it was just FIOS internet service that
19 we had offered. In 2005, we began offering a FIOS
20 TV service. We basically overlaid an RF video
21 feed on the fiber that is delivered to home,
22 similar to a one-way digital cable system. The

1 key difference is that all of those channels, all
2 of that capacity is available for video
3 programming. We don't need to use any of that for
4 DOCSIS or any other things. And so that's allowed
5 us to transform the television experience by
6 providing over 100 HD channels in the market, an
7 all digital service. But also transformative in
8 the TV space is bringing IP to each set top box,
9 and so the interactive and on demand capabilities
10 are all IP based.

11 We've also got something called widgets,
12 which are applications that run in the set top
13 box. We initially came out with our own, which
14 were weather, sports, news, traffic, those sorts
15 of things. We've since introduced Facebook and
16 Twitter as widgets, and we've announced that we
17 will be launching -- releasing a software
18 development kit and opening that up for a third
19 party development in the fourth quarter of this
20 year. We've actually got a web site,
21 code.verizon.com, that people who are interested
22 in developing those widgets can go and start to

1 learn more about it.

2 And just as an aside, we think that, as
3 we roll out LTE on the wireless side, that this
4 will be as transformative in the wireless space as
5 FIOS has been in the wire line space. Ultimately,
6 ubiquitous broadband I think is going to have the
7 power to be transformative to the country for the
8 applications that have been talked about, health
9 care, energy management and those sorts of things,
10 and so we share the goal of bringing those
11 benefits to everybody. Thank you.

12 MR. KNAPP: Thank you, David. Geoff.

13 MR. BURKE: Great, thank you. I think
14 really they've placed us together here, David,
15 because we're kind of the one two punch I believe
16 here for talking about fiber. Your representative
17 of one of the largest operators in the country.
18 My company, Calix, is actually the largest
19 broadband service provider to the tier two and
20 tier three operators, so all the rural providers
21 around the U.S. Today.

22 Basically, if you were to set back and

1 take a look at our business, our business
2 basically represents about 40 percent of the rural
3 service providers in the U.S. Today, and amongst
4 those providers, they're actually providing
5 millions and millions and millions of broadband
6 access connections. Go to the next slide, please.
7 What I'd like to do is really talk about one facet
8 of that, because I think it's important for you to
9 understand that not only are we the primary DSL
10 access platform provider to rural service
11 providers, but we're also the primary fiber
12 solution provider to them, as well. And as of
13 right now, what we're seeing in the market is
14 basically about well over 375 of our customers are
15 actually actively deploying fiber all the way to
16 the premises in these rural markets and being very
17 successful at it.

18 So one of the things I think is
19 interesting about our perspective is that the
20 rural markets tend to be the canaries in the coal
21 mine, right. They basically are the folks that
22 are unencumbered by the scope and scale and

1 arguably the bureaucratic challenges that some of
2 the largest tier one operator's face. And as a
3 result, they get to experiment with new
4 technologies and arguably run faster.

5 So you can look at cities amongst my
6 customer base and find, you know, them more wired,
7 or at least as wired, or in many cases, more wired
8 than any of the major urban areas across the U.S.

9 So what are these canaries telling us?
10 Let's get down into some of the details here.
11 Basically what we have seen is an average cost per
12 home pass of about \$800, not a lot higher, but
13 just slightly higher than what David is probably
14 seeing at Verizon, and about an all in all pulling
15 the fiber and those sorts of things for homes
16 served at about \$2,000 per home. And when I cite
17 these figures, I'm really talking about maybe 25
18 to 50 person density per square mile in those
19 particular areas.

20 One of the things that helps out this
21 business case is the fact that, in these areas,
22 we're seeing 50 percent plus acceptance of these

1 services, and we'll probably get into some of the
2 details of how that happens a little bit later in
3 our discussion.

4 One of the things I think is very
5 important to understand, though, is that I
6 couldn't help marvel when I was listening to David
7 rattle off application after application after
8 application that is now either being rolled out or
9 soon to be rolled out over the Verizon network.
10 That's exactly what our customers are doing, as
11 well.

12 They really feel unencumbered by the
13 types of, whether it's IPTV service, advanced RF
14 or radio frequency over cable services they can
15 put over these networks, and other new
16 telepresence or telemedicine or other types of
17 applications that could very easily be overlaid in
18 this environment. Next slide, please. So where
19 are customers leading us and what are they telling
20 us when they're talking about us? Well, certainly
21 what we're immersed in right now in the country is
22 a movement from a textual and graphical based

1 internet and one that is predominantly an all
2 video domain. And when I say video, I'm not just
3 talking about IPTV or RFOG, I'm talking about
4 really rich, interactive content that basically is
5 going to provide everything we do.

6 I just actually stepped off the plane
7 from California last night, and as I was leaving,
8 the big item was, everyone was turning their
9 textbooks into digital textbooks, and they're
10 predicting that within the next five years, 90
11 percent of all learning taking place in the state
12 was going to be digitally based, right, not
13 because -- well, partially because there was a
14 cost savings, that certainly was the initial
15 driver, but the other side of it was, the kids
16 just didn't get traditional textbooks, right.

17 I think this is a great indicator of
18 what we're going to see going forward in terms of
19 basically the amount of Unicast traffic, the
20 amount of video that's going to be in the network,
21 and we need to ask ourselves, what types of
22 technology is going to have to be in place to go

1 and address these issues. So what does this mean
2 from a policy perspective? Well, I think there's
3 a couple of key takeaways here; one is that when
4 you build out an access network, this is a
5 generational issue, right, we're setting policy
6 here today, right, so do you want to focus on
7 things that are giving you -- really have reached
8 the point of diminishing returns, or do you want
9 to put an infrastructure, like as David alluded
10 to, contributing application after application
11 after application, you don't have to worry about
12 these issues going forward in terms of
13 flexibility.

14 The second thing is that, as we look at
15 those applications, they are going to be all fiber
16 in the future, right, and so those sorts of
17 elements need to be taken into account when we're
18 thinking about this. Wireless is clearly
19 advantaged. These LTE networks are an advantage
20 by a fiber feeder going into it. So how do we
21 afford it? Well, I think that's one of the
22 interesting things we're going to address as we go

1 through today. So thank you for your comments.

2 MR. KNAPP: Thank you, Geoff. Stuart.

3 MR. LIPOFF: Okay. Good morning,
4 everyone. In December, 1995, I began a journey
5 that's still a work in process. At the end of the
6 year in '95, I was hired by the MCNS consortium of
7 cable operators to manage the development of what
8 is now called the DOCSIS 1.0 series of cable modem
9 specs. Just one year later, after starting at the
10 Western Cable Show, the first prototype DOCSIS
11 compatible modems were demonstrated and commercial
12 product appeared very shortly thereafter.

13 As the first widely available, always
14 on, high speed residential internet access
15 service, and given that DOCSIS compatible cable
16 modems now serve 40 million subscribers and are
17 available to 92 percent of U.S. Households, by
18 any measure, the DOCSIS technology is certainly a
19 success.

20 I think it's important, however, to
21 return to that one year during 1996, when DOCSIS
22 1.0 was being developed, to understand why DOCSIS

1 has been successful and why it is likely to
2 continue to offer advantages over competitive
3 technologies, not just for service providers, but
4 the consumers alike. And the historical
5 perspective I want to share with you is the vision
6 that guided the design of DOCSIS that's been
7 maintained in the various generations since then.
8 The one year development period for DOCSIS was
9 actually very rapid compared to communication
10 systems of comparable complexity at the time. But
11 it actually could have gone much faster if the
12 only goal were to provide unmanaged best efforts
13 access for the high speed internet. In fact,
14 there were several competitive products on the
15 market that we could have just adopted and kind of
16 bypass the whole process to give us internet
17 access.

18 But the vision of the cable MSO's and
19 vendor participants who participated in the
20 development of DOCSIS understood from the very
21 start the project had to have a successful
22 specification, had to be both flexible and future

1 proofed to evolve as rapidly and as unpredictably
2 as internet itself.

3 To satisfy these goals of flexibility
4 and evolvability, the DOCIS specification placed
5 unheard levels of intelligence at the edge of the
6 network, in the cable modem itself. Some critics
7 suggesting adding this complexity of secure
8 transmission, remote provisioning, remote
9 monitoring, hooks for quality of service
10 management, and the ability to download new
11 firmware would slow down the standards process and
12 drive up the cost.

13 Well, cable modems today are \$50 at
14 retail, and given the historical rapid development
15 cycle, the critics were wrong. Today we find the
16 extra intelligence building the DOCSIS devices
17 supports not only the original high speed best
18 efforts access to the internet, but also enables
19 new revenue streams and operating cost savings
20 with very small additional capital investment.
21 Such benefits of leveraging some capital
22 investment is clearly good for the cable

1 operators, but the point is often lost, is it
2 forbearance from legislation regulation that would
3 inhibit leveraging these costs would also do great
4 harm to the consumers and work contrary to the
5 stated goals of the administration to expand
6 broadband access to under served and unserved
7 areas.

8 It simply and basically is about the
9 economics. MSO's have invested already in driving
10 cable model services to 92 percent of U.S.
11 households, precisely because the multiple revenue
12 streams enabled by new services such as telephony,
13 and operating cost reductions enabled by network
14 management technology such as DOCSIS -- Gateway,
15 provides the equivalent of a government subsidy to
16 derive and expand broadband services.

17 The management capabilities enabled by
18 intelligent DOCSIS offer much more than walled
19 garden services such as MSO telephony, however.
20 It was described in the panel that preceded this
21 this morning the vision for broadband services and
22 applications goes beyond largely a symmetrical

1 delay and sensitive web browsing. What's common
2 to several visions is a mix of web pages with new
3 multi media services that can only provide a
4 satisfactory user experience if their quality of
5 service managed.

6 The fundamental fact remains that all
7 internet service providers travel over a shared
8 network. And while the points of aggregation vary
9 somewhat between DOCSIS over HFC, as compared to
10 DSL over copper and FIOS over passive optical
11 network, all these networks share this morning's
12 vision -- cannot share this morning's vision if
13 they're not allowed to manage the end user traffic
14 and provide quality service.

15 The challenge for the Commission and for
16 Congress is to craft regulation and legislation
17 that provides fairness to all parties of interest,
18 but does not inhibit the network operator from
19 being allowed to manage the network to prevent
20 abuse, deter crime, piracy, facilitate
21 experimentation with new services, and rapid
22 service creation.

1 So I'd like to conclude by rising to the
2 challenge that was put to all of us by the panel
3 organizers. We should emphasis some important
4 points that we want you to take away, and here's
5 mine. If you want to increase access to broadband
6 by unserved households, at the same time
7 facilitate the proven free market process of rapid
8 creation of new and innovative services, you must
9 allow the network operator to manage the network
10 with differentiated quality of services. Thank
11 you.

12 MR. KNAPP: Thank you, Stuart. You
13 know, often we'll hear about, you know, other
14 parts of the world where they've got targets for
15 data speed, data speeds get thrown around a lot,
16 100 megabits per second, gigabit per second, et
17 cetera, et cetera, and the speeds really vary. I
18 mean this is a benchmark of, well, reported as a
19 peak speed, but then when I get further away from
20 some of the networks, the speed goes down.

21 We talked in the last session a little
22 bit about transparency and how you provide

1 information to consumers so they can make informed
2 choices. As we think about a national broadband
3 strategy, and speed isn't the only element, of
4 course, it's also things like, well, how long are
5 you going to be on in your location, how does that
6 enter into the equation? What should we be
7 thinking about? Is this relevant, should it be
8 defined some way, is there a target? Doug, why
9 don't I start with you?

10 MR. YOUNG: You know ---

11 MR. KNAPP: Excuse me, David. I'm
12 sorry.

13 MR. YOUNG: Sure; the challenge is that,
14 you know, the particular speed that's required
15 varies depending on the application and the
16 particular user's need, and the different
17 technologies have such vastly different
18 characteristics, so, you know, with fiber, you
19 could set the target as high as you want and you
20 could meet it with wireless or, you know, with
21 other technologies, there's going to be too many
22 -- too much variability.

1 So I think it's good to measure it, and
2 so I think that, you know, the things that the FCC
3 is doing to measure broadband, the 477 reporting
4 process, for example, and the changes that were
5 made to that, I think are going to be helpful in
6 more accurately capturing what's out there and how
7 it evolves over time. But as far as having a
8 particular goal or target, I just don't know how
9 you would go about picking it.

10 MR. LIPOFF: Can I make a comment to --

11 MR. KNAPP: Yeah, Stuart.

12 MR. LIPOFF: I think this is obviously a
13 broadband panel and that label maybe means many
14 things to many people, but if you look at both the
15 Verizon network and the cable networks, the HFC
16 networks that are being developed, they also
17 support broadcast video, and it's really important
18 to ask this question about, you know, what are the
19 band width requirements going in the home to think
20 about the applications.

21 And where you have large numbers of
22 people watching the same channel real time,

1 whether that's coming streaming or it's coming
2 mpeg over QAM, that does not encumber necessarily
3 the bursty kind of traffic that you might want to
4 have associated with things that require unicast
5 two-way types of networks.

6 And so you really do have to look at the
7 applications. It came up I think on the panel
8 this morning. There's some applications like game
9 playing where latency is the most important thing,
10 not the peak speed or the traffic. There are
11 applications like voice telephony and video
12 conferencing where you care about stream
13 continuity because you're doing things that
14 require that the stream not be interrupted or the
15 user experience is compromised.

16 When you're doing web surfing with multi
17 media rich pages, you care about a really high
18 speed burst, but then you're sitting there for a
19 long period of time not doing anything. When
20 you're uploading a file for backing it up, that
21 can be done late in the evening. So I don't think
22 -- unfortunately, it's complicated, and I don't

1 think just looking at the peak speeds associated
2 with any one of these technologies is the thing
3 you want to get into. You really want to think
4 about what are the capabilities of the network to
5 handle these flexible different types of traffic
6 types and how can other things the network is
7 carrying such as broadcast content or multicast
8 content perhaps offload some of these other
9 applications.

10 MR. BURSTEIN: It turns out -- there's
11 an interesting way to look at it that gives it
12 what I think is a pretty good answer. Think like
13 an engineer, not like a Washington policy-maker.
14 Look at what's practical and economical and can be
15 done.

16 It turns out that we're in much better
17 shape than most people realize because David
18 Young's company is going to bring four to ten meg
19 LTE to 92 percent of the United States in 2013.
20 So it's perfectly reasonable to say we should have
21 four to ten meg to at least 90 percent of the
22 United States, because that's going to happen even

1 if government does nothing, and that's higher than
2 most numbers. Even more dramatically, the DOCSIS
3 3.0 really is that good, it's not as good as
4 fiber. There's good arguments back and forth, but
5 it should be the baseline. Mark, am I off base
6 saying that DOCSIS 3.0 is going to be able to
7 bring 50 meg to most homes somewhere between now
8 and five years from now, not all homes, but most?

9 MR. DEPIETRO: No, not at all.

10 MR. BURSTEIN: Okay.

11 MR. DEPIETRO: No, you're not off base.

12 MR. BURSTEIN: It turns out that the
13 cost of speed -- and this is a good baseline. The
14 cost of speed is talked about in policy circles
15 that don't understand that speed does not cost
16 enough to notice, band width is not free, but it's
17 so cheap that you can give 50 meg for the same
18 price as you can give ten meg, Source, CTO,
19 Comcast, and that that is a reasonable goal to
20 make happen, and how you do that in policy, I
21 defer to the folks on my right.

22 MR. KNAPP: Jason.

1 MR. LIVINGOOD: If I could just add a
2 couple of thoughts to that. I think that there
3 are two other things in addition to latency that
4 you should pay attention to, and one is security
5 of the network, and the other would be the
6 scalability of the address base. So in terms of
7 scalability, some of the earlier panelists talked
8 about mobility, a lot of those things require
9 things like IPv6, those are important things to
10 support. From a security perspective, you know,
11 bots and spam and other things are a real problem
12 in networks, and those things need to be kept in
13 mind. And there are some near term things like
14 DNSSEC and other initiatives that the government
15 is already encouraging that I think are important
16 to continue.

17 But lastly, I would say that, you know,
18 on all of these points, I would recommend that you
19 have some quantitative metrics, that you're trying
20 to figure out what are you trying to achieve with
21 the plan and sort of, you know, look back
22 periodically, you know, annually, bi- annually, at

1 where are you on those quantitative metrics,
2 whether they're speed, you know, penetration,
3 availability, so on. I think those are important
4 goals.

5 MR. BURKE: I think, you know, David has
6 thrown out some numbers here, and I think it's
7 interesting to think back at what I believe we're
8 trying to achieve here today, which is basically
9 to set policy for not just the next three to five
10 years, but for a decade and beyond, right. So to
11 the extent that there may not be an actual number,
12 I think what I would encourage everyone here on
13 this panel to be thinking about is, whatever you
14 set it at, set it high, right, because the reality
15 is, whether we're talking about latency and its
16 relation to cloud computing and gaming, right,
17 whether we're talking about a movement from a
18 broadcast unicast environment, whether we're
19 talking about all of the deeper levels of that
20 which get into packet loss and -- and those sorts
21 of elements, many of these elements can really be
22 facilitated greatly by setting a bar high with

1 regard to broadband speed delivery, right, and
2 ultimately allowing yourself to fill that in the
3 most economically feasible ways for service
4 providers.

5 The second element of this is, I believe
6 that, you know, to some extent we're arguing the
7 speed which ultimately is going to be determined
8 by the public overall, right. It's the public
9 who's going to demand these speeds. And to the
10 extent that we can extrapolate today, I didn't
11 speak to it on my slide, but, you know, I think
12 there's -- whether you agree with it or not, ITIF
13 figured that there's a five meg average speed in
14 the U.S. today.

15 You know, you take a 70 percent increase
16 on that over the course of the next five to ten
17 years, you're easily jumping into 100 meg and even
18 up to gigabit ranges, and these are average
19 speeds. Can we conceive of what those
20 applications are today? You know, it's hard to,
21 right. But by the same token, you take yourself
22 back five to ten years, you couldn't conceive of

1 the applications we're trying to deliver today,
2 right. So I think there's a -- whatever we do
3 from a policy perspective, just let's aim high
4 with regard to what it is and we'll naturally see
5 a tendency towards the right solutions in terms of
6 network.

7 MR. KNAPP: Before I have -- I just
8 wanted -- and I'll get back to you, Mark, to
9 announce that for folks who are listening out
10 there, you can either submit questions by Webex or
11 cars in the room. Where did Rashmi go? There he
12 is. We've also set up an email box, FCC-events,
13 e-v-e-n-t-s, at FCC.gov for people who want to
14 send a question by email. And with that, I'll go
15 to Marc.

16 MR. GOLDBURG: So on this issue, I think
17 it is possible to set sort of meaningful metrics,
18 metrics that are meaningful to the consumers for
19 broadband performance. I mean, yes, the
20 requirements of each application are very
21 different, some are latency sensitive, some
22 jitter, but, you know, as Vint Cerf said earlier,

1 actually if there's enough band width available
2 and there's some head room in the network, the
3 latency and jitter problems are going to solve
4 themselves. So maybe it's adequate for the
5 consumer perspective just to say, you know, you're
6 up to ten megabit service, well, you should be
7 provided at least 80 percent of that as measured
8 by FTP or some other, you know, pedestrian file
9 transfer technique, and that is meaningful to the
10 consumers. If networks are engineered to sort of
11 reliably provide that to them, then probably many
12 of the latency and jitter type issues would go
13 away.

14 And, in fact, Ofcom, I keep coming back
15 to them, but in the UK, they do have sort of a
16 broadband truth in advertising standard that's
17 been published. But I'll just mention one other
18 thing which sort of struck me as I was preparing
19 the slides and also listening to the people here
20 on the panel, which is that, you know, all these
21 technologies we're talking about are basically
22 pushing fiber closer and closer to the customer.

1 So, you know, BDSL is fiber to the node or to the
2 curb.

3 Jason was talking about -- well -- will
4 be cell splitting, but MSO splitting and sort of,
5 you know, putting fewer customers on each cable
6 segment and moving the head of the cable segment
7 closer to the customers, and in the fiber to the
8 premises case, you know, you're all the way there.

9 So it seems to me that, you know, as
10 that happens, the issue is less and less about
11 access technologies and more about, you know, in
12 the performance of them, because they're
13 converging and they're all very high, and it's
14 hard for me to imagine applications in my home
15 that can generate hundreds of megabits or even
16 gigabits that I need to send somewhere.

17 So the real question is, are we really
18 looking at, from an engineering perspective, at
19 solving problems in the core network? Is
20 congestion there really going to be the thing that
21 determines whether we see -- whether or not we see
22 the peak advertised speeds rather than limitations

1 on the access.

2 MR. KNAPP: I had a -- let me throw this
3 question out there that came in.

4 DR. HENRY: Can I --

5 MR. KNAPP: Yeah, go ahead, Paul.

6 DR. HENRY: I have one comment on the
7 previous one, if I may.

8 MR. KNAPP: Yeah, sure.

9 DR. HENRY: Just a very brief one. I
10 understand that this is a panel on fixed broadband
11 access, but in the wireless domain, fixed and
12 mobile, of course, tend to become very much
13 confused or mixed. And so one of the
14 recommendations that I would make to the FCC as
15 it's considering policy is to recognize, as we
16 already have, that what you want to deliver in
17 terms of broadband depends on the application that
18 you're attempting to deliver, and the requirements
19 on the technology vary accordingly. But,
20 therefore, as we consider which applications need
21 to be encouraged, I would ask that the FCC
22 recognize that there is a steady migration of

1 broadband services, as well as voice, from the
2 fixed domain to the mobile domain.

3 And, therefore, I think it is important
4 to, in the context of studying the different
5 applications, to try to understand which one of
6 those are likely to appeal to people who have
7 mobile devices, for example, such as an iPhone,
8 and to adjust broadband policy, recognizing this
9 migration into the mobile domain, which will
10 almost invariably have a smaller band width
11 capability than the fixed domain.

12 MR. KNAPP: The question that came in
13 via email was, does the panel have a common view
14 as to an ideal high speed internet access through
15 put range, megabits per second, for an individual
16 end point user beyond which the user perceives no
17 further value; I can kind of guess what some of
18 the answers might be. Yes, David.

19 MR. BURSTEIN: Again, I'd like to go to
20 the data. Thirty meg is probably enough for
21 anything I would want to do; 50 meg is darn good,
22 in fact, at that point, net neutrality and latency

1 problems and so on essentially disappear; but
2 people who disagree with me include 30 percent of
3 the users on a major Japanese system who get 30
4 meg by default, remember, the U.S. is behind on
5 this, and actually pay a little bit more to get
6 100 meg, so that whether it's important or not,
7 clearly, there's a lot of people who think that if
8 it doesn't cost too much, they want the higher
9 speeds. That's JCOM Cable, which is owned by
10 Liberty, incidentally.

11 MR. LIPOFF: Again, I come back to a
12 theme I said before about it being application
13 dependent, but let me get specific. Uncompressed
14 HD TV today is about 19 megabit per second rate,
15 you know, with the higher compression half or a
16 fourth of that. So, you know, if you're thinking
17 about a unicast video with VCR type performance,
18 and you know, how many eyeballs or outlets are
19 there in the home, you can multiply that up.

20 But if you take some of the other
21 applications that are part of the vision, like I
22 want to backup my hard drive, I'd like that to

1 appear to be a one gigabit Ethernet network back
2 to a server that's on the network. If I'm doing
3 peer to peer type, legitimate, non-pirate peer to
4 peer stuff, and I'm sharing content with other
5 users or part of a network like Voodoo, who does
6 it, I'm going to be pumping a lot of stuff
7 upstream, and so I'm going to be perhaps serving a
8 lot more than what just my own eyeballs are
9 consuming.

10 And I think just, if I could, to extend
11 that to the previous question before, it's not
12 just about congestion on the core network that we
13 care about, because a lot of the applications and
14 the concepts that are part of the vision are going
15 to involve moving some of the servers of the
16 intelligence inside the wall garden, so to speak.

17 Telephony is already there, but as you
18 provide various types of services that are hosted
19 on servers which are inside the network, it
20 doesn't hit the core internet, so you do need to
21 manage that congestion on the network, and people
22 who are generating traffic that's going off into

1 the public internet are sharing that same access
2 network with traffic that's inside the wall of
3 garden.

4 So, you know, you have to think about
5 the congestion occurring at different points along
6 the way, not just on the public internet backbone.

7 MR. LIVINGOOD: And if I could just
8 briefly add to that. You know, I think the --
9 it's a lot more than just what an individual user
10 thinks or feels like they're consuming over the
11 web or something like that. I think one of the
12 earlier panelists, Henning, hinted at this by
13 talking about other applications which I sort of
14 refer to as sort of unintended applications or
15 ambient applications in the home, like energy
16 management, home safety, medical monitoring.

17 Other things that people aren't
18 necessarily aware of is consuming band width or
19 demanding band width, but potentially over time,
20 you know, is a big consumer of band width, and
21 might be some, you know, very interesting
22 applications.

1 MR. YOUNG: And if I may, I think that
2 one of the things that happens is, when people
3 make a transition from let's say ten years ago,
4 when they went from dial-up to broadband, or to
5 higher speeds of broadband, the initial reaction
6 may be that there's not a significant difference
7 for a number of reasons; one, if you're, you know,
8 if the sites that you're going to were designed
9 for a certain connection speed, then you're not
10 going to see any dramatic increase, and so there's
11 a little bit of a chicken and egg there until
12 there's a large enough market who has access at
13 that speed for the applications to then, you know,
14 come along to fill that. But the other is also
15 just usage patterns. And so, you know, the ways
16 that you use dial-up are very different than the
17 ways that you use broadband, and the ways that you
18 use very high speed broadband are different than
19 the ways that you use sort of traditional
20 broadband, and so you get the higher speed, and
21 then you start doing things with it differently,
22 you start watching more online streaming video

1 than you had before because now it suddenly works
2 much better and you get a very high quality
3 picture.

4 MR. KNAPP: What about on the low end?
5 I mean we've got -- as we moving to other kinds of
6 applications like energy management and so forth,
7 it becomes -- it's not any longer somebody who
8 just has a PC and access to the internet, it
9 becomes like any other utility, water,
10 electricity. What would be a baseline in terms of
11 defining some minimum service level?

12 MR. DEPIETRO: Let me make a comment
13 here. I actually think talking about data rates
14 is probably asking the wrong question. So, you
15 know, if you think about, for example, watching an
16 HD video over on peg four, okay, I can do it in
17 seven megabits per second, and if I have three
18 simultaneous HD TV's in the house and I'm trying
19 to download all those over the internet at the
20 same time, you could make an argument that, you
21 know, the 20 -- 25 megabits per second is good
22 enough, but I think there's another whole aspect

1 to this, too, and that is that, you know, there's
2 an environment now that is conducive to the
3 proliferation of malware, and so I have all these
4 wonderful speeds that I can experience, but my
5 computer clogs up and I have to reboot it every,
6 you know, couple days to get it to respond in any
7 sort of reasonable time.

8 So I think rather than just keeping an
9 eye on and making prescriptions about band width,
10 I think it's going to be very important to, you
11 know, adopt policies and allow flexibility with
12 respect to getting rid of the motivations that are
13 out there that allow malware to proliferate and
14 actually allow people to make money off of it.
15 I'm not sure I know how to do that, but I think
16 that that's something that bears some
17 consideration.

18 MR. CURTIS: If I could jump in and make
19 this a little more practical question. We've got
20 to come up with a point of view on who's unserved,
21 unserved by what, unserved by broadband, all
22 right. So it's a very real question that needs a,

1 you know, some sort of a metricized answer, and I
2 think that's the push. This isn't really an
3 academic debate about what the right level of
4 service for how you think about it is, you want to
5 be able to figure out, you know, where everybody
6 lives in the country, what their delivered rate
7 is, and make a decision, do you have broadband or
8 not, and that's the level of granularity we're
9 trying to push to get thoughts on how you define
10 that.

11 MR. NEWMANN: Yeah, let me build on what
12 Rob said, because I'd actually like to give the
13 panel and actually anybody who's listening a
14 homework assignment. As Rob said, we need to come
15 up with a very real definition of broadband so we
16 can decide who's unserved, who's under served,
17 because that's going to determine ultimately
18 policy and potentially money flows.

19 So latency we've heard talked about, we
20 need that made more concrete in terms of what is
21 good enough, what's not; jitter. A lot of talk
22 about peak. I'm equally concerned about the

1 sustainability, however you want to define it,
2 I've got some ideas. For example, LTE is getting
3 compared, because it's four to eight megabits per
4 second, with some of these other technologies. I
5 can burst that rate, but as Doctor Henry said,
6 everything shares at different points. LTE shares
7 right at the edge of the network. According to
8 public domain record by all the vendors, they
9 agree that special -- of LTE at the transmission
10 level is 1.8 bits per hertz. The next generation
11 may be two bits per hertz. So Verizon has ten up,
12 ten down that they won in the -- that means
13 they're going to share in a sector ten megabits
14 per second at the application level when they
15 first roll out LTE.

16 On a sector basis, that's not exactly
17 the same as sharing gigabits per second. So we
18 need to know what does it mean about capacity
19 allocated per user. So the homework assignments
20 come up with, you know, how do we really think
21 rigorously about this definition. Now I've raised
22 several -- good, I've gotten lots of reaction.

1 MR. CURTIS: Good job, Stagg.

2 DR. HENRY: My only thought -- let me be
3 brief first and possibly more comments later, but
4 I think in terms of defining broadband, which is
5 the way Julie started, I would say what is it,
6 what is the minimum -- the baseline, as Julie
7 called it, what's the minimum set of applications
8 that we expect every United States household to be
9 able to have access to, and then proceed from
10 there. Let that be the definition of broadband.
11 And I would just say, for example, the minimum set
12 of applications would include, of course,
13 elementary web browsing, it would include
14 something associated with evolving educational
15 policy, and it would include access -- you're
16 shaking your head. Well, let me just finish
17 anyway. And it would include access, convenient
18 access to various governmental organizations,
19 being able to deal with the DMV and the motor
20 vehicles or the IRS in a convenient, comfortable
21 way over the internet.

22 I would think that is -- those things,

1 to me, define what we expect every American
2 household -- what we ought to strive for, at least
3 that, for every American household.

4 MR. GOLDBURG: So another comment. I'm
5 not smart enough to answer the broadband
6 definition question, but I think it's worth noting
7 that the arithmetic of sort of calculating average
8 through put or sustainable through put per user,
9 which is maybe the more important metric, I think
10 has changed a lot in the last -- even in the last
11 five years.

12 So historically, people would rely on
13 the statistical multiplexing concepts and say I
14 have a, you know, a ten megabit link, and everyone
15 uses it five percent of the time, and so that, you
16 know, maybe you could have 20 customers on that
17 link and still --- they'd still each get ten
18 megabits. Well, today, with, you know, we're not
19 doing -- anymore, where people are streaming
20 video, multiple video streams to their homes, and
21 that sharing ratio has gone down substantially.
22 So maybe, instead of 20 to one sharing, you know,

1 instead it's only really an over subscription of
2 two or three that you can support and still say
3 people are going to see the peak speed.

4 So for all of the, you know, shared
5 media type solutions, I mean relative to their
6 peak rates, so that's all wireless, just about all
7 wireless systems, you know, cable and some others,
8 I think the arithmetic in terms of peak to average
9 has dramatically changed in the last few years.

10 MR. NEWMANN: Help us think about that.
11 I mean we've got to come up with this definition,
12 you know, and so help us think about it. I mean
13 I'd love to see, for example, a filing that said
14 these are the basket of applications and this is
15 what it implies in terms of definition.

16 MR. KNAPP: I'd just add to it, too, as
17 we try to think down the road, and if truly we
18 want to see smart grid, for example, and this
19 integrates into a door, or people who are at home
20 can connect through a medical sensor and have
21 their physician read it, these seem like things
22 that everybody ought to have.

1 MR. LIVINGOOD: And I would maybe say
2 two things, I have two thoughts here; one would
3 be, you know, please refer to the filing that we
4 made in response to the -- we put a lot of thought
5 into some very detailed year over year, you know,
6 what do we think the quantitative speeds should be
7 and how should they change, and so that's number
8 one. I think, you know, we tried to put a lot of
9 thought into it and we know that's a tough problem
10 for you.

11 The second would be, you know, it
12 doesn't matter, at the end of the day, we can all
13 build collectively a brilliant network that's
14 super high speed and passes a lot of homes, but I
15 think the plan still has to address the fact that
16 they're going to be a lot of people, and I think
17 the PEW Study is interesting on this point, that
18 for whatever reason, choose not to subscribe,
19 whether they don't feel it's relevant to them,
20 they're not computer literate, or you know, a
21 variety of other factors, and I think those are
22 important to take into account, too.

1 MR. CURTIS: If I could just add to
2 Stagg's filer request, which I completely agree
3 with, that would be extremely helpful. It would
4 be also helpful if, instead of having, you know,
5 your point of view on what you would think of
6 broadband as being in 2009, some sort of, you
7 know, growth curve on how this evolves over time,
8 you know, it doesn't make a lot of sense to think
9 about doing something today that's going to
10 quickly, you know, go out of --

11 MR. BURKE: Perhaps one way to think
12 about this, and I don't know this as a final
13 answer, would be, rather than try and come up with
14 some actual numbers, would be to base it on some
15 sort of parody of the areas that are currently
16 being served. So we have a range of services that
17 are out there today that people can pay higher
18 tiers for, and with the concept of people who are
19 currently unserved not being disenfranchised or
20 having this digital divide, to have them at least
21 have access to, you know, a popular -- one of the
22 lower tiers.

1 I would also say that when cable modem
2 service first rolled out, and there were some
3 surveys done of users to find out what they
4 wanted, and you know, did they enjoy the higher
5 speed than dial-up, what they found was, is that
6 most people signed up for it because they thought
7 they'd be getting the higher speed, but the main
8 benefit was actually always on. And so when you
9 start talking about some of these smart grid
10 applications and medical monitoring and telemetry,
11 they're very modest, very low speeds, and they
12 don't even really need to be real time. If you
13 can provide low speed, always on, hundreds of
14 kilobit per second service, you immediately enable
15 that aspect of it. And then, you know, the web
16 surfing, you know, is probably where the lower
17 tier of services are today, and that maybe should
18 be where you draw the line, and every year take a
19 survey and see where it is and try and, you know,
20 set that threshold higher.

21 MR. NEWMANN: Yeah, let me -- great
22 point. And Rob raised -- we need to think about

1 how to quantify the notion into a viable
2 definition. One of the bigger mistakes I made
3 when I was at the FCC before, and I made plenty,
4 was, Dale Hatfield and I were the ones who came up
5 with that infamous 200 kilobit per second
6 definition, but to defend ourselves, that was
7 1999, and we defined it as a viable definition.
8 Unfortunately, we should have said viable
9 definition with at least a 30 percent cager. I
10 never dreamed it would still be 200 kilobits per
11 second, you know, a decade later. So how do we
12 think about that aspect of the definition?

13 MR. CURTIS: If I could just pile onto
14 one thing. Stuart, I love the idea of looking at
15 the parody, that's another way of thinking about
16 it. And as you all think through, hopefully, and
17 help us think about this, one other thing I'd
18 throw on the table is, is some part of the
19 relative parody an international benchmark?

20 Is it important that, you know, we keep
21 pace? We make sure that currently unserved
22 communities today keep track with other served

1 communities in this country; is it separately
2 important that we keep pace with, you know, let's
3 call it our developed peer group? And if so, how
4 would you guys think about, you know, that
5 factoring into the way you think about broadband?

6 MR. YOUNG: I'd just like to say in
7 defense of Stagg that the 200 K, you know, has
8 gotten a lot of criticism, but in fairness, it's
9 still a useful speed for a lot of applications,
10 and a ubiquitous always on 200 K capability would
11 be very beneficial for smart meters and those
12 sorts of things. So I don't think you want to
13 dismiss the lower end of these things and say,
14 well, that's not broadband or that's not what
15 we're talking about. The whole range is of value,
16 I think.

17 MR. BURKE: It is of value, but you have
18 to -- we really need to identify where the natural
19 progression of each of these things is, right.
20 Medical monitoring today is a very low band width
21 application, but the natural extension of this is
22 a high definition interview with my doctor at a

1 given point in time, right.

2 Now, to the extent that, you know,
3 clearly, we have to have an evolutionary path to
4 this, but from a definition standpoint, I want to
5 make sure that we're not losing sight. You've got
6 to establish that goal, we're either going to the
7 moon or we're not, right, and we establish what
8 that is, and then we back our way down to either
9 penetration rates over the course of time, where
10 we have to hit those milestones, or you know,
11 other levels that maybe even regional in nature,
12 right.

13 But I think that the mistake in this
14 whole line of thinking is that unless you
15 establish what that ultimate goal is by looking
16 forward with regard to these natural applications,
17 then you kind of -- you tend to get into these
18 increment steps which are not necessarily I think
19 what the most cost effective, nor the most
20 efficient way to ultimately get where you want to
21 go.

22 MR. KNAPP: David.

1 MR. BURSTEIN: Yeah; there's lots of
2 different ways of looking at it. And again, I
3 learn from the engineers, I'm not an engineer, so
4 I look at what's practical. The answer to Rob's
5 question of whether you'll get to international,
6 beside having to keep pier, I don't give a damn
7 about link tables, but when I look internationally
8 and I see Japan has done this, and France has done
9 this, or Verizon has done this, and AT&T hasn't,
10 or Comcast has done something that Time Warner
11 hasn't, I say it sure as heck proves that it's
12 possible to build FIOS, and it is possible to
13 deliver 50 meg DOCSIS to half your homes in three
14 years, and that the goal for the U.S., when
15 minimums have some place, should also be to look
16 at what's the best and get us as close as we can
17 get to it, related to what's there.

18 When you're asking the minimum question,
19 that's a very important question for about two or
20 three percent of the U.S. population. It's also
21 -- yeah, and it's ignoring the factor that
22 affordability manages, but that's a whole another

1 discussion here. A lot of poor people can't get
2 it because they're poor. Everybody on this table
3 know that's part of it. But what you're missing
4 when you talk the minimum there is what service
5 are you giving to 95 or 98 percent. Where I think
6 the minimum should look very different, not
7 sitting there, is it 256 K or one meg or two meg,
8 which we can and easily can give to 100 percent of
9 the U.S., technically and economically, although
10 the latency for two percent on satellite is a
11 problem, okay, it's absolutely doable.

12 The question is, what's good for the
13 American people? I think we can all agree that
14 having a better internet is good for us all. So I
15 look at not what's the bare minimum, but what's
16 the practical thing economically at low cost to
17 deliver. Stuart, how fast were your cable modem
18 downloads in 1999?

19 MR. LIPOFF: I think I was probably
20 getting one and a half megabit per second service,
21 something on that order.

22 MR. BURSTEIN: Well, then you were going

1 to the wrong server, because other folks were
2 getting ten megabits just fine, that's what the
3 network was designed to give, and was selling, of
4 course, most of the country in 1999.

5 MR. LIPOFF: I have a slow computer.

6 MR. BURSTEIN: It's his computer, it's
7 not his internet. But the reason I'm bringing you
8 to that is, it is absolutely practical from the
9 cost perspective to give everybody ten megabits
10 now except for maybe five percent of the country
11 who have distance and other problems. The second
12 thing is that the speed has nothing to do with the
13 price in a competitive market. This is something
14 that the U.S. keeps getting confused because we
15 only have two competitors.

16 You go to France and Japan, everybody
17 gets the maximum speed they can get on their line.
18 Everybody in France gets up to 25 meg at the same
19 price, because it turns out, all this talk about
20 speed is marketing. The cost of delivering
21 broadband, 90 percent is the cost of getting that
22 line in the home, whatever the speed is.

1 So in a competitive market, nobody is
2 selling the slow stuff, they're all giving the
3 basic speed that the line can have, which is up to
4 25 meg, which may be only two meg, and 50 meg
5 shared, which is -- goes down to 30 meg three
6 percent of the time, which is about what DOCSIS
7 3.0 is right now, but we don't have good numbers
8 on that, that's a guess. And I wish we would get
9 some numbers out of Comcast. And that when you
10 can look at what is possible, what is cheap, by
11 looking at real networks and real economics, I
12 want the policy people to get that to every
13 American that they can, and that's a much more
14 interesting way for, I think, to look at it. How
15 can we really get something great for everybody in
16 our country?

17 MR. KNAPP: I have to apologize to -- I
18 must have eight different emails here, all on this
19 same subject, and I can't ask all these questions,
20 but they're all variations of the same theme that
21 we've been talking about, things like the
22 transparency, making information available to the

1 consumers, should there be different, you know,
2 how do we define this level of service and so
3 forth, and as Stagg and Rob have said, you know,
4 this is really what we're charged to come up with,
5 so you've got a homework assignment from Stagg,
6 and don't forget it. The only thing he didn't do
7 is give you a due date.

8 MR. NEWMANN: Next week.

9 SPEAKER: Where does -- Columbia
10 supposed to get some of the state over to the FCC?

11 MR. KNAPP: Did you have any additional
12 questions? I mean I still have some, but --
13 Walter or Ron.

14 MR. JOHNSTON: One question I will ask;
15 all these networks are fiber networks with some
16 different access technology. One of the things
17 I've heard, both in the first and the second panel
18 session, is an expectation that the need for speed
19 keeps growing, I think that was the consensus of
20 the first panel, I think I heard a lot of that,
21 maybe it's not the same consensus on this panel.
22 How important is it to move fiber closer to the

1 residents, and what could be done to make that
2 more attractive?

3 One of the concerns I would have at
4 listening to you people talk is that some of the
5 more economic technologies, especially in the
6 rural areas, might also be capped at a speed
7 that's not upgradeable, so what recommendations
8 would you make in that regard?

9 MR. YOUNG: Well, I think, you know,
10 that was the primary driver for us to go to fiber
11 all the way to the home. It was sort of the
12 recognition that, you know, we would be upgrading
13 the network, trying to push that fiber closer and
14 closer to the home.

15 MR. JOHNSTON: Well, any closer, you're
16 in my bathroom, so I think you're off the hook.

17 MR. YOUNG: Well, no, but anyway, so my
18 point -- my only point there is that, in
19 recognition of that, by bringing the fiber all the
20 way to the home, it makes it easier to upgrade to
21 whatever the ultimate demand is. And we don't
22 know what it's going to be, but we know that it's

1 going to continue, you know, at relatively the
2 same pace that it has been. So, you know, that's
3 why getting the fiber to the home was so important
4 to us.

5 MR. BURKE: Well, I think there's a
6 theoretical and a practical answer to your
7 question, as well. I think that, you know, if
8 want to hit, if we accept that mobility is,
9 indeed, you know, a natural need and service
10 demand going forward, and you want to hit those
11 LTE speeds that are meaningful, right, you've got
12 to have a very, very deep fiber network to be able
13 to do that, okay.

14 And so to the extent that -- just on a
15 practical basis, as we take natural applications
16 for the very near future, right, that's going to
17 have to be a fundamental cornerstone of some of
18 this policy.

19 The more theoretical aspect of this is,
20 I'm going to go back to our own experience with,
21 as I mentioned before, hundreds of these rural
22 providers who have gotten fiber deployed very

1 effectively in their areas, is that there seems to
2 be a very close relationship, that the closer you
3 are to your subscriber, okay, and I'm not talking
4 about -- I'm talking about emotionally, all right,
5 the closer you are to your subscriber, the more
6 likely it is that you deploy a very high speed and
7 probably fiber rich infrastructure to that
8 subscriber, and that's because a direct line of
9 feedback between the consumer and his wants and
10 desires and needs and the service provider is very
11 closely linked.

12 So this body can think about the ways,
13 and we're all in this together, right, to identify
14 how we can basically broaden the horizon for
15 return on investment for these companies, and to
16 allow them to look beyond the short term, as well
17 as to get them more in touch with their subscriber
18 base. We're going to naturally lead ourselves to
19 what is ultimately a position in which we're
20 deploying very high speed broadband in these
21 areas, because the subscribers want it, there's no
22 question about it.

1 MR. NEWMANN: Geoff, just to follow up
2 on that, first of all, you're commended for
3 putting some real numbers up on your charts. To
4 help us understand --

5 MR. BURKE: Walter encouraged me to do
6 that.

7 MR. NEWMANN: Yeah, and I encourage --
8 I'll give two homework assignments out here; you
9 get the easy one, Geoff, the rest of you, if you
10 could give us similar numbers, that would be
11 great. And then with that, I think it's very
12 important that we understand the assumptions. For
13 example, building fiber out in a rural area where
14 the town is clustered, and therefore, you have
15 high linear density, and you have telephone poles,
16 is hugely different than building fiber out where
17 it's on a lava bed with low linear density, to go
18 to the worst case. So helping us understand at a
19 much deeper level would be most useful.

20 MR. BURKE: I have a lot more numbers
21 then that, I'd be happy to share with you, too.
22 Those numbers -- they were aerial, by the way.

1 MR. NEWMANN: We'll be making the
2 appointment.

3 MR. BURSTEIN: Let me give the audience
4 some of those numbers that aren't all of them, but
5 it's a good working thing, and why I feel that a
6 lot of things I'm seeing out there are too high.
7 His number of 800 per homes past is online.

8 Every number I'm hearing around the
9 world is 650 to 1,000 for fiber, with two key
10 distinctions, one, if you go underground that adds
11 something to the cost, and two, which is huge, is
12 how much fiber you have to run per home, because
13 the working cost in the U.S. is \$20,000 per mile.
14 So in order to get to five customers, you have to
15 run a mile of fiber, that's \$4,000 a home.

16 For the purpose of policy, that means on
17 anything we're looking at to see if the cost is
18 reasonable, we want to see how many miles of fiber
19 it takes, if it's huge, then you have to look at
20 the numbers of \$3 and \$5,000 that are coming into
21 the broadband thing. DSL cost about \$50 to \$125
22 for the basic gear. The number from AT&T to bring

1 25 megabits to most of their network is \$300 per
2 home.

3 As you get into rural areas, it gets a
4 little bit higher, but not that much higher, so
5 that's a ballpark. None of those numbers include
6 the cost of sending somebody to your house and
7 hooking up all your TV's, which is somewhere
8 between \$300 and \$700 per, which is why when the
9 pros talk about it, we talk homes past as one
10 thing and homes served with everything complete is
11 another.

12 But it's very useful to look at that
13 number. DSL should be in the order of \$2 to \$500;
14 fiber should be in the order of 1,000 to 3,000,
15 and in large networks that are not spread out,
16 much closer to 1,000. And cable, this is the
17 amazing number and why DOCSIS is so interesting;
18 if you have the cable in place, it's already
19 there, 96 percent of the U.S., to upgrade to
20 DOCSIS 3.0 is less than \$100, probably including
21 the upstream, but I don't have a hard number on
22 that. And if you're the three percent who are

1 crucial to the unserve, who may be these old
2 analog 550 megahertz, how much would it cost to
3 upgrade per user, an analog system to basic
4 digital?

5 MR. DEPIETRO: Well, these systems have
6 anywhere from call it 1,000 to 10,000 subs.

7 MR. BURSTEIN: Okay. How much per home?

8 MR. DEPIETRO: We're talking about a
9 total capex of anywhere from 100 K to 500 K to get
10 them up and going.

11 MR. BURSTEIN: And so we're talking less
12 than 500 a home to get 50 meg out there on cable
13 if the cable is in place, which is why the cable
14 is such an interesting alternative no matter how
15 great fiber is, and it's a hard question.

16 MR. NEWMANN: That includes the fiber
17 out to the HFC point, the amplifier --

18 MR. DEPIETRO: That's basically head end
19 and CPE.

20 MR. NEWMANN: So now we have to have all
21 the --

22 MR. DEPIETRO: The assumption here is

1 that the plant --

2 MR. NEWMANN: -- the new amplifier, the
3 fiber fee --

4 MR. DEPIETRO: Those are extra, right.

5 MR. NEWMANN: Which is why 1999 was
6 \$1,500.

7 MR. DEPIETRO: Right.

8 MR. LIVINGOOD: Just to add a couple of
9 things to that, I think, you know, I would just
10 recommend that you be careful not to be sort of
11 overly prescriptive with the technology solution.
12 You know, there are a lot of different types of
13 facilities out there today, whether it's copper,
14 coax, fiber, or wireless, that I think can meet
15 the need, you know, for broadband in the country,
16 and so I think that's important.

17 And to sort of Dave's point here, I
18 don't think the objective to see who can spend the
19 most money, it's to see who can do this cost
20 effectively and quickly. And I think, you know,
21 whether it's the DOCSIS or other network, there
22 are a lot of networks out there that can do this

1 very quickly and that are in place today.

2 MR. CURTIS: One other thing to add to
3 the homework is, and we often get caught up in
4 talking about capex a lot, which is an interesting
5 number, you know. Too infrequently I think we
6 hear about the opex side of this. So as you're
7 thinking about, you know, answering Stagg's
8 question about the numbers, and you know, love to
9 know, you know, particularly from those of you
10 that have experience with both or all three, or
11 you know, if you want to throw LTE in, all four,
12 3G, let's make it five, opex differences that
13 matter. You know, cost of maintaining the copper
14 plant versus cost of maintaining fiber versus cost
15 of maintaining the HFC, all of that, the labor
16 intensity, you know, at least goes to
17 sustainability, and part of thinking about the
18 total return on the investment, which we'd love to
19 understand better.

20 MR. BURSTEIN: You want a ballpark?
21 Look at \$5 to \$10 per month per subscriber for any
22 broadband we're talking about. Fiber has fewer

1 truck rolls, but that's not the main factor, so
2 it's a little bit less. Rural doesn't add that
3 much unless it's really extreme, because you have
4 less traffic getting to the homes, even if they're
5 further apart, and usually have lower pay rates,
6 so that why, yes, there's a variation in your
7 thinking, it turns out not to be huge.

8 That number on broadband comes from
9 providers around the world, it's not my making up,
10 it's my asking people what they're spending from
11 internal, and that is not a fully loaded, overhead
12 cost with return on original capex built in,
13 that's an actual operating cost, and it varies
14 enormously, not on technology or anything in
15 Washington, but whether the operator is competent
16 or not. A lot of them are pretty lousy and some
17 of them are really good, and there's a huge
18 difference in cost.

19 MR. BURKE: Well, there is a big
20 difference, though, operationally, looking at the
21 more rural you get is the reach that these visual
22 technologies have, right. So, for example, when

1 you're talking about the difference between
2 running an extended reach GPON or an active
3 Ethernet, you know, 40 and 60 kilometers, with
4 nothing but passive splitters out there in that
5 network, right, versus the alternative, which is
6 laying pads, putting in more equipment, having the
7 active empowered and those sorts of things over
8 time, as you get increasingly rural, and you get
9 increasingly environmentally challenged, all
10 right, are the, you know, those end up being very,
11 very real costs. I just wanted to temper a little
12 bit of that, as well.

13 MR. BURSTEIN: Help me with the number
14 there, because I absolutely agree. I said extreme
15 rural is more. About what percent are we talking
16 about who's so extreme rural that it really
17 changes the ofex that you're thinking about, 40
18 kilometer runs on a regular basis. My sense, it's
19 somewhere between one and five percent, but I
20 don't have any hard data on that at all.

21 MR. BURKE: Well, realistically, I mean
22 let's think about even the movement of -- or the

1 placement of a cabinet at a 20 kilometer mark that
2 actually -- at the -- somewhere -- that then goes
3 out and feeds a number of different communities
4 out beyond that, any one of those could easily be
5 a 50 plus thousand dollar endeavor just from a
6 capex perspective, not to mention the overall
7 ongoing maintenance power center, right.

8 We often see with our customers that
9 each one of those opportunities is an initial
10 \$50,000, plus they project double that in terms of
11 ongoing operational cost over say a five year time
12 frame in those environments, and often times they
13 multiply, because each time you're able to
14 collapse that entire network into one central
15 location, you're taking all of this cost out of
16 that network.

17 MR. BURSTEIN: And it's desperately hard
18 to get these numbers, because operators certainly
19 don't want to share them publicly, which is why I
20 don't give you sources on many, because they're
21 only going to tell me so far off the record I
22 can't use them here, because I've been asking

1 loads of these questions, I'd love better numbers.

2 MR. BURKE: Well, let me give you one --
3 it's kind of funny you say ample, but the only
4 time I've ever had a room full of operators stand
5 up and applaud was, I actually introduced a
6 product last year in which we had basically
7 extended the reach of GPON, basically double from
8 what it was, from its current standard out beyond
9 that, and basically everybody in the room stood up
10 and applauded that, because they knew what impact
11 that would have for them in terms of their ongoing
12 capex and ofex.

13 Now, we can get into details to quantify
14 that, but just to give you a sense of the types of
15 impact that has on these folks in terms of their
16 ability to meet the services that are being
17 demanded, plus their need to go out and get those
18 services deployed.

19 MR. LIPOFF: Let me suggest, while
20 you're collecting numbers, don't forget the
21 revenue side, as well, because it's the revenue
22 that actually is advertising that capex, and, in

1 fact, what you really want to look at is, you want
2 to look at the incremental capex to take you from
3 whatever the baseline is and the incremental
4 revenue associated with adding that.

5 So if you're starting from DSL as a
6 technology, you're probably assuming there's
7 already a voice telephony service there, and the
8 question is, what's the incremental capex you have
9 to add to enable that? Can you put the -- do you
10 have to move the DSLAM out into the environment or
11 can it stay in the CO? What additional benefits
12 can you provide, just high speed internet, or are
13 you going to provide video? So each of these
14 start at a different place.

15 MR. CURTIS: I think speaking for Stagg,
16 and certainly myself, we would love to sit down
17 and understand your PNL's, this granular level all
18 the way through as you would, you know, try to
19 avoid asking it that way, but, you know, the more
20 detail, the better. I'd love to see the revenue
21 table.

22 MR. KNAPP: Well, this has been a

1 fantastic panel, too. And I really want to thank
2 all of you for participating. Another case, we
3 probably could talk another couple hours. But
4 we'll follow up with you, and thanks very much.
5 We're going to break for lunch, and we'll
6 reconvene on mobile technologies.

7 (Whereupon, the PROCEEDINGS were
8 adjourned.)

9 * * * * *

10
11
12
13
14
15
16
17
18
19
20
21
22

1 CERTIFICATE OF NOTARY PUBLIC

2 I, Carleton J. Anderson, III do hereby
3 certify that the forgoing electronic file when
4 originally transmitted was reduced to text at my
5 direction; that said transcript is a true record
6 of the proceedings therein referenced; that I am
7 neither counsel for, related to, nor employed by
8 any of the parties to the action in which these
9 proceedings were taken; and, furthermore, that I
10 am neither a relative or employee of any attorney
11 or counsel employed by the parties hereto, nor
12 financially or otherwise interested in the outcome
13 of this action.

14 /s/Carleton J. Anderson, III

15

16

17 Notary Public in and for the

18 Commonwealth of Virginia

19 Commission No. 351998

20 Expires: November 30, 2012

21

22

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190