

UNITED STATES OF AMERICA  
COMMODITY FUTURES TRADING COMMISSION

THIRD MEETING OF TECHNOLOGY ADVISORY COMMITTEE

Washington, D.C.

Tuesday, March 1, 2011

## 1 PARTICIPANTS:

## 2 Commission Members:

3 GARY GENSLER, Chairman

4 BART CHILTON, Commissioner

5 JILL SOMMERS, Commissioner

6 SCOTT D. O'MALIA, Commissioner

7

8 Panel 1: Discussion of the Pre-Trade  
9 Functionality Subcommittee Report on Direct Market  
Access Controls10 HAROLD HILD  
11 Division of Market Oversight12 MICHAEL GORHAM  
13 Industry Professor of Finance; Director, ITT  
Center for Financial Markets Illinois Institute of  
Technology14 NICK GARROW  
15 Global Head of Electronic Trading Newedge Group16 Panel 2: Presentation and Discussion of Costs and  
17 Technology Challenges in Implementing the Trade  
Execution, Processing and Records Management  
Requirements under Dodd- Frank18 SUPURNA VEDBRAT  
19 Business Adviser, Fixed Income Trading and Market  
Structure Blackrock20 LARRY TABB  
21 Founder and CEO, Tabb Group22 ROBERT GARRISON  
Chief Development Officer

## 1 PARTICIPANTS (CONT'D):

2 MARISOL COLLAZO  
3 Vice President Trade Information Warehouse  
4 Depository Trust and Clearing Corporation

5 Attending:

6 BRENDA BOULTWOOD

7 JOHN BREYAULT

8 PETER CARR

9 MICHAEL COSGROVE

10 GARY A. DEWAAL

11 JILL HARLAN

12 DOUGLAS E. HARRIS

13 RICHARD B. GORELICK

14 SIMON GRENSTED

15 PETER G. JOHNSON

16 GARRY N. O'CONNOR

17 DEAN PAYTON

18 MATT SCHATZMANN

19 THOMAS SECUNDA

20 CHARLES A. VICE

21 CHARLES F. WHITMAN

22 \* \* \* \* \*

## 1 P R O C E E D I N G S

2 (1:00 p.m.)

3 CHAIRMAN O'MALIA: Thank you everybody  
4 for coming today. Welcome to our new hearing  
5 room. I think this is our third event here. I  
6 thought I'd try to go a little "Today" show style  
7 with open windows so that if we get any protestors  
8 or obscene gestures, we'll close them, but we'll  
9 let the light in for right now. This is a new  
10 transparency. I expect none of the panelists to  
11 make obscene gestures.

12 I'd like to welcome everybody to the  
13 Third CFTC Technology Advisory Committee. As you  
14 know, this meeting was originally scheduled for  
15 January 27. However, the morning of the 26th  
16 Washington was in the crosshairs of another winter  
17 storm, not like New York, but it's tough for us.  
18 I realize that while many of you could get here,  
19 the odds of getting out even just a few hours  
20 later would have been slim to none if received any  
21 accumulation whatsoever. So in the interest of  
22 everybody's schedules and your safety we postponed

1 the meeting. This was a good thing because the  
2 storm arrived just government officials directed  
3 everyone to leave early. This paralyzed the city  
4 and commutes that normally take an hour took 10,  
5 12 to 14 hours. The region appeared to be  
6 unprepared for the storm, but that was not the  
7 case. The problem was that the snow fell at an  
8 alarmingly fast rate, but by not coordinating the  
9 efforts, area employers created a rush hour during  
10 the height of the snowfall so that we were a  
11 victim of speed and failure to coordinate. Speed  
12 and coordination dominated our first two committee  
13 meetings and as we explore computerized trading  
14 and high-frequency trading and the results of the  
15 May 6, 2010 events. Today we're going to take our  
16 first shot at tying all of this together in the  
17 interests of preparing for the new regulatory  
18 world which is dominated by high speed and demands  
19 a coordinated approach.

20 To begin we'll have Harry Hild, our  
21 senior economist of the Commission's Market  
22 Surveillance Group who will provide some

1 background on the current role of electronic  
2 trading and the use of Stop Logic functionalities  
3 on designated contract markets. On May 6 the  
4 deployment of one such functionality was critical  
5 to stopping the cascading of prices in the futures  
6 markets. As we explore our options we should  
7 never lose sight of what has already proven to  
8 work, but we should always be mindful of the  
9 potential for improvement. With our first panel  
10 there will be a discussion of the pretrade  
11 functionality subcommittee report on the  
12 recommendations of the pretrade practices and  
13 trading firms clearing and exchanges involved in  
14 direct market access. Dr. Gorham put this  
15 proposal together with the support of the  
16 subcommittee members. We thought we circulated it  
17 and I understand that many of you did not receive  
18 many of the handouts for today's hearing. I'm  
19 very sorry for that. They are all in your  
20 booklets here and obviously I would have preferred  
21 that you would have had the opportunity to review  
22 the subcommittee report beforehand, but we do have

1 Dr. Gorham here and he will present on that so  
2 we'll all be better informed for that.

3 The subcommittee drew on the existing  
4 work of the FIA and the CFTC-SEC Joint Advisory  
5 Committee regarding the events of May. The PFS  
6 can serve as the foundation for our future  
7 proposed rules concerning testing and supervision  
8 requirements related to algorithmic trading. The  
9 PFS members who contributed their experience and  
10 expertise in drafting the report including Chuck  
11 Whitman, Chuck Vice, Gary DeWaal and Bryan Durkin.  
12 By raising the standards and establishing best  
13 practices, we can ensure that all participants are  
14 treated equally and ensure that the markets are  
15 protected by untested algorithms that could  
16 undermine well-functioning market.

17 In addition to discussing the PFS report  
18 during the first panel, Nick Garrow, head of  
19 electronic trading at Newedge Group will lead a  
20 discussion on the technological applications  
21 needed to implement the PFS recommendations and I  
22 think you will be fascinated to learn about the

1 opportunities and challenges facing the market.

2 Our second panel will focus on a  
3 different kind and coordination, the speed with  
4 which we can build and connect the technological  
5 infrastructures underlying the trade-execution,  
6 processing and record-management requirements  
7 under the Dodd-Frank Act. I know I say this  
8 often, but I continue to believe we must be  
9 realistic about the technological, budgetary and  
10 infrastructure challenges ahead and work to  
11 facilitate coordination of this infrastructure  
12 that sets reasonable timeframes to accomplish it.  
13 I'm interested to hear from the speakers and  
14 listen to the debate. This is our first attempt  
15 to tackle the technological-integration challenges  
16 and to get your input on this.

17 Presentations will include a discussion  
18 of interconnection and execution of swaps by  
19 Supurna VedBrat of Blackrock, a discussion of the  
20 estimated costs and required investments led by  
21 Tabb Group CEO and founder Larry Tabb, and a  
22 discussion of the feasibility and proposed



1 universal identifiers and supporting data  
2 reporting requirements led by Marisol Collazo of  
3 DTTC.

4 Our third and final panel has been  
5 intentionally left open for discussion. This is  
6 your time to raise issues and provide comment. I  
7 think the second panel should provide us some  
8 interesting options and challenges and I'd really  
9 like to facilitate that with discussion of what  
10 you see in the market and your thoughts on that.

11 I would obviously like to thank my  
12 fellow Commissioners for their participation and  
13 would like to welcome our committee members and  
14 guest presenters. All of you have taken time out  
15 of your busy schedules to participate and  
16 contribute to the discussion today and we greatly  
17 appreciate that.

18 I'm going to turn to the Commissioners  
19 for some comments and then we'll go to the opening  
20 panel. Mister Chairman?

21 CHAIRMAN GENSLER: Thank you. Good  
22 afternoon and thank you, Commissioner O'Malia, for

1 chairing not only today's meeting but the whole  
2 Advisory Committee in bringing forward this group  
3 with these recommendations. I also want to thank  
4 my fellow Commissioners and the dedicated staff of  
5 the CFTC for all their hard work on Dodd-Frank  
6 implementation. Lastly I want to thank the  
7 members of this committee for participating and  
8 specifically the recommendations, the report of  
9 the Pretrade Functionality Subcommittee, that's a  
10 mouthful, and the presentations we're going to  
11 have on the second panel as well on implementation  
12 issues that are so critical.

13 As Commissioner O'Malia mentioned, the  
14 Joint CFTC-SEC Advisory Committee which met I  
15 guess it was about 2 weeks ago on February 18  
16 addressed some similar issues with regard to  
17 advanced technology and the advances and some of  
18 the lessons out of May 6 and as we have witnessed  
19 in the past, technology changes, whether it was in  
20 the 19th century when we first had the tickertape  
21 or in the early 20th century, I believe it was in  
22 1929, when this newer invention called telephones

1 was allowed on the floor of the New York Stock  
2 Exchange and there was a consolidated,  
3 instantaneous bid-and-offer price brought together  
4 by telephones in 1929. We now regulate a futures  
5 marketplace that is approximately 90 percent  
6 electronically traded and the Advisory Committee  
7 made recommendations in this context and those  
8 recommendations include cross-market circuit  
9 breakers, pretrade risk safeguards which I know  
10 that the subcommittee is also looking at and other  
11 ways of testing for risk-management controls and  
12 supervisory requirements and I join Commissioner  
13 O'Malia in that we have directed staff to come up  
14 with some recommendations hopefully based on your  
15 input today, based on the public's feedback and  
16 based on the Joint Advisory Committee on  
17 supervision and testing.

18 In terms of the report itself, I look  
19 forward to hearing your thoughts about how  
20 clearinghouses, trading firms and exchanges can  
21 address themselves to pretrade risk safeguards and  
22 I know that you've addressed quantity limits and

1 price collars and throttles, intraday position  
2 limits and the like and all of that is going to be  
3 very helpful for us to learn from you.

4           Before I close I do want to say  
5 something briefly about our resource needs here at  
6 the CFTC. To fulfill our statutory  
7 responsibilities to continue to oversee the  
8 markets, the futures markets that we oversee as  
9 well as take on the new responsibility in the  
10 swaps marketplace, the CFTC does require adequate  
11 funding. I believe now is the time to invest in  
12 the oversight of the derivatives markets, both  
  
13 futures and swaps, for our key commodities whether  
14 that be agricultural, energy or metals, being the  
15 physicals, or the financial products that are so  
16 significant to our economy. Of course you know  
17 the statistics. We've been regulating a market  
18 that's about \$40 trillion of notional size, in the  
19 swap markets about seven times that size or about  
20 \$300 trillion, and our current funding at  
21 approximately \$168 to \$169 million, the agency is  
22 small compared to the industry we regulate by any

1 measure.

2           Our resources are primarily staff and  
3 technology. We currently have about 676 staff.  
4 They're experienced, they're thoughtful, they're  
5 hard working, but they also need technology. We  
6 spend about 18 percent of our budget as of last  
7 year's numbers on technology and we need to make  
8 further investments in technology to efficiently  
  
9 oversee both the futures and swaps markets and I  
10 think it's only through that investment that we  
11 can adequately oversee a market that has this  
12 breadth and the size that we have. In fiscal 2011  
13 you know we're faced with the challenge where  
14 we're currently under a continuing resolution.  
15 That means we're funded where we were last year  
16 and unfortunately we've had to make some hard  
17 choices ones that I don't believe are of great  
18 benefit to our mission going forward to make cuts.  
19 I might not be traveling to Boca as I was asked  
20 earlier, but the more serious cuts are how do we  
21 do technology and we have cut back on that and I  
22 don't think that's good for the long term, and we

1 don't have any grant money and we don't have any  
2 money that goes outside.

3           We need both technology and we need  
4 people. We need the staff obviously to process  
5 registration applications and conduct  
6 surveillance, rule enforcement, investigate fraud  
7 and the like that computers alone can't do, but we  
8 do need the technology. The President's 2011  
9 budget had an increase for both staff and  
10 technology, but on a percentage basis it was far  
11 more for technology. The 2012 budget includes a  
12 45-percent increase in staff but over 100-percent  
13 increase in technology and both are critical. For  
14 the CFTC to be a cop on the beat to ensure that  
15 markets for commodities, futures and swaps are  
16 protected and are transparent, I think we need  
17 both.

18           Again I want to thank you. I want to  
19 thank Commissioner O'Malia for letting me say a  
20 little bit on resources as well.

21           CHAIRMAN O'MALIA: Commissioner Dunn?

22           COMMISSIONER DUNN: Thank you, Mister

1 Chairman. I want to thank you for holding this  
2 Technology Advisory Committee.

3           During my tenure on the Commission I've  
4 observed firsthand the migration of trading from  
5 the pit to electronic platforms, the introduction  
6 of high-frequency trading and the growing  
7 significance of collation. I have marveled at the  
8 industry's technological advances while despairing  
9 over the Commission's inability to keep pace. I  
10 am more convinced than ever that unless the CFTC  
11 has a firm grasp on the technology advances being  
12 made in the world of derivatives trading, we will  
13 be woefully unprepared to meet the agency's  
14 mission after implementing the Dodd-Frank Act.

15           In order to adequately meet our  
16 regulatory mandates, the Commission must have the  
17 technology and knowledge to understand what  
18 traders are doing and the resources to purchase  
19 and manage systems that will allow us to perform  
20 the necessary surveillance and oversight of the  
21 markets we regulate. I look forward to hearing  
22 the recommendations from the TAC and the sub-Task

1 Force on how the Commission fulfill its regulatory  
2 mandates in this rapidly changing technological  
3 environment. With the help of Chairman O'Malia  
4 and the TAC, I have no doubt that the Commission  
5 will know what we need to do to complete the  
6 mission before it. Unfortunately, due to the  
7 budget crisis facing the CFTC, I do not believe  
8 that we will have the necessary fiscal resources  
9 to meet the technological demands that face this  
10 agency.

11 Many of the Dodd-Frank mandates are  
12 technologically intense including data  
13 recordkeeping and reporting, real-time reporting,  
14 oversight of swap data repositories and swap  
15 execution facilities. Each of these areas will  
16 undoubtedly require greater understanding of and  
17 reliance on technology. However, without adequate  
18 funding for technology, the Commission will be  
19 forced to rely on the SROs and the industry in  
20 general to perform some of these functions.

21 To some this may sound like putting the  
22 proverbial fix in the henhouse. I believe that



1 the recommendations of the TAC indicate that  
2 industry-wide consistency of uniform adherence to  
3 standards is necessary. Unfortunately, there will  
4 be those who will try to game the system. It is  
5 my opinion that the recruitment, retention and  
6 training of our workforce to monitor the  
7 industry's compliance is of paramount importance.  
8 I look forward to today's discussion and I'd like  
9 to thank our panelists for their presentations and  
10 extend a special thanks to all the members of the  
11 Technology Advisory Committee who will help the  
12 CFTC as we try to understand the impact that  
13 technology is having on our markets. Once again,  
14 Chairman O'Malia, I'd like to thank you and your  
15 staff for this meeting today.

16 CHAIRMAN O'MALIA: Commissioner Sommers?

17 COMMISSIONER SOMMERS: Thank you, Mister  
18 Chairman, and thank you all for being here today  
19 and for the time that you dedicate to these types  
20 of advisory committees for the Commission which  
21 are very helpful to all of us as we struggle with  
22 some of these important issues.

1           As many of you in the room know, I've  
2 worked on these issues for approximately 16 years  
3 and some of the issues that we deal with never  
4 change. They're the same issues that we have  
5 every year such as our resource issue seems to  
6 really never go away. We always struggle with  
7 having the adequate resources that we need here at  
8 this Commission. Some things do change as the  
9 futures industry has evolved more than I would  
10 have ever imagined when I started working in this  
11 industry.

12           The particular issues that we're dealing  
13 with today on direct market access and pretrade  
14 functionality have changed dramatically just in  
15 the almost 4 years that I've been here at the  
16 Commission so I think today it is very appropriate  
17 for us to be talking about the changes and the way  
18 the industry is dealing with many of these issues,  
19 and I want to thank you for bringing these issues  
20 to us today and for your leadership on the TAC  
21 Committee. And thank you to all of the presenters  
22 who are here today who are not part of the TAC

1 Committee, but thank you all for your time and  
2 dedication to these issues.

3 CHAIRMAN O'MALIA: Commissioner Chilton?

4 COMMISSIONER CHILTON: I'm here. Can  
5 you hear me, Commissioner O'Malia?

6 CHAIRMAN O'MALIA: We can. Fire away.

7 COMMISSIONER CHILTON: Thanks, and  
8 thanks for everybody's participation and thank you  
9 particularly to Commissioner O'Malia for his  
10 commitment and support for technology and  
11 everything we need to be doing at the CFTC.

12 I've been calling and I did last week so  
13 I hope my colleagues will bear with me, I know you  
14 weren't there, the members of the TAC, but I've  
15 been calling HFT traders, cheetah traders, and  
16 that's not cheetah with a Boston accent, it's  
17 cheetah as the fastest land mammal. My concern is  
18 that at such an incredible speed that we need to  
19 keep up. As my colleagues have said, I don't  
20 think there is any way we can keep up with the  
21 budget circumstance that we're enduring right now  
22 and that we will potentially faced with in the

1 future. I think we can't keep up with the  
2 cheetahs full stop.

3           There are a couple of things that I've  
4 said I think we need to be looking at and  
5 potentially doing. One is some sort of basic  
6 testing regime before cheetah trading programs go  
7 live. I'm not saying that the CFTC should do  
8 this. We clearly don't have the expertise. But  
9 perhaps the exchanges in their testing  
10 environment, perhaps NFA. I'm not talking about  
11 some exhaustive testing regime that would learn  
12 the fundamentals of all the algo and HFT trades,  
13 but maybe some sort of basic maybe like a Jiffy  
14 Lube 10-point checklist to make sure that when  
15 these things start operating in the markets, they  
16 operate efficiently and effectively and they don't  
17 roil markets.

18           The second thing is I think we need some  
19 sort of fine-tune of exchange controls and the TAC  
20 subcommittee talked about this a little bit, a lot  
21 actually, the limit- up/limit-down, the circuit  
22 breakers and I very much appreciate that work.

1 The third thing which the subcommittee also talked  
2 about are those pretrade credentialed firm  
3 controls. I look forward to talk about these  
4 things specifically.

5 I've also read in the last couple of  
6 days and perhaps everybody else read this when it  
7 came out. There was a very interesting study that  
8 was out at the end of November by Cartilla and  
9 Penalva and it's something that shed light on  
10 different aspects of how the cheetah traders are  
11 doing things. It's not just that they're fast,  
12 it's what they do in the markets and they gave  
13 four different examples, three of which apply to  
14 our markets and one is a securities model, of what  
15 they're doing in the markets. It is more than  
16 just being quick to the trade, so to the extent  
17 that we have time, Commissioner O'Malia, I'll be  
18 asking some questions about that too. Thank you  
19 again and thank you for your staff, Commissioner  
20 O'Malia, for setting this up.

21 CHAIRMAN O'MALIA: Thank you. Let's go  
22 to Harry Hild. He is a senior economist at the

1 Division of Market Oversight here and advises on  
2 policy on both agriculture and financial futures.  
3 He is leading the DMO team in developing automated  
4 surveillance programs and has over 12 years of  
5 experience at both the CBOT and the CFTC. Harry,  
6 we welcome your participation to give us a good  
7 overview.

8 MR. HILD: Thank you very much. I'm  
9 glad to be here. The following slides that I've  
10 prepared present some electronic trading statistic  
11 in the United States. Following that I'd like to  
12 very briefly discuss some Stop Logic  
13 functionalities.

14 Very quickly or very briefly I should  
15 say, the first slide is titled "U.S. Futures and  
16 Options Trading." The data source for this is  
17 CME, CBOT, NYMEX and ICE Futures volume data which  
18 together represented 99 percent of total U.S.  
19 volume in 2010. The Y axis shows this volume in  
20 millions of contracts and as you can see the total  
21 U.S. Futures and options volume was over 3  
22 billion contracts in 2010. Eight-three percent of

1 that volume was attributed to electronic trading.  
2 The graph also shows that pit volume, the blue  
3 bars, has been between about 500 million to a  
4 billion contracts since 1998 and pit volume has  
5 been decreasing since 2006.

6 The next slide is electronic trading  
7 percentages for designated contract markets. The  
8 CME, CBOT and NYMEX are grouped in the CME group  
9 totals. This slides shows that seven exchanges  
10 have 100-percent electronic trading volume. These  
11 exchanges do not currently have physical space  
12 dedicated to trading pits. There are four major  
13 exchange groups that will have trading pits and at  
14 those exchanges electronic trading represented  
15 from 82 to 87 percent of total volume.

16 Next I'd like to briefly touch on the  
17 topic of stop loss cascading and show Stop Logic  
18 numbers from the CME for 2010. DMO, the Division  
19 of Market Oversight, is in the process of  
20 reviewing the different methodologies of Stop  
21 Logic cascading mitigation. That's a lot of words  
22 all put together, but cascading mitigation

1        functionality is in place at the exchange level so  
2        that it's not really pretrade, it's at the  
3        exchange level. I'm not aware of any trading  
4        firms that have this in place but that's really  
5        the place for it to be because the exchange has  
6        the full vision of all the markets. CME, NICE,  
7        the New York Stock Exchange Liffe and ICE have  
8        slightly forms of Stop Logic cascading mitigation  
9        functionality. While the exact mechanics of each  
10       of these programs is different across the  
11       exchanges, they do share some basic similarities  
12       such as price bands, limits and reasonability  
13       ranges. In short, they are very similar yet they  
14       are very different. I will defer specific  
15       questions about Stop Logic to the exchanges. I  
  
16       understand that Dean Payton is here and I'm glad  
17       to see him because Dean provided me with some of  
18       the information regarding CME Stop Logic  
19       functionality.

20                    The point I'm trying to make is that  
21       these functionalities are at the exchange level,  
22       that they're different and that we're looking in



1 the market impact that these different  
2 functionalities have if any or is there is a place  
3 for us to either normalize or not, but we're like  
4 I said in the process of evaluating these options.

5 They all effectively serve to safeguard  
6 the market from cascading and we definitely saw  
7 this on May 6. The numbers on the chart, as you  
8 can see in the energy complex at CME there were 22  
9 Stop Logic events in 2010, in the agricultural  
10 space there were 25, metals had six, equities  
11 eight, the FX market had 14 and interest rates had  
12 two. Cascading stop loss orders are triggered if  
13 the market moves up or down to the level  
14 preselected by the trader entering the stop  
15 orders. Generally the rules provide that when the  
16 market moves up or down to the trader's  
17 preselected stop level for such an order, the  
18 order becomes a limit order with a specified limit  
19 price. These orders are then executable only to a  
20 price within the range of reasonability permitted  
21 by the system instead of becoming a market order.  
22 The CME uses a methodology called Globex Stop

1 Logic functionality which pauses trading. This is  
2 called the Stop Logic reserve period. This occurs  
3 when the trading engine recognizes that it has a  
4 series of resting stop orders that would lead to a  
5 cascade. Trading is halted for 5 seconds. If it  
6 occurs between 9:30 a.m. And 4:15 p.m. in the  
7 E-Mini S&P for example, and for 10 seconds during  
8 the balance of trading. During the reserve  
9 period, Globex accepts market and limit orders  
10 that are priced within the reasonability range and  
11 will then execute the orders after the 5-second  
12 pause. The system will pause for another 5-second  
13 reserve period if the market and limit orders  
14 submitted during the previous pause are outside of  
15 the reasonability range. This would then cause  
16 the market to gap open after the two 5-second  
17 reserve periods. On May 6, 2010, this  
18 functionality was only triggered for one 5- second  
19 reserve period.

20 The next exchange that we looked at, ICE  
21 futures, call their program cascading stop  
22 mitigation which is very similar and it applies to

1 "system-based ICE-managed orders." It does not  
2 apply to stop orders which rest in front of ISV or  
3 DIA systems which are not within the control of  
4 the ICE trading platform. This functionality is  
5 currently in place for the USDX and Russell Index  
6 markets but not for the sots (?) markets.

7 Those are the two programs that we've  
8 looked at so far. The New York Stock Exchange has  
9 one but we haven't dug into that one very much,  
10 but that's all I have. The point I'm trying to  
11 make here is that this is at the exchange level,  
12 it's not at the clearing firm of the trading firm  
13 and we're looking at in DMO some of the  
14 consistency that this provides to the market and  
15 whether or not these programs should involve  
16 stopping the market or just pausing it to let  
17 other market orders in. That's all I have. Are  
18 there any questions?

19 CHAIRMAN O'MALIA: Thank you. Dr.  
20 Gorham?

21 DR. GORHAM: I don't know, Harold, if  
22 this is for you or for Dean Payton, but when you

1 look at these numbers up there you see quite a bit  
2 of difference. Is the driver there essentially  
3 liquidity and the greater the liquidity that  
4 exists in the market the less frequently this has  
5 to be triggered?

6 MR. HILD: I'll defer that to Dean.

7 MR. PAYTON: Dr. Gorham, I think that's  
8 largely correct and it also has to do with the  
9 parameters of the Stop Logic functionality. But  
10 if you look in our most liquid products, say the  
11 E-Mini product, the Stop Logic event that we had  
12 on May 6 was the only situation during 2010 where  
13 that Stop Logic was implemented and that was  
14 similarly true in 2009 as well. When you have  
15 contracts that are potentially more deferred  
16 contracts that have more liquidity gaps, that's  
17 what the Stop Logic functionality is there for.  
18 It's to mitigate those transitory liquidity gaps  
19 and allow other market participants to come in and  
20 replenish that liquidity. If you look at the  
21 statistics that Harry has up there, arguably those  
22 are 77 instances where potentially disruptive

1 trading activity was mitigated by having this  
2 control at the exchange level.

3 CHAIRMAN O'MALIA: Go ahead.

4 DR. GORHAM: I want to comment that I  
5 think that this is a great innovation and I don't  
6 know if people remember this, but back in  
7 2003-2004, we used to get what we then called  
8 liquidity vacuums in the E-Mini S&P and in the  
9 similar contract at the Board of Trade and you  
10 would have these situations often times right  
11 before a 3-day holiday or 3-day weekend in which  
12 there weren't many traders there and in these  
13 electronic markets you'd get the same kind of  
14 thing we had in the flash crash and this is a  
15 great example of the exchanges themselves coming  
16 in in both cases and creating a functionality that  
17 essentially erased that for quite a while.

18 CHAIRMAN GENSLER: I think that's  
19 correct that on May 6 it was very helpful. As it  
20 turned out it was the absolute bottom of the  
21 E-Mini. I have one question. The Joint Advisory  
22 Committee, the other advisory committee that the

1 CFTC-SEC raised is whether there are some  
2 circumstances where the stop loss functionality as  
3 it worked if it were to be triggered a second time  
4 whether there is need for a longer than 5-second  
5 gap so that I was curious whether you have a point  
6 of view or whether your subcommittee looked at  
7 that because the other committee raised it.

8 DR. GORHAM: I don't have a point of  
9 view on that but there are many smarter people on  
10 my subcommittee than me so I don't know if anybody  
11 does.

12 MR. PAYTON: Chairman Gensler, I think  
13 in terms of the manner in which the Stop Logic  
14 works, first of all, we do have situations where  
15 the Stop Logic isn't 5 seconds, it can be  
16 calibrated at 10 seconds, we have some that go out  
17 to 20 seconds so that there is a calibration  
18 period.

19 CHAIRMAN GENSLER: That's by product.  
20 Right?

21 DR. GORHAM: Yes, that's by product.  
22 Then additionally when a Stop Logic event occurs,

1 if that liquidity doesn't come in the way the Stop  
2 Logic functionality works in that situation is we  
3 would expand the price range and the timeframe and  
4 that would go through iterations of extending the  
5 pause to allow liquidity to come in at different  
6 price levels. So I think that the Joint Advisory  
7 Committee in their dialogue around that  
8 functionality didn't appreciate the full scope of  
9 what it's capabilities are.

10 CHAIRMAN GENSLER: Dean just they've  
11 already taken care of it, but my question is given  
12 that the advisory committee raised this, maybe it  
13 would be good to know if you had a point of view.  
14 They were concerned with potential cascading of  
15 stop loss functionalities. I think Dean is saying  
16 you've taken that into consideration. And you may  
17 have. I'm just saying that the committee did give  
18 us something on that so it would be helpful if you  
19 had any view on it.

20 CHAIRMAN O'MALIA: We have included in  
21 your packets the May 6 report from the joint  
22 committee. I think it's in the back there so that

1       you might take a look at that. Chuck, do you have  
2       an observation on ICE's similar Stop Logic tool?

3               MR. VICE: Yes. I would say we're still  
4       evolving that. We just exchange-traded stop  
5       limits probably about a year ago or maybe a  
6       year-and-a-half ago to the platform and prior to  
7       that I think probably still a majority of the  
8       stops that we get today are triggered on our  
9       front-end ISV and so what we see is a market  
10      order. We have market order protection limits  
11      that make sure that market order doesn't go  
12      through a price band. The Stop Logic that we have  
13      that Harold alluded to earlier is turned on in  
14      some of our markets. With an exchange-traded stop  
15      there are essentially only a few things that you  
16      can do when you have a cascade. You can implement  
17      some kind of pause or trading floor or ceiling  
18      depending on the direction of the move which is  
19      probably where we're going to evolve to. You can  
20      cancel any unfilled order which is what our  
21      current logic does. Some people like that and  
22      some people hate it. Or you can reprice the



1 triggered stop at the floor. A lot of people view  
2 that as the worst option because if it is a  
3 momentary move or a spike and it's going to  
4 reverse quickly, you're likely going to get  
5 executed at the worst of the day. None of those  
6 are panaceas, and as Dean described a minute ago,  
7 I think that logic can get very complicated  
8 quickly, almost more complicated than the original  
9 problem.

10 I think we're also looking at continuing  
11 to evolve our Stop Logic functionality with a  
12 broader velocity-oriented speed bump or whatever  
13 you want to call in any of the markets where we  
14 can deploy if it does down X percent or X number  
15 of ticks in Y amount of time, then again don't  
16 stop trading but set a temporary price floor or  
17 price ceiling depending on the direction of the  
18 move with the same general idea, if there is  
19 anything erroneous going on, give the market time  
20 to put more logical prices back in and hopefully  
21 bounce off that floor. It's a move driven by  
22 market events then eventually you're going to

1 remove the floor and the market is going trading  
2 in the same direction.

3 CHAIRMAN O'MALIA: Thank you. Are there  
4 any other questions for Harry or Alice? Dr.  
5 Gorham, if you'll present your report. Thank you,  
6 Harry. Dr. Michael Gorham is the head of our  
7 subcommittee which we created at Thanksgiving in  
8 order to begin to address these pretrade  
9 functionalities associated with direct market  
10 access. Many of our rulemakings are considering  
11 what options we have in implementing these  
12 pretrade controls as we develop new rules for the  
13 designated contract market and SEFs. We asked Dr.  
14 Gorham to reflect on the proposals in the industry  
15 today and any other observations we might add. He  
16 has put together the report before which is in  
17 your binders and he will present that. He is from  
18 the Illinois Institute of Technology and served  
19 for more than three decades as a research  
20 economist at the Federal Reserve Bank of San  
21 Francisco and served as the Vice President of  
22 Product Development and Commodity Marketing,

1 Education and International Marketing spanning 18  
2 years at the CME. He also has academic and  
3 research experience at IIT's Center for Law and  
4 Financial Markets, has been the editor of "The  
5 Journal of Global Financial Markets" and also  
6 served as the first Director of the Division  
7 Market Oversight for the CFTC. We appreciate his  
8 experience and he is the best candidate to conduct  
9 this subcommittee review, and we look forward to  
10 his presentation.

11 DR. GORHAM: Thank you. That actually  
12 makes me about 112 years old. I was counting up  
13 those numbers.

14 The first thing I want to say is that  
15 I'm doing this presentation, but the committee put  
16 this report together so that this is the  
17 intellectual product of these guys. One of the  
18 points that we make in the paper is that there are  
19 really three tiers in the electronic trading  
20 world. There is the trading firm, there is the  
21 clearing firm and there is the exchange.  
22 Commissioner O'Malia did a great job I think

1 putting this subcommittee together because you've  
2 got all three. In fact, the exchanges are the  
3 most important in this sphere and you've got the  
4 two major exchanges in the U.S. on the committee  
5 and that's really good. Bryan Durkin can't be  
6 here today, but Dean Payton will be here to talk  
7 about anything that might be relevant there.  
8 You've got Gary DeWaal in person from the clearing  
9 firm and you've got Chuck Whitman from one of the  
10 premiere trading firms in Chicago. I just found  
11 out a few minutes before we started today that  
12 Richard Gorelick had put together a set of  
13 proposals I think even 3 years before the FIA came  
14 out with their initials ones so that there is a  
15 lot of other very thoughtful consideration in the  
16 room that I think we'll be able to tap into. What  
17 I'll do is walk you quickly through what the paper  
18 says and then I hope that my committee members  
19 will be able to respond to all the questions and  
20 even add things if there aren't questions.

21 In terms of focus, first of all, we  
22 focused on direct market access. The idea here is

1       that we are recommending pretrade measures that  
2       would preserve market integrity in the case of  
3       direct market access. I want to put this one  
  
4       thought in your heads before we proceed. Being at  
5       the CFTC is sort of like being a New York cop to  
6       some extent. What you see are all the problems  
7       that you have to solve and all the stuff that you  
8       fix, and you do. That's why you guys are all  
9       here. But I want to remind you and all of us here  
10      at the table that there are a lot wonderful things  
11      that have come out of electronic trading. Many of  
12      us in this room have seen trading evolve all the  
13      way through. There is much greater transparency,  
14      there is much longer cost, there is greater  
15      liquidity, there is much broader participation in  
16      the markets than ever before and there is much  
17      faster access to these markets. One of the things  
18      that we were trying to do in the things that we  
19      came up with is not to mess those things up, but  
20      to preserve those.

21                   The principles that were guiding us as  
22      we made our choices were first of all this is an

1       incredibly dynamic industry. You can jump back 2  
2       years or 2 years before that and you probably  
3       wouldn't have imagined seeing all the things that  
4       you've seen that have come out. We want to keep  
5       that dynamism. One of the things that may be a  
6       little controversial here is this concept of  
7       bringing all hands on deck. In other words, we  
8       talked about all three tiers in the industry and  
9       what we're doing is we are putting this  
10      responsibility into the laps of all three of those  
11      tiers. Some have argued which is partially  
12      correct that the exchanges are key. They are the  
13      most important. There are fewer exchanges. They  
14      are the ones that ensures that everybody who comes  
15      to the exchange would have to do what was required  
16      once you got to the matching engine so that that's  
17      really the most important. This may seem  
18      redundant, but we put obligations on the trading  
19      firms and on the clearing firms as well and you'll  
20      see why in just a second. The third thing here is  
21      to make sure that we recognize that each of these  
22      tiers has incentives. The trading firms obviously

1 are trying to be faster than all the other trading  
2 firms and do whatever they can to minimize latency  
3 and that's where direct market access came from,  
4 the fact that you can save a certain number of  
5 milliseconds from doing that. The brokers  
6 obviously want to have that business and an  
7 increasingly significant amount of business  
8 putting and the burden solely on the brokers as  
9 was done with the SEC is something that really  
10 doesn't make sense, but the clearing firms have to  
11 be involved and the exchanges are obviously are  
12 competing with one another so that they have their  
13 own incentives in terms of minimizing latency for  
14 that purpose, but certainly anybody coming in to a  
15 single exchange would have to abide by any  
16 standards that the exchange sets.

17 There are two fairness issues. The  
18 first one relates to what I just said which is we  
19 don't want to have a situation where there is any  
20 kind of a race to the bottom where a clearing firm  
21 or a trading firm that acts less responsibly is  
22 put at some kind of an advantage so the standards

1       that we put in try to do that. The other part of  
2       the fairness issue is to make sure that the new  
3       swaps execution facilities are treated the same as  
4       the designated contract markets and of course any  
5       foreign board of trade that's relevant in the U.S.  
6       market should have the same obligations. Finally,  
7       in terms of these guiding principles there is this  
8       issue of coordinating with the SEC. You have a  
9       joint commission on that. The idea here is that  
10      those firms that are both FCMs and broker dealers  
11      would certainly benefit from having similarity  
12      across the two regulatory worlds.

13                 We looked at the other reports, not just  
14      the FIA reports but certainly the April and  
15      November FIA reports and some of you in this room  
16      have participated in that. What we've attempted  
17      to do is to distill from those reports the things  
18      that we felt were essential to get done. One of  
19      the issues I think that can be discussed is did we  
20      leave anything out or does this do an adequate job  
21      of doing that.

22                 I'll quickly go through the three



1 levels. Starting with the trading firms, the  
2 trading firms must establish pretrade quantity  
3 limits for each order. In fact, these first two  
4 things, the quantity limits and the price collars,  
5 are restrictions that the firms would put on  
6 themselves that would even prevent the order from  
7 leaving the firm and going to the exchange. The  
8 pretrade price collars obviously are meant to  
9 prevent orders from being submitted that have  
10 prices that are far off the current market. The  
11 next two things, the execution throttles and the  
12 message throttles, are cases where you would stop  
13 shipping trades if in fact too many executions or  
14 too many messages were resulting from these  
15 algorithms and there would have to be human  
16 intervention before that could start up again.

17 Finally, every trading firm would have to have a  
18 kill button in order to stop orders from being  
19 executed that had been already sent and to stop  
20 any further orders from going in. This would be  
21 hopefully something that would almost never  
22 happen, but it would be a fair-safe backup.

1                   In moving to the clearing firms, the  
2                   major job of the clearing firms is to ensure that  
3                   their client trading firms behave. The idea would  
4                   be that they would have to ensure that the trading  
5                   firms do establish the functionalities that we  
6                   just listed, that they would utilize these  
7                   functionalities for all trading done by the  
8                   trading firm, that the parameters in these, that  
9                   is to say the price limits, the quality limits,  
10                  would have to be parameters that had been agreed  
11                  to by the clearing firm and that the clearing firm  
12                  would obtain written certification of all of those  
13                  things that I just mentioned as well as written  
14                  certification from the independent software vendor  
15                  if in fact the trading firm's trading was done  
16                  from the ISV's server as opposed to the trading  
17                  firm's server itself. The clearing firm would  
18                  have to have access to the trading firm's kill  
19                  button.

20                  Finally, the exchange. As I said  
21                  before, the exchange is really the key anchor in  
22                  controlling risk. Whatever it would set up, it

1 would have to require that all firms use these  
2 controls. The firms wouldn't have a choice or  
3 not. They would all have to do that. The  
4 exchanges would have pretrade quantity limits on  
5 individual orders, they would have intraday  
6 position limits that would be set by the clearing  
7 firms, they would have pretrade price collars that  
8 we spoke of before and also have message  
9 throttles. In addition to that, the exchanges  
10 would allow clearing and trading firms to set  
11 automatic cancellation of orders if there were a  
12 case where the trading firm was disconnected from  
13 the exchange and would also allow the trading  
14 firms and clearing firms to view both working and  
15 filled orders and to cancel working orders if  
16 necessary. The final thing for the exchange is  
17 ensure that they have clear error trade policies.  
18 I should say that the exchanges have a lot of  
19 these things that we're talking here already but  
20 have clear error trade policies that favor trade  
21 price adjustment as opposed to simply busting  
22 trades.

1           The idea here is that if all three of  
2 these tiers work together on this problem, we  
3 think this would go a long way to prevent any sort  
4 of errant algorithm sneaking in and taking the  
5 system down. Maybe I would first ask if any of my  
6 fellow committee members want to add anything to  
7 what I've just said.

8           MR. VICE: I had a couple of points. I  
9 thought Mike did a good summarizing our report.

10           I can think of three additional points.  
11 One, all of the things that we were suggesting the  
12 exchanges should do we feel strongly should apply  
13 to swaps execution facilities equally as well  
14 particularly any that are going to offer direct  
15 market access. My second point is I think we  
16 tried to keep in mind, I don't know that we  
17 explicitly said it in the report, the original  
18 request of the Commission and that is to give some  
19 guidance on how prescriptive can you be or not be  
20 in potential rulemaking to require these three  
21 different tiers to do these things. I think  
22 you'll see in the report that we had general

1 agreement on the bulleted items. On the exchange  
2 there you see the pretrade quantity limits,  
3 intraday position limits, pretrade price collars  
4 and message throttles and so forth that I think we  
5 feel that's the level of prescription that maybe  
6 the Commission should target, not specify how  
7 message throttles should be done, leave that up to  
8 the exchanges to innovate there and to come up  
9 with policies that fit individual markets  
10 depending on how liquid they are, how widely  
11 traded they are, what time of day they trade and  
12 those types of things, but at least to give you  
13 some specificity that you could put into some  
14 rulemaking.

15 I think the third point in response to  
16 what I believe Commissioner Chilton raised in his  
17 remarks concerning putting close ties between HFT  
18 traders and someone else in terms of a second set  
19 of eyes looking at what they're doing -- the  
20 Commission or the exchange for that matter could  
21 go in and sit on their side of the table and know  
22 what's going on with their algorithm, but I think

1 both Bryan both felt from the exchange side that  
2 we already have fairly rigorous conformance tests  
3 that the direct market access traders have to go  
4 through. It's largely technology oriented making  
5 sure that the orders that they're sending us and  
6 that we're receiving are indeed the ones they  
7 intended to send and that they are interpreting  
8 our market data feeds in the proper way and so  
9 forth. I think we can add some additional checks  
10 there that would largely be documentation provided  
11 by the HFTs and representations by the HFTs  
12 because most of the tests you'll notice are  
13 negative tests, don't send us something, don't  
14 send me too messages to that there is no way for  
15 us on an exchange side to see a sign of whether  
16 that happened or not but it's a natural extension  
17 of our relationships with these high-frequency  
18 traders to bolt on some additional verification of  
19 the conformance process and they're signing that  
20 they indeed have incorporated this pretrade  
21 functionality.

22 CHAIRMAN O'MALIA: Gary?

1                   MR. DEWAAL: Thank you. I agree that I  
2 think our chairman of our subcommittee did an  
3 excellent job in summarizing where we came out.

4                   I would say that when I read the  
5 SEC-CFTC Advisory Committee on Emerging Regulatory  
6 Issues and their special report, what did strike  
7 me was the fact that the discussion only related  
8 to the exchanges and the brokers. I was surprised  
9 at other than trying to induce liquidity through  
10 maybe some kind of congestion pricing, there  
11 wasn't a lot of discussion about the trading firms  
12 except to bemoan the fact that market makers are  
13 sort of disappearing and high-frequency traders  
14 are somehow there. I do think that when we look  
15 at this issue that you've got to consider the  
16 responsibility of all players. I think that's  
17 what the FIA best practices study did last year  
18 and I think that it's something important to  
19 consider.

20                   The other thing that I was struck with  
21 is when I was asked personally, What kind of  
22 regulatory proposals do you think we need to do

1 something and make things better? I was also a  
2 little surprised by that because as a clearing  
3 firm I thought I had responsibilities already in  
4 this area. I thought I had responsibilities to  
5 have prudent risk- management practices and I  
6 thought I had the duty of supervision over my  
7 accounts so I was wondering whether or not I was  
8 now being given a by somehow and I had just been  
9 more conservative all these years than I should  
10 have been.

11 CHAIRMAN GENSLER: Just know.

12 MR. DEWAAL: Rats. I thought I'd try.

13 COMMISSIONER DUNN: Know all pass few,  
14 Gary.

15 MR. DEWAAL: I think there's an element  
16 of practicality here that we've got to look at. I  
17 know Nick Garrow, I don't want to keep him. He's  
18 my colleague from London and he's going to speak  
19 in a few minutes about some of the logical issues  
20 and some of the practical issues that we've had  
21 trying to implement solutions and it's late there  
22 so I'm definitely going to shut up quickly.



1                   This all begins as a matter of  
2 practicality. One thing that strikes me again is  
3 that, yes, trading firms are customers and to the  
4 extent that trading firms do stupid things like  
5 sometimes they do now and they commit market  
6 offenses, the CFTC has never lacked authority to  
7 go after people who commit manipulation or commit  
8 other types of market offense under 4(c). So as a  
9 result because trading firms have an interest in  
10 not violating the rules as they exist and they  
11 also have an interest in their own financial  
12 solvency, the responsible ones act responsibly and  
13 they do a lot of the stuff that we're already  
14 talking about they should do.

15                   From the brokerage firm and this is  
16 where I've always been a little confused, and I'll  
17 speak a little story because I think it's useful,  
18 we have an obligation right off the bat. We don't  
19 have as in the securities industry the technical  
20 know-your-customer types of obligations and  
21 suitability although we obviously have them in the  
22 AML area. But obviously we select our customers.

1 We also are concerned about our capital and our  
2 preservation and we want to do business with  
3 customers who aren't going to put us out of  
4 business ourselves so that there is a certain  
5 amount of due diligence that we want to conduct  
6 right off the beginning and that's important.  
7 It's important that we select customers  
8 particularly in the high-frequency area who we  
9 think are responsible and when the trading firms  
10 themselves came out again last year with some  
11 recommendations that they themselves should be  
12 held to, we said that's good because that's the  
13 kind of stuff we can now hold them to in our due  
14 diligence process.

15 But there's another real practical  
16 issue. When this whole debate rose up last year  
17 and we changed CEOs in our organization globally,  
18 he asked a very question. He asked, How much do  
19 these firms make for us? He asked you've got to  
20 look at a reward-risk analysis and not only is  
21 there a suitable assessment in the first place,  
22 but since you are taking the risk that one of

1       these firms could do something wrong, blow up or  
2       whatever, you better make sure that you're making  
3       a fair amount of money so that there is the right  
4       ratio of return risk. I think at the beginning  
5       brokers not only have an initial obligation to  
6       assess their clients to make sure that they're  
7       doing the right things, that they're reputable  
8       people, and in the high-frequency world again we  
9       now have these new standards to test, but ongoing  
10      to keep on making sure that they're abiding by the  
11      rules they agreed to and probably even though it's  
12      not a regulatory issue making sure that they make  
13      money.

14                   Then obviously we think that it's very,  
15      very important that there be risk filters at not  
16      just the exchange level because as Charles said I  
17      think that would prejudice the exchange. Any  
18      regulated type market whether it's the regulated  
19      exchanges or the ATSEs in the securities world,  
20      whether it's the SEFs, the DCMs in our world, if  
21      you're putting out a public exchange and you're  
22      allowing people to come in in a direct access way,

1       then there should be some kind of filters there.  
2       We believe they should be at the exchange level  
3       and I think even our clients believe they should  
4       be at the exchange levels because if not you have  
5       a situation like you have now in the securities  
6       world where you are going to have a race to  
7       minimum compliance to whatever the rules are going  
8       to be at the broker level. Because at the end of  
9       the day we're all competing with each other, the  
10      trading firms are all competing with each other,  
11      the name of the game as we discussed early on is  
12      low latency and we want to have a high bar and not  
13      a low bar and the markets themselves are best able  
14      because they know what the parameters of their  
15      markets are, they know the liquidity issues, they  
16      know that there might be a difference between how  
17      you should allow access to agricultural markets  
18      versus equities markets versus fixed- income  
19      markets. They know and have a better feel of what  
20      kind of risk controls should be there and they can  
21      make the bar equal for all entrants. We think  
22      that's very, very important not just in the

1 futures markets where traditionally it's been  
2 easier to have controls at that level, but in all  
3 markets, securities as well as futures, and going  
4 forward when the SEFs are out there also.

5 Finally, again from the broker  
6 perspective, one of the issues, and I know it's a  
7 debate that goes on, is what kind of electronic  
8 prefiltering should occur. Obviously it's not in  
9 our interest as a broker to allow our clients to  
10 do dumb things, but technologically it's not as  
11 easy as it seems to be to prevent dumb things.  
12 One of the great struggles we've had as an  
13 organization globally, and not so much in the  
14 United States but certainly outside the United  
15 States, is even getting timely feeds after the  
16 fact of exchange data that we can run through our  
17 computers to do analytics that we want to do to  
18 make sure our clients are behaving. The problem  
19 with running those analytics in advance of trading  
20 and acting on it is that on behalf of clients we  
21 may not be seeing the entire trade, we may be only  
22 seeing a part of a trade and for us to stop a

1 trade because we think something in a nanosecond  
2 may be wrong could be devastating to that client  
3 because it's part of another trade that we're not  
4 seeing and we could be wrong and the liability  
5 issues would be dramatic on us after the fact. At  
6 least under the current legal framework this is  
7 not something that we hope we have to get into  
8 because it's a mess. But posttrade, absolutely.  
9 We should be given as a brokerage company data as  
10 quickly, in a uniform layout as possible again  
11 because we're responsible and we want to do  
12 analytics on our clients as quickly as possible.

13 Again to reinforce what's been said,  
14 it's a shared process. People who are responsible  
15 in this business who are already acting  
16 practically and as the technology gets better we  
17 can even act better, and as far as I was aware,  
18 I've already got this kind of obligation. So  
19 whether there needs to be a little tweaking here  
20 or there, I'll let others decide other than me,  
21 but to me the obligation to be responsible is  
22 already out there.

1                   CHAIRMAN O'MALIA: Brenda?

2                   MS. BLOUTWOOD: I would like to thank  
3 the subcommittee for the balanced view across the  
4 trade process of risk-management responsibilities.  
5 I think it's important. But I have a question of  
6 the subcommittee and that is your thoughts about  
7 the role of the regulator. After the rulemaking  
8 occurs, what type of governance process perhaps or  
9 postexecution penalties? What did you discuss as  
10 the ongoing role of a regulator?

11                  DR. GORHAM: I'll start. We really  
12 didn't get into the area of penalties at all and  
13 depending on the funding of the Commission, it's  
14 going to be difficult to say what could be done  
15 from the point of view of enforcement. We were  
16 kind of coming from an ideal world, but you're  
17 raising a great question and let me punt it to  
18 anybody else on the committee who has some  
19 thoughts on this.

20                  MR. DEWAAL: As I just said a few  
21 seconds ago, I've liked under the assumption that  
22 I have a duty, an obligation to supervise my

1 accounts, my personnel, and having just been fined  
2 by the Commission a couple ago or my firm, I know  
3 it's serious that if you don't act responsibly  
4 you're probably going to get penalized. I think  
5 there's a debate that goes on forever. In fact, I  
6 was having this debate with a foreign regulator  
7 last night. I remember 15 years ago when London  
8 regulators went to principles-based regulation and  
9 everybody said that's going to be a great scenario  
10 because there are going to be broad principles and  
11 strict enforcement and the industry wanted that.  
12 Then in about 3 years when there was a lot of  
13 enforcement action, of course the industry said,  
14 no, we don't want such broad principles. We want  
15 things that are much more narrow so that we have a  
16 careful map to know what we're going to do. So I  
17 know there's always tension.

18 But it seems to me again that the  
19 reality of life is whether I want it or I don't  
20 want it, if I act irresponsibly and if there is  
21 going to be a problem out there, market  
22 participants are going to get dinged. That's just



1 the way it works in a regulated environment.  
2 Obviously the regulators need the tools to be able  
3 to monitor markets. I am very sympathetic when I  
4 hear the pleas of the Commissioners for funding.  
5 I know what it costs my organization and again  
6 Nick Garrow will speak in a few seconds. I know  
7 what it costs my organization just for the bid of  
8 the trades that we look at. I just have to assume  
9 it's multiples of that for what the Commission is  
10 looking at and they desperately need the  
11 technology and they desperately need the  
12 cooperation of the exchanges probably not just in  
13 the United States because through the memorandums  
14 of understanding just like we need to understand  
15 that the client is placing his trade on the CME  
16 versus trades against the Hong Kong exchange and  
17 the TAIEX, the regulators at the CFTC need that  
18 too to truly understand what's going on out there.  
19 The technology demands are tremendous so that I  
20 think they have the obligation or responsibility  
21 to do the proper kind of surveillance to  
22 understand the full scope of what's going on and

1       they need to work with their international  
2       colleagues. Then as far as industry participants,  
3       I'm probably going out on a limb here and I'm sure  
4       I'm going to get yelled at all my colleagues at my  
5       competitors, but the name of the game is if you  
6       act irresponsibly you're going to get dinged.

7                   COMMISSIONER DUNN: If I can drill down  
8       on what Brenda has started here, as I look at this  
9       and it appears, Michael, that the sub committee is  
10      basing it upon the FIA's PTG white paper and  
11      that's what everybody has come together on a  
12      consensus that that's where we are and I'm  
13      wondering where that is vis-à-vis the regulations  
14      that we're currently working on. The second thing  
15      that strikes me is that because you're asking both  
16      the exchanges and the clearing firms to supervise  
17      the trading firms and you're saying that they  
18      should take reasonable measures. I'd like to know  
19      what does reasonable measures mean because that's  
20      something that we're wrestling with right now.  
21      Then, Gary, if I understand your answer, you think  
22      the regulator come in at the end when someone

1 steps over the boundary and slaps their hands or  
2 gives them a fine. When I made my opening  
3 statement about people thinking that we're putting  
4 the fox in the henhouse, we're asking the industry  
5 to do this overall regulation and we don't have a  
6 role until the end of the day when we see that  
7 something has happened. Is there a role for the  
8 regulator before we get to that point?

9 DR. GORHAM: Chuck I think started to  
10 say something. Can you weave that in?

11 MR. WHITMAN: I think there are a couple  
12 of points that are worth noting on this. I  
13 thought, Gary, you explained the role of the  
14 clearing firm very well and I think one of the  
15 things that's important is for us as a trading  
16 firm, there are a series of things that as Gary  
17 talked about, if you look in the -- trading  
18 community or if you look in the principle trading  
19 community, most of us trade our own money. As  
20 such it's our own capital that's at risk on a  
21 day-in and day-out basis. We don't have clients.  
22 We don't have customers. A big thing for us is

1 reward to risk. We want to make sure that  
2 tomorrow we can come back and trade. I can tell  
3 you from my own experience that we've had  
4 strategies that we've gone through and looked at  
5 where we made choices at the expense of latency to  
6 make the strategy more safe and stable. One of  
7 the things that I wanted to clarify that Michael  
8 talked about is we control the pretrade quantity  
9 limits and the pretrade rice collars, but we also  
10 control the message throttles and the message  
11 throttles are a key component that use in managing  
12 orders going in and out the door. Quantity limits  
13 keep orders that are too big from going out, price  
14 collars keep mispriced orders from going out and  
15 throttles keep too many orders from going out.

16 The one thing that I think we all have  
17 learned is that you do everything you can to  
18 eliminate errors. We have a code base that's  
19 several-million lines of code for our company and  
20 when you have several-million lines of code, no  
21 matter how hard you try you are going to have some  
22 errors. Then what do you do to minimize the

1 impact of errors? You do everything you can to  
2 eliminate them and then if you do have them, how  
3 do you minimize them? These are things that we've  
4 put in place to be able to minimize the impact of  
5 an error.

6 In addition, one of the things that I  
7 will back up that Gary said is all of our clearing  
8 relationships both when we came in from an  
9 introductory standpoint and then in an ongoing  
10 standpoint, we are going through a thorough  
11 interview and they come through and there are a  
12 lot of things they want to see. Do you have a  
13 quality assurance department? Are you stress  
14 testing your releases? Are you stress testing  
15 your software? What are your oversight policies?  
16 -- these sorts of things that we are being held  
17 accountable for to be able to have the  
18 relationship in the first place.

19 One of the things that we did last year  
20 is we started a risk working group within our firm  
21 where people from compliance, people from  
22 technology, engineers, they meet twice a month and

1       they talk about what can we do to make the way  
2       that we trade in our firm more stable and more  
3       safe, and we have given access to that to some of  
4       the clearing firms at various points in time so  
5       they can come in and have even more comfort with  
6       how we do things.

7                   One of the things I also want to add is  
8       that Michael alluded to this race to the bottom.  
9       I believe that standardization for firms like ours  
10      is a benefit to somebody like me because  
11      standardization makes a level playing field and I  
12      don't have to worry about somebody else cutting a  
13      corner that then not only hurts the industry but  
14      also potentially hurts us and one of our  
15      strategies. I want to make sure that I represent  
16      that from the firms side that firms like ours  
17      spend a lot of money and put in a lot of time in  
18      to make sure that we stay safe. It's our business  
19      that's at stake.

20                   The next thing is going to your  
21      question, Commissioner or your statement, when we  
22      put this plan together one of the things we talked

1       about as a committee was how could we put this  
2       together in a way that was enforceable and that  
3       could be looked after. One of the things that we  
4       believed is that you needed to have multiple  
5       layers of redundancy at the firm level, at the  
6       clearing firm level and at the exchange level.  
7       And from a regulator's standpoint, the easiest  
8       places for the regulator to check in is at the  
9       exchange. At the firm level there are so many  
10      firms and there are so many strategies that it's  
11      very hard to get into the specifics of any one  
12      thing, but if you have principles and you have  
13      checklists of things that you have to have in  
14      place, like you have a quality assurance team and  
15      you have to stress test releases before you put  
16      releases out, things of that nature are things  
17      that are good for firms like ours and they're good  
18      for the industry. And I think as we put that  
19      together, that was why we put together the  
20      proposal the way that we did in that we put it in  
21      a way that we thought was practical to be  
22      enforced.

1                   MR. VICE: I was going to add that the  
2 nature of the remit that the subcommittee was what  
3 kind of technology can you and should you  
4 implement at these three levels to prevent bad  
5 things from happening. In most of the world you  
6 can only prevent so many things. Policing is  
7 typically an after-the-fact function. The crime  
8 has already occurred. This was an opportunity and  
9 a scope of discussion based on the FIA report to  
10 at those three tiers what can we do to prevent  
11 excessive messaging or to prevent fat-finger  
12 errors that result in error trades. We're not  
13 going to consider it an enforcement issue if  
14 someone sends us an excessive amount of messages  
15 that it goes past our throttle, we're not going to  
16 accept it as an exchange, we're going to look at  
17 the firm that sent that to us. They may have a  
18 different parameter set than we did. We didn't  
19 vouch for their parameter. It's up to them to set  
20 their parameter at a proper level and it's up to  
21 us to protect the exchange and the rest of the  
22 participants from excessive messaging. The



1 parameters may be different, we're probably going  
2 to have a discussion there, but we're not going to  
3 view that as a type of issue that some enforcement  
4 action needs to take place.

5 I think there are other things we do  
6 that are beyond the scope of the subcommittee  
7 which was in the pretrade area but the exchanges  
8 certainly do and I'm sure the clearing firms do as  
9 well. We tend to be the enforcement entity, the  
10 SRO. We have things like volume messaging  
11 policies to discourage excessive messaging, to do  
12 more than discourage it, actually find them and  
13 charge a fee on it. I think maybe as an  
14 interesting point I would mention that I would say  
15 over the last year in our exchanges, we've moved  
16 toward viewing those types of things as a primary  
17 purpose in protecting the exchange, in other  
18 words, the integrity of our markets, the capacity  
19 of our systems. The point is we may have  
20 thresholds and penalties at levels that are far  
21 below a level that would cause any problems, but  
22 based on the market in question and the type of

1 messaging we're getting, are they bids and offers  
2 that are far away from the market that tend to be  
3 noise, are they result in very few fills, to look  
4 at it more as a structural issue for our  
5 participants and not quote stuffing which has been  
6 thrown about which is a way of saying a lot of not  
7 productive messaging and orders that everyone has  
8 to consume and process, to minimize and reduce  
9 that. So there's a little change in focus there  
10 from internally looking at what we can handle  
11 versus what's reasonable to expect a typical  
12 customer to be able to handle.

13 COMMISSIONER SOMMERS: I have a couple  
14 of different questions I think with regard to the  
15 scope. As I alluded to in my opening statement,  
16 we've been discussing these issues for a number of  
17 years and I think the discussion continually  
18 evolves, and I appreciate what you've given us  
19 here with the different levels in the supply chain  
20 having different best practices or guidance for  
21 every level along the way. But the concern I  
22 think that we've always had is how consistent are

1       these principles or guidance applied across the  
2       market? Do you have sort of sense to tell us? I  
3       know that people around the table say we do this.  
4       Trading firms, clearing firms, exchanges, we do  
5       this. But is it broadly consistent that everyone  
6       in the market has or abides by these principles?  
7       As a follow-up to that following on to Brenda's  
8       question, if the Commission looks at this, then do  
9       we adopt these as hard-and-fast rules, that these  
10      are rules that every step along the supply chain  
11      has to abide by and if everyone doesn't  
12      consistently apply them then you're violating the  
13      Act?

14                   DR. GORHAM: Even though he's not on the  
15      committee, could I ask Rich Gorelick to respond to  
16      that because he said something directly along  
17      those lines earlier today?

18                   MR. GORELICK: Thank you, Michael. I  
19      have a couple of things on that. I think that  
20      there is probably a regulatory role for some  
21      broad-level enforcement or some broad-level rule  
22      setting about what types of checks should be in

1 place at the various levels. That said, I would  
2 caution having a very static prescriptive list  
3 because I think that would both give us a false  
4 sense of security as markets change, as strategies  
5 change and as best practices change. And it would  
6 also limit us in ways that where we wouldn't  
7 necessarily be pushing toward the best risk  
8 management that we could possibly have. In that  
9 regard I think this subcommittee is very helpful  
10 and very useful. I feel that putting the  
11 responsibility at all three levels is particularly  
12 helpful, and as you point out there is probably a  
13 fourth level which is where the regulator should  
14 touch in with the process. My guidance would be  
15 to have it at a broad level rather than at a  
16 highly detailed level.

17 That said, going through this list I  
18 came up with another list which are additional  
19 checks that we think are helpful and that we do,  
20 and I wouldn't want to have anything that would  
21 discourage that type of continual evolution of  
22 thinking. Whenever we read about some kind of

1       problem in the industry, some kind of unexpected  
2       trading, we try and get together and do an  
3       in-house post mortem and ask do we have checks  
4       that would have prevented us from doing that  
5       particular thing and to continually be learning  
6       from the process. A couple of things that I would  
7       want to throw out to the group for discussion, one  
8       thing that's not on the trading firm list here for  
9       example is position size limits. They've got a  
10      trade-by-trade quantity limit but not a limit on  
11      an overall position size and I think we all intend  
12      to limit how big our positions are in different.  
13      You can view that on a product-by-product basis,  
14      you can view that on a strategy-by-strategy or on  
15      a portfolio-wide basis taking into account both  
16      the capital at the firm that's intended to be  
17      exposed as well as different risk metrics. I  
18      think it's important to be thinking about those.

19                   CHAIRMAN O'MALIA: Rich, may I ask you a  
20      question? There's an intraday limit on here. Is  
21      that different than the overall position and if so  
22      how?

1           MR. GORELICK: The intraday limit that  
2 you are looking at, that is at the exchange level  
3 and what I'm urging is that those position limits,  
4 they may intraday, they may be cross-day depending  
5 on the strategy, should be considered not just at  
6 the exchange level by at the clearing firm level  
7 and at the trading firm level.

8           MR. WHITMAN: He is exactly right. For  
9 example, at our firm we're an options  
10 market-making firm so that we have position limits  
11 not in contract space but in vega space, gamma  
12 space, unit space, calendar spread space and  
13 literally the system will shut down if somebody  
14 violations a position parameter that we have in  
15 place. They won't be able to trade anymore.  
16 That's an example of what Richard is talking  
17 about. We might have a group and we might set and  
18 it's tied to capital. For example, a group may  
19 not be able to be long or short more than \$50,000  
20 of weighted vega which is a measurement of  
21 volatility and if they get longer more than  
22 \$50,000 they can't trade anymore and they get shut

1 down and we have to turn it back on for them so  
2 that it comes to us and that is an example of what  
3 he's talking about.

4 MR. GORELICK: Exactly. And that's also  
5 a good example where you need to take into account  
6 not only pretrade risk but posttrade risk because  
7 a lot of those options Greeks evolve based on  
8 what's going on in the market rather than just  
9 what's going on so you can't necessarily feel that  
10 if you prevent an order from going out at a  
11 particular time that you've solved that particular  
12 problem.

13 Another limit that we measure for are  
14 lost limits again on a strategy-by-strategy basis  
15 or on a firm-wide basis. A good sign that  
16 something is not going as intended is if you're  
17 losing more money than you would expect to have in  
18 a normal risk setting. That's an important limit  
19 that needs to be set at the trading firm level and  
20 possibly at the clearing firm level although as  
21 Gary pointed out, it may be very difficult to do  
22 that at the exchange level because so many

1 strategies are cross-market.

2           We've seen different problems at various  
3 exchanges in multiple markets that we -- one of  
4 them is a number of open orders problem. In a  
5 different market outside of the CFTC's purview  
6 there was a situation where someone sent a million  
7 orders at different levels in a price book. We  
8 sat down and asked do we have a check that would  
9 prevent us from doing that? We had checks that  
10 would prevent other things that would be related  
11 to that but not that specific thing that we built  
12 into the system. I'm always cautious to brag  
13 about risk management. It's not something you  
14 want to do. We all make mistakes. It's all  
15 possible. I want this to be taken in the spirit  
16 of we need to continue to learn and need to  
17 continue to calibrate as we go forward.

18           I have a number of other things that I  
19 don't take everyone's time with today, but a  
20 couple of other things I think are worth  
21 considering as a responsibility of both the  
22 trading firm, the clearing firm and the exchange,



1 the near- time posttrade risk management that  
2 needs to be considered, particularly the ability  
3 to get efficient drop copies from exchanges and  
4 clearing firms and to circulate those so that we  
5 can do a real-time or near-time reconciliation to  
6 make sure that our views and positions across  
7 exchanges, across clearing firms and across  
8 trading firms are accurate and consistent. I  
9 think that's something that is evolving in the  
10 industry that we try and do wherever we're able to  
11 get the appropriate feeds from the exchanges and  
12 the clearing firms, but it is an important thing  
13 to keep in mind that particular types of problems  
14 would not be caught merely pretrade and I'll leave  
15 it at that. Thank you.

16 COMMISSIONER SOMMERS: On my first  
17 question, if you or if anybody around the table  
18 has a sense on how consistently these  
19 recommendations are being abided by.

20 MR. PAYTON: Commissioner Sommers, I  
21 think there are two things and I'll answer your  
22 question more directly in a second. But I do

1 think that it's important for this group, the  
2 Commission and all of the industry to recognize  
3 the tremendous work that's been done. I don't  
4 think in our industry that it has been a race to  
5 the bottom and notwithstanding the fact that we  
6 haven't had prescriptive regulations, all of these  
7 good things have developed over the last 5 or so  
8 years. If you look at our industry, on May 6 we  
9 didn't bust any trades. On the securities side  
10 there were more than 20,000 trades that were  
11 busted. We did have Pause Logic in effect. We do  
12 have circuit breakers in effect. We have  
13 protection points. So that there are a lot of  
14 good things that have been developed. And the  
15 things that Rich and Chuck talked about in terms  
16 of the things that firms have put into place to  
17 protect themselves and their clearing firms, those  
18 are all very good things that continue to evolve.  
19 People are interested in protecting against  
20 problems in the marketplace and as both Gary and  
21 Chuck said, protecting their own capital that's at  
22 risk. I think it's important first of all to

1 celebrate all the good things that have been done  
2 in the industry over the course of the last 5  
3 years and I think it's a testament to the fact  
4 that people are focused on these things.

5 To your point, I do think that broadly  
6 speaking virtually all of the proprietary trading  
7 firms that are out there do employ these types of  
8 checks on their systems some better than others.  
9 In most of the situations where we have seen  
10 things gone array it's not because they didn't  
11 have any checks in place, it's because certain of  
12 the checks that they had in place didn't work as  
13 well as they had anticipated they would under  
14 those particular market circumstances. It is a  
15 constant learning issue and I do think that some  
16 of the things that Commissioner Dunn and  
17 Commissioner Chilton talked about earlier in terms  
18 of doing more work up front to certify that there  
19 are processes and procedures and testing in place  
20 are all very constructive things to do. But in a  
21 world at our exchange where we're trading 3  
22 billion contracts a year and you have tens of

1 thousands of market participants and all this  
2 technology coming together, there are going to be  
3 errors, there are going to be mistakes and I think  
4 what we try and do on an exchange level and what  
5 industry participants try to do is put in place  
6 certain types of checks whether they're risk-  
7 mitigation checks or volatility-mitigation checks  
8 to really try and keep those types of issues from  
9 occurring. Just think of all the times at the  
10 exchange level the fact that you have protection  
11 points in place prevents something bad from  
12 happening or all the times that you have Stop  
13 Logic kicking in that prevents something bad from  
14 happening.

15 CHAIRMAN O'MALIA: We're going to move  
16 to the Chairman's questions now. If everybody can  
17 keep their questions or responses tight, it's  
18 going to be one of those meetings if we don't.

19 CHAIRMAN GENSLER: Yes. I don't want  
20 more than one person to answer any one of my  
21 questions. I think this is an excellent report  
22 and very helpful. What I get confused about is

1 when people say don't be prescriptive. That  
2 reminds me of where they say you think that  
3 everybody should have a speed limit but each  
4 person on the highway can pick their own speed  
5 limit.

6 I'm going to focus on the exchanges with  
7 just a yes or no. Should we as a regulator  
8 include in our rules something proscriptive with  
9 regard to any one of these four things, pretrade  
10 quantity limits? I do recognize if we say have  
11 pretrade quantity limits that the exchanges could  
12 compete and have a race to the bottom. Yes or no,  
13 should we be proscriptive or not? I'm not asking  
14 what the limit should be.

15 MR. PAYTON: I think the exchange is in  
16 a better position to determine what those  
17 parameters are.

18 CHAIRMAN GENSLER: That's a no and  
19 that's from the exchanges. Dr. Gorham? You  
20 helped write this report.

21 DR. GORHAM: It wouldn't matter. I'm  
22 reluctant. I've got a gut reaction that there

1 should not be a proscriptive limit.

2 CHAIRMAN GENSLER: I'm only one  
3 Commissioner, but my reaction is it's like saying  
4 there should be speed limits but don't set them  
5 because there is competition between exchanges and  
6 as Chuck point out, there will be SEFs and I don't  
7 know how many there will be. So what if there is  
8 what you might consider to be a less-responsible  
9 platform than you have proposed? I know where you  
10 are, Dean. I got it.

11 My second question is even if we don't  
12 set them, would the exchanges have something  
13 specific that all trading firms have to have these  
14 policies and procedures there in the first phase?  
15 Is that what the recommendation is?

16 DR. GORHAM: I'm sorry. Would you ask  
17 that again?

18 CHAIRMAN GENSLER: Is it your  
19 recommendation that the exchanges have policies  
20 and procedures where everyone one of the trading  
21 firms must have the five categories, but that all  
22 trading firms have to have the five?

1 DR. GORHAM: The recommendation is that  
2 as with the last side on the exchange is that the  
3 exchange would have those things itself and the  
4 clearing firms would ensure that the trading firms  
5 had those five.

6 CHAIRMAN GENSLER: So that the clearing  
7 firms would have to have to have a rule to make  
8 sure that the trading firms have these five thing?

9 DR. GORHAM: Correct.

10 CHAIRMAN GENSLER: But again there could  
11 different quantity limits, different price  
12 collars, different throttles or different kill  
13 buttons?

14 DR. GORHAM: Correct, and even could be  
15 different by trader, by firm and by product.  
16 Exactly.

17 CHAIRMAN GENSLER: How do you avoid the  
18 race to the bottom then?

19 DR. GORHAM: I'm going to let Chuck  
20 Whitman respond to that.

21 CHAIRMAN GENSLER: Is that because you  
22 don't you could avoid it?

1 DR. GORHAM: It's a potential problem.

2 CHAIRMAN GENSLER: I've finished my  
3 questions on that. My only other question is how  
4 many of the firms by a show of hands spend less  
5 than \$31 million a year on technology? The CFTC  
6 is the only one. We're not a firm. That's the  
7 challenge we have right now, a great challenge we  
8 have. None of the firms around the table spend  
9 less than \$31 million. We're a regulator that's  
10 to ensure that the American public has a  
11 marketplace that's transparent, open, competitive  
12 and free of fraud and manipulation and spends 1  
13 year on technology less than any of the firms on  
14 the Technology Advisory Committee. That's the  
15 challenge we have so that we can only write rules  
16 because we really can't do much and rely on the  
17 exchanges where I'm worried there will be a race  
18 to the bottom, and rely on the clearing firms. We  
19 didn't let you off the hook.

20 COMMISSIONER DUNN: Let me be the  
21 devil's advocate to the Chairman. I do see a role  
22 and frankly I'm buoyed when I hear Chuck and



1 Richard talking about every time something happens  
2 out there that the risk committees get together  
3 and they assess it and they say it didn't happen  
4 to us but could it happen to us? But how  
5 widespread is that and is it uniform throughout  
6 the industry? The only way we're going to be able  
7 to have that holistic look that you're talking  
8 about of who's on the other side of the trade or  
9 what else is in that trade is by having the  
10 technological capabilities to see that. Also then  
11 we're responsible or the SROs are responsible.  
12 We're going to go out and do a regulatory review  
13 to see how well you are complying with whatever we  
14 come up with which is getting out of that  
15 prescriptive mode that we've got and into what  
16 we've had in the past which is a principle-based  
17 regulatory regime here. But I don't think we have  
18 the resources to be able to do that.

19 CHAIRMAN GENSLER: I'm agreeing with  
20 you, Commissioner Dunn, that we don't have the  
21 resources. I think that the exchanges might go  
22 from two to many -- swaps execution facilities and

1       so I think it would be very helpful to know maybe  
2       not today, not this side but earlier slide that  
3       had the four or five things that we might have for  
4       trading firm. I'm sorry, the exchanges. On the  
5       exchanges' pretrade quantity limits, how do we  
6       ensure that there is some consistency to use  
7       Commissioner Sommers's point that the exchanges  
8       aren't competing with each other even if we rely  
9       on the exchanges maybe in collaboration to set a  
10       number that there's not some competition on the  
11       four very thoughtful points at the exchanges?  
12       That's what I'm concerned about that there could  
13       be -- competition is good but not necessarily in  
14       all places like you wouldn't want one exchange to  
15       have lower risk and one exchange to have higher  
16       risk because of these four points.

17                 MR. VICE: I think like the trading  
18       firms and the clearing firms that have their money  
19       at risk, the exchanges do as well, that even  
20       though there is intense competition going on, with  
21       all of these things there hasn't been a race to  
22       the bottom, there has been a multiplication of

1 additional checks and more pretrade functionality.  
2 So I think it's in our business' best interest to  
3 have people not have a bad experience, that  
4 regulators not see bad experiences for things  
5 going on in our exchange. I would give a  
6 counterview I think on your question earlier. I  
7 think from ICE's point of view I'm not necessarily  
8 putting these items in rulemaking, but I will say  
9 that ICE is not necessarily opposed to it either  
10 if that's what you feel like you need to do. The  
11 exchanges already do these things, the trading  
12 firms do these things, most of them anyway  
13 certainly so that we're already there. However, I  
14 think we would very much draw the line from going  
15 any further than those words right there on those  
16 bullets.

17           And to the other point you were making  
18 of how do we know that CME sets the limit at X and  
19 ICE sets it at Y? First of all from a latency  
20 standpoint, some type of check is a check is a  
21 check. Whether you're checking if it's higher  
22 than X or higher than Y, the effect on latency is

1 effectively the same so I think there is not a  
2 material difference in terms of parameters that we  
3 would set. We may innovate and come up with, they  
4 may or we may, faster ways to handle a price  
5 collar just as effectively that may not be  
6 consistent with a prescriptive rule that was  
7 written 2 years before that. So I think in our  
8 view we don't have a problem with that level of  
9 specificity if you feel you need to do it. We  
10 don't think it's necessary, but if you feel like  
11 it's needed we would be comfortable with that but  
12 we would certainly advocate that you not go any  
13 more detailed than that.

14 CHAIRMAN O'MALIA: Peter, you had your  
15 hand up.

16 MR. JOHNSON: Thank you. I was going to  
17 make the comment I think to Commissioner Sommers's  
18 earlier question around how strictly do the three  
19 constituencies currently apply or deploy these  
20 risk tools. I think the greatest variance is  
21 possibly going to be seen in the FCM space. I was  
22 going to ask the question earlier if the

1 suggestions or these recommendations were that  
2 FCMs apply the pretrade risk tools separate from  
3 what the exchange gives us access to because I  
4 think that in the U.S. the exchanges are very  
5 good. They make available, actually it's  
6 mandatory that you have to go through them both  
7 ICE and CME. There are some other exchanges, BMNF  
8 is another example outside of the U.S., but you  
9 have to go through their risk packages to access  
10 the exchange so that it makes latency irrelevant  
11 at least at that level.

12 To Gary's point earlier most of which I  
13 agree with, we as FCM employees both view our  
14 responsibility first and foremost as protecting  
15 the house, protecting the firm so that we are  
16 going to do our due diligence to make sure that  
17 clients are deploying the right risk tools and  
18 have the right risk approach culture, et cetera,  
19 before we're going to sign them up. But there is  
20 at the FCM space a lot of our competitors have a  
21 big commercial incentive to keep latency as low as  
22 possible and when you're adding risk layers to the

1 process that increases latency which hurts our  
2 chances of winning business from some of the  
3 bigger high-frequency trading firms. I think  
4 that's where you see the biggest variance. And to  
5 Chairman Gensler's point about the race to the  
6 bottom, I think that's where to some extent you've  
7 already seen a race to the bottom in the brokerage  
8 space with brokers who are competing on latency  
9 only and in Asia a lot of exchanges have no  
10 exchange-hosted risk controls. They don't even  
11 acknowledge the practice of DMA or sponsored  
12 access. So it's really the wild, wild west. We  
13 tried to touch on that in the FIA market access  
14 risk recommendations paper a year ago and that was  
15 the point, to put pressure on those exchanges to  
16 act more responsibly like CME and ICE to provide  
17 exchange-hosted risk controls because it's the  
18 right thing to do.

19 For the most part I definitely feel that  
20 risk- control or risk-management be shared across  
21 those three constituencies and I think the  
22 industry does a pretty good job generally, but the

1 one space to probably look at is in the brokerage  
2 space because the risk cultures vary so I think  
3 wildly depending on who you're talking about.

4 CHAIRMAN O'MALIA: I think in order to  
5 keep on schedule we do have a Q and A at the very  
6 end of this in which I expect that are going to  
7 deal with this issue and other issues associated  
8 with that. So write your questions down and let's  
9 think about them. We'll leave them to a final  
10 panel when we have about an hour to go over this.  
11 I'm going to try to get back a little more on  
12 schedule.

13 COMMISSIONER DUNN: I don't want to wait  
14 on this because what I'm hearing you say is trust  
15 us. We're the industry and we're not going to do  
16 anything to ruin the industry, and that's exactly  
17 the same thing we heard on credit default swaps,  
18 that we're all responsible adults here and we're  
19 going to care of it. That is the reason why  
20 Dodd-Frank was passed because they've asked us as  
21 a regulator to come up with ways to ensure that we  
22 can trust you on it and I'd like to see it be as

1 much of a regulatory regime that uses  
2 principle-based regulations rather than being  
3 prescriptive, but I'm not hearing you come back  
4 and say here is how we're going to be able to do  
5 that without being prescriptive. I trust you and  
6 we didn't have it in the futures industry, but  
7 you're giving us the same argument that we heard  
8 for the credit defaults.

9 MR. DEWAAL: Maybe I'm hearing things  
10 slightly differently and maybe there could be some  
11 refinement. Certainly at the broker level I  
12 thought, and don't ask me for the rule number  
13 right now, I was obligated to maintain  
14 risk-management procedures.

15 MR. PAYTON: (inaudible)

16 MR. DEWAAL: There you go. Thank you.  
17 I also wanted to address something that Jill had  
18 said a few seconds ago. If as part of the  
19 guidance, obviously that rule was released a long  
20 time ago, but if there were an amendment to that  
21 guidance and it said, by the way, as part of those  
22 risk-management procedures you should be



1 addressing different types of customers with their  
2 specific types of risk among which are the  
3 high-frequency traders, that I wouldn't have an  
4 issue with that because I think we already do  
5 that. In fact, one of the concerns, I was smiling  
6 when Commissioner Sommers asked do all your  
7 traders apply the same standards? I also just  
8 came back as Peter did from a trip to Asia and  
9 when the Chinese exchanges very recently put  
10 restrictions on the amount of cancellations and  
11 they put some other restrictions out there to  
12 limit high-frequency traders, it had a big impact  
13 on retail volumes and that's the problem. The  
14 problem is when you start targeting one particular  
15 group, you concentrate on that and you forget that  
16 other groups may share characteristics of that  
17 group, and that's one of the things that we as  
18 brokers do all the time. We look at the risks of  
19 all of our different types of clients. We don't  
20 care what they're called. We try to understand  
21 what they're doing and we try to deal with the  
22 specific issues addressed by those types of

1 trading strategies, et cetera.

2           If there needed to be refinement  
3 certainly at the broker level in the guidance as  
4 to what are the areas that we should cover in the  
5 risk-management procedures, I think that would be  
6 fine. I don't want to speak for the exchanges,  
7 but obviously the Commission designates them as  
8 contract markets, we'll see what happens with the  
9 SEF rules if there will be an equivalent process,  
10 but it seems to me that that would be the  
11 appropriate place to talk about a condition of  
12 designation. I don't think it's just an issue of  
13 saying trust us. You have some authority to  
14 mandate that we deal with this. What we're saying  
15 is leave it to us to figure out what might be the  
16 most appropriate way.

17           And to follow-up on the Chairman's  
18 point, it's no different than saying, yes, it's  
19 not trust us on speed limits. It's just that an  
20 80-mile-per-hour speed limit might work perfectly  
21 in Nevada on the big open highways but probably  
22 not too well in New York City.

1                   CHAIRMAN O'MALIA: Commissioner Chilton,  
2 do you have a question? I'm going to save mine  
3 for the last, but if you have time constraints, go  
4 ahead.

5                   COMMISSIONER CHILTON: Just maybe one,  
6 Commissioner, and a follow-up on what Commissioner  
7 Dunn was saying. A lot of the discussion that we  
8 have on this and other things is trying to figure  
9 out what the right balance is. So even when I  
10 talk about I think these are things we should be  
11 looking at, definitely we want to be careful with  
12 dreaded unintended consequences. We don't want to  
13 have that. But there is a little bit I think I  
14 have some sympathy for what Commissioner Dunn was  
15 saying. I agree we don't want to have static  
16 regulations particularly with regard to HFTs and  
17 algos, it's going to change too fast so that we  
18 want flexibility. I don't know that we'd go all  
19 the way to a principles-based approach, but we  
20 need some flexibility. But what I think we're  
21 trying to get at and I hope this is the case for  
22 everybody is that if something bad does occur that

1 we will be in a very reactive mode and then we  
2 will probably overreach so that now is the time to  
3 try to really get at the nub of what we need to  
4 do. When I look at some of the recommendations I  
5 start thinking those are generic recommendations  
6 for everything and there is not really anything  
7 specific here for HFTs. There are a few actually,  
8 but overall it's like we have to do this anyway  
9 and nothing needs to be done specifically.

10 I have just one question because I think  
11 there are some specific things that we need to  
12 look at. You were talking earlier when folks were  
13 talking about limits. Say we have our position  
14 limits. For the sake of theoretical conversation  
15 say we have a 10-percent limit of open interest in  
16 a market. If you were talking to a commercial  
17 trader, I guess there is the theoretical  
18 possibility that they would sell or buy 10 percent  
19 of the open interest in a day. But an HFT could  
20 theoretically buy and then sell within 15 to 20  
21 seconds. Does that help the markets if they're  
22 buying and selling and should that be something

1       that's just okay to buy and sell many times  
2       whatever the position limit is? I'll just leave  
3       it to that one question, Commissioner O'Malia.

4               CHAIRMAN O'MALIA: Does anybody want to  
5       respond to that?

6               MR. WHITMAN: Just to be very honest  
7       about it, I think that's virtually impossible. To  
8       by 10 percent of the open interest in a 15- to  
9       20-second window, if you look at the size that we  
10      trade you're talking about a fraction of the open  
11      interest, nothing near that size. Liquidity  
12      constraints would not allow you to buy 10 percent  
13      of the open interest in a market. It just doesn't  
14      exist. In firms like ours if you go through and  
15      you look at the open interest that we carry,  
16      typically the open interest we carry is hedged and  
17      depending on the open interest measurement, if  
18      there open interest has any size to it, our  
19      percentage of open interest is usually very, very  
20      small. If there are 200 contracts open, yes, we  
21      could be 200 contracts.

22              COMMISSIONER CHILTON: So you wouldn't

1 have any problem saying that you couldn't do it  
2 since there is no way you would do it. Right?

3 MR. WHITMAN: Yeah, you can't do it.

4 COMMISSIONER CHILTON: I have an  
5 amendment, Mister Chairman. I'm good. Thank you  
6 very much.

7 CHAIRMAN O'MALIA: We're going to go to  
8 the phone. Nick Garrow is Global Head of  
9 Electronic Trading for Newedge. Nick has 17-plus  
10 years of electronic-trading trading experience in  
11 the derivatives and equity markets. As Global  
12 Head of Electronic Trading, he oversees a team of  
13 100 and supports more than 4,000 end users on more  
14 than 80 markets across all assets globally. To  
15 understand the challenges that these pretrade  
16 controls are going to do and with the challenges  
17 of latency, I think Mr. Garrow can help us  
18 understand what it's going to take from a  
19 technology standpoint to implement these goals and  
20 objectives that we've laid out here and what seem  
21 to be articulated by some of the Commissioners to  
22 go further. So we're going to hear from Nick and

1 see what the art of the possible might be. Nick,  
2 are you there?

3 MR. GARROW: Yes, I am indeed. Can  
4 everybody hear me?

5 CHAIRMAN O'MALIA: Yes.

6 MR. GARROW: Scott, do you have a copy  
7 of the slides?

8 CHAIRMAN O'MALIA: We have you on an  
9 enormous screen and everybody can see it.

10 MR. DEWAAL: And your photo you should  
11 be aware.

12 MR. GARROW: Thank you. First of all  
13 Mr. Chairman and Commissioners, ladies and  
14 gentlemen, thank you for the opportunity. What  
15 I'm going to try and do is I'm going to try and  
16 keep this relatively brief in the interests of  
17 time. What I'm going to try and do is outline the  
18 current practices that we have at Newedge and also  
19 some of the challenges that we have with regard to  
20 CMA pretrade risk controls. I know the focus of  
21 this particular meeting is on DMA, but I also  
22 wanted to talk briefly on SDMA.

1                   CHAIRMAN O'MALIA: Nick, can you hold on  
2 a second? We can't hear you very well. If you  
3 could maybe slow down and speak up. If you could  
4 pick up your handset might be the recommendation.

5                   MR. GARROW: Can you hear me now?

6                   CHAIRMAN O'MALIA: Perfect. My  
7 apologies for that. We're on slide 2, Scott, in  
8 terms of the presentation overview. I wanted to  
9 start off by giving a picture of the current  
10 electronic trading landscape as we see it at  
11 Newedge. We have a very large client base. I  
12 know the focus of this meeting is on DMA, but I  
13 also want to touch briefly upon SDMA -- direct  
14 market access and some of the challenges there on  
15 the pretrade risk-control side of things. I also  
16 then want to go on to talk about what our approach  
17 is at Newedge currently and moving forward in  
18 terms of how we're going to better manage pretrade  
19 risk controls both on the DMA and the SDMA  
20 segment. Then finally also share with some of the  
21 investment requirements we have in terms of  
22 dollars spent and also some of the implementation



1 challenges we have as well.

2 I think or I hope that the presentation  
3 will draw together many of the things we've been  
4 talking about here. I'm certainly not going to  
5 talk from a technology perspective, I'm going to  
6 talk from a practical and practitioner's  
7 perspective.

8 If we could change or move on to slide  
9 3. Can you see that?

10 MR. GARROW: Broadly, we see our  
11 customer base split into two bodies. We have what  
12 we describe as SDMA clients. These are customers  
13 that we are sponsoring on two exchanges. Then we  
14 have DMA customers and these are customers who are  
15 trading through our -- trading infrastructure.  
16 What I wanted to do was briefly touch upon the  
17 differences between these two client bases, also  
18 some of the challenges we have with both of them  
19 and what our current policies, procedures and  
20 practices are with regard to pretrade risk-control  
21 management and also where we're going in the  
22 future.

1           I'd like to start off if I could with  
2       the DMA customers, in fact a comparison between  
3       the two. What's the difference in our world  
4       between an SDMA client and a DMA client? A DMA  
5       client is defined as one which is trading through  
6       a Newedge trading infrastructure over which we  
7       have direct, exclusive and full control. For DMA  
8       customers we currently run a number of different  
9       systems and platforms some of which you're  
10      probably familiar with, TT Trading Technologies  
11      and CQG. We have clients trading through FIX (?).  
12      We have clients trading over PAT (?) systems so  
13      that we support a wide range of different  
14      technology platforms. The key thing is that for  
15      each one of those systems and platforms we have  
16      full and exclusive control over the pretrade risk  
17      limits and the limits that we can include many of  
18      the limits we were discussing or you were  
19      discussing earlier on which you recommended need  
20      to be set both at the clearing firm level and  
21      indeed also to a certain extent that trading firm  
22      level as well.

1           This focus here is on pretrade quantity  
2 limits and fat-finger limits. It's also looking  
3 at daily position limits as well on contracts by  
4 contract and by maturity as well. On the DMA side  
5 therefore we have full access, we have exclusive  
6 access, we have full control. The types of  
7 pretrade limits vary according to the existing  
8 system or platform we're using, but broadly  
9 speaking the requirement is to be able to set  
10 quantity limits and position limits as well.

11           On the SDMA side of things, obviously we  
12 are sponsoring clients onto an exchange. They're  
13 trading using the Newedge NPID or session ID. And  
14 the pretrade risk- management control with these  
15 guys is much more of a challenge. What we do and  
16 I think we've adopted to a certain extent some of  
17 the recommendations that have been laid out  
18 already is we conduct due diligence on the trading  
19 firm itself with quite an exhaustive  
20 questionnaire. I'm not familiar with the names of  
21 the people who were speaking earlier on, but some  
22 of the questions that they were asked by their

1 clearing broker or the FCM are typical of the  
2 questions we would want answered as well. So we  
3 conduct some due diligence on the trading firm.  
4 We are looking or seeking to achieve clarity and  
5 clarification around how or whether they have  
6 pretrade risk controls in place and how they work.  
7 We're also seeking clarity around from an  
8 architectural perspective where the customer's  
9 technology is going to be located, how obviously  
10 it's going to access the exchange, et cetera, so  
11 that for the SDMA customers and clients we're  
12 conducting a piece of due diligence.

13 We also have a minimum requirement  
14 within Newedge that regardless of the answers to  
15 all of the above questions, we have to have the  
16 capability to do four things. Number one, we need  
17 to be able to see the client's orders. That can  
18 either be through some kind of drop copy directly  
19 from the client or from the exchange. We need to  
20 be able to stop the client from trading so that  
21 that is the sort of kill button that's been  
22 mentioned I think in the previous presentations.

1 We need to be able to view access and have access  
2 to the client's limits and also to change them as  
3 well. So our requirements are relatively  
4 stringent. The technological challenges in doing  
5 all of that are immense. We have currently a  
6 large number of SDMA clients trading obviously not  
7 just U.S. markets but global markets and across  
8 multiple asset classes as well. My challenge or  
9 our challenge at Newedge goes beyond just the  
10 world of futures or exchange-traded derivatives.  
11 It extends obviously into the equities world, the  
12 fixed-income world as well and FX. Often clients  
13 will be running multiple connections into multiple  
14 exchanges and multiple markets so that the  
15 challenge there is getting an holistic view in as  
16 close to real time as possible in terms of what  
17 the customer is doing across multiple destinations  
18 and I'm going to on to talk about this a little  
19 later on. So those are some of the challenges in  
20 terms of managing, view and getting control of  
21 risk management on the SDMA clients. On a  
22 posttrade basis we're taking executions and

1 execution reports into our posttrade  
2 risk-management systems. For the DMA customers  
3 it's a big more straightforward in the sense that  
4 because we've already got the pretrade risk limits  
5 in place, the DMA platforms we use, typically RSV  
6 sorts of systems and platforms all fixed, provide  
7 us to a large extent with the visibility over the  
8 customer's orders, they give us the capability to  
9 change limits and, frankly, they give us the  
10 capability as well to switch a client off and to  
11 stop them or block them from trading.

12 For SDMA clients as I said before, all  
13 of the customers and clients are scored by the  
14 operational risk department and we conduct a piece  
15 of due diligence on the customer's trading  
16 applications and their pretrade risk- management  
17 controls. For DMA clients because we have the  
18 pretrade risk in place and greater visibility and  
19 control, then obviously we have to go through --  
20 agreements and pretrade limit approval and setup.

21 The challenges frankly on both sides of  
22 these customer bases are quite enormous. On the

1 DMA side as many FCMS are, we're running multiple  
2 systems and platforms. Each one of them can be  
3 slightly different. In terms of manpower and  
4 support running these systems and platforms, it's  
5 quite a large burden. To a great extent as well,  
6 much of the limit inputting and processing is  
7 manual and it's not automated. It's a very  
8 difficult process to automate so that there is  
9 obviously the possibility for human error. But  
10 broadly speaking, that's a quick overview in terms  
11 of the environment currently as we see it.

12 What comes out for me from this  
13 environment currently are three things. Given the  
14 range of customers we have and given the range of  
15 destinations they're trading and the range of  
16 asset classes, it's a huge challenge to get proper  
17 pretrade real-time visibility over every single  
18 customer so one big thing for us that would help  
19 our lives and those of our clients we believe as  
20 well is a better and more uniform consistency of  
21 pretrade risk controls at the exchange level. I  
22 use the words uniform and consistency quite





1 behavior that we would like to see more consistent  
2 across exchanges on customer and client  
3 disconnect. There is a large amount of focus  
4 quite rightly on the pretrade risk-management side  
5 of things. There is also a large area of  
6 operational risk and systemic risk when a  
7 high-frequency trading firm disconnects for  
8 whatever reason from the market. As I think we've  
9 noted, these customers and clients can be working  
10 a large number of orders. If they disconnect from  
11 the market, the behavior we like to see is we have  
12 for cancels on disconnect which are supported by  
13 the exchange at the exchange level so that to the  
14 greatest extent possible we understand or believe  
15 that the client's orders will be cancelled. What  
16 is dangerous and risky is having multiples of  
17 hundreds or sometimes thousands of orders working  
18 on an exchange when for whatever reason the client  
19 has become disconnected.

20 Those are the three things broadly  
21 speaking if I looked at some of the current  
22 challenges and issues that I would pick up on is

1 better and more consistent pretrade risk  
2 management at the exchange level, better and more  
3 consistent quality of drop copy data and better or  
4 more consistent behavior on client disconnects.

5           If we move on to slide 4, slide 4 is an  
6 overview framework in terms of where we're going  
7 with our risk- management and our risk-management  
8 approach at Newedge. Broadly speaking what we've  
9 done is we've split risk management down into  
10 three buckets if you will. All of these buckets  
11 are within Newedge and I'm talking about controls  
12 within Newedge and I'm not expanding this out into  
13 either the exchange-based controls or the  
14 trading-firm controls. Broadly speaking we're  
15 splitting risk into three pieces. We have the  
16 pretrade risk management. This is obviously  
17 geared toward preventing erroneous trades getting  
18 to an exchange and stopping a trade executing or  
19 getting to the venue if it shouldn't. On the DMA  
20 side of things, the pretrade risk management is  
21 provided predominantly by the different ISV  
22 vendors. We're looking at simplistic things here

1 really but nevertheless measures that prevent  
2 erroneous trades or a high frequency number of  
3 orders getting to the market so it's quite simply  
4 quantities per order, it's position limits on a  
5 daily basis and it is where possible also the  
6 number of message or message throttles as well.

7           For the SDMA, for the sponsor  
8 direct-market access clients, pretrade risk  
9 management is a big challenge. Obviously I'm  
10 working quite hard at the moment on the SEC ruling  
11 in the U.S. which effectively bans naked access  
12 trading as far as I can see on equities, equity  
13 options and a range of other instruments as well.  
14 There is a huge challenge in the U.S. at the  
15 moment which is to find a pretrade risk-management  
16 solution that will suit both the requirements of  
17 our clients in terms of speed but also the  
18 requirements of the regulators in terms of  
19 control, exclusivity of control and access as  
20 well. If you take that challenge outside of the  
21 U.S. onto some of the other markets as well in  
22 Europe and APAC, it's very difficult to find

1 solutions or vendors that can come up with a very  
2 low latency pretrade risk-management solution that  
3 covers all markets and all products.

4           Finally on the pretrade risk-management  
5 side of things, I think the other place as I've  
6 touched upon earlier on where we will be looking  
7 for some more conformity is the exchange-level  
8 controls. We look quite closely at the degree and  
9 types of pretrade risk controls which are  
10 available on different exchanges and we rank the  
11 exchanges as well internally according to the  
12 levels of protection they provide to us the FCM.  
13 There is a great degree of inconsistency between  
14 the controls, where we as the FCM as the clearing  
15 member have to fill often the vacuum or the void  
16 between the customer and the exchange. Getting  
17 this consistency of control, and as I say this not  
18 just a U.S. problem, this is a much bigger issue  
19 and problem in Asia, is a big issue for us.

20           The piece we're really focusing on as  
21 well now is what I describe as the at-trade risk  
22 management. The pretrade risk checks should stop

1 an erroneous order getting to the market. The  
2 piece we're looking at now with the at- trade risk  
3 management is about trying to get as close as  
4 possible real-time visibility over all of our  
5 clients' working orders and executions. It's an  
6 additional set of controls that we've put in place  
7 which says for whatever reason if the client  
8 manages to get a trade through on a pretrade basis  
9 which they shouldn't have done, the at-trade pick  
10 it up provided we can get visibility over the  
11 client's working orders and executions and it will  
12 set additional alarms and alerts. We're building  
13 out an at-trade risk solution at Newedge globally  
14 currently which is focusing on equities and  
15 exchange-traded derivatives. The initial focus is  
16 going to be certainly for the SEC ruling in the  
17 U.S. but also more importantly across all of our  
18 SDMA clients. What we're doing there is we're  
19 feeding in or we're getting access to all of the  
20 drop copies where possible from every single  
21 exchange and we're putting them into a centralized  
22 risk tool at Newedge and the risk tool will

1 provide us with real-time visibility of orders  
2 working at an exchange and real-time visibility  
3 over executions so that it gives us an additional  
4 alerting mechanism should there be an issue or  
5 problem with the client. The important piece with  
6 the at-risk risk-management tool that we're  
7 implementing can deploy what I call pretrade  
8 agents into the field and the pretrade agents  
9 consist of either full-blown pretrade  
10 risk-management controls on a low- latency basis  
11 or cutoff switches and devices which would stop a  
12 client from trading on an exchange and if we  
13 detect it on the at-trade basis they are in  
14 breach. So it's trying to build a consistent  
15 global at-trade risk view. To do that we require  
16 very good quality and very fast real- time fixed  
17 drop copies. It's a large project. It's a  
18 complex project. The volumes of data are  
19 enormous. But we feel what we need to do is to  
20 bring this at-trade visibility into the sort of 1-  
21 to 10-second timeframe so that we're looking for a  
22 gap between the client entering an order and us

1 being able to detect it and pick it up in the  
2 at-trade system at somewhere between 1 and 10  
3 seconds globally.

4 The posttrade risk-management piece is  
5 as the name suggests a different thing altogether.  
6 The posttrade risk at Newedge is looking at taking  
7 the clearing feeds in from the exchanges or from  
8 the clearinghouses and running more complex risk  
9 calculations over customer and client positions so  
10 that we may be looking at stress testing a  
11 position or a portfolio and the time delays in  
12 doing so are obviously longer. This strategy here  
13 of pretrade, at-trade and posttrade is the sort of  
14 framework around which we are building our  
15 risk-management controls processes. It gets  
16 changed by the regulatory environment. It can  
17 change by the micromarket environments as well.  
18 But broadly speaking that's what we're looking to  
19 build out.

20 CHAIRMAN O'MALIA: Nick?

21 MR. GARROW: Yes?

22 CHAIRMAN O'MALIA: My apologies. Can we

1 have to move to the challenges or a conclusion  
2 because we're running out of time? Thank you.

3 MR. GARROW: We'll skip slide 5 and go  
4 on to slide 6. I think I've touched on most of  
5 these points already to be honest with you. The  
6 main challenges or some of the challenges involved  
7 in implementing this are around data consistency  
8 and what I call data transportation. There are a  
9 lot of moving parts and a lot of data to move  
10 around between the different risk systems and  
11 between the exchanges and Newedge. There is also  
12 the challenge around implementing these  
13 low-latency pretrade risk controls. We're seeing  
14 in the U.S. with regard to the equities markets a  
15 rush toward single -- single-digit microsecond  
16 pretrade management. This is becoming a key  
17 commercial consideration. And also obviously we  
18 have to keep track of and keep fully mindful of  
19 the regulatory environment and how that's changing  
20 as well.

21 I was also asked to give some indication  
22 in terms of the sort of costs involved to



1       implement these technology solutions and that's  
2       the final piece of the presentation. We're  
3       looking at around \$4 or \$5 million to set these  
4       controls up, then between \$4 and \$6 million to run  
5       which includes the hardware, the software, the  
6       market data reporting and everything else. Then  
7       also more importantly it's not just a question of  
8       building out the technology and the tools, but  
9       also having the right people in place to interpret  
10      what the tool is saying and also take the  
11      appropriate action around it. We are investing  
12      quite heavily in people to support these tools and  
13      these applications as well.

14                 I won't go into the appendix. The  
15      appendix is a framework around how we're  
16      classifying clients and what controls we need to  
17      put in place on a pretrade, at-trade and posttrade  
18      basis but I think in the interests of time,  
19      Commissioner, I'll probably stop there and take  
20      any questions.

21                 CHAIRMAN O'MALIA: Thank you very much  
22      and thank you for complying with our time

1 constraints. Does anybody have any questions  
2 about this? This is obviously a complicated  
3 challenge and I think as Gary DeWaal pointed out,  
4 this is something they've taken on on their own  
5 initiative to serve their clients and probably  
6 meet the regulatory challenges that they have.  
7 Gary, do you want to comment on why Newedge has  
8 taken this step?

9 MR. DEWAAL: I think the challenge, and  
10 I'm still struck by Commissioner Sommers's  
11 question, is our clients often trade multi assets  
12 internationally simultaneously. And when I do  
13 hear the discussion about possibly restricting  
14 trading in advance I shudder because of that. The  
15 problem that we have as a global broker again is  
16 not just obviously complying with the U.S.  
17 requirements, but complying with the requirements  
18 all around the world and obviously of sister  
19 agencies here. I think the challenge to our  
20 customers is also very, very similar because  
21 obviously from their perspective to the extent  
22 that they're granted direct-market access or as

1 Nick calls it sponsored direct-market access, the  
2 lack of consistency internationally is very, very  
3 challenging. It's very, very challenging.  
4 Obviously your mandate is the U.S. futures  
5 markets. Our issue and our clients' issues are  
6 the global markets. The problem of being  
7 prescriptive in place is that it could do a lot of  
8 damage internationally. Yes, I'm very sensitive  
9 to the debate about rushing to the bottom. I was  
10 sharing with Commissioner Sommers already about  
11 how as a global broker we already see the majority  
12 of our business being booked in the U.K., not just  
13 the majority, a substantial majority almost to the  
14 exclusion of our other zones just because of the  
15 environment and some of the things you can do in  
16 London that you can't do here in the United  
17 States. We would be very, very concerned about  
18 the CFTC coming up with very prescriptive  
19 requirements for the markets here because we think  
20 it would be very anticompetitive. Obviously we  
21 don't want the U.S. to go to the standards of Asia  
22 which in many cases are still being developed from

1 scratch. But the problem with these markets is  
2 they're not in isolation anymore, that's the  
3 biggest issue and that's the challenge we have.  
4 Nick is trying to create an infrastructure that  
5 deals with the four asset classes that he  
6 discussed and the 30-plus markets that we provide  
7 access to so that it is an amazing challenge.

8 CHAIRMAN O'MALIA: Thank you. We're  
9 going to go to the break. Come back in 20 minutes  
10 at 25 after and then I'll obviously impose on our  
11 next panelists to keep their presentations very  
12 tight so that we can continue to have this  
13 discussion. I'll see you in about 10 minutes.

14 (Recess)

15 CHAIRMAN O'MALIA: If we can get started  
16 again, we'll go to our second panel. We have  
17 Supurna VedBrat who is a strategic market  
18 structure business adviser to the head of  
19 fixed-income trading within Blackrock's Portfolio  
20 Group. Supurna has spent over 18 works working in  
21 the sell wide in the fix-income market spanning  
22 most asset classes in both cash and derivatives

1 working in the U.S. and Europe and for the last 18  
2 months has been focusing on market structure  
3 strategy and has been leading initiatives for  
4 fix-income trading and buy-side investments  
5 focusing on anticipated changes and emerging  
6 alternatives based on the Dodd-Frank Act.

7 Supurna, thank you for coming and look forward to  
8 your presentation.

9 MS. VEDBRAT: I'd like to thank the  
10 Commission for giving us this opportunity to  
11 present on interconnectivity.

12 Interconnectivity is a consistent  
13 business process with integrated technology  
14 support. Trade interconnectivity within the  
15 context of a buy-side investment process involves  
16 many independent entities. Within the cleared OTC  
17 derivative space it will involve CCPs, multiple  
18 SEFs, affirmation platforms, client investment  
19 platforms, executing broker platforms and FCMs,  
20 among others. Some of these entities are known to  
21 us today and some will emerge as a result of the  
22 new market structure. Efficient interoperability

1 among these entities is the thread that will hold  
2 the process flow together. This interoperability  
3 is dependent on providing an open architecture  
4 solution and having a standardized open- messaging  
5 protocol that will flow the transaction data  
6 information in a consistent manner as it  
7 progresses through the trade process flow.

8 Today we will evaluate the challenges  
9 and concerns related with interoperability using  
10 three dimensions. The first is implementation and  
11 sequencing. The second is one-time implementation  
12 costs and the potential future running costs and  
13 tools. And the third is structural impediments  
14 from the standpoint that they impair the deepened  
15 liquid markets that the current OTC markets offer  
16 us.

17 This diagram represents a high-level  
18 flow and the interconnectivity of the various  
19 entities that will be involved in a typical  
20 real-money asset-management investment flow for  
21 cleared products. In the chart below there are  
22 many interconnectivity points mentioned and I

1       could spend many hours discussing them. However,  
2       in the interests of time we will focus on a few  
3       key areas of concern. First, all entities within  
4       this flow must support open architecture solutions  
5       so that market participants can connect to them  
6       using their existing technologies or preferred  
7       technologies. The efficient interoperability of  
8       these entities is highly dependent on  
9       standardization of messaging protocols so that no  
10      one vendor or entity has too much control on how  
11      data is exchanged or interchanged. Otherwise it  
12      will become a barrier to entry and put new market  
13      players at a competitive disadvantage. It will  
14      also be too expensive for market participants to  
15      make changes as the market continues to evolve.  
16      In some ways it may slow down the overall  
17      implementation for clearing. Standardization also  
18      helps make technologies spent more manageable.  
19      Other benefits of standardization are it helps to  
20      reduce time and cost barriers for new entities to  
21      emerge as viable competition and for new market  
22      participants to enter.

1                   The second area of concern is the  
2                   development of gateways to the CCPs such as the  
3                   affirmation platforms. In the diagram it's toward  
4                   the center right. Care must be taken the  
5                   interconnectivity of these gateways do not impede  
6                   new affirmation platforms to connect. From a  
7                   client perspective it is important that an  
8                   affirmation platform connects to multiple CCPs and  
9                   supports affirmation for multiple asset classes.  
10                  For example, an affirmation platform should  
11                  support both IRS and CDS so that a client does not  
12                  have to link and pay tolls to different  
13                  affirmation platforms for different products.  
14                  This will allow operational efficiencies and  
15                  consistent workflow reducing operational risk and  
16                  trade breaks in the overall system.

17                  The third area of concern is the  
18                  posttrade allocation that occurs within the  
19                  investment management process. This is in the  
20                  center of the diagram above. I cannot emphasize  
21                  how important this is not only as an integral part  
22                  of the buy-side investment process but one that



1 requires more education and much more awareness as  
2 market structure workflows are defined. This  
3 continues to be an underinvested and  
4 underappreciated area as we write the rules and  
5 design the workflows for the new market structure.  
6 It is important to note that an asset manager may  
7 manage many different money vehicles such as  
8 pension funds, insurance companies, separate  
9 accounts, collective trusts and many more for many  
10 different client types such as institutions and  
11 corporations among others. It is just as  
12 important to understand that the complexity in  
13 this step comes from the fact that each client  
14 account or fund has within their investment  
15 management agreement constraints and targets that  
16 may include their choice of custodian, the  
17 counterparties with whom to execute, the potential  
18 clearing members that they choose and other  
19 investment services. Let us look at the next  
20 slide to see some more detail on this.

21 An asset manager may have multiple  
22 investment strategies that are linked to many

1 different client accounts and services. Take for  
2 example in fix-income at Blackrock we may have 150  
3 strategies that span across 3,000 client accounts.  
4 When a strategy generates an investment idea, this  
5 idea is applied and distributed among many  
6 accounts. More often than not it is applied  
7 across multiple accounts. It is also worth noting  
8 that a key metric for asset manager performance is  
9 the consistency of fund out performance relative  
10 to the benchmarks and the stated investment  
11 objectives. It is very important as we design the  
12 implementation and sequencing of interconnectivity  
13 that the allocation of a block trade to client  
14 funds and accounts that legally own the risk is  
15 taken into account and that ownership connection  
16 is followed through to the appropriate CCPs and  
17 the multiple FCMs chosen by the various clients  
18 themselves.

19 Up until now we have talked about  
20 technology interconnectivity. Client funds are  
21 brought into the structure via various legal  
22 agreements that authorize money managers and

1 various service providers and vendors such as SEFs  
2 clearing members to act on their behalf. This  
3 diagram is meant to represent the anticipated  
4 documentation that is needed to on board client.  
5 As you can see, there are many touch points that  
6 need client documentation so that if we want  
7 smooth client adoption, these documents need to be  
8 standardized, simply and keeping the overall  
9 process in mind kept to a minimum where possible.  
10 The sooner we start the process of creating these  
11 documents the sooner we will be able to on board  
12 clients. These documents can be created alongside  
13 rule writing and implementation rather than wait  
14 until the very end. We strongly discourage client  
15 documentation to be used as an excuse to delay or  
16 sequence onboarding of clients behind other market  
17 participants.

18 Before concluding I would like to spend  
19 a moment on sequencing and timeline. We look at  
20 this through three lenses. The first lens is  
21 infrastructure. We believe we start with  
22 clearing. This is where we believe the market has

1 experience, confidence and endorsement for  
2 adoption by most market participants. Over time  
3 we introduce executing facilities and allow for  
4 markets to gain confidence with the phased  
5 implementation into each of the respective areas.  
6 Once confidence is established, liquidity should  
7 follow.

8           The second lens is market participants.  
9 Dodd- Frank promotes an all-to-all market  
10 structure. The only way to achieve an all to all  
11 with all market participants is to have them move  
12 in lockstep together. Anything other than that is  
13 a perpetuation of the status quo and where we saw  
14 no client adoption. To design market structure  
15 for clients without clients is a flawed approach.  
16 A standard onboarding of market participants will  
17 overall be more expensive and more likely the  
18 higher costs will be borne by the market  
19 participants that are brought on later.

20           The third lens is product. We need  
21 market structure to develop simultaneously for  
22 multiple products. Otherwise we will end up with

1 vertical silos that are not designed to  
2 efficiently be used across more than one product.  
3 We collectively here are working toward a market  
4 structure that is durable and sustainable over a  
5 long period of time. Therefore it is more  
6 important to have the right market structure that  
7 has confidence in it from all market participants  
8 than one that is put in place too quickly or too  
9 fast.

10 In conclusion to design and implement  
11 interconnectivity properly there needs to be an  
12 understanding of the operating processes and  
13 respective workflows for all constituents involved  
14 and there needs to be a consensus and coordination  
15 among the constituents on standards. This will  
16 reduce operational risk, barriers to entry and  
17 will make the overall trade process more conducive  
18 to adaptability and adoption as the market  
19 changes. On this last slide we leave some  
20 overarching questions as takeaways for everyone.  
21 Again I'd like to thank the Commission for giving  
22 us this opportunity to present our views on

1       interconnectivity.

2                   CHAIRMAN O'MALIA: Thank you very much,  
3       Supurna. Next is Larry Tabb who is the founder  
4       and CEO of the Tabb Group, a financial markets  
5       research and consulting firm focused on helping  
6       financial service firms, vendors and technology  
7       integrators better understand and create their  
8       technology vision. Prior to founding the Tabb  
9       Group Larry was a vice president of Tower Group  
10      Securities in investment practices where he  
11      managed research across capital markets. Larry's  
12      career included various operations in North  
13      American investment at Citibank. Larry, thank you  
14      very much for participating.

15                   MR. TABB: You're welcome. Thank you  
16      very much. I want to thank the Commission for  
17      allowing me this time to talk. Commissioner  
18      O'Malia asked me to talk about the technology  
19      implications of Dodd-Frank on financial markets  
20      and what I focused more on is the derivatives  
21      aspects of that business.

22                   We'll start pretty quickly with

1 high-level workflows and then look across various  
2 entities, how they exist now and then what's  
3 proposed along the SEF level, clearing dealers and  
4 prime brokers. Then we'll look at some estimates  
5 on what we think the major dealers are going to be  
6 spending, a little bit about dealer progress and  
7 then some conclusions and we'll try and go through  
8 a lot of the workflows pretty quickly.

9           We did a lot of this work in late summer  
10 or early fall. We interviewed and talked with a  
11 lot of the interdealer brokers, we talked with  
12 probably 10 of the largest 15 swaps dealers to try  
13 and get a good understanding of what technology is  
14 involved, what they're going to be spending and  
15 what are the challenges and some of this comes out  
16 of that.

17           If we look at the existing market, the  
18 existing market is built as almost a phone market  
19 way of taking end user risk, hard inventory,  
20 minerals, agricultural, currency, interest rate  
21 risk and energy risk and then transferring it into  
22 the financial markets to absorb it. To a certain

1 extent this part of the business we don't think is  
2 going to change significantly as a lot of the  
3 users are carved out of Dodd-Frank. The issue  
4 developing a whole new market for the standardized  
5 agreements that are done mostly between financial  
6 institutions to a certain extent and we think that  
7 is going to become more of a traditional  
8 exchange-type market or a SEF or DCM market and  
9 that's on the right side of this that's going to  
10 change. To a certain extent we're going to wind  
11 up with an SEF and a clearing mechanism interposed  
12 in between the two halves of this market, the  
13 existing market between the end users who are  
14 still trying to manage inventory risk or financial  
15 risk and the right side of this which are the  
16 financial institutions trying to manage risk on  
17 their own but take risk in the marketplace and the  
18 SEFs meeting the two. We believe that the large  
19 dealers are still going to wind up being  
20 interposed with the end users trying to help them  
21 carve out specific end user swap agreements and  
22 then taking gap risk and then leveraging that



1 through the SEF into the financial institutions.

2           The financial institutions we believe  
3 will be broken out into a couple of different  
4 groups or working with a couple of different  
5 intermediaries, the large dealers or the dealers,  
6 clearing firms representing smaller dealers and  
7 prime brokers managing hedge funds and prop shops  
8 interflow into that market and all will be revolve  
9 into the trade repository and the clearinghouse  
10 process.

11           The SEFs generally are going to wind up  
12 having a number of different types of  
13 infrastructures. Certainly on the upper left  
14 there may be colo depending on how high frequency  
15 this business gets. Certainly there is going to  
16 be a connectivity part with managing information  
17 flow between all the different members and  
18 participants. There will be a credit-management  
19 process of pretrade risk. Certainly there is  
20 going to be a market-data distribution process, a  
21 surveillance process and at the core a matching  
22 engine with drop copies that I think was talked

1 about earlier going back to all the different  
2 participants and then linkages in with the  
3 clearinghouse. The clearinghouse is going to be a  
4 repository for offsetting a lot of this risk.  
5 They are going to need a very significant risk-  
6 management infrastructure which is in the upper  
7 right-hand side of this, certainly a  
8 cash-management process to figure out where all  
9 the cash is coming from, a way of being able to  
10 compare all of the transactions, to take all the  
11 information in, aggregate it and compare it to  
12 make sure there are no breaks, make sure that all  
13 the netting and novation works right, aggregating  
14 positions which then go on to risk management,  
15 there is going to be a whole margin process and a  
16 way of collecting all that margin that needs to be  
17 managed and that information is going to wind up  
18 going into multiple trade repositories that may be  
19 located around the world, and then there is going  
20 to be a whole issue of identifier management issue  
21 that is going to be fairly problematic since a lot  
22 of these products don't really exist now and I

1 think the next topic is going to talk about that.

2           The dealer infrastructure which is going  
3 to be we think to a certain extent the largest  
4 portion of the spend in this market is going to  
5 come from building out a lot of the internal  
6 infrastructure because they're not only going to  
7 need to manage the existing process today, but  
8 build out a lot of the primes live in the dealer  
9 infrastructure. They're going to need to build  
10 out an electronic trading process. They're going  
11 to need out connectivity to all of their clients  
12 and their clients are going to be multiple types  
13 of client from asset managers, hedge funds,  
14 insurance companies, prop shops, smaller dealers  
15 and I'm sure some end users as well are going to  
16 want to partake in a lot of these transactions as  
17 well. They're going to need a risk- management  
18 infrastructure both on the pretrade side and the  
19 posttrade side. They're going to need a way of  
20 being able to interact with all the different  
21 regulators, the clearinghouses, the clearing  
22 banks, the repositories, multiple SEFs plus

1       they're got a P&L process and a margin process  
2       that winds up having to go across again multiple  
3       entities around the world and this is across  
4       different geographies as well as a market data  
5       infrastructure that's going to need to be managed.  
6       The primes will probably be more focused at the  
7       prop shops and the hedge funds that will be a kind  
8       of subsegment. It will be more around margin and  
9       interactivity and being able to aggregate smaller  
10      clients or hedge funds and get them into the right  
11      place and manage credit.

12                 We think that we're looking at probably  
13      around a billion-eight from the larger dealers  
14      that is going to be invested in building a lot of  
15      this infrastructure. This is going to take place  
16      generally from last year into 2010 and we think  
17      that it's going to be broken out into five or six  
18      different categories: the e-commerce side which  
19      we're looking at as the trading infrastructure,  
20      the ability to take positions within the  
21      organization and be able to trade within the  
22      organization and market make; the low-touch

1 distribution channel which is the distribution  
2 side of the business which is building out all the  
3 infrastructure that goes out to their clients, all  
4 the messaging, all of the pretrade risk, all of  
5 the messaging standards, all of the drop copies,  
6 all of the analytics, all of the platforms that  
7 are going to go out to all of their clients; the  
8 CCP infrastructure working with all the different  
9 CCPs around the world trying to get them to link  
10 and be able to get all their transactions to the  
11 CCPs, get the output from the CCPs and being able  
12 to manage all the margins associated with that.  
13 We think the next part is the risk side of the  
14 business which also includes a lot of the  
15 reporting, the data management, the analytics,  
16 looking at positions and managing the internal  
17 risk of these firms and their clients. Then the  
18 collateral management side. Again a lot of that  
19 is also margin and risk as well and being able to  
20 manage all of the collateral that's on deposit and  
21 with the CCPs.

22 We think the bulk of this is going to be

1 picked up by the top four or five firms or three  
2 or four firms. It's going to be a fairly  
3 extensive load mostly because these firms are  
4 global, they're going to be trying to do this both  
5 in the U.S. as well as in Europe and they're doing  
6 it across multiple asset classes.

7 CHAIRMAN GENSLER: Larry, may I ask a  
8 question on this chart?

9 MR. TABB: Yes.

10 CHAIRMAN GENSLER: These top three or  
11 four firms, if you have an estimate what do they  
12 spend annually on technology?

13 MR. TABB: The top firms on the  
14 investment banking side are spending in the U.S.  
15 \$4 or \$5 billion a pop on technology.

16 CHAIRMAN GENSLER: \$4 or \$5 billion per  
17 firm?

18 MR. TABB: Per firm.

19 CHAIRMAN GENSLER: That's in the U.S.  
20 Internationally?

21 MR. TABB: Two-and-a-half times that.

22 CHAIRMAN GENSLER: Two-and-a-half times

1 4 is 10 and two-and-a-half times 5 is 12-1/2, so  
2 \$10 to \$12/2 billion?

3 MR. TABB: Yes.

4 CHAIRMAN GENSLER: So that annually they  
5 spend \$10 to \$12/2 billion.

6 MR. TABB: This is over 3 years.

7 CHAIRMAN GENSLER: This is over 3 years?

8 MR. TABB: So we're looking at about \$50  
9 million a year or something like that for the top  
10 firms, \$50 to \$60 million a year spread out over 3  
11 years.

12 CHAIRMAN GENSLER: \$60 million a year,  
13 and I know it's an estimate compared to their \$10  
14 to \$12 billion technology budget.

15 MR. TABB: Right.

16 CHAIRMAN GENSLER: It's significant  
17 money, but as a percentage it's somewhere less  
18 than 5 percent or around 5 percent.

19 MR. TABB: Yeah, um-hum.

20 COMMISSIONER DUNN: To follow-up on  
21 that, then is this amount something they would be  
22 doing under their ordinary course of business or

1 is this strictly as a result of Dodd-Frank?

2 MR. TABB: We think that this is a  
3 result of Dodd-Frank because a lot of these  
4 products and services wouldn't necessarily be  
5 needed because the market would exist the way it  
6 is today. When we look at what U.S. Broker  
7 dealers are spending in the U.S. market, it's  
8 somewhere around \$20 to \$22 billion overall.  
9 Globally it's probably about 2 to 1/2 times this.

10 CHAIRMAN GENSLER: I should note now I  
11 see that our \$31 billion a year --

12 MR. TABB: You have to add the billion  
13 that the SEC gets too.

14 CHAIRMAN GENSLER: They don't have a  
15 billion on technology?

16 MR. TABB: No, not on technology.  
17 Overall. It's a drop in the bucket.

18 CHAIRMAN GENSLER: I might end up  
19 quoting you.

20 MR. TABB: In terms of growth rates, the  
21 growth rate of technology has been challenged over  
22 the last couple of years because of the recession.



1 We see it's coming back, but still it's not coming  
2 back at the growth rates that we saw especially in  
3 the early part of the 2000 timeframe, but it is  
4 coming back.

5           How firms are prioritizing their OTC  
6 derivatives initiatives is client demand and  
7 regulatory compliance are the two big issues that  
8 they're really trying to focus on as well as  
9 competitive differentiation and value-added  
10 services. The bigger guys are out there trying to  
11 figure out what their clients want and trying to  
12 build it.

13           Where are they with readiness? Out of  
14 five, most folks that we talked to again late in  
15 the summer, or early fall, felt that they were  
16 pretty good on the way to clearing and execution,  
17 a little less on reporting and compliance and  
18 least on reference data and reference data becomes  
19 a big issue in risk management. When I mean  
20 reference data, the OFR stuff, all the issues  
21 about unique identifiers for securities, unique  
22 identifiers for customers and trying to get all of

1 their data aligned within their organizations  
2 especially for products that don't necessarily  
3 exist right now.

4           Where are they in terms of their product  
5 priorities? What are they focused on? They  
6 focused mostly on the credit and the rate side and  
7 much less on the commodities, equity and FX side  
8 so that the big issue is credit rates which tend  
9 to be their bigger businesses.

10           Conclusions. Dealers are absolutely  
11 scrambling to build out their capabilities. We  
12 think the top 15 guys are going to be spending  
13 about a billion-eight over a 3- year period to  
14 comply with Dodd-Frank. While dealers have  
15 invested heavily over the decades, products,  
16 clearing, trading, businesses, distribution models  
17 all are changing because there's a whole new way  
18 of looking at the swaps business. While  
19 regulation is significant, client demand is  
20 driving development as well so that clearing and  
21 execution are the key investment areas and as I  
22 said they're focused mostly on credit rates more

1 so than commodities, equities and FX.

2 CHAIRMAN O'MALIA: Larry, thank you very  
3 much. Those were some great slides. Bob Garrison  
4 is Chief Development Officer at DTTC's Information  
5 Technology Division and is responsible for all IT  
6 applications and development efforts at DTTC and  
7 he is also experienced in investment banking as  
8 well.

9 MR. GARRISON: Good afternoon to the  
10 Commissioners and members of the Technology  
11 Advisory Committee. I am here with Marisol  
12 Collazo who is Vice President in charge of DTTC's  
13 Trade Information Warehouse. We are here today to  
14 discuss some of the challenges and opportunities  
15 in helping the Commission achieve its objective  
16 around market transparency. Marisol will run  
17 through the presentation and we're happy to take  
18 questions on our experience and observations in  
19 working with the industry on derivatives  
20 transparency and data reporting.

21 MS. COLLAZO: As well good afternoon and  
22 thank you for the opportunity to speak today.

1           First a bit of background. The Trade  
2           Information Warehouse is a subsidiary of DTTC and  
3           it operates as an industry utility governed by its  
4           users. The warehouse provides a centralized  
5           global repository for OTC credit derivatives today  
6           which includes both legal recordkeeping and  
7           centralized lifecycle processing. The warehouse  
8           has been in operation since 2006. Today over 95  
9           percent of the trades held in the warehouse are  
10          electronically confirmed. The remaining  
11          population, the paper confirmations, are reported  
12          unilaterally by the submitting party with the  
13          current open inventory and certain key economic  
14          details.

15                 The warehouse database currently  
16          represents 2.3 million contracts with a gross  
17          notional of 29 trillion. It is based on these  
18          types of existing automated processes that I will  
19          discuss today how we think the Commission can  
20          achieve one of its key goals on market  
21          transparency by leveraging those processes that  
22          are presently capable of producing high-quality,

1       robust and accurate data in a timely manner.  
2       Based on such a model, the Commission would  
3       benefit as it is assured to receive the best  
4       quality of data currently available.

5                 To achieve this there are four key  
6       points that underpin this premise. First,  
7       coordinated technology implementation must take  
8       place across the entire industry. Second,  
9       mitigation of delivery risks where possible by  
10      utilizing existing robust, accurate and auditable  
11      reporting processes. Third, the Commission should  
12      be indifferent as to the data-collection process  
13      for the data elements required so long as the data  
14      is complete and provided on a timely and accurate  
15      basis. Fourth, standardization is necessary and  
16      useful but by itself is insufficient to allow for  
17      appropriate and timely aggregation of data.

18                I'm going to stay on this slide and hone  
19      in on each of these four points and provide some  
20      background. With respect to point one, to ensure  
21      successful implementation of an SDR there must be  
22      a coordinated effort with the industry in defining

1 the framework for submitting these records. This  
2 includes defining the business requirements,  
3 reviewing the message choreography, connectivity  
4 points, identifying reconciliation tools where  
5 necessary, drafting functional specs, training,  
6 user testing. Also some similar things that I  
7 heard earlier today about ensuring that the firms  
8 can connect and that the testing is there. It's  
9 important to note that the industry, the buy side  
10 and the sell side, are taking these reporting  
11 requirements very seriously and have been actively  
12 engaged at the warehouse as an industry utility  
13 provider in discussions as to how they can meet  
14 their reporting obligations. We do not believe it  
15 can be successful without industry coordination  
16 which builds confidence and momentum to solutions  
17 and overcomes uncertainty and ambiguity which may  
18 lead to extensive delays. In this regard, the  
19 Trade Information Warehouse has kicked off several  
20 work streams to review data attributes and  
21 validations needed and message choreography and  
22 lifecycle events. The response thus far is that

1 most of what has been prescribed with respect to  
2 the data elements can be provided particularly  
3 where automated processes are already in place.  
4 The key challenges that the industry faces is in  
5 reviewing who needs to report what by when, and  
6 I'll talk more about that in point three.

7           This is particularly impactful where  
8 data needs to be reported in advance of existing  
9 automated processes, in effect decoupling the  
10 reporting requirements from the automated process  
11 and resulting in potentially lesser- quality data  
12 being reported. Another key challenge is  
13 reporting of paper confirmed trades as there are  
14 no automated processes in place due to the  
15 customized nature of these transactions.

16           Apparently the sense of working group  
17 that has been formed is to ask this Commission to  
18 consider reporting of these trades to be satisfied  
19 through real-time record submission with an image  
20 copy of the confirmation representing the fuller  
21 legal and economic terms -- the Commission --  
22 support an increase in electronic confirmation

1 processes over time, an approach similar to the  
2 effort that the OTC Derivatives Supervisor's Group  
3 has enforced with major dealers in the past.

4           These challenges lead into the next key  
5 point which is mitigating delivery risk where  
6 possible by utilizing existing processes. The  
7 Commission would be best served by leveraging the  
8 existing automated process. For example, the key  
9 difference between the primary economic terms data  
10 and the confirmation data is the timing. The  
11 challenge to sending primary economic terms and  
12 confirmation data are not significantly different  
13 so that it is not necessarily safe to assume that  
14 an accurate reporting process can occur that much  
15 faster than the current confirmation process.  
16 However, the degree of accuracy and the quality  
17 achieved through a matched confirmation are much  
18 higher than a unilateral reporting of primary  
19 economic terms. A confirmation record that is  
20 matched and agreed to by both parties through an  
21 automated process ensure that the Commission  
22 receives data that is complete and accurate and



1       systemically verified by both parties. With  
2       respect to timeliness for credit rates and  
3       equities, most trades are confirmed intraday. For  
4       interest rates, 80 percent are electronically  
5       confirmed within approximately 30 minutes, for  
6       credit these trades are mostly confirmed within a  
7       range of 30 minutes to several hours following  
8       execution and equities tend to be more within  
9       intraday for standard contracts.

10                I would say here too this has been an  
11       evolutionary process where the industry has worked  
12       with its respective supervisors to improve the  
13       confirm timeliness by refining the existing  
14       operational process and standardizing where  
15       possible.

16                CHAIRMAN GENSLER: May ask for rates how  
17       long did you say?

18                MS. COLLAZO: For rates it's  
19       approximately 30 minutes. The Commission should  
20       consider monitoring these industry commitments as  
21       a measure toward improving the existing automated  
22       processes to improve confirm timeliness further

1 bridging the gap between the primary economic  
2 terms and the confirmation data. It is also  
3 important to note that the regulatory reporting  
4 has also evolved in this space. Based on the Data  
5 Access Guidelines provided by the OTC Derivatives  
6 Regulator's Forum which is comprised of 40  
7 regulators including the SEC and the CFTC, DTTC  
8 has launched the Regulatory Portal. The portal  
9 enables regulators coincident with their authority  
10 to view trade- level data as well as counterparty  
11 and reference-entity exposure reports for the  
12 entities or jurisdictions over which they have  
13 authority. For central banks, they are able to  
14 view data for the largest financial institutions  
15 trading in that respective currency as a proxy for  
16 those institutions that are systemically  
17 important. For market regulators and prudential  
18 supervisors, discrete trade-level data is  
19 available and that is updated on a daily basis.  
20 The feedback we've received from the ODRF has been  
21 largely positive from a credit perspective and  
22 it's viewed to be fairly compliant. More work

1 needs to take place with respect to rates and  
2 equities to achieve that same high level quality  
3 of data and that continues to progress in that  
4 space.

5           Turning to point three, as long as  
6 reported data contains all the data elements and  
7 is reported on a completely, timely and accurate  
8 basis, the Commission should be indifferent to the  
9 data-collection process. In Part 45 of the CFTC's  
10 proposed rules there are two different for how an  
11 SDR can review data, either snapshot or lifecycle  
12 depending on the asset class. DTTC proposes that  
13 the Commission consider flexibility in allowing  
14 the industry to define how data is collected in  
15 the SDR. For example, for electronic  
16 confirmations where automated processes are in  
17 place, it is much more likely that the industry  
18 would favor a lifecycle approach where trades are  
19 updated in the SDR based on centralized lifecycle  
20 updates to those records. Whereas for paper  
21 confirmations, the industry is likely to favor a  
22 snapshot approach as there is little to no

1 automation for these customized records. As to  
2 who reports, the Commission should allow for  
3 industry participants to identify the  
4 authoritative reporting source based on who has  
5 the best available information. For example, SEFs  
6 may not have all the information necessary to  
7 report the data elements prescribed in the  
8 proposed regulations. Also foreign dealers may  
9 want to provide U.S. End user reporting parties  
10 with the ability to report these trades to the SDR  
11 on behalf of the end user. It is simpler to make  
12 the reporting parties responsible and this clearly  
13 establishes a single point for control of data  
14 into the SDR. Swaps primarily different from  
15 other products because of their lifecycle events  
16 and these do not necessarily occur in the same  
17 service as creation data so that coordination  
18 across multiple submitters is complex. DTTC  
19 thinks the majority of reporting should be done by  
20 dealers and DCOs but it is not necessary to  
21 prescribe that a DCO reports lifecycle events and  
22 a dealer intrinsic events where the same process

1 can likely report both.

2           Turning to the last point, DTTC is  
3 supportive of universal identifiers for  
4 counterparty swap and product. Such standard  
5 identifiers will enable consistent standards for  
6 aggregation of data. However, in and of itself it  
7 does not fully address the aggregation question.  
8 Timeliness of aggregation of data is key  
9 particularly during times of crisis. In the wake  
10 of the Lehman financial crisis, it was not well  
11 understood what the counterparty exposure was to  
12 Lehman as an underlying entity. The warehouse was  
13 able to provide such transparency by reporting the  
14 net notional and the potential amount of funds  
15 transferred between all the counterparties based  
16 on the sum of their bilateral exposures. There is  
17 a great risk that with too many SDRs this  
18 information would be fragmented and it would be  
19 too difficult for regulators to collect the data  
20 in a timely and accurate manner. Additionally,  
21 the process would be further frustrated as the sum  
22 of the net notionals on open positions provided by

1 each SDR would not equal the whole. Today the  
2 warehouse holds both cleared and uncleared  
3 contracts with up-to-date information ensuring  
4 that in times of crisis the regulators can view  
5 the aggregate counterparty exposures for their  
6 entire portfolio and inventory. There are also  
7 additional benefits that the public, industry and  
8 central clearinghouses derive from aggregation as  
9 it easily provides transparency as to the  
10 liquidity and the depth of each asset class.  
11 There has been support from regulator forums  
12 toward a centralized aggregation model as well.  
13 Both the ODRF and the Financial Stability Board in  
14 its recommendations on trade repositories urge  
15 that central aggregation of data is key and  
16 adopting uniform standards to allow regulators to  
17 access such data. Today the warehouse already  
18 meets this through our current regulatory  
19 reporting and we believe the Commission should  
20 look to preserve these existing processes where  
21 possible. Standardization is necessary but not  
22 sufficient for risk reporting. Data needs to be

1 aggregated on a timely basis and this requires an  
2 understanding of the data, of the aggregation  
3 process and the consolidation of service for it to  
4 be efficient and evolving over time.

5 In closing I'd like to leave you with  
6 these final thoughts when considering these four  
7 key points. First, regulators can rely on  
8 existing processes to implement reporting  
9 procedures. There is no reason to reinvent the  
10 wheel. There are existing processes in certain  
11 asset classes. Second, the market can evolve over  
12 time. The timeliness of reporting and  
13 confirmation will increase as market participants  
14 adjust to a new regime. Finally, regulatory  
15 transparency is also available with DTTC's  
16 Electronic Portal following ODRF guidelines.  
17 These capabilities can exist and provide  
18 regulators with valuable data now. Thank you for  
19 your time.

20 CHAIRMAN O'MALIA: Thank you very much.  
21 As I indicated earlier, we're going to open this  
22 up for any questions from the first panel or this

1 panel, but I do have a question. Marisol, you  
2 mentioned on the swap dealer and MSP reporting  
3 versus the SEF and you said there is greater  
4 fidelity of data with the swap dealer. Can you  
5 explain what the shortcomings of SEF data may be?

6 MS. COLLAZO: There are certain data  
7 attributes within the SEF that the SEF may not  
8 hold itself, for example, trader I.D.'s or desk  
9 I.D.'s. It's certainly a question of is that  
10 something that the SEF builds or is it better to  
11 have the reporting party directly submit it  
12 because it has all that relevant if you will  
13 static data information that it can provide  
14 directly to the SDR. The other point that I was  
15 making there is that we also have foreign swap  
16 dealers or dealers that also are facing off to  
17 U.S. parties and in that instance the U.S. party  
18 would be responsible for reporting and where that  
19 U.S. party is an end user, that end user or rather  
20 that foreign dealer may want to be able to submit  
21 that record on behalf of the end user.

22 CHAIRMAN O'MALIA: Can you speak to the



1 universal identifiers and the challenges that it  
2 will take to integrate this and to have everybody  
3 here have an I.D., have a counterparty I.D., have  
4 a product I.D. report that and understand that?  
5 We've heard from staff level and other meetings  
6 that this is an extraordinarily big challenge for  
7 the industry to implement. Can you go over some  
8 of the challenges you see?

9 MS. COLLAZO: Certainly we think that  
10 standard identifiers is good. It is a challenge  
11 and it depends on which one we're talking about.  
12 One, as far as the unique swap identifiers are  
13 concerned, we already have a process within the  
14 warehouse where we identify unique I.D. so that we  
15 think that to the extent there can be a common  
16 language about how unique swap identifiers are  
17 provided that we can include that in the existing  
18 workflow. Certainly the choreography around that  
19 unique swap identifier and where it gets created,  
20 how the reporting party gets that identifier back  
21 into their system, how do they ensure that these  
22 trades continue as there is a lifecycle process to

1       them, how do they ensure that they keep that  
2       identifier, there are certain challenges in what  
3       we call the many to one process where you have a  
4       trade that initially executed then subsequently  
5       compressed or fully allocated and how do you keep  
6       that unique identifier unique and following the  
7       record through compression.

8                   CHAIRMAN O'MALIA: Can you talk to the  
9       timeframes that DTTC thinks that it might take to  
10      implement this universal I.D., and then maybe  
11      Larry or Supurna if you have some things about  
12      what you've seen in the market related to this  
13      issue or the challenges you may have in the case  
14      of Blackrock?

15                   MS. COLLAZO: It's hard to talk to the  
16      timeframes particularly because essentially in  
17      looking at our model to build the SDR we're  
18      putting it in as a placeholder. We know that  
19      there needs to be industry discussion on this  
20      point to identify a common method in which to  
21      submit the USI. We're putting a placeholder for  
22      it and in a worst- case scenario we think that we

1       have a way in which we can prefix those records so  
2       that we can use our existing identifier and  
3       provide a prefix for it and that's been our  
4       working assumption.

5                   MS. VEDBRAT:  When we look at this  
6       universal swap identifier I think one of the areas  
7       when a block trade is broken into the allocated  
8       components, in that area we would expect that  
9       unique identifier to be replicated so that the  
10      block identifier follows the different  
11      allocations.  The challenge comes when we look at  
12      what do we do when we compress our portfolios.  
13      How do we deal with the identifier in that case  
14      because we have to come up with a mechanism where  
15      the compressed trade and that swap identifier of  
16      the old package trades somehow reaches the SDR and  
17      let's the SDR know that those trades have been  
18      replaced by the new compressed trades so that it  
19      is the second piece of it that is more challenging  
20      than the first.

21                   MS. COLLAZO:  That's a many-to-many  
22      problem.  That's where you compress many trades.

1 You take 100 trades, you compress it to 10 trades,  
2 what identifier goes with what. The next day you  
3 compress those 10 trades into another eight trades  
4 so that it's a difficult audit trail problem as to  
5 how you follow that USI across compression.

6 MS. VEDBRAT: The compression is a very  
7 important piece of how we keep our books clean and  
8 how we manage risk.

9 MR. TABB: That's with the transaction  
10 I.D., but even in terms of the security I.D., we  
11 have a hard enough time getting globally unique  
12 identifiers for equities and then when you look  
13 for something that's even issued and you can be  
14 traded around the globe in different organizations  
15 and the industry coming with what is the swap and  
16 number it is a really challenging issue mostly  
17 because if we create our own unique I.D., then  
18 Germany decides they need to have their I.D. and  
19 they create another one that's different from  
20 ours, it will have a different numbers of places  
21 and fields and then you wind up with having to  
22 create a table to say, no, this I.D. is equal to

1 that I.D. and it becomes a big pain in the butt.

2 Excuse my language.

3 MS. COLLAZO: To answer, there is  
4 another identifier that's been quite challenging,  
5 the counterparty identifier, and here there were  
6 two things in the rules. One was about  
7 identifying the legal entity, the UCI, for the  
8 counterparty to the contract. There was also a  
9 reference regarding hierarchies and affiliate  
10 relationships. One of the things that the  
11 warehouse has been based on is identifying who  
12 that legal entity is to the contract. We think  
13 from that perspective of looking at a way in which  
14 to uniquely identify the UCI for the contract is  
15 the right process and it's part of what should be  
16 included in the submission to the SDR. A bigger  
17 challenge is we think when you start to look at  
18 the affiliations and the hierarchies and gathering  
19 that information because again we're looking at  
20 this from a global repository perspective as these  
21 markets are traded globally and how do you get  
22 that information, how do you validate that

1 information? Is there self-certification along  
2 with independent validation? How do you ensure  
3 that the information is kept up to date? All of  
4 this is much more difficult to do when you look at  
5 it from an affiliation perspective. But we do  
6 think that from a legal entity of the contract  
7 itself that that's something that certainly should  
8 be part of what the SDR provides.

9 CHAIRMAN GENSLER: Before I ask a  
10 question I wanted to mention that as excellent as  
11 this meeting is and as many people in the public  
12 are tying in, these documents will be on our  
13 website. I don't know if they are yet, but they  
14 will be on our website all that you've done which  
15 is terrific. We ask for the public to comment on  
16 the advice of Dr. Gorham's subcommittee as we did  
17 also with the Joint Advisory Committee so that  
18 you're not alone that we've asked the public to  
19 comment on reports that are given to us. All of  
20 this is also in a public record. I can't think of  
21 how many proposed rules have been discussed here,  
22 but to make sure that we're complying with the

1 Administrative Procedure Act I'm going to ask that  
2 this entire transcript be put in the public  
3 comment record and be put in the right comment  
4 file. Certainly speaking only as only one  
5 Commissioner, I am considering those things you've  
6 said today in moving forward and it's very helpful  
7 to hear from the public so that I'm saying that  
8 for the record and I know my fellow Commissioners  
9 are listening closely.

10 I did have a question. The swap data  
11 reporting that we proposed in a rule said that if  
12 it was bilateral that it was reported by the  
13 dealers and I think that's consistent with what  
14 you're saying. But if it were on a swap execution  
15 facility or designated contract market and I know  
16 it's not just you too. It might be others. Tom,  
17 you might form a SEF. We proposed I think in some  
18 sense a thought that it would be less burdensome.  
19 It's interesting to me that you would be  
20 suggesting maybe -- I don't know how many dealers  
21 there would be, but there are probably more  
22 dealers than there are SEFs so that we did propose

1       that it would be the DCMs or the SEFs that would  
2       report the traded, cleared swaps.

3               MS. COLLAZO:  It's that SEFs really have  
4       all the information.  I believe if the language  
5       were such that the SEFs would report and have the  
6       reporting obligation, to the extent there was any  
7       information that was missing, the reporting party  
8       would provide that subsequent information and I  
9       think that was in reference to the UCIs perhaps.  
10      There's concern that as the reporting they want to  
11      ensure that what's in the SDR is accurate so that  
12      there is a reconciliation question there as to,  
13      one, has the SEF reported everything and if there  
14      is a gap then how do I report that and it's  
15      looking at the existing process of where do I  
16      build that in and certainly the thinking is if the  
17      SEF is going to report then the SEF has some work  
18      to do if there is a gap in fields.  Second, I am  
19      going to have to ensure reconciliation.

20             CHAIRMAN GENSLER:  My other question is  
21      to the extent that there may be multiple data  
22      repositories that the law allows -- I know you're



1 smiling because that means competition, the law  
2 allows that clearinghouses themselves could  
3 register as data repositories and certainly there  
4 are a number that have told us privately that  
5 they're considering it. We don't know what  
6 they'll do, but they're considering it. Have you  
7 had any dialogue or do you have any advice for  
8 this Commission that might have to aggregate data  
9 across multiple data repositories for our  
10 surveillance, compliance and other functions that  
11 we do?

12 MS. COLLAZO: That goes to the last  
13 point that standardization is good. The challenge  
14 that you have there is that in order to do that  
15 aggregation you need the granularity of the  
16 transactions. A specific example that I think is  
17 in our PowerPoint speaks to if you took the open  
18 positions, an aggregate number by a clearinghouse  
19 and you took the aggregate number of bilateral and  
20 you looked at what the net notional is for each  
21 and you summed that up, that's too high. I have  
22 to have the underlying detail in order to do

1 appropriate aggregation. I think the biggest  
2 challenge for the Commission is in having multiple  
3 SDRs you then need to have that transaction-level  
4 detail in order to be able to perform that type of  
5 aggregation. Our history of having developed the  
6 warehouse has been that most of our spend on  
7 technology, about half of our technology over the  
8 evolution of where we started from confirmation  
9 services through posttrade lifecycle services has  
10 been on that posttrade up-to-date record and  
11 aggregation and that's been half of our technology  
12 budget.

13 CHAIRMAN GENSLER: Maybe I'll leave my  
14 question there on any thoughts you have because  
15 the statute does not provide for unique swap data  
16 repositories. It allows for what might end up  
17 being either multiple within an asset class or it  
18 might be geographically, there may be one in  
19 Europe and one here. Larry, if you could  
20 follow-up because it would be helpful in our  
21 consideration as to cost-benefit analyses and all  
22 that we do here before we go to final rules. This

1 was enormously helpful. I don't have any specific  
2 questions, but if you have anything further  
3 regarding details on the cost. I was particularly  
4 intrigued when you said that these large dealers  
5 spend \$20 to \$25 billion in aggregate here in the  
6 U.S. or individually \$4 to \$5 billion, and then  
7 you said maybe they spend \$10 to \$12 worldwide. I  
8 think as we continue to move forward we do  
9 recognize there are costs in relation to the  
10 benefits. That's how we have to do our work. But  
11 you could help us identify cost in relation to  
12 their aggregate spending and so forth.

13 MR. TABB: We would love to help you,  
14 Commissioner.

15 COMMISSIONER DUNN: Let me thank all of  
16 the panelists. This was absolutely fantastic and  
17 I especially liked the diagram because it tells me  
18 what's going on there. It is extremely helpful  
19 for me.

20 My question is on the universal  
21 identifiers, is this something that if the  
22 Commission with the SEC tasks the industry to do

1       that you can come to some agreement on this or are  
2       we going to have to dictate something?

3                   MS. COLLAZO:  I'll start.  As I  
4       mentioned earlier, the industry is taking this  
5       very seriously and there have already been  
6       discussions about how to broach both the unique  
7       swap identifier and the unique counterparty I.D.  
8       Certainly from a DTTC perspective we are engaged  
9       with other providers to look at where we can  
10      provide such offering through our Avox subsidiary  
11      so that it something that we're looking at and the  
12      industry is looking at and they're mobilizing  
13      around it.  I wouldn't anticipate that it would be  
14      something that the CFTC would have to prescribe  
15      but you have to let the process go through and see  
16      where they get to.

17                   MR. TABB:  This is typically a pretty  
18      difficult challenge but I think that because of  
19      Dodd-Frank and the OFR, I know Mike Atkins behind  
20      me has been working with the Treasury Department  
21      to try and tackle these issues so that I think if  
22      it's going to happen, it's going to happen now.

1       It's been very challenging to now to get the  
2       industry to come together on any type of universal  
3       identifier. Hopefully it will happen now, but  
4       historically the odds have not been great.

5                   MS. VEDBRAT: I think that as far as  
6       what we plan to achieve by having the universal  
7       identifier, maybe some guidance on that would be  
8       helpful, but how it's defined and how it's  
9       implemented, the industry should be able to work  
10      that out among themselves.

11                   COMMISSIONER DUNN: Larry, you had made  
12      the point earlier that this is not just the U.S.  
13      but it's worldwide. Is this something that we  
14      should look for the G-20 or the FSB to take the  
15      lead on, to push for so that we don't have  
16      something that is worldwide?

17                   MR. TABB: I can't answer whether we  
18      should push at the G-20. I'm not familiar with  
19      how that process works, but it's certainly  
20      something that needs to be addressed globally and  
21      if there is a way of doing that through the G-

22                   It might be worth bringing up. It is

1 definitely a global challenge because the products  
2 are global and the counterparties are global.

3 CHAIRMAN O'MALIA: Is this something  
4 that we can move forward with, reporting trades  
5 without having a universal I.D., or if we don't go  
6 down this path of having a universal I.D. first  
7 we'll screw everything up?

8 MR. TABB: I think if we wind up with  
9 multiple universal I.D.s what will wind up  
10 happening is that there will need to be a way of  
11 reconciling them so that it will either have to be  
12 done at the regulator level, certainly at the  
13 dealer or the bank level, and then God forbid  
14 there is some sort of fiasco where we're going to  
15 need to do it on a supernational level.

16 CHAIRMAN GENSLER: It strikes me that  
17 what Commissioner O'Malia question is is could we  
18 phase implementation? Could we in essence have  
19 requirements for swap data repositories, SEFs and  
20 clearinghouses and they might come into being in  
21 whatever period of time, I don't want to yet  
22 predict, where it's phased in and they are there

1 and that that unique identifier may take another  
2 6, 12 or 18 months or whatever? Particularly I  
3 say this because Congress didn't say in the  
4 statute that there must be unique identifiers but  
5 they did say that there has to be a swap data  
6 repository. What I'm asking is there a way  
7 possibly to phase it where we comply with  
8 Congress' mandate that there are swap data  
9 repositories, there is reporting and then if it  
10 takes the industry a while to do that which  
11 Commissioner Dunn was talking about that it's  
12 phased in?

13 MR. TABB: I think you would have to  
14 phase it in. I'm sure it's going to be hard to  
15 get a global agreement. But on the other hand,  
16 there are commercial benefits to owning the swap  
17 I.D. number. We've seen this with Red Coats and  
18 we've seen this with any type of CUSIP numbers or  
19 ISIN (?) numbers where there are benefits to  
20 owning the number so that if I own the number then  
21 somebody else is going to create another number  
22 because there are benefits to owning that number.

1                   MS. COLLAZO: Also I think that that is  
2 true and there is also the sensitivity to the  
3 per-asset class, that it's an asset class question  
4 as well so that to the extent that in the  
5 warehouse we have for OTC credit derivatives a  
6 unique identifier that's not as fully prescribed  
7 as the USI, there are some challenges as we  
8 mentioned earlier with the audit trail and  
9 compression for example, but there are some  
10 existing processes that you can look to with an  
11 asset class perhaps as a phase in implementation  
12 as the identifiers start to form.

13                   MS. VEDBRAT: I think in a phased  
14 approach one thing that we need to be careful of  
15 is that we don't end up with a situation where we  
16 need to do back entry of the identifier because  
17 that's going to be very, very hard and close to  
18 impossible.

19                   CHAIRMAN O'MALIA: I'd be interested to  
20 get any impressions from end users or  
21 clearinghouses at the table here about some of  
22 these presentations about integrating all of this



1       technology and what you're looking for, what  
2       you're thinking about and have you ever thought  
3       about some of these things that obviously the  
4       dealers and the exchanges and new SEFs we're going  
5       to have to move into. Before I do that I want to  
6       check and see if there's anybody on the phone. I  
7       understand there are more people than just  
8       Commissioner Chilton on the phone and see if they  
9       want to speak or have a question on any of this or  
10      Commissioner Chilton of course.

11                   COMMISSIONER CHILTON: Commissioner  
12      O'Malia, I want to pick up on what Chairman  
13      Gensler was saying about phasing in. The law is  
14      pretty clear on certain things about when we are  
15      to implement things, but on a lot of it we also  
16      have some flexibility where it says not less than  
17      60 days after we promulgate a final rule. I'm a  
18      little concerned about how we go about  
19      implementing some of these regs, in particular  
20      some of the stuff we're talking about now. I  
21      don't want it to be a competitiveness problem for  
22      the U.S. if the timing is too short. As the

1 Chairman said, we'll look for comments, and this  
2 is very helpful today, but we certainly need to be  
3 cautious as we go forward. I don't have a  
4 question, Commissioner O'Malia.

5 CHAIRMAN O'MALIA: Thank you. Is there  
6 anybody else on the phone? Are there any thoughts  
7 from end users or clearinghouses about integrating  
8 technology?

9 MS. BLOUTWOOD: For a generic company  
10 and I'll use an energy company unnamed as an  
11 example, we presented a case study and an earlier  
12 white paper where we discussed our internal  
13 project before Dodd-Frank came along trying to get  
14 to standard exchange product definitions excluding  
15 OTC transactions, but mapping our own internal  
16 systems to the different exchange product  
17 definitions because all of the exchanges have  
18 different product definitions as well. This was a  
19 fairly large team. It took us 9 months to map the  
20 first 60 percent of our transactions, a year and a  
21 half to get to the next 30 percent and 6 months  
22 additional to get to the last 10 percent and it

1       only applied to standard cleared products so that  
2       this was into a 3-year project simply to map our  
3       own internal systems and we had multiple over the  
4       years to exchange product definitions. Then you  
5       have the issue that across exchanges you can have  
6       the same product but all the data field's name  
7       definitions are different so that you're spending  
8       a great deal of time for the same product ensuring  
9       that you have consistency across the different  
10       exchanges. We're now in the process of mapping  
11       OTC products but we're looking again at a  
12       multiyear project. I'd say here we've been  
13       talking about global issues and to bring it back  
14       home to one particular company, I don't think we  
15       can underestimate what it takes in terms of it's  
16       systems work but it's process and standardization  
17       of the definitions internal to a company.

18                   CHAIRMAN O'MALIA: I think that's a  
19       great point. One of the reasons we had this  
20       discussion, some of the first slides we've seen in  
21       detail about how all of these boxes will be  
22       interconnected, and I think it's fascinating and I

1 think I can speak for all of the Commissioners  
2 here that putting this all together I think the  
3 staff has been challenged to understand how all  
4 the parts will link together. I'm grateful for  
5 your presentations today that help us map that  
6 out. This is the first high-level we've had on  
7 this but I think to your point to take it to the  
8 next step and further on will be a great challenge  
9 and it would be informative to us to understand  
10 all of these pieces will come together and I think  
11 that's where the industry is trying to put all of  
12 our rulemakings together to figure out how all of  
13 these parts come together. I think this will not  
14 be the last discussion we have of this and I think  
15 it's a fascinating kind of look at where we have  
16 to go and the time that we have to get there.

17 CHAIRMAN GENSLER: I sense when we put  
18 this on the website and ask for comment we will be  
19 benefited and grateful for all the charts that are  
20 going to come in now that Blackrock, DTTC and  
21 Larry's group put together these charts. I think  
22 we'll benefit and we welcome the other public who

1 put their various charts and comments in on the  
2 mosaic.

3 CHAIRMAN O'MALIA: I did want to go back  
4 at the risk of opening up a can of worms to the  
5 first discussion and the issue that we had at the  
6 May 6 event 2 weeks ago, the issue of order  
7 cancellation or excessive cancellation and some of  
8 this quote stuffing. It was equated to disruptive  
9 trade practices and there were several of the  
10 Joint Advisory Committee members who spoke to that  
11 end in saying that excessive quoting or excessive  
12 cancellation to disruptive trade practices. I  
13 thought it would be helpful if we had a better  
14 understanding of what's in place today to prevent  
15 that. I think Chuck you briefly spoke about it  
16 earlier and if you could make a brief comment and  
17 maybe Dean briefly talk about some of the controls  
18 that you have on order traffic and what standards  
19 you have to defeat that disruptive trade practice.

20 MR. VICE: We have message throttles  
21 which is a pretrade functionality we've talked  
22 about a lot today, but on the issue of excessive

1 quoting, that's more of a compliance issue and  
2 there is a policy around that that is I think  
3 called volume-weighted ratio or something and it  
4 was a simpler policy that we've recently made a  
5 little more sophisticated because we wanted to do  
6 two things. One, we want to discourage excessive  
7 messaging, but we also wanted to encourage tighter  
8 bid offer spreads. An order is not an order is  
9 not an order. An order that comes in that is  
10 let's say -- a bid comes in at or better than the  
11 best bid and it gets a particular weighting. A  
12 bid that comes in that may be 5 ticks away from  
13 the best resting bid is going to get a much  
14 heavier weighting, a much heavier penalty than  
15 otherwise against that volume ratio and we have  
16 different thresholds for each market depending on  
17 the nature of the market and then there are  
18 penalties if you go over those thresholds. If you  
19 hit a certain threshold, it's \$1,000 a day. You  
20 get 7 days in a month -- on the eighth day and  
21 after you would get hit with \$1,000. If you  
22 exceed an even more egregious threshold, it's

1       \$2,000 a day with no waiver of any days. We find  
2       the HFT traders not only want to avoid paying the  
3       penalties but also being perceived as violating  
4       exchange thresholds and we find it be an effective  
5       policy. I think as I said earlier, we in the last  
6       year have been evolving that policy from one that  
7       was focused on our own system capacities to a more  
8       holistic view of even though there may be an  
9       amount of messaging that we can handle, is some of  
10      it going to be deemed excessive in terms of ratio  
11      of fills to orders with this weighting in mind and  
12      therefore we may want to set thresholds so that  
13      some of that gets dialed back which is what we've  
14      been doing.

15                   CHAIRMAN O'MALIA: Dean, do you have any  
16      thoughts?

17                   MR. PAYTON: At CME Group we follow  
18      similar types of procedures, but I think one of  
19      the things to keep in mind is one of the things  
20      that we've talked about in the context of May 6  
21      was people's concerns about there not being  
22      liquidity and as that market was moving very

1 rapidly that deeper in the book we didn't have  
2 liquidity so that you want to be real careful  
3 about now discouraging messaging that's coming in  
4 to the marketplace even though it may be later  
5 cancelled. We do two things. We have message  
6 throttles that test for message per second and if  
7 we exceed some threshold we don't accept  
8 additional orders from that connection into  
9 Globex, and then we have a messaging policy that  
10 is the messages versus volume so that it's really  
11 about the quality of the messaging and similar to  
12 how ICE works. We work with our market  
13 participants. If they don't correct their  
14 messaging behavior within the benchmarks that we  
15 set on a per-product basis, then we issue  
16 surcharges to those firms.

17 CHAIRMAN O'MALIA: Are there any other  
18 thoughts or questions? I greatly everybody's  
19 attendance today and I greatly appreciate the work  
20 of the subcommittee to respond in very short order  
21 to survey the market and prepare a report for us  
22 to consider here today. You're not relieved of



1 your duties. The subcommittee is not going away  
2 because I think it's going to have an important  
3 role in our rulemaking process in identifying the  
4 best practices which I would note came from the  
5 industry in the first place and it was the  
6 industry standards that we worked off of. We're  
7 obviously interested to see all other standards  
8 and we want comment on this report and input from  
9 the public and some additional thoughts to see  
10 what other standards we might follow-up with. I  
11 do have some further questions about integrating  
12 all of the FIA proposals and what result from this  
13 study so I'll follow-up with that myself. But I  
14 greatly appreciate that and will follow-up with  
15 you going forward and I'm sure staff and our  
16 rulemaking teams will have some questions for you  
17 as well so I greatly appreciate your help. Let me  
18 open it up to the other Commissioners here to make  
19 any final comments, but let me thank everyone  
20 again for their outstanding presentations and  
21 participation here today. Thank you.

22 CHAIRMAN GENSLER: Let me start by

1       thanking Commissioner O'Malia for having put this  
2       all together and Chris, Laura and Adrian who  
3       probably don't get a lot of attention. I do not  
4       know who has undertaken all of the efforts.

5                   CHAIRMAN O'MALIA: And Shanise upstairs  
6       for organizing all of this.

7                   CHAIRMAN GENSLER: Shanise upstairs,  
8       yes, with three able counsel, all of you. The  
9       pretrade risk functionality I think will be very  
10      helpful for us. I know that I'm going to direct  
11      that it go into the various comment files on  
12      designated contract markets and swap execution  
13      facilities because we have asked questions about  
14      pretrade risk safeguards and your thoughts have  
15      been very helpful and the other three reports have  
16      been enormously helpful. They'll probably go into  
17      a lot of comment files, but even beyond that in  
18      terms of implementation phasing and the overall  
19      mosaic of our rule writing and the mosaic related  
20      to cost are enormously helpful and to the extent  
21      the public wishes to comment on your comments, we  
22      look forward to that as well. Thank you all and

1 thank you, Commissioner O'Malia, again.

2 CHAIRMAN O'MALIA: Commissioner Dunn?

3 COMMISSIONER DUNN: Let me echo the  
4 Chairman's remarks. I want to thank you Scott and  
5 your staff for putting this together, but for the  
6 subcommittee and the committee as a whole, this  
7 has been very, very valuable for me and I would  
8 ask the public to comment on the report that was  
9 given but also on this meeting in general because  
10 here again this is influencing us as we go through  
11 our decision-making process, I was most taken,  
12 Larry, with the \$1.8 billion amount that is going  
13 to be spent on the technology and the Chairman's  
14 \$31 million that we have on technology. This is  
15 something that this committee and the public has  
16 got to help this Commission on how do we make sure  
17 that that \$31 million's tail isn't wagging the  
18 \$1.8 billion dog.

19 CHAIRMAN GENSLER: It's actually greater  
20 because the U.S. industry spends \$20 to \$25  
21 billion per year according to Larry, not that we  
22 need to do that. This year unfortunately we're

1 down to less than one-tenth of 1 percent of that  
2 because we've had to cut back on the \$31 million.

3 CHAIRMAN O'MALIA: It's even greater  
4 than that because a lot of this is for telephones,  
5 tax machines and toner cartridges.

6 CHAIRMAN GENSLER: That is true as for  
7 our number, but I didn't know what their number  
8 was. You are absolutely right, Commissioner  
9 O'Malia, that we spend all too little to fulfill  
10 our mission where in the futures industry there  
11 are about 11 or 12 million contracts a day, the  
12 swaps market that we're about to take on doesn't  
13 have that many transactions a day, but obviously  
14 it's volume in terms of risk and complexity is  
15 greater.

16 COMMISSIONER DUNN: I didn't mean to  
17 start a debate.

18 CHAIRMAN O'MALIA: I thought we were  
19 agreeing. Commissioner Sommers?

20 COMMISSIONER SOMMERS: I want to echo my  
21 colleagues in thanking all of you and want to say  
22 that as we continue following these very important

1 issues on direct market access and pretrade risk  
2 functionality that I find it is really impressive  
3 how the industry has within itself kept raising  
4 the bar on complying with best practices and where  
5 we are compared to where we were 3 or 4 years ago  
6 with these issues I think is very impressive.

7 Thank you all for being here.

8 CHAIRMAN O'MALIA: Commissioner Chilton?

9 COMMISSIONER CHILTON: Real quick and I  
10 don't want to open anything back up, but my  
11 takeaway and perhaps Dean and Chuck will want to  
12 email me a nastygram on this, but it seems to me  
13 more is needed. If we have all this messaging  
14 going out and if you all are already penalizing  
15 folks, it seems to me that maybe the fines aren't  
16 working or need to be greater. I do want to  
17 commend to everybody, I don't get a chance to talk  
18 about it too much, about this study from November  
19 that I highlighted in my opening remarks. It's  
20 entitled "Where is the Value in HFTs" and it's by  
21 a couple of fellows in Spain, Cartilla and  
22 Penalva. I'd recommend it to people. It's

1 interesting reading and I'm hopeful we can look  
2 into this a little bit more in the future,  
3 Commissioner O'Malia. I do notice that as that we  
4 were in our meeting now that Congress looks like  
5 they're going to pass their CR and we'll have  
6 funding for another couple of weeks, but I echo  
7 what all of my colleagues have said earlier about  
8 we can't regulate the swaps market and the OTC  
9 market without an increase and if we end up being  
10 cut, we can't regulate the markets that we have  
11 jurisdiction over now. Thank you very much for  
12 all of you being here and thank you again,  
13 Commissioner O'Malia and your staff, for your  
14 excellent work on this. Thank you.

15 CHAIRMAN O'MALIA: Commissioner, if you  
16 would send us an email of that report we'll put it  
17 on the Technology Advisory Committee's webpage.

18 COMMISSIONER CHILTON: Will do. Thank  
19 you.

20 CHAIRMAN O'MALIA: Thank you very much  
21 for everybody's participation here today.

22 (Whereupon, at 4:50 p.m. the

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

PROCEEDINGS were adjourned.)

\* \* \* \* \*

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

CERTIFICATE OF NOTARY PUBLIC

DISTRICT OF COLUMBIA

I, Stephen K. Garland, notary public in  
and for the District of Columbia, do hereby certify  
that the forgoing PROCEEDING was duly recorded and  
thereafter reduced to print under my direction;  
that the witnesses were sworn to tell the truth  
under penalty of perjury; that said transcript is a  
true record of the testimony given by witnesses;  
that I am neither counsel for, related to, nor  
employed by any of the parties to the action in  
which this proceeding was called; and, furthermore,  
that I am not a relative or employee of any  
attorney or counsel employed by the parties hereto,  
nor financially or otherwise interested in the  
outcome of this action.

-----

Notary Public, in and for the District of Columbia  
My Commission Expires: May 31, 2014



