

UNITED STATES OF AMERICA
FEDERAL COMMUNICATIONS COMMISSION

NATIONAL BROADBAND PLAN WORKSHOP
PUBLIC SAFETY AND HOMELAND SECURITY

Washington, D.C.

Tuesday, August 25, 2009

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

1 PARTICIPANTS:

2 Panel 1 - First Responders Using Broadband
3 Technologies to Advance Public Safety

4 JENNIFER A. MANNER, Moderator
5 Deputy Bureau Chief Public Safety and Homeland
6 Security Bureau

7 CHARLES BRENNAN
8 Deputy Secretary Commonwealth of Pennsylvania's
9 Office of Public Safety Radio Service

10 STEPHEN CARTER
11 Vice President of Technology, Qualcomm

12 PETE EGGIMANN
13 Chair, Operations Committee National Emergency
14 Number Association

15 RALPH HALLER
16 Chair, National Public Safety Telecommunications
17 Council

18 GLENN KATZ
19 President and Chief Operating Officer
20 Spacenet, Inc.

21 HARLIN McEWEN
22 Chair, Public Safety Spectrum Trust

BILL SCHRIER
Chief Technology Officer & Director of Information
Technology, ANSI-Accredited Standards Definition
Organization

REAR ADMIRAL JAMES A. BARNETT, JR. (Ret.)
Chief, Public Safety and Homeland Security Bureau

LAURIE FLAHERTY
Program Analyst, Department of Transportation

22

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

1 PARTICIPANTS (CONT'D):

2 JEFFERY GOLDTHORP
Chief, Communications Systems Analysis Division
3 Public Safety and Homeland Security Bureau

4 CHARLES HOFFMAN
Chief, Disaster Emergency Communications Programs
5 Federal Emergency Management Association

6 JOHN LEIBOVITZ
Deputy, Chief Wireless Telecommunications Bureau
7

8 KATHRYN MEDLEY
Chief, Satellite Engineering Branch International
Bureau
9

10 ERIKA OLSEN
Senior Advisor, Public Safety and Homeland
Security Bureau
11

12 DANIEL PHYTHON
Chief, Policy, Planning & Analysis Division
Department of Homeland Security
13

14 Panel 2 - Homeland Security

15 WILLIAM LANE, Moderator
Chief Engineer, Public Safety and Homeland
Security Bureau
16

17 ANDREW L. AFFLERBACH
Chief Executive Officer & Director of Engineering
Columbia Telecommunications Corporation
18

19 EMMANUEL HOOPER
Senior Scholar and Researcher
20 Harvard University

21 MURAD RAHEEM
Branch Chief, U.S. Department of Health and Human
22 Services

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

PARTICIPANTS (CONT'D):

MARC SACHS
Executive Director National Security and Cyber
Policy Verizon Government Affairs

STEVE SOUDER
Director, Fairfax (Virginia) Department of Public
Safety Communication

JEFF COHEN
Senior Legal Advisor, Public Safety and Homeland
Security Bureau

CHARLES HOFFMAN
Chief, Disaster Emergency Communications Programs
Federal Emergency Management Administration

JON PEHA
Chief Technology Officer, Federal Communications
Commission

DANIEL PHYTHON
Chief, Policy, Planning & Analysis Division
Department of Homeland Security

* * * * *

1 P R O C E E D I N G S

2 MR. BARNETT: Thank you all so much for
3 being here today to discuss broadband issues and
4 technologies and how those innovations can promote
5 public safety and homeland security.

6 My name is Jamie Barnett, and I'm the
7 chief of the Public Safety and Homeland Security
8 Bureau here at the Federal Communications
9 Commission. Some of you may already know I spent
10 a little time in the Navy. My first job in the
11 Navy was as a communications officer working with
12 HF, VHF, UHF, and a new innovation back then -- it
13 was a long time ago -- called satellite
14 communications. I learned then how important and
15 critical communications is to getting the job
16 done. And another incarnation -- as an attorney
17 that represented law enforcement and
18 municipalities and local governments, I learned
19 what the people on the front line do and how
20 important communications are to them. From that,
21 I think, I gather that we are on the edge, the
22 cusp, of another great technological innovation

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190

1 and insertion, and I look forward to discussing
2 that and hearing the discussion with you today.

3 Eleanor Roosevelt once said, "It is
4 today that we must create the future of world of
5 tomorrow." We are, in fact, creating that world
6 of the future as we discuss and develop and
7 embrace the benefit of broadband technologies. As
8 we move forward moving innovative technologies,
9 broadband will play a large role in how emergency
10 responders communicate with each other and with
11 the public.

12 Today, we will be discussing some of
13 those important issues regarding the use of
14 broadband technologies in public safety and
15 homeland security and how to ensure that important
16 communications are always available to our
17 emergency response community, and really to all
18 American citizens. That, in essence, is our goal,
19 is to make sure that the benefit of these
20 technologies makes our American public more safe;
21 more secure.

22 Broadband technologies can benefit

1 public safety and homeland security in tremendous
2 ways. Really, the tremendous group of people that
3 you see sitting in front of me -- and I assure you
4 this is the only time today that I'll be able to
5 speak above their heads -- they will discuss with
6 you and have great insights into what that world
7 can bring to us. But even I can see the amazing
8 benefits that broadband technology offers right
9 now and being able to get the information that our
10 public safety community needs in a quick and
11 efficient manner. We also know that public safety
12 answering points can utilize broadband
13 technologies to a greater extent, and in numerous
14 ways they can assist public safety agencies in
15 making emergency response more timely and more
16 efficient.

17 I'd like to take just a moment and let's
18 imagine how that future can be and really what
19 would happen. For example, if firefighters could
20 receive a recent video of a fire scene or perhaps
21 blueprints or where hazardous material is located
22 even as they proceed to the fire scene, how they

1 could be able to save lives, protect themselves,
2 and protect property. Or consider in a law
3 enforcement scenario if citizens could send videos
4 of a crime scene or an accident or even a suspect
5 or evidence, even as the law enforcement officers
6 proceed to that scene. And in the medical
7 response arena broadband offers potential benefits
8 where they could be able to share medical
9 information as they take a patient or victim to
10 the hospital or maybe even the medical records of
11 that person could precede the person before they
12 get to the hospital.

13 Now, actually, some of these
14 applications are being inserted right now in
15 various places in the country, but too few,
16 perhaps. And I think one of our goals here today
17 is to make sure that we provide a means to ensure
18 that the entire country gets the benefit of public
19 safety broadband.

20 Now, some of these benefits -- some of
21 these visions that I just mentioned are some of
22 the main drivers for the goals and the major tasks

1 facing the Federal Communications Commission right
2 now. As you know, the Broadband Plan was mandated
3 by the American Recovery and Reinvestment Act of
4 2009. The Act requires that public safety be a
5 major consideration in that. In April, the
6 Commission issued a Notice of Inquiry seeking
7 comment on how to implement the plan. In
8 particular, we included Commission-specific
9 questions on how broadband can be used to enhance
10 public safety and homeland security.

11 So, in addition to the Notice of
12 Inquiry, the Commission has been holding these
13 types of workshops. I've only been here four
14 weeks, but I understand that this is almost an
15 unprecedented amount of activity.

16 Jennifer, how many workshops have we got
17 scheduled?

18 MS. MANNER: Over 23.

19 MR. BARNETT: Over 20, and that doesn't
20 count the ones that we have coming up on the road
21 in the future, an unprecedented level of activity.

22 Our hope is that today's workshop will

1 help develop and aid the Commission in gathering
2 data -- and data is what we need -- data
3 fact-based and data-driven information to help us
4 in this process. We're pleased to have the
5 subject matter experts that you see in front of
6 you here today participating, and their valuable
7 input, I think, will really help us along and
8 structure and develop the public safety portion of
9 the Broadband Plan.

10 Now, the Broadband Plan is due to be
11 delivered to Congress on February 17, 2009. So we
12 are now under six months in having that deadline
13 and we're working really at a very fast pace.
14 Your presence here today, and those of you who are
15 present with us on the web, really assists us in
16 moving this forward. We're looking forward to
17 your information. We want a free flow of ideas,
18 and we realize that we cannot create an effective
19 plan without your input, your knowledge, and your
20 expertise. It's important to the future of public
21 safety communications that we find the right path
22 and create a plan that works to meet the needs of

1 the emergency response community as well as the
2 public when they need to reach out to public
3 safety entities during emergencies.

4 Now, some of the topics that will be
5 covered in today's workshop will include ways in
6 which broadband can improve public safety and
7 homeland security; what broadband policies will
8 promise and promote Next Generation 911; to what
9 degree broadband should support mission-critical
10 voice and public safety data applications; how
11 public safety is utilizing the Internet and
12 web-based applications; how broadband can help
13 large-scale emergency preparedness and response;
14 and cyber security issues. Our hope is that the
15 data that we generate here will create a really
16 good dialogue for the future.

17 Now, I mentioned our experts in front of
18 me and I'd like to thank them for being here
19 today. For all our FCC participants and the
20 people that have come, the other governmental
21 agencies that are here today, I appreciate your
22 willingness to participate in this workshop. I'd

1 also like to take an opportunity to thank the
2 people that have worked so hard to put this
3 together: Susan McLean, Susan; Stephanie Caccomo
4 you see up here; Deborah Klein; and many others
5 who have worked so hard, not the least of which is
6 Jennifer Manner, who I'll introduce in just a
7 minute.

8 Thank you to our Washington audience and
9 also for the couple hundred or more people who are
10 attending today on the web. We appreciate your
11 attendance and participation and your interest in
12 this important endeavor and important workshop.

13 So, in coming to the Commission as I did
14 a few weeks ago, one of the things that really
15 excited me was the level of expertise that I found
16 here, the dedication of the professionals that
17 work in the Public Safety and Homeland Security
18 Bureau and their excitement about this process and
19 about the work that they do. It's exciting to be
20 with people who like to do what they do. And
21 people who want to come back, too.

22 So one of those people is Jennifer

1 Manner, who comes back -- returns now to the
2 Federal Communications Commission as one of the
3 deputy chiefs of the Public Safety and Homeland
4 Security Bureau. She's been one of the people,
5 among others, who have been working very hard to
6 get this workshop going and on the great ideas
7 that we have for the future. At this point,
8 Jennifer, I'd like to turn it over to you.

9 Thank you so much.

10 MS. MANNER: Thank you so much. Before
11 we get started I wanted to just walk through the
12 agenda and some of the ground rules just so our
13 panelists are all on the same page, if that's okay
14 with everyone.

15 So we're going to start off at 9:15 with
16 Panel 1, and then at 10:45 we're going to have
17 some brief comments by Dan Phythyon from the
18 Department of Homeland Security, and then turn the
19 floor over to Charles Hoffman from FEMA for a few
20 brief comments. After that we're going to take
21 approximately a 10-minute break, and I'd request
22 that everyone come back to the room by 11:05 for

1 our second panel on Homeland Security issues. And
2 then we'll just have some brief closing remarks.

3 I do want to urge that we need to try to
4 keep this workshop on time because we have another
5 workshop starting 45 minutes after this workshop.
6 So, I appreciate everyone being punctual in
7 returning to the room after the break.

8 So with that I'd like to introduce our
9 current panel which is First Responders Using
10 Broadband Technologies to Advance Public Safety.
11 And this panel is examining how the National
12 Broadband Plan should reflect the current and
13 potential uses of broadband to improve public
14 safety communications and operations, including
15 the utilization of the Internet and web-based
16 applications. The panel will also examine issues
17 that impact broadband deployment and/or
18 technologies in the public safety arena, such as
19 interoperability and cost and infrastructure
20 limitations.

21 And I'm going to introduce our panelists
22 and the FCC and other U.S. Government

1 participants. But before I do I wanted to just
2 ask our panelists to please say "next" fairly
3 loudly when you want your slides and Stephanie,
4 who is sitting in the back, will be the person who
5 needs to change the slide. Ronnie Cho up front is
6 timing you so you have five minutes. I will cut
7 you off nicely. And then what we'll do is we'll
8 open the floor to questions both from the U.S. and
9 FCC participants on the panel and then from our
10 floor. And Tim May over here is handling any
11 questions that come in from the web. So we're
12 hoping to have a very lively discussion.

13 So with that, I'm only going to
14 introduce people briefly. Their full bios are in
15 the guide that you got this morning in the
16 program.

17 So with that, next to me is Charles
18 Brennan, who is deputy secretary, Commonwealth of
19 Pennsylvania's Office of Public Safety Radio
20 Service.

21 Beside him is Mr. Stephen Carter, who is
22 the vice president of Technology at Qualcomm.

1 Next to Stephen is Pete Eggimann, who is
2 chair of the Operations Committee at NENA and also
3 director of 911 Services for the Metropolitan
4 Emergency Services Board in St. Paul, Minnesota,
5 and also was a Next Generation 911 trial
6 participant.

7 Ralph Haller is sitting next to him, who
8 is chair of the National Public Safety
9 Telecommunications Council.

10 Next to him is Glenn Katz, who is
11 president and COO of Spacenet, Inc.

12 Next to Glenn is Harlin McEwen, who is
13 chair of the Public Safety Spectrum Trust.

14 Adjacent to Harlin is Bill Schrier, who
15 is the CTO and director of Information Technology
16 in the City of Seattle. He's here representing
17 APCO.

18 Next to Bill is Laurie Flaherty, who is
19 a program analyst at the Office of Emergency
20 Medical Services at the National Highway Traffic
21 Safety Administration at DOT.

22 Next to Laurie is Jeff Goldthorp, who is

1 chief of the Communications Systems Analysis
2 Division of the Public Safety and Homeland
3 Security Bureau here at the FCC.

4 Next to Jeff is Charles Hoffman, who is
5 chief of the Disaster Emergency Communications
6 Programs at FEMA.

7 Next to Charles is John Leibovitz, who
8 is deputy chief of the Wireless Telecommunications
9 Bureau here at the FCC.

10 And next to John is Kathryn Medley, who
11 is chief of the Satellite Engineering Branch and
12 acting chief of the Systems Analysis Branch at the
13 International Bureau at the FCC.

14 Erica Olsen is sitting next to Kathryn.
15 She is special counsel at the Public Safety and
16 Homeland Security Bureau.

17 And next to Erica is Dan Phythyon, who
18 is chief of the Policy, Planning, and Analysis
19 Division at the Office of Emergency Communications
20 at the Department of Homeland Security.

21 I want to thank you all for appearing
22 today. And with that I'd like to turn the floor

1 over to Charles.

2 MR. BRENNAN: First slide, please. Good
3 morning, everyone. Next slide. The first thing I
4 want to show you is Pennsylvania's network.
5 That's the network we built for our radio system,
6 800 megahertz digital voice over IP network in
7 Pennsylvania because in the end broadband is about
8 networks.

9 Right? Next slide. That's a composite
10 of what we believe in Pennsylvania to be areas
11 where wireless data coverage exists by commercial
12 carriers. You notice the large swabs of white
13 areas where there is no coverage. Also, we
14 believe to the best of our knowledge that that is
15 probably overstated. There are probably a lot
16 more areas in Pennsylvania that do not have
17 coverage. So it's not only about where the
18 networks exist where we can build networks, but
19 where networks do not exist and public safety may
20 not have wireless broadband coverage.

21 I want to concentrate -- next slide,
22 please -- largely on wireless. Even in the more

1 remote parts of Pennsylvania, the PSAPs all have
2 broadband. Hospitals have broadband; schools have
3 broadband, where I think broadband is most
4 important is to the vehicles -- to the first
5 responder vehicles in the field. So I'd like to
6 concentrate just for my few minutes left here on
7 wireless broadband.

8 Although it's great to say we'd like to
9 have broadband everywhere in Pennsylvania and
10 everywhere in the United States for first
11 responders, our goal in Pennsylvania really is to
12 look for more hot spots where we would have
13 broadband. Be able to drag it where we need it.
14 Situational broadband. Broadband to be used in
15 emergencies.

16 Pennsylvania will have such an event
17 approximately one month today. The G20 Summit is
18 coming to Pittsburgh. We intend to put our
19 broadband in downtown Pittsburgh for public safety
20 use there. That's a good example of, I think,
21 where public safety will probably first move with
22 broadband more situational.

1 I showed you the commercial map there.
2 The reason I think that it's unlikely broadband
3 will be available everywhere is that in a lot of
4 those places there's only a single commercial
5 carrier. And when that happens, when there is no
6 competition, public safety pays a lot for
7 broadband. And public safety and government, in
8 general, doesn't like open cost. Per megabyte
9 cost for wireless. We like fixed cost because it
10 fits nicely into how we budget.

11 Where also Pennsylvania is moving is in
12 the next bullet -- is we're viable state networks
13 which can be built -- can complement commercial
14 carriers. As a matter of fact, Pennsylvania is
15 moving in that direction now with our latest
16 stimulus grant--Broadband Stimulus Grant. \$7.2
17 billion in stimulus funds for broadband sounds
18 like a lot of money; in the end it's a drop in the
19 bucket. We all know it's not going to solve the
20 problem, but we have to use what we have.

21 And no one likes these big ugly towers
22 in their neighborhood, so we might as well

1 concentrate as much as we can on the big ugly
2 towers that we have. And that means co-locating
3 commercial carriers with state networks. And
4 that's where Pennsylvania is actually moving.

5 I'd like to just talk for a minute on
6 grants. A lot of broadband for public safety is
7 going to be implemented via grants. The grant
8 process for those of you in the public safety
9 realm in government, you know how horrendous that
10 it is. Competitive grants, I don't believe that
11 if we want to get these monies out to public
12 safety, get these networks built, competitive
13 grants are not the way to go. Block grants to the
14 states. Let the states control where those monies
15 go. Too much money is being filtered down to the
16 locals and frankly, I think, being waste. As you
17 know, about 80 percent of the money has to go to
18 the locals. Very, very difficult to manage a
19 statewide vision when you're giving money to all
20 these different local organizations who may not
21 have the vision for what is best for the most.

22 Also, rather than competitive grants,

1 block grants. We spend an awful, awful lot of
2 time filling out paperwork for competitive grants.
3 I'd rather see the money block granted to the
4 state and all the money that the grantor is going
5 to use to administer the grant and check all our
6 grant requests, I'd rather them use that money to
7 hire staff to help us manage the grant. So I
8 think block grants are the way to go.

9 Also, it's not just about the PIPE.
10 It's not just about the broadband; it's about the
11 applications that go with it. I was asked a
12 question recently by someone who should know
13 better and said, "Why do I need broadband?" A
14 public safety person, "Why do I need broadband?"
15 Public safety really doesn't understand what they
16 need broadband for, and I think that's more on the
17 vendor to help them understand what they need.

18 My last point is there's got to be a
19 greater focus on data interoperability. After 911
20 it was all voice, voice, voice. Data, there's a
21 lot to be said for data. Look at Twitter. All it
22 is is a couple lines of text and look how much you

1 can say with text. So, I think we, as public
2 safety, have to look at more data
3 interoperability, grants for data
4 interoperability, and how it can be used for
5 public safety purposes.

6 I have 10 seconds left and I made it.
7 Thank you.

8 (Laughter)

9 MS. MANNER: Thank you very much. And
10 you set a very good example for the other
11 panelists.

12 So with that I'd like to turn the floor
13 over to Stephen.

14 MR. CARTER: Thank you, Jennifer. Good
15 morning, ladies and gentlemen. My message today
16 is simple and brief. It is that as we embark on
17 this challenge to get a nationwide interoperable
18 mobile wireless system for first responders, we
19 have a lot of challenges. The challenges will be
20 in the areas of rollout, funding, regulatory
21 policy, all of these things. But the thing that
22 will be the least of the challenges is actually

1 making the commercial technology fit for what we
2 need it to do for first responders.

3 Next slide, please. Depending on who
4 I'm talking to, that statement that today's
5 commercial technology will fit the needs of first
6 responders very, very nicely, it's either patently
7 obvious, usually with a joke about their kids
8 having better technology than they do at work, or
9 it's an absurd statement that how can a commercial
10 technology actually meet the needs of systems that
11 were traditionally designed from scratch
12 specifically for first responders. But the key is
13 that even in today's 3G commercial cellular
14 industry, the underlying primitives -- the
15 building blocks, if you will, for what public
16 safety needs to do -- are all there. All of the
17 Voice Over IP, the high-speed streaming data, the
18 support for tiering of different levels of
19 services and quality of service and location-based
20 services, it's all there.

21 And just as we see in a lot of the
22 high-end Smart Phones today, the ability for

1 public safety to take those underlying building
2 blocks and use them to build their own
3 applications and their own custom uses is going to
4 be very straightforward.

5 Next slide, please. And, of course,
6 we're embarking on the transition in the
7 commercial world from 3G to 4G, and we're pleased
8 to see that several of the major public safety
9 industry groups have endorsed LTE as a way to move
10 forward for the technology for public safety.
11 It's going to be an evolutionary change; not a
12 revolutionary change. Excuse me. And that's
13 important, both for the commercial world and for
14 the public safety world, because to do an
15 efficient rollout -- to get widespread coverage
16 rapidly and inexpensively -- we're going to need
17 to worry about that kind of gentle upgrade and
18 backward compatibility with the 3G networks.

19 So we'll get a little bit better
20 spectral efficiency in the inner cities. We'll
21 get a little bit fatter PIPE. But in general, the
22 commercial technologies that are here today are

1 just going to keep working as we move forward to
2 4G and will serve public safety very, very well.

3 Next slide. So, as this begins
4 happening, as we debate all the regulatory and
5 rollout issues, the things that we really -- that
6 I really recommend we keep in mind are, first of
7 all, keep the focus on the policy and operational
8 issues of how we're going to use this technology.
9 In past years we've spent an awful lot of effort
10 debating whether this can ever work or is it crazy
11 for commercial technology to be shoehorned into a
12 public safety role. I'm pleased to see we're
13 getting past that because every time we look at it
14 we find that any issues that people perceive that
15 the technology won't work really end up being
16 business issues and deployment issues of
17 commercial carriers today, not the fault of the
18 underlying technology and how we would use it in a
19 public safety fashion.

20 Second, this question of whether this is
21 going to be mission critical, whether it's
22 appropriate for mission critical or whether

1 broadband needs to maintain kind of a secondary
2 status as a backup tool for public safety, doesn't
3 need to be debated too much because, again, the
4 issue is one of rollout and deployment. If we
5 build the system out to a quality of service, to a
6 redundancy level, to a backup level -- generators
7 and such -- for mission criticality, it can be
8 used that way. If we build it out like commercial
9 vendors have, then we can't. It's our choice.

10 And third, one of those particular
11 debates about mission criticality has centered for
12 a long while over the question of how this new
13 broadband network will interoperate or should
14 interoperate with traditional voice dispatch
15 systems. We don't need to debate that right now.
16 The commercial world likes to do gradual and
17 evolutionary upgrades also, and the way they're
18 doing that with LTE is to utilize it first for
19 data. It has all of the hooks in it for Voice
20 Over IP, and some day commercial carriers will be
21 doing what they would call mission critical voice
22 over the LTE networks. But they'll make that

1 decision down the road.

2 And I would argue that we can make
3 exactly the same decisions down the road in public
4 safety. We can deploy the system first for the
5 data needs that we have today and are not being
6 met, and down the road figure out the right way to
7 interoperate with existing mission critical voice
8 systems.

9 Thank you.

10 MS. MANNER: Thank you very much,
11 Stephen. With that I'd like to turn the floor
12 over to Pete Eggimann.

13 MR. EGGIMANN: Good morning. Next
14 slide. The concept that I want to talk a little
15 bit about this morning is -- I want to use the
16 example of what we're working on in the
17 Minneapolis-St. Paul area. And my focus in my
18 real job as I call it is trying to transition us
19 from the Legacy 911 system that we know today to a
20 Next Generation 911 system. We believe that in
21 order to do that effectively in our area, that we
22 need to link our centers together throughout the

1 metro area on a wide area network.

2 Go ahead and go to the next slide. Kind
3 of a brief map here just shows the counties in the
4 Minneapolis-St. Paul area. We work for eight of
5 them. The red dots there are the 911 centers in
6 each of the counties. The stars in the middle
7 would depict the data centers where we would house
8 applications. And the black dots there are
9 city-operated PSAPs that at some point we would
10 connect to the line connecting the red ones there,
11 the wide area network.

12 Next slide. The idea behind the public
13 safety network as we call it is that we want to
14 create an environment where all of the
15 applications that the call takers or dispatchers
16 would use can reside at the data centers and
17 therefore would be available anywhere that they
18 signed on to the network.

19 We want to create a converged
20 environment where there's no separate silos, so to
21 speak, or separate -- we don't believe that we can
22 create a separate broadband network for every

1 application. It would be like, you know, having a
2 computer for word processing and having another
3 computer for e-mail, and another computer for
4 e-mail, and another computer for Excel. And
5 that's traditionally the way we've done it. And
6 we've also built systems out at the PSAP level.
7 We don't believe that we can continue to do that
8 as well. We need to do this at a regional level
9 and share this network across applications. In
10 our example we believe that we're going to need
11 about a 1 gigabyte Ethernet ring connecting those
12 county PSAPs and those data centers together to
13 handle all of the application band width.

14 Just for a context, the Minneapolis-St.
15 Paul area has about 2.7 million people, about 189
16 on one answering positions. We process between
17 1.3 and 1.4 million calls a year, and over 50
18 percent of our wireless calls are now -- over 50
19 percent of our 911 calls are now coming from
20 wireless devices.

21 Next slide. In the past,
22 interoperability has almost always been used in

1 terms of wireless or in terms of radio
2 communications. I would submit that
3 interoperability needs to really focus on
4 applications. The information that we receive--we
5 need to be able to pass on to the responders. We
6 need to be able to share it with the people that
7 assist us. Those applications that they use -- it
8 isn't realistic for us to all use the same
9 application. We'll never get everybody to agree
10 on that. So the interfaces between them need to
11 be open. They need to be standard space so that
12 we can move data back and forth without
13 conversion.

14 In the example that I've got up there,
15 if you read through that sequence and you get down
16 to the bottom, you're actually going to realize
17 that the call taker never actually has to say
18 anything in processing that call. They need to
19 make sure that it's happening. They need to
20 monitor it. They need to make sure that the other
21 agencies have received what they've gotten, but
22 they don't have to actually say anything. There

1 probably would be an additional hook in that
2 scenario that would allow the EMS and the ER to
3 actually get the patient's records from the
4 patient's home doctor.

5 Let's go to the next slide. I'm just
6 going to close it up here with we really need to
7 work together here to leverage the resources.
8 Internally all of these things can be managed at a
9 regional level. The external side of this is that
10 it's easier for carriers to connect. They're
11 connecting at two points rather than at 19. We
12 can share the routing resources, those kinds of
13 things.

14 The bottom point there is that this is
15 scalable. This can be replicated across the
16 country. It would allow us to deploy Next
17 Generation 911 very quickly and ubiquitously.

18 And I'm over. Thanks.

19 MS. MANNER: Thank you, Pete. I
20 appreciate that. Ralph, it's your floor.

21 MR. HALLER: I'm Ralph Haller, chair of
22 NPSTC, the National Public Safety

1 Telecommunications Council. It's an organization
2 of -- umbrella organization of about 15 public
3 safety organizations. One of the things that
4 we're working on now is to decide how broadband
5 fits into public safety.

6 Next slide, please. I start out by
7 saying it's really all about moving data, whether
8 it's medical EMS information, firefighting,
9 robotics, automated inspections, intelligence
10 gathering, environmental monitoring, collaboration
11 of resources -- for example, between PSAPS --
12 surveillance, traffic management, access to law
13 enforcement databases. It's all about getting
14 data to the people that need it in a timely
15 fashion.

16 Next slide. From an operational
17 standpoint, a broadband network has to provide
18 Internet access and that's wired to wireless to
19 wired. It needs to be seamless access across
20 whatever entry point you have into the broadband
21 network. There needs to be connectivity between
22 networks, broadband, private land, mobile radio,

1 satellites. The network needs to provide virtual
2 private network capabilities so that anywhere that
3 someone needs information they essentially can
4 have their desktop, whether it's in their squad
5 car or whether it's helping foreign PSAPS
6 somewhere, they need to have access to the home
7 networks. It needs to provide messaging for
8 mobile, and it needs to provide location
9 information, and it needs to provide access to
10 land mobile systems.

11 Next slide. It also needs to provide
12 multiple modes: Voice, data, video. It needs to
13 have a strong backbone for connectivity. It can
14 be used to move data between points as a backhaul
15 system or as an information system. It needs to
16 have access to the Public Switch Telephone
17 Network. It needs to be able to dynamically
18 create little networks as events occur and small
19 networks in a localized area need to be set up.
20 It needs to have that capability. Provide
21 security, authentication, and encryption. It has
22 to be survivable and reliable.

1 Additionally, it has to provide service
2 in remote areas. I'm particularly concerned about
3 that. My sort of full-time job is executive
4 director of the Forestry Conservation
5 Communications Association. All of the work that
6 our members do is basically in the forests of our
7 country. And so we have a particular concern that
8 broadband be available not only in the big cities
9 but also in the rural areas because it's just as
10 important for a firefighter on a forest fire as it
11 is for a policeman in a city.

12 And I also want to point out that we do
13 not consider broadband to be a replacement for
14 traditional land mobile dispatch radio systems.
15 It will augment them. We don't see it will
16 replace them for a number of reasons, one of which
17 is 700 megahertz isn't effective in all areas. It
18 takes a lot more infrastructure in some areas.
19 And so the traditional dispatch systems at VHF and
20 UHF we consider they will be in use for a long
21 term.

22 Next please. For wireless systems, the

1 public safety community has generally set it on
2 LTE. I'm not going to go through these in great
3 detail but basically there needs to be dynamic
4 bandwidth assignments so you can prioritize. If
5 you've got a specific size PIPE you need to be
6 able to prioritize what information goes across
7 that if there's a contingent for resources. It
8 has to provide user authentication; handoff
9 between networks; access to applications, be it
10 mapping, documents, whatever.

11 Next slide. For governance, the network
12 needs to have standards that are national.
13 Public-private partnerships should be permitted.
14 Public safety should have priority access on
15 spectrum, shared spectrum, and bandwidth
16 management is a priority.

17 Next slide. Finally, what can the FCC
18 and Congress do? In terms of wireless, the FCC
19 can issue rules for national-local build out and
20 issue waivers in the interim. The FCC can allow
21 the public safety broadband licensee to sublicense
22 to regions. The D Block needs to be made

1 available to public safety in some manner.
2 Congress and FCC should allow national and
3 public-private partnerships, and also allow access
4 to all responders including critical
5 infrastructure.

6 My last slide, please. What else?
7 Funding. Access to perhaps the Universal Service
8 Fund, grants for broadband development, spectrum
9 auction proceeds. And how about tax advantages
10 for carriers that provide public safety support?
11 Also, how about resource sharing? The federal
12 government has never been very open with the
13 resources it has in place, and we think there's
14 probably a lot out there that could be shared
15 among state and locals that needs to be explored.

16 Thank you.

17 MS. MANNER: Thank you, Ralph. With
18 that, I'd like to turn the floor over to Glenn.

19 MR. KATZ: Thank you very much. I
20 apologize. Some of these slides have some
21 animation, so if you would just click through
22 until the animation finishes on the particular

1 slide when I say next slide. Okay?

2 MS. CACCOMO: I'm sorry?

3 MR. KATZ: I said these slides have
4 animation on them, so if you would just click
5 through so we can get through it.

6 Thank you very much, everyone, Jennifer
7 and James. In the next few minutes I would like
8 to hopefully define the role that satellite
9 communications plays within a broadband national
10 infrastructure plan.

11 Next slide please. Okay, next slide.
12 Sorry. Go back one slide. Okay.

13 So I'm going to first address who are
14 the constituents or customers; what are their
15 needs and challenges; what solutions exist today;
16 is there a best practice example, and there is,
17 which I hopefully will be able to discuss here
18 shortly; and what do we recommend going forward
19 for the FCC and other policymakers?

20 Next slide, please. There basically are
21 -- if you would continue to click through on this
22 one -- there basically are two different types of

1 needs that we call emergency management under
2 broadband-type of conditions. One are for first
3 responders, people who have to be on-scene in
4 minutes and deployed for several hours, and, of
5 course, there are the what we call relief
6 deployments, where a solution has to be on scene
7 or communication network has to be put up in hours
8 and has to stay there for weeks. The types of
9 constituents that you see on this slide I think
10 are familiar to all of us.

11 If you just click through again that
12 would be helpful. Continue. Right.

13 These are the types of constituents that
14 you see on both sides of the needs columns.

15 Next slide, please. Please click
16 through. There are six basic technical challenges
17 that we see in this, with this problem or
18 challenge relative to broadband communications.
19 They are the ability to provide voice, video, and
20 data seamlessly over the same network. The
21 systems have to be deployed in a rapid manner.
22 They have to be easy to operate. They have to be

1 secure; the communications themselves have to be
2 secure. And it has to be very, very high quality
3 equipment that has to be out there. It has to be
4 interoperable; we've heard that from some of the
5 other panelists. The solutions have to be
6 integrated, integrated with either the local
7 network or the Land Mobile Radio-type network
8 that's out there for these public safety
9 responders.

10 And fundamentally, if there's anything
11 -- there's a lot of information that's being put
12 out here -- but if there's one single thing that
13 all of us as industry experts and policymakers can
14 take away from this to help guide us as we
15 continue down this National Broadband Plan, it is
16 that our job as policymakers and industry
17 associates is to minimize the complexity for our
18 public safety workers so they can focus on their
19 mission. They don't have to be out there messing
20 with communications equipment or trying to
21 consider where they're going to get funding or
22 what their bandwidth needs are and how they're

1 going to set this equipment up.

2 Next slide, please. There are solutions
3 that already are out there.

4 The good news is from an industry
5 perspective, I believe since Katrina in 2001, we
6 have made strides in being able to provide what I
7 would call equipment and services that are
8 available also at reasonable costs, if you will.

9 Next slide. I want to talk a little bit
10 about a best practice example. So, I'm under some
11 nondisclosure situations with this client of ours,
12 but it is a very large public service
13 organization, a large metropolitan police
14 organization. They have a terrestrial network in
15 place, obviously, but their biggest challenge was
16 they needed to provide 100 percent availability
17 all the time at all their precincts and all their
18 data centers regardless of whether there was a
19 disaster, natural or unnatural. To do that they
20 needed to have an overlay network to the
21 terrestrial network, which obviously has to be
22 satellite-based. It has to be totally diverse

1 from their terrestrial infrastructure. They also
2 required that it be integrated seamlessly within
3 their existing IT network which was quite complex
4 and had to carry both voice video and data. It
5 also had to have -- the antennas had to have a
6 resistance to very, very high winds, assuming
7 there was a hurricane-type situation that may come
8 through the locality.

9 What was the solution? We took a
10 satellite network. We overlaid it. We created
11 some very, very high resistance antennas and
12 reintegrated the satellite system within their
13 Cisco-based IT network. What did they get from
14 that? They got obviously a total network backup
15 solution with 100 percent availability that is
16 working today.

17 What's the key to this, which I'll go
18 into my next two slides for the recommendations?
19 The key to the entire thing was not the
20 technology; it existed today. It was funding.
21 They were not able to get funding from their own
22 budgets. They had to go to the federal

1 government. The federal government gave them a
2 grant. This is great but do you know what?
3 There's a lot of other localities and
4 organizations like this in the United States that
5 do not have this capability. And if there's a
6 disaster of any type, natural or unnatural, they
7 will not be able to communicate, which means our
8 public safety people will not be able to do their
9 job correctly. That's the message here.

10 If you go two slides, please. Next
11 slide. What do we recommend? We recommend that
12 from a policy perspective we do agree with most of
13 the other panelists that say it needs to be
14 state-generated as opposed to from the localities.
15 The grants need to be taking in block grants to
16 the states. And if the states can coordinate with
17 all of their other constituencies a plan that will
18 take in both public safety, other anchor
19 institutions under one sort of state broadband
20 plan for emergency management, we think that's the
21 most efficient way to do that. Obviously, to do
22 that the federal government, the policymakers have

1 to make the right policies and the federal
2 government has to be able to fund these types of
3 services.

4 Thank you.

5 MS. MANNER: Thank you. Glenn. With
6 that I'd like to turn the floor over to Harlin.

7 MR. MCEWEN: Thank you, Jennifer. I'm
8 pleased today to be representing the Public Safety
9 Spectrum Trust, which is an entity consisting of
10 representatives of 15 national public safety
11 organizations.

12 Can you go to the next slide after that?
13 Next slide. Thank you.

14 Today in public safety communications,
15 and for all of my career for the last -- I won't
16 go into that -- many, many years, we have been
17 based on voice centric communications. And while
18 voice will always be critical to public safety,
19 we're moving now to data centric communications as
20 an important part of our communications portfolio.
21 We need to be able to have access to broadband
22 services, both wireline and wireless, to be able

1 to provide those kinds of services that public
2 safety is urgently in need of.

3 Over the past 10 years we've moved from
4 slow narrowband data to wideband data and now
5 broadband data.

6 Next slide, please. So, during this
7 period of time, we have been currently, you know,
8 limited to commercial wireline and wireless
9 broadband services, something which we're trying
10 to do differently in the future.

11 Next slide. Public safety, as I said,
12 should be able to deploy Next Generation, in other
13 words, fourth generation. We're now in third
14 generation high-speed wireline and wireless data
15 services that give us not only secure text
16 messages but documents, photographs, diagrams, and
17 streaming video. Our vision is to have broadband
18 for public safety everywhere. We need to have
19 broadband that's brought to us by wireline and by
20 wireless services, both terrestrial and satellite.
21 It has to be a total delivery. We need to have
22 those delivered every place that we are. And

1 that's something which is a vision that we have
2 for the public. In other words, our vision of
3 shared spectrum for public-private partnerships
4 should also bring broadband to unserved areas of
5 the country.

6 While we are working to bring us those
7 services everywhere, we should be able to also
8 assist in bringing unserved areas broadband.

9 Next slide. So the public safety goal
10 is to have access to a seamless broadband system
11 that includes the last mile of reliable wireless
12 broadband service as envisioned in the currently
13 proposed 700 megahertz national public safety
14 wireless broadband network. The wireless
15 broadband network should include broadband data
16 services like I mentioned with things like text
17 messaging, photos, and streaming video. And we
18 need to be able to support the Next Generation 911
19 and public safety services. You'll hear about
20 that a little bit from Laurie; you heard a little
21 bit about it from Pete. But this is one of the
22 big issues, is that 911 services are somewhat

1 antiquated with old technology. We need to be
2 able to support that along with other mobile
3 services for the next generation of public safety.

4 We need a hard and public safety network
5 with infrastructure built to withstand the kinds
6 of local and natural disasters like tornados,
7 hurricanes, earthquakes, floods, and so on, and
8 this is the kind of thing that we build in our
9 current voice public safety systems. I always
10 give credit to the commercial services who are
11 quite rapidly now beginning to bolster their
12 services to give them the kinds of things that we
13 expect. Unfortunately, that isn't all there yet.

14 Next slide. So we need nationwide
15 roaming and interoperability for local, state, and
16 federal public safety agencies -- that's police,
17 fire, and EMS -- and other emergency services,
18 such as transportation, health care, and
19 utilities. We need access to the Public Switch
20 Telephone Network similar to what is currently
21 available in commercial cellular services. We
22 need Push-to-Talk, one-to-one, and one-to-many

1 radio capability that would provide a backup but
2 not replace traditional public safety land mobile
3 mission-critical voice systems. And last, we need
4 access to satellite services to provide reliable
5 nationwide communications where terrestrial
6 services either do not exist or are temporarily
7 out of service.

8 We, at the Public Safety Spectrum Trust,
9 look forward to working with the FCC to make sure
10 that the National Broadband Plan includes
11 information relative to the urgent and unique
12 needs of public safety.

13 Thank you.

14 MS. MANNER: Thank you very much,
15 Harlin. And last but not least, Bill.

16 MR. SCHRIER: Thank you, Jennifer. I'm
17 Bill Schrier from the city of Seattle, and I'm
18 here today representing APCO International, the
19 world's largest organization dedicated to serving
20 the needs of public safety communications
21 professionals with 15,000 members. I'm also one
22 of the few people you'll hear from in all these

1 workshops who represents the cities and counties
2 of America.

3 The cities and counties have the 911
4 centers, control the rights-of-way, and employ the
5 first responders of America.

6 And I bring you today a vision for
7 fibering and unfibering America.

8 Next. America's networks, the ones we
9 have today, lack sufficient bandwidth. I believe
10 the goal of the broadband plan should be a fiber
11 optic network to every home and business in the
12 nation, coupled with widespread private and public
13 safety fourth generation wireless generation
14 networks.

15 And you've already heard about that from
16 the other panelists.

17 Next. If you look at the history of the
18 United States, we've built these networks before.
19 The telegraph, the electrical network, the
20 telephone network, public safety radio, cellular
21 telephone, the national highway infrastructure.
22 We built national networks before. They made us

1 more safe, more secure, and more economically
2 viable as a nation.

3 Next. The new technologies we've seen
4 explode on the scene in the last few years have
5 great potential. Clearly, the United States
6 created the Internet. We've got web. We've got
7 e-mail. The FCC, as a matter of fact, has led the
8 charge for the digital TV transition. We now have
9 HD television, at least for broadcast. We've got
10 amazing applications, such as Facebook and
11 Twitter, but we've not harnessed this technology
12 for public safety. There is insufficient
13 bandwidth.

14 Next. As Admiral Barnett stated in his
15 opening remarks very eloquently, 911 and 311 have
16 great potential. Video calls, HDTV, cameras are
17 everywhere. In Seattle, for example, there's a
18 video camera in every police car. Gee, most
19 people in the United States now either carry or
20 have the potential to carry a device like this
21 where you can actually take a photograph, if I can
22 take a photograph, and e-mail it wirelessly. But

1 our public safety responders can't receive it.
2 They can't receive video that's taken by these
3 devices that are carried by many Americans.

4 Why? Again, it's because of
5 insufficient bandwidth and insufficient networks.

6 Next. So, my recommendation--build
7 fiber to every home and business in Seattle.
8 There's at least 111,000 households in the United
9 States. There's at least 22 million -- 111
10 million households in the United States and 22
11 million small businesses in the United States.
12 It's a daunting task. In the meantime, we can
13 also fiber PSAPs, 911 centers. And when you've
14 got fiber there -- this is a map of Seattle,
15 incidentally, with our existing fiber -- when
16 you've got fiber to every one of those
17 neighborhoods, you can pop up wireless access
18 points. And lo and behold, you can also have a
19 fourth generation wireless network.

20 Next. Such a network -- such a fiber
21 network would not only be useful for public
22 safety, but it would have a wide variety of

1 civilian applications that would make America more
2 secure. Telemedicine, tele-education. Think of
3 your children, for example, actually being
4 educated in their home and attending classes from
5 a university or a college actually in their home.
6 Every home or business is potentially a video
7 source with such a fiber network.

8 Next. This also has great environmental
9 and homeland security implications. We can reduce
10 automobile trips. We can reduce traffic jams and
11 lost productivity. We can have true
12 telecommuting. Rather than having me fly 3,000
13 miles across the country from Seattle burning jet
14 fuel, you could actually see me in HDTV video if
15 there was fiber here and if there was fiber in
16 Seattle. Think of the implications for the
17 reduction of our dependence on foreign oil if we
18 can all of a sudden do that as opposed to commute
19 people all over the nation.

20 Next. Again, this is a daunting task.
21 I've talked about 111 million households. I've
22 talked about our many millions of small businesses

1 and other premises. But the technology is here.
2 And what I urge you and the FCC to do with this
3 National Broadband Plan is exercise the same
4 leadership and bold vision you've exercised in the
5 past on wireless and wireline networks, and
6 challenge the United States of America to build
7 fiber to every home and business and then pop up
8 fourth generation wireless networks on top of
9 that.

10 MS. MANNER: Thank you very much, Bill.
11 Well, thank you first to all our panelists for
12 their presentations. They were very interesting.
13 What I'd like to do now is first open the floor to
14 our FCC and government participants if they have
15 any questions, and then we've already been
16 receiving questions via the webinar. So we have
17 some folks there. And also open the floor to
18 folks here in the audience. But I would suggest
19 that anyone who asks a question--I would ask that
20 you introduce yourself when you state your
21 question.

22 So with that, are there any of our

1 government participants? Jeff?

2 MR. GOLDTHORP: Thanks, Jennifer. I
3 have a question. I think it's directed to Mr.

4 Carter, but I think others might have an
5 idea, too, about what I'm suggesting.

6 And what I heard you say is that you
7 think the commercial technology can basically
8 support the needs of public safety and left the
9 question of provisioning a little bit up in the
10 air in terms of who does what to actually make the
11 commercial technology available to public safety,
12 whether it's provisioned the way technology is
13 currently provisioned for public safety through
14 private network or whether it's provisioned
15 through a commercial rollout by a commercial
16 carrier or some combination of the two.

17 And so my question is in your mind do
18 you have a roadmap, really, a plan, for how you
19 see the commercial technologies that you've
20 described actually being made available to public
21 safety users, whether it be by commercial
22 providers or whether it be by private providers?

1 Because I didn't see that come across clearly.
2 And if it's something that you have additional
3 thoughts on I'd like to hear about that.

4 MR. CARTER: Certainly. Well, that's
5 the very big question, isn't it? A lot of money
6 is going to be spent doing some sort of rollout
7 for public safety, and with a lot of money comes a
8 lot of questions.

9 I would not go so far as to say that
10 public safety's needs should be met entirely
11 through provisioning service to them through
12 commercial carriers. They can do that today. For
13 many it makes a lot of sense. Many local police,
14 fire, other agencies, contract with their local
15 cellular carriers today to get service. As a
16 national model, that probably falls short.

17 We at Qualcomm, we're very big fans of
18 the public- private partnership and the D Block
19 auction that was attempted. For a variety of
20 reasons that didn't work the first time. We'd
21 like to think that it could work a second time
22 because it would provide the needed funding to

1 deploy the system. Absent that we're going to
2 need to find some other deployment, some other
3 funding mechanism for a public safety-only system.

4 So, some combination of the rules
5 changes of what happened the first time with
6 public-private partnership might be a good way to
7 proceed. But beyond that I think it would take
8 much more than the time we have today to nail down
9 specific rule changes to make it work.

10 MR. GOLDTHORP: But do you have -- for
11 example, you implied that commercial technology
12 can meet the needs of public safety today. Do you
13 have anything that stands behind that statement?

14 So, for example -- I'll give you an
15 example. PTT call set up time. What is it -- do
16 you have -- are there deployments where, say, 3G
17 networks or LTE networks have been deployed with
18 PTT call set up time that public safety entities
19 would consider to be acceptable?

20 MR. CARTER: Certainly. And that's
21 actually a very good example.

22 Sprint today offers a variant of PTT

1 that we helped them developed. It took several
2 years because of the problem you're describing.
3 Initially, Push-to-Talk technology on the cellular
4 network was not nearly a fast enough response time
5 to compare with the purpose-built systems either
6 for public safety or the original Nextel Push-
7 to-Talk system. Once the changes were made to
8 commercial CDMA cellular, Sprint was able to
9 deploy service that users didn't see any real
10 difference between the old Nextel Push- to-Talk
11 service with a virtual instantaneous Push-to-Talk
12 and the newly deployed CDMA system that was put
13 alongside it.

14 I'll leave it at that. That's one
15 example that you requested, and I think in other
16 areas the technology that's used commercially --
17 maybe if you bought it today at your local carrier
18 store -- would not come with service plans and
19 provisioning and service guarantees that meet the
20 needs of public safety, but by and large whenever
21 we go to look at examples like that we find that
22 it's business issues, not --

1 MS. FLAHERTY: This is a question
2 perhaps for Mr. Haller or for Mr. McEwen and
3 others.

4 In the DOT Next Generation 911 project,
5 our mantra has been to begin with the end in mind.
6 And what I mean by that is providing the data to
7 the first responders that would be useful to them,
8 that is actionable, that would actually make a
9 difference in terms of making their operation more
10 efficient or their jobs easier. It has been our
11 impression thus far that those end users have not
12 been adequately engaged to decide what data they
13 want. And I'm wondering if you have had the
14 involvement of those folks in deciding which data
15 they feel would be the data that they want
16 transmitted to the PSAP and onto the first
17 responders.

18 MR. HALLER: I think it's a very good
19 question, and I would respond by saying it's an
20 evolutionary process. In some respects people
21 don't know what they want until it's offered. You
22 know, if you take the telephone, basic telephone,

1 people survived for centuries without it. Once
2 that capability was there, they suddenly couldn't
3 live without it. I think the same thing is going
4 to be true in broadband. They're seeing
5 capabilities through the Internet right now and
6 it's going to be an evolutionary process for
7 people to say I need; this for suppliers to say
8 you can do it in the following manner. I think
9 it's very hard though for somebody, a first
10 responder, to sit down and say here's a list of 25
11 things that I absolutely need at this point. It's
12 going to be evolutionary and the network is going
13 to grow and expand in its capabilities with time.

14 MR. McEWEN: Well, I think your concern
15 is interesting. First of all, I do believe that
16 there's a lot of engagement in the public safety
17 community to determine what their needs are. The
18 current NPSTC Broadband Task Force has been
19 looking at the applications that are necessary and
20 you probably haven't seen that yet because it
21 hasn't been released. They're in the middle of
22 that.

1 Obviously, thousands and thousands of
2 public safety officers, firefighters, police
3 officers, EMS officials, and so on are not
4 intimately engaged in this process at the moment.
5 But the fact is that the national organizations
6 that many of us represent -- the Police Chiefs
7 Association -- we have committees made up of
8 people that represent our membership all over the
9 country that are engaged actively in those kinds
10 of things.

11 So I believe that we're actually doing
12 pretty well in defining what it is we need. My
13 concern is that the application part of it will
14 be, you know, like said, kind of an evolution.
15 But at the moment, if we don't have the broadband
16 service, wireline and wireless services to get it
17 to them, it really doesn't make much difference
18 because it just doesn't get to the people that
19 need to get it.

20 MS. MANNER: Thank you, Bill.

21 MR. SCHRIER: And I'd like to make a
22 practical comment on that as well.

1 In Seattle, as I mentioned, every policy
2 vehicle has got a digital video camera in the
3 vehicle. And whenever there is a car stop, that
4 car stop is recorded in the digital video, but
5 it's recorded in the vehicle because there is
6 insufficient bandwidth in the wireless networks to
7 be able to transmit that. Think about the safety
8 of the officer and the citizen if all of a sudden
9 the dispatch center, the 911, could see what's
10 happening on that car stop in real-time. Or
11 better yet, the officer's sergeant could see
12 what's happening in that car stop in real-time, or
13 other officers in the field. That is one
14 application which, because we have insufficient
15 bandwidth, both officers in the field and 911
16 centers could see an immediate application for.

17 MS. MANNER: Thank you. Any other
18 questions? Dan?

19 MR. PHYTHON: Thanks. This goes to
20 some of the comments I heard earlier on grants
21 policy. I think I heard several of the panelists
22 talk about to the extent we're moving forward and

1 grants will continue to be a funding stream for
2 broadband applications, it's better to direct
3 those at the state level in block grants and what
4 have you.

5 Our office has some grants
6 responsibility. I know that Laurie Flaherty's
7 office has it as well. I'm curious. Some of
8 those who perhaps represent more of the local
9 constituencies, do you agree with that? Or what
10 are your thoughts on the -- kind of the proper
11 direction of grants from a federal perspective?

12 MR. MCEWEN: I'll start. Because I
13 represent every level of government from small
14 local government, to country government, to state
15 government, and to federal government, and the
16 Police Chiefs Association, we have to look at it
17 in a very broad way. So, there are lots who
18 believe that the funding should not be controlled
19 by any one group. It should be kind of available
20 through a varied way of distributing those monies.
21 There are people in state government who believe
22 that they ought to control those funds. Most

1 local governments do not agree with that. They
2 want that money to be available directly to them.
3 So, it's a difficult thing for us but I believe
4 that it ought to be a variety of different ways of
5 delivery.

6 MS. MANNER: Go ahead, Charles.

7 MR. BRENNAN: I came from a local, a big
8 local, and now I'm with the state. And I can tell
9 you that my state view has really changed my
10 opinion somewhat.

11 Because what I can see is that a lot of
12 the locals can't run a technical grant, especially
13 the complexity of some of the systems that we've
14 asked them to put in. They just can't do it. And
15 we end up giving them the money. They control the
16 money but they need us to hold their hand in order
17 to do the grant and we don't have control over
18 that. And, you know, also when you shove the
19 money down to the local -- I hate to say it, but,
20 you know, the locals look for me -- for the
21 locals. Not for the greater good. And I have
22 found that the state -- I know this is hard for

1 people to believe -- the state does look for the
2 greater good, the greater good of the state. At
3 least that's my opinion.

4 But I think we have a better purview of
5 where the money can go. We have the technical
6 resources to help the locals get through some of
7 these very, very complex issues. These LMR
8 Systems and all have gotten just extremely complex
9 in the last couple of years to put in. Microwave
10 fiber, all the software, the radios are all
11 software defined now. Much different animal than
12 they were like 20 years ago when I got into the
13 business. So I think that's one reason why I
14 think you want to keep it at a higher level.

15 MS. MANNER: I think Pete wanted to add
16 something in.

17 MR. EGGIMANN: Yeah, I guess I'm
18 somewhere in the middle on this. But I think you
19 need to scale the grants to the project that
20 you're trying to accomplish. The regional concept
21 that I talked about, and I said that that's
22 scalable.

1 That could be a state project; that
2 could be a five state project; that could be a
3 county, you know, a group of counties as we're
4 talking about or looking at in Minnesota. But if
5 you do it at some sort of a regional level you
6 tend to level out the haves and the have-nots.
7 And, you know, if you go to -- at the agency
8 level, I'm afraid that you're going to end up
9 with, you know, the big agencies that have some
10 resources are going to move forward very quickly
11 and you're going to leave some of the rural areas
12 behind.

13 MR. KATZ: I'd like to make a comment as
14 well. Besides the process to get grants to local
15 government sources or state, there's also an
16 economy of scale that we can't lose sight of. So
17 if we're -- until the time we get fiber out to
18 every single locality so the bandwidth is there,
19 you can imagine a situation where there is a pool
20 of bandwidth as opposed -- each locality needs X,
21 let's say, megabits per second. We're all
22 engineers here, right? And that's what they're

1 going to buy and try to purchase with their
2 dollars.

3 But if you had a situation where you
4 could aggregate X megabits per second that can be
5 distributed in real-time on demand to several
6 localities when they need it -- as an example for
7 emergency-type situations -- you create an economy
8 of scale that I think is required here in this
9 type of situation. Hence, the idea to have it
10 state or regional--that's another idea. To pool
11 bandwidth to be used in an economical fashion but
12 give the locality what they need from a bandwidth
13 perspective when they need it.

14 MS. MANNER: Thank you. Erica, you had
15 a question?

16 MS. OLSEN: I do. I actually just
17 wanted to follow up on some of these comments
18 relative to bandwidth and what you actually need.
19 I think everybody in the commercial world or in
20 the public safety world would tell you we need
21 more bandwidth. Well, tell me how much is more?
22 You know, how do you justify that? Have you done

1 the studies and you're telling me you may not
2 necessarily know what applications you might want
3 to ride over this--how do you figure out how much
4 is more, especially when we're dealing with a
5 limited resource both in terms of the spectrum or
6 the capacity itself and the funding to get that
7 capacity available?

8 MS. MANNER: Harlin?

9 MR. MCEWEN: I'll start. More is
10 definitely different than where we are today. We
11 right now have only -- in public safety until this
12 broadband is resolved in the 700 megahertz --
13 right now the only spectrum that's available is
14 narrowband spectrum and that spectrum brings very
15 slow speed data. You know, 96 kbps.

16 MS. OLSEN: That's not necessarily true.

17 MR. MCEWEN: We're talking about being
18 able to, you know, provide higher speed data
19 services.

20 MS. OLSEN: You do have 50 megahertz at
21 4.9, which is broadband.

22 MR. MCEWEN: But it's not practical for

1 wide area networks. I mean, I've been told --
2 I'll give you the example and probably somebody
3 will take me to task on this but I'll use it
4 anyway. We were told that somewhere around 37,000
5 sites are necessary to build out 700 megahertz to
6 the degree that we would like in this country. To
7 do that with 4.9 they tell me it would be 60
8 million. So, if somebody wants to figure that out
9 on an envelope, do so. That tells you it just
10 isn't practical for wide area data.

11 MS. MANNER: Bill?

12 MR. SCHRIER: So I'd suggest 6 Mbps,
13 which is a HDTV stream uncompressed. And if you
14 want that to be two-way, 12 Mbps. If you're
15 going to have multiple HDTVs in a home or a
16 business, multiply that by, if there's three of
17 them, 36 Mbps. But you've got to think two-way
18 and symmetrical, but those are the sorts of speeds
19 that we're talking about. Now, certainly you're
20 going to apply compression algorithms and other
21 things to those streams and perhaps get them down,
22 but that's the sort of bandwidth we need.

1 Two-way, HDTV, multiple streams to any given
2 location, fixed or mobile.

3 MS. MANNER: Charles?

4 MR. BRENNAN: Let's stick with wireless
5 for a second.

6 I would tell you coming from the public
7 safety world, actually, most of the public safety
8 applications use very little bandwidth. If you
9 look at what they tend to need, they need access
10 to the National Crime Information Center, wants
11 warrants, missing persons, stolen cars, state
12 databases, local databases -- largely text-based
13 that fill in screens that are already on the
14 mobile data computer. Most of them are happy with
15 that, especially those that don't have it. I
16 mean, when we gave our state police access to all
17 that stuff, they think it's fabulous. Give them
18 access to small photos that run over 19.2. I
19 mean, we paint a screen with a small photo.
20 They're very happy with the photo.

21 Again, coming from public safety
22 everybody talks about streaming video out the

1 patrol car. I see less of a need for that to be
2 honest with you. Do you really want the cop
3 looking at a streaming video while he's moving
4 along at 60 miles per hour? And they will do it.
5 Having put mobile data computers in a car in a big
6 city in Philadelphia, I could tell you how many of
7 my cops ended up in the truck of the car in front
8 of them, you know, while they're looking at the
9 mobile data computer. So I'm more for static
10 photos. Situational broadband I think is a big
11 deal. I'm very much in favor of that. But these
12 large PIPEs out to the cars -- in the future, yes.

13 I think the big future application for
14 law enforcement is transportation of fingerprints
15 wirelessly. That is a big deal for law
16 enforcement. One of the most difficult things for
17 the cop in the field is to know that the person he
18 has stopped is the person who he says he is. That
19 is very difficult. Yes, he's got a license. Yes,
20 he's got a picture on the license, but who is that
21 person? That is very difficult for law
22 enforcement to ascertain. And right now all they

1 have right now is yeah, you have a name. I plug
2 in your name. You are who you say you are. Go on
3 your way.

4 I think the future is fingerprints out
5 to the car; wirelessly transmitted back. The FBI
6 has gotten very good at delivering that
7 information back. We're not good at getting it to
8 them, to be honest with you.

9 MS. MANNER: Erica, did you have a
10 follow up?

11 MS. OLSEN: Yes. A quick follow up
12 question though.

13 Several of the panelists mentioned as
14 well the LMR Systems that are existing, that are
15 out there, that are going to be there for a while.
16 They say you want to hang onto your narrowband.
17 What's the evolutionary path for that? Should we
18 be repurposing LMR spectrum for broadband purposes
19 such as the 700 megahertz narrowband spectrum?

20 MR. McEWEN: Not for the short-term
21 because the technology isn't ready for that. I
22 mean, somebody asked about LTE. I mean, LTE is in

1 development. It isn't yet ready for us. Some
2 people are beginning to deploy some things but the
3 next versions of it are what we're looking at for
4 deployment.

5 MS. MANNER: Ralph?

6 MR. HALLER: Yeah. I guess I go back to
7 pretty much a comment I made earlier that
8 broadband 700 is not going to work everywhere.
9 And I'll go back to in the forests. It simply
10 doesn't work. You might as well turn the
11 transmitter off because it doesn't penetrate
12 through the trees and the pine needles. VHF does
13 and it does very well. And that's why both the
14 National Forest Service and the local and state
15 forestry agencies continue to use VHF. They don't
16 even like to go to UHF because it doesn't work as
17 well in those areas.

18 It also takes a lot more infrastructure
19 as Harlin pointed out just between VHF and 700.
20 The amount of infrastructure is tremendously
21 greater at 700 than it is at 150 megahertz.

22 Also, these sites that are out there --

1 because most of them were put in to public safety
2 standards, they're already hardened well beyond
3 what we're going to see in the commercial world
4 for a long time. So, I think it's too early to
5 begin to say let's go to a broadband solution for
6 all of public safety. It's not there and it's not
7 going to be there for a long time.

8 MS. MANNER: Thank you. I'm going to
9 take one more question from the government
10 panelists and then I'm going to open it up to the
11 floor.

12 John Leibovitz?

13 MR. LEIBOVITZ: I guess I would just
14 like to ask, you know, if you look over the last
15 10 years or more, you know, and you look at the
16 way -- the sort of discussion about public safety
17 communications evolved. It's evolved from, you
18 know, there's been a lot of discussion about
19 interoperable voice communications, especially in
20 the wake of major disasters and then it's evolved
21 now to broadband and we're talking about
22 broadband. I guess in the context of that and

1 where we sit today, I would ask the panelists what
2 do you see as the sort of single biggest problem
3 in public safety communications today that needs
4 to be solved for police, for firefighters, for
5 other first responders? You know, if you had to
6 pick one, what's the problem in terms of end-user
7 capability?

8 MS. MANNER: John, if it's okay what I
9 want to do is maybe poll the panel for that.

10 MR. LEIBOVITZ: Sure.

11 MS. MANNER: So maybe we can start with
12 Charles.

13 MR. BRENNAN: I'm going to give you kind
14 of an odd answer. We've run into it in
15 Pennsylvania. We're able to connect networks
16 fairly easily. We're operating on 800 megahertz.
17 We can connect anything to anything; we've not
18 failed. We've connected to disparate 800
19 megahertz system, VHF, UHF, low band. Everything
20 we've connected to. Our hardest thing, believe it
21 or not, is to figure out how we get all the people
22 to actually talk once we connect them. And we're

1 actually working with it now. It has proven to be
2 a much more difficult problem than the technical
3 side of the equation. Everybody uses all -- I
4 mean, okay, we're supposed to all use plain
5 English but everyone forgets that they have their
6 own jargon and they use their own department
7 jargon which means something to them doesn't mean
8 something to someone else.

9 When you connect people together, how do
10 you know who you're talking to on the other end?
11 Who is he or she? What is their rank? What is
12 their authority? And it's been a monumental issue
13 for us. The connection part has really been a
14 piece of cake. It's that other part that we're
15 trying to beat. We have like 1,200 public safety
16 -- police departments in Pennsylvania; 2,500 fire
17 departments. God knows how many EMS agencies.
18 Trying to connect them all together and figure out
19 when someone gets on one end of the radio talking
20 to someone on the other end of the radio who is
21 not in their department, how do you do that? It
22 hasn't been easy for us to solve. We still have

1 not solved it.

2 MS. MANNER: Stephen?

3 MR. CARTER: I'd say the biggest problem
4 is fragmentation: Every state and local group
5 having a slightly different system. And when I
6 say that probably most of you immediately think,
7 oh, he means interoperability. And that actually
8 is a very true problem, but I mean it more in an
9 economic sense. I come from an industry where we
10 have learned that when you have a unified market
11 -- a lot of people all asking for the same thing
12 -- an amazing amount of money gets spent; an
13 amazing amount of synergy between all the
14 different things you're doing comes into play; and
15 you get amazing new capability deployed. And
16 that's very different than what happens when each
17 different police department, each different state
18 is making a decision for their few thousand users
19 and you don't get the economy of scale to do some
20 of these amazing new technologies.

21 MS. MANNER: Thank you. Pete?

22 MR. EGGIMANN: I think I would focus on

1 connecting the communication centers and focus on
2 the backhaul. If we had a nationwide network that
3 was capable of supporting all of these
4 applications we talked about that converge
5 backhaul, we could do a lot at the local level
6 than to leverage or build upon that. But we need
7 that nationwide network on the backside.

8 MS. MANNER: Thank you. Ralph?

9 MR. HALLER: I think I would boil it
10 down to funding. You know, when we're talking
11 about trying to get broadband to public safety,
12 we're not only talking about getting broadband to
13 large cities with "unlimited" funding to lots of
14 rural fire departments that buy their equipment
15 through bake sales. And we're never going to get
16 broadband to those entities who need it just as
17 badly. But we're never going to get it there
18 unless we can figure out a way to fund not only
19 large but small entities in public safety so that
20 they all have access to this nationwide network
21 that's being built.

22 MS. MANNER: Thank you. Glenn?

1 MR. KATZ: Yeah, excuse me, I think the
2 fundamental issue, the largest one, is being able
3 to have 100 percent availability for our public
4 safety workers. That means being able to have
5 broadband in an area where there is no other
6 terrestrial forms of communication. And also in
7 all areas where there are terrestrial forms of
8 communication, that if that terrestrial forms of
9 communication are down, there needs to be a viable
10 backup network. I think that's really the
11 fundamental issue here.

12 And just sort of one little anecdote or
13 interesting aspect to this, one of the other
14 questions addressed what are we going to do with
15 these LMR systems, these sort of archaic LMR
16 systems? Based on practical experience that I see
17 in the field, those radios are here to stay
18 forever. Those people, the people that are
19 actually using these devices like these things,
20 they don't like to carry BlackBerrys; they're not
21 used to them. So we need to be able to have basic
22 voice technology and LMR communications to be able

1 to network at 100 percent availability throughout.

2 That's sort of the way I look at it.

3 MS. MANNER: Thank you, Glenn. Harlin?

4 MR. McEWEN: I agree with Glenn. Voice
5 systems. If you ask a question, what is the one
6 thing -- I'd like to have two -- but the one thing
7 are not what we're here about today, it is the
8 voice communication systems that need to be
9 updated and improved for both operability and
10 interoperability. A lot is being done. A lot has
11 happened.

12 There's lots of progress but we will
13 never give up voice communications, and I don't
14 believe that broadband is yet -- you know, in my
15 vision, in my lifetime -- is certainly not going
16 to be the replacement for that. Broadband is
17 secondary but is becoming increasingly important
18 and that's why we're focusing on that.

19 MS. MANNER: Thank you. Finally, Bill?

20 MR. SCHRIER: I guess I lost track of
21 the question. I was going to say video. High
22 quality video. And if you get a device like this

1 that can send and receive video or images, that I
2 think would be the most useful thing for public
3 safety responders.

4 MS. MANNER: Okay. Thank you. I'm
5 going to open the floor to questions. I actually
6 have a few that have come in via the web. So I'll
7 ask one of those to start. And then is Sue in the
8 room? So we'll look for folks who have questions.
9 But let me ask this one first.

10 There was a question from Craig -- and I
11 apologize if I mispronounce anyone's name --
12 Chatterton on saying satellite communications are
13 susceptible to weather situations, such as heavy
14 storms and sunspots. How can such a network
15 provide the requisite reliability? I'm assuming
16 that Glenn would answer that.

17 MR. KATZ: Harlin, would you like to
18 take that?

19 MR. MCEWEN: No.

20 MR. KATZ: Sure, actually, yes, that is
21 true but there are new technologies that are
22 available today. New forms of modulation

1 techniques. Adaptability on modulation techniques
2 that increase what's called the dynamic range of
3 any kind of satellite system from where it was
4 fixed years ago to being dynamic in 20 to 30 DBS,
5 depending on the weather conditions. So I think
6 we've made great -- the satellite industry has
7 made great strides in being able to overcome what
8 was some limitations when there are weather-
9 related events for the higher frequency
10 satellites.

11 MS. MANNER: Thank you. And let me ask
12 one more from the web before we turn it to get
13 whoever wants it on the floor. But this is from
14 Kevin Haney to all panelists but whoever wants to
15 answer it, please let me know.

16 How does broadband access help EMS in
17 rural areas? Is there anyone who would --

18 MR. MCEWEN: Well, it helps EMS. It
19 doesn't matter whether you're in a rural area or
20 not, but obviously in a rural area probably the
21 biggest advantage is that they may be able to
22 transmit and receive information from remote

1 hospitals where they can provide emergency care
2 until they can reach a primary care facility.

3 MS. MANNER: Thank you. Laurie, did you
4 want to add something?

5 MS. FLAHERTY: Yes. If I might, we're
6 involved in a project with CDC where we are
7 determining the specific data elements in
8 Automatic Crash Notification that have the highest
9 probability of predicting serious injury, and that
10 will help rural EMS not only to use their sparse
11 resource more efficiently but know where to take
12 them. And also know where the location of the
13 crash is which very often is a problem for them.
14 That's just one example.

15 MS. MANNER: Thank you. And Pete?

16 MR. EGGIMANN: Yes, just quickly, just
17 to build on what Laurie just said. If the 911
18 centers are equipped and are able to receive the
19 information from the telematics people, we would
20 be able to tell the ER how many people were in the
21 car, whether they were belted in, how fast it
22 crashed, all of that kind of stuff. Or even

1 better yet, we would be able to send that data
2 directly to the ER so we wouldn't have to repeat
3 it. But from the dispatch side of the coin,
4 particularly in a rural area, it makes a big
5 difference if I know I have to send two rescue
6 squads because I've got four people in that car or
7 I've got eight people in two cars or something
8 like that. To be able to know right up front that
9 I need lots of resources at that scene is a life
10 and death kind of a thing. It can really make a
11 difference.

12 MS. MANNER: Thank you. Do we have any
13 questions from the floor? Sue has the microphone.
14 So if you can identify yourself.

15 MR. DEVINE: Thank you. Steve Devine.
16 I'm the interoperability program manager with the
17 Missouri Department of Public Safety.

18 Two quick things with regards to the
19 states and grants. The PSIC grants specifically
20 allowed states to enter into MOUs with regions.
21 And in Missouri, specifically, we built a
22 statewide interoperability program and got, in

1 writing, memorandums of understanding from the
2 regional -- the counties that make up the regions
3 in the state to turn around and take the money.
4 Also, the state provided the match which was
5 favorable to the locals, and subsequently, turned
6 around and offered that interoperable product to
7 them.

8 So there is a way to actually go out and
9 support and retain that money at the state level,
10 but it does require an education with those
11 regions and the counties specifically to retain
12 those dollars.

13 With regard to data, I think before we
14 get to a nationwide public safety broadband
15 network, we're going to go to a nationwide public
16 safety data network as was spoken. There are many
17 places that don't have any data today, so I think
18 this is an incremental thing. Everyone of us in
19 this room at one point or another and our
20 computers at home thought that dial-up at 56K was
21 sufficient and none of us do anymore. So this
22 isn't just one leap. This is something we're

1 going to get to as an incremental process.

2 Thank you.

3 MS. MANNER: Anyone else on the floor?

4 Okay, I'm going to go back to our government
5 participants. Do they have any more questions?
6 Jeff?

7 MR. GOLDTHORP: A question about Next
8 Generation 911 deployment, and I'll address it
9 maybe first to Pete and then to the panel at large
10 if they've got comments as well.

11 What strikes me about NG 911 is the
12 bootstrapping issue. You know, how do you get
13 started? There is a tremendous amount of moving
14 parts to the problem, like lots of big problems.
15 There's sort of a national service being deployed
16 and, you know, there's standards issues; there's
17 technology availability issues; there's deployment
18 issues; there's, you know, integration with legacy
19 technologies, existing technologies and networks.
20 So, I'm wondering if there are case studies out
21 there that folks are aware of where this has been
22 bootstrapped successfully -- and we've got some

1 Next Generation 911 networks in operation -- and
2 what the experience has been on that.

3 MR. EGGIMANN: Well, there's certainly
4 projects underway. You know, Vermont, for
5 instance, has a statewide system that is IP-based.
6 There's a lot of talk about Next Generation
7 systems being out there. The actual specs and the
8 standards for NextGen aren't all complete yet so
9 you have to take that with a grain of salt.
10 There's some work being done down in Texas in
11 regard to some of the Next Generation work.

12 The DOT project was certainly very
13 helpful in that regard. We have a private project
14 in the Minneapolis/St.

15 Paul area that we're just getting
16 started with that's going to actually look at the
17 processes in Next Generation all the way from when
18 a customer signs up for service through the
19 location determination, the routing, how the call
20 arrives at the 911 center, the information that
21 comes with it, and then on out to how do we
22 deliver or disseminate that information onto

1 responders or to affiliated agencies.

2 So we're getting started but, I mean,
3 the biggest lesson that we learned is that we need
4 the IP connectivity. We need that wide area
5 network in place. We know it's going to run on an
6 IP network. And we concluded early on that it
7 doesn't scale at an individual PSAP level. It has
8 to be done at regional levels. You have to bring
9 groups of PSAPs together.

10 MR. BRENNAN: Part of my last life was
11 running the Philadelphia 911 center. A pretty big
12 center; fifth largest in the United States. I can
13 tell you we were on data overload already. Forget
14 Next Generation. We were on data overload on this
15 generation. And I think they're going to
16 struggle, especially the big centers. We handled
17 3.3 million calls a year. We would handle between
18 10,000 and 15,000 calls on a busy summer day. And
19 when I say handle I mean, you know, no matter how
20 many people we put on the phones we couldn't even
21 handle all the calls on some of the days.

22 Any technology that throws more data at

1 the 911 centers and then wants them to throw that
2 data back out is going to be a big, big struggle
3 for these big centers. You know, many of them are
4 funded by surcharges on the telephones. That's
5 not enough to run them. I mean, our center
6 ran--maybe it paid for 40 percent of the cost, 50
7 percent of the cost. The rest was borne by the
8 city. And to build new 911 centers--I mean, some
9 people have done it; consolidated 911 centers--the
10 cost is horrendous in these days and age. So I
11 see it being an extremely slow process, especially
12 for the big centers.

13 I've seen some smaller centers that, you
14 know, really don't have a lot to do. I've been in
15 a lot of them. They'll be fine, but they won't
16 have the money. The big centers may have the
17 money, but they can't handle -- even if they had
18 the money they couldn't handle all the data coming
19 in. They just couldn't.

20 MS. MANNER: Thank you, Charles. We
21 have a question from the webinar from Doug
22 McGillivray. I'm sorry if I mispronounced it.

1 But has there been any investigation to the
2 ultimate cost of any of these proposals that are
3 being discussed here today?

4 So I wanted to throw that out. If
5 anyone has an answer -- everyone talked about a
6 little different things. Bill talked about the
7 fiber builds, some of the 911 issues.

8 MR. McEWEN: Well, I know in Seattle,
9 Seattle has got about 320,000 premises, homes and
10 businesses, apartments and condos. It would be
11 half a billion dollars to connect everyone of
12 those with fiber. We've got a fairly firm
13 estimate on that. Now, half a billion dollars for
14 a city of 600,000 sounds like a lot of money until
15 you consider that we're going to spend \$4 billion,
16 8 times as much, to replace a single freeway on
17 our waterfront divide up. So for one-eighth of
18 the cost of replacing a freeway that carries
19 100,000 vehicles a day, you can put fiber optic
20 cable to every home and business in a major city.

21 MS. MANNER: Any other? Okay. I have
22 one question and then I'll call on Kathryn.

1 This one is not for attribution, but
2 when I drove here if an emergency vehicle was
3 behind me I got out of the way. Why did we not
4 hear one mention of modifying the 3496 axed for
5 priority access? Is anyone able to answer that?

6 Okay, we'll put that aside. Kathryn?

7 MS. MEDLEY: Thank you. I noticed a lot
8 of focus on the terrestrial infrastructure and the
9 fact that there's not a lot of bandwidth available
10 via the terrestrial means at this point.

11 There is a goodly amount of bandwidth
12 available in the sky via satellite, and I was
13 wondering why public safety officials aren't
14 looking at that particular aspect to help with
15 some of their data requirements today and in the
16 future.

17 MR. MCEWEN: Well, I think we are.
18 We're certainly looking at satellite as an option.
19 Unfortunately -- and Glenn may take me to task on
20 this -- but, unfortunately, the latency of
21 satellite for public safety is getting better but
22 it hasn't been, you know, to the level that we

1 need for every day kinds of use. So, we look at
2 satellite as an important aspect of this to mix
3 with terrestrial, but mainly as a backup for
4 terrestrial when it isn't available. And
5 secondly, for filling in where there isn't ever
6 going to be any terrestrial.

7 MS. MANNER: Anyone else? Charles?

8 MR. BRENNAN: We're now running a large
9 LMR. It covers 45,000 square miles. And we've
10 experimented with satellite with great success.
11 The latency wasn't bad. We kind of thought it
12 would be but it was very tolerable. And we
13 eventually will integrate satellite, you know,
14 into the network. I think it's a combination of a
15 COW when you can use it, a satellite when you
16 can't and, you know, LMR when that's what you
17 have. It's going to be a combination of all
18 three.

19 I think what scared us the most about
20 this -- as you talked to the different providers
21 it was sort of like buying a car. You know, you
22 weren't sure about the rate plans. You know, the

1 rate plans got a little complicated. I'd like to
2 see them much simplified and I think that kind of
3 scared us off a little bit. Technology seems to
4 have dropped in price. Even the rates seem to
5 have dropped in price. And I think the satellite
6 provider has got to be a little more aggressive in
7 coming to the show, simplifying their rate plans,
8 because public safety tends to be a little
9 skeptical anyway when they buy things. So I think
10 the simpler the better.

11 MS. MANNER: Thank you. Any other --
12 oh, do you want to add something, Ralph?

13 MR. HALLER: Yes. I think it also has
14 to do with the number of handsets you have to
15 carry. One of the problems in the past, even on
16 the land mobile systems is to get interoperability
17 a fireman or a policeman has to carry three or
18 four different radios because they're all
19 operating on different frequencies and, you know,
20 it's continually juggling between those. You add
21 broadband to that and then you add satellite on
22 top of that and now we've got another couple of

1 radios that they have to deal with. And so part
2 of what is going to make this all come together, I
3 think, is probably the software defined radio that
4 allows communications in any of these modes rather
5 seamlessly as opposed to having to do it on
6 different pieces of equipment.

7 MS. MANNER: Actually, I have a
8 question. I'm going to follow up on what you said
9 and ask Stephen. On chipset technology, do you
10 see the current chipset technology able to address
11 some of these issues of having multiple radios?

12 MR. CARTER: Certainly. Already the
13 latest cell phones that come out now support
14 pretty much worldwide operation and that's just
15 because it's become so much less expensive to put
16 everything into the chips than it is to do
17 different chips for different parts of the world.
18 And, in fact, we've been working with some of the
19 satellite providers in the United States, the ATC
20 companies, to put a satellite mode in future
21 generation chipsets. And those will be coming out
22 in about the next year.

1 So, it's entirely possible that you will
2 see handsets, cellular handsets in the near future
3 that will have a satellite mode, also. But that
4 march onward continues. And certainly the policy
5 issues of interoperability won't be solved as
6 easily, but the technology issues I think will be.

7 MS. MANNER: Thank you. Any other
8 questions? Go ahead, Charles.

9 MR. BRENNAN: This one probably can be
10 more for Mr. Haller and Mr. McEwen.

11 One of the issues that we're trying to
12 avoid in FEMA is doing exactly what you said, Mr.
13 Haller, and that's putting a bunch of radios in
14 the first responder hands. We're trying to fit
15 more into the local environment when we get in now
16 via the various patching equipment that we have:
17 Audio patching capabilities, network gateways, and
18 such. But a lot of efforts have been going into
19 now into shared systems, and the federal agencies
20 are moving into these shared agreements based on
21 MOUs and a handshake.

22 Being we're at the crawling stages right

1 now on the broadband side, regardless of what
2 spectrum band we're looking at -- this is more of
3 a food for thought thing than a point of debate --
4 but I think federal agencies would probably be a
5 little bit more apt to invest millions of dollars
6 into a system where they co-primary or of equal
7 access to a broadband system other than on some of
8 these sharing agreements they may in the future,
9 based on requirements, be kicked off the network
10 after investing millions of dollars to be on a
11 sharing situation.

12 Any comments on that?

13 MR. HALLER: I think this has been a
14 problem for as far back as I go in this which is
15 almost as far as Harlin. It's been a problem
16 getting sharing of resources between federal,
17 local, and state governments for a long time. I
18 used to say that the federal -- no offense -- the
19 federal government's idea of sharing with a public
20 safety entity was "let us on your system." In
21 other words, very little the other direction. And
22 I think this is changing. The federal government,

1 I think, is working much closer with trying to
2 integrate systems that are there for FEMA and
3 other agencies with complex systems that are in
4 place for state and local agencies.

5 So, I think this is going to improve
6 with time and with trust. But honestly, there's a
7 history of years and years and years and years and
8 years of mistrust that we have to overcome before
9 that's going to become as smooth as we would like
10 it.

11 MS. MANNER: Harlin?

12 MR. MCEWEN: Yeah. I think Ralph is
13 correct, but in the broadband vision that we have
14 at the Public Safety Spectrum Trust, the vision is
15 a different vision than the past. In other words,
16 it is not necessarily to have the federal agencies
17 as co-primary, but certainly as a full participant
18 in some way. We haven't figured out exactly what
19 that means. In the second report and order it
20 gave us, the public safety broadband licensee, the
21 responsibility for coming up with a way to do
22 that. And our vision is that federal agencies

1 have to be a primary user of the system. How that
2 works out and what kind of sharing, I'm not quite
3 sure yet. It's an unknown. In fact, I'm meeting
4 with some of the government CIOs tomorrow to talk
5 about some of those issues. But we clearly have a
6 vision that the federal agencies have to be a big
7 user of this system.

8 MS. MANNER: Thank you, Harlin. We have
9 one question from the webinar from Scott Andrews
10 who asks as a panel, what do you feel the role of
11 the local planning committees may be, if any, as
12 we move forward into 700 megahertz broadband?

13 Is there anyone who wants to -- okay,
14 we'll skip that. John?

15 MR. HALLER: Is that talking regional
16 planning committees?

17 MS. MANNER: Yes.

18 MR. HALLER: Harlin?

19 MR. MCEWEN: I wasn't really paying
20 attention to the question.

21 MS. MANNER: I can repeat it. What do
22 you feel the role of local regional planning

1 committees may be, if any, as we move forward into
2 700 megahertz broadband?

3 MR. MCEWEN: Where they exist -- and, in
4 many cases, they do exist and are very active --
5 we believe they have to have a big role.
6 Unfortunately, they're not all equal. Some are
7 better organized than others and in some places
8 they don't exist. So we have to have a mechanism
9 to make sure that this nationwide network is
10 delivered equally to all users. But I believe
11 that they will -- where they exist and they are
12 well organized they should be a part of this
13 effort.

14 MS. MANNER: Thank you. I have time for
15 one more question. Oh, Ralph wanted to add
16 something.

17 MR. HALLER: I'd just also say that
18 right now, at least from a regulatory standpoint,
19 the regional planning committees don't have any
20 specific involvement in broadband.

21 To the extent that they work with the
22 public safety broadband licensee voluntarily,

1 that's great, but they have no specific obligation
2 under the FCC rules right now to be involved with
3 broadband. So, I guess what I'm trying to say is
4 they don't really have a role but their help is
5 welcome.

6 MR. MANNER: Thank you, Ralph. John
7 Leibovitz for our last question.

8 MR. LEIBOVITZ: My question is about we
9 talked about the how and the what. So the what is
10 broadband; the how, different mechanisms for
11 bringing it. I want to just talk a little bit
12 about the who -- who uses this network and who we
13 see as the users initially as it rolls out.

14 So I guess my question is, you know, do
15 you initially see, when you think about public
16 safety broadband networks, the initial users are
17 -- take the fire scenario. The firefighters in
18 the building, you know, or are they the incident
19 commanders, you know, communicating over some
20 other media such as LMR systems or some other
21 system to the responders inside the mission
22 critical situation? How do you see the sort of

1 use case evolving and for who needs to use the
2 system first and then over time?

3 MR. HALLER: Well, in the beginning I
4 don't believe that this will be a primary mission
5 critical-type system. I go back to the fact that
6 voice is going to be the critical thing. Two
7 Buffalo firefighters just died, I think, yesterday
8 in a tragedy and luckily they were able to get
9 word out that the one first of all was trapped and
10 saw him. But the fact is that I don't believe
11 firefighters in a burning building are going to be
12 using a text device or a data device. It's going
13 to be different than that. If they had that
14 device and voice capability is existing and one of
15 their voice radios isn't working that may be their
16 lifeline.

17 So, but I think, you know, the primary
18 users are going to be the police, fire, and EMS,
19 the first responders.

20 They're going to be the primary users.
21 Then you're going to see what I call the secondary
22 users -- utilities, transportation -- other people

1 that often are there very quickly that need to be
2 a part of that.

3 MS. MANNER: Bill Schrier?

4 MR. SCHRIER: The users of -- remember,
5 this is a National Broadband Plan. The users of
6 this will be every person who lives in the United
7 States of America or works in the United States of
8 America, because they're the people who call 911.
9 It's their safety that we're trying to protect.
10 It's their -- it's those people that the police
11 officers, the firefighters, the EMS serve. And
12 ultimately, a National Broadband Plan has to
13 connect the people of the United States to their
14 governments and to the agencies that are keeping
15 them safe.

16 MS. MANNER: Thank you, Bill. And I'm
17 going to let -- actually, Charles is going -- I
18 have to cut us off. Charles is going to have the
19 last word since he was the first one.

20 MR. BRENNAN: Great. I think you'll see
21 it deployed in three stages. First, broadband to
22 the 911 centers. And the 911 center dispatcher

1 would translate what he or she sees on the
2 broadband screen out over voice to the field.
3 Second stage would be wireless to the command
4 post, where the command post is now closer to the
5 scene of the incident has access to broadband,
6 whether it's video, data source. And third is
7 right to the final user, to the end cop or end
8 firefighter in the field. I think you'll see it
9 in those three stages.

10 MS. MANNER: Thank you so much. What I
11 would like to do is first thank all of our
12 panelists and our government participants and the
13 folks online and in the room who asked questions.
14 But I'm going to ask you all to stay seated right
15 now because we just have some brief comments from
16 Dan Phythyon and from Charles Hoffman. And then
17 we'll take a 10 minute break.

18 So with that I'm going to turn the floor
19 over to Dan. Dan, you can use either the dais or
20 from the table, wherever you prefer.

21 MR. PHYTHYON: Yeah, I think I'm on the
22 five minute clock so I'll stay here if that's

1 convenient.

2 First, thank you very much for inviting
3 me to participate on behalf of our office. This
4 is the FCC's work, its task, I guess, in
5 developing a National Broadband Plan is
6 incredible. The effort you're putting into this
7 this month and beyond this month is enormous, so I
8 feel your pain. And we're happy to participate
9 and to also share from what the information you're
10 uncovering.

11 Very briefly, what is the Office of
12 Emergency Communication? Our director a number of
13 months ago did a bigger briefing on the office at
14 the FCC at one of the workshops so I won't cover
15 all that, but in short, our office is part of the
16 post-Katrina reorganization of DHS that Congress
17 enacted. And it was in response to Katrina
18 demonstrating once again that we still haven't
19 figured out emergency communications -- how to
20 make it work, how to make it -- interoperability
21 work consistently. So, our office, we are not
22 operational. We don't deploy, as our colleagues

1 at FEMA, Charlie Hoffman's shop, does.

2 Our office is primarily a policy and
3 strategic office. And one of our tasks from
4 Congress was to, for the first time, develop a
5 national emergency communications plan at a
6 strategic level to try and knit everything
7 together. That plan was delivered to Congress
8 about a year ago. And by design that plan really
9 was focused on some of the legacy issues with land
10 mobile radio communications, some of the things
11 that Harlin alluded to which we still haven't
12 figured out. That part of it and much less the
13 future. And the roadmap to the broadband
14 technologies we're talking about today.

15 So we essentially focused on, again,
16 legacy issues and legacy solutions, and a lot of
17 what we focused on really isn't the technology.
18 We heard again today something we hear very
19 frequently, which is that technology, as difficult
20 as it can be, it's the easiest part of making it
21 work. What is the rest of what you need to do to
22 make emergency communications work? It's the

1 people stuff; the softer stuff; the governance;
2 standard operating procedures; training and
3 exercises; usage issues; funding issues. So those
4 are the things that we focused on in that first
5 generation of the plan and we said we'd get to the
6 rest of it later.

7 Well, earlier this month our secretary
8 told us, okay, later is now. You guys need to
9 work on upgrading that plan, taking it to the next
10 generation, and dealing with some of the key
11 broadband issues that are the subject of the FCC's
12 task and the work we're talking about today. So
13 we are going to be working -- collaborating very
14 closely with our federal colleagues, state, local,
15 you know, tribal entities--the same way we worked
16 collaboratively to develop the first generation of
17 the plan. We're going to embark on building in
18 new elements of the plan. Seven hundred megahertz
19 issues. Broadband issues more globally. The Next
20 Generation 911 issues that DOT and the FCC and
21 working on and Nina's working on. Alerts and
22 warnings. Those are things that we're going to be

1 working to embed into the next generation of the
2 plan.

3 So, again, we've enjoyed the
4 collaboration we've had, in particular with the
5 FCC to date. We're going to continue to
6 collaborate furiously with the FCC. I'm looking
7 at Jeff Cohen here in the front row. We're on
8 each other's speed dials. We probably get sick of
9 talking to each other multiple times of the day
10 and many weeks, but we're going to continue to do
11 that. We're going to work closely with the FCC on
12 the public safety aspects of the National
13 Broadband Plan, and we are going to borrow
14 enthusiastically from that and put that into our
15 own work.

16 So, again, thanks for the opportunity.
17 I appreciate all the hard work that you're doing.
18 I think my sense in sort of wrapping up my
19 comments is probably the same of a lot of you. We
20 have barely scratched the surface today of these
21 issues and look forward to a lot more work to
22 figure out, you know, how to make the National

1 Broadband Plan work for our emergency responders
2 and ultimately the public we've talked about.

3 A couple of concluding points. There's
4 already been a lot of discussion today about
5 funding issues. Public safety conversations of
6 this sort, no matter where they start off, they
7 always end up with funding. That's going to be a
8 key issue. We've talked about grants. We've
9 talked about other options, whether it's universal
10 service issues, tax issues, tax credits, but
11 please, as we move forward, think about funding
12 issues. And we've also talked -- Ralph mentioned
13 earlier some of the sharing issues. Part of our
14 office's mission is to, again, break down some of
15 those barriers, improving sharing of all types
16 between federal and nonfederal entities and across
17 federal entities so that we're looking forward to
18 that being, again, part of the solution to
19 broadband is to think more creatively about -- we
20 have the opportunity as we build in the new
21 broadband infrastructure to share in ways we
22 haven't before, including with the private sector.

1 So, thanks. And I look forward again to
2 a lot more work by all of us.

3 MS. MANNER: Thank you. And you
4 finished right on time.

5 And with that I'd like to turn it over
6 to Charlie Hoffman from FEMA.

7 MR. HOFFMAN: Thank you very much,
8 Jennifer. And thank you to the Commission for
9 inviting me over to participate in this panel
10 today. It's been a very eye-opening,
11 enlightening experience sitting in on this.

12 Going along with what my partner Mr.
13 Phythyon said over in the Office of Emergency
14 Communications, we work hand-in-hand with them in
15 the Emergency Communications side of the National
16 Emergency Communications Plan. We've also formed
17 a great partnership with the Commission on
18 providing emergency communications spectrum
19 analysis when we get in prior to an incident -- a
20 planned incident, such as a hurricane or a
21 national security event -- and then post-incident
22 where we can go back in. And we've worked out a

1 very good agreement with the Commission on that.
2 And we look forward to our continued working with
3 the Commission on emergency communications
4 response.

5 Part of the Disaster Emergency
6 Communications Division -- we're a spawn off of
7 the post-Katrina Emergency Management Reform Act
8 -- and learning from the lessons from Katrina, one
9 of the words that you hear a lot for public safety
10 grade communications is survivable. If all
11 communications systems were survivable, we'd be
12 out of business at FEMA and Disaster Emergency
13 Communications. As we found out during Katrina
14 that not only was interoperability not there, we
15 did not have operability. For whatever reason.
16 The public safety systems themselves, the
17 repeaters or base stations may have been fine, but
18 the generators got flooded out which now prevented
19 the repeaters from operating.

20 Part of FEMA's job to come in when we
21 respond in a disaster is to provide a federal
22 response coordination on communications efforts.

1 That's part of our job when we get into a Type 1
2 or Type 2 major incident, be it manmade, be it a
3 natural disaster.

4 Part of our -- one of our major units
5 within the Disaster Emergency Communications are
6 our Mobile Emergency Response Support Units. We
7 have six detachments based throughout the United
8 States. Five of those 6 detachments support two
9 of our FEMA regions -- 2 of the 10 FEMA regions --
10 so that they are within a 500-mile response
11 capability for any type of disaster -- major
12 incident, manmade -- whatever that may happen. In
13 our MERS units we have various amounts of mobile
14 disaster response command and control operations
15 center vehicles. These vehicles provide a
16 rapidly-deployable multimedia interoperable
17 communications systems for the incident area.

18 Of our primary vehicles that we use was
19 what we call the incident response vehicle, the
20 IRV. That vehicle is capable of doing pretty much
21 almost DC to daylight-type communications. We can
22 do audio-based band switching for LMR. We can do

1 gateway interfaces. We can do satellite backhaul
2 capabilities. They also have the capability of
3 extending out the network as we have tested in
4 some of our capabilities of 500 to 700 yards
5 around the vehicle using Hotspot/WiMAX/WiFi-type
6 technology where we can bring operational tents,
7 centers, around the IRVs and they can have
8 seamless connectivity back into the networks that
9 we backhaul via Ku band satellite-type equipment.

10 We're in the process of upgrading all of
11 our mini emergency operations vehicles, which is a
12 little bit larger vehicle than the IRV, but up
13 until now, they were pretty much just a mobile
14 command and control vehicle that had external Ku
15 band capabilities that proved kind of hard to do
16 so we started installing their own Ku band
17 satellite backhaul capabilities in there to
18 provide Internet and voice communications backhaul
19 capabilities. We are now going to expand those by
20 putting in Cisco routers, WiMAX WiFi so that these
21 new vans or the vehicle with their new
22 capabilities will have the capabilities to

1 provide--extending the network out just like the
2 IRVs do. And in fact, some will even have more
3 capabilities as we're going to be expanding into
4 secure voice teleconferencing, video
5 teleconferencing, those capabilities. Streaming
6 video has become very big for us after Katrina for
7 bringing situational awareness back to
8 headquarters and to our regional administrators.
9 And as you all know, streaming video is a
10 bandwidth -- huge bandwidth requirement.

11 I'm right down to the end of my time
12 here, and once again I'd like to thank you for
13 having me here today.

14 MS. MANNER: Thank you, Dan and Charlie,
15 for sharing those comments with us. And once
16 again, thank you to our panel.

17 (Recess)

18 MR. LANE: Good morning, ladies and
19 gentlemen. Let us begin our second panel of
20 today's broadband discussion.

21 My name is Bill Lane. By position I am
22 chief engineer in Public Safety and Homeland

1 Security Bureau of the Commission, but more
2 importantly, I have the pleasure of moderating our
3 second panel today.

4 At the beginning of our second panel I'd
5 like to mention that Commissioner Copps had
6 intended to attend today's session but
7 unfortunately his scheduling, as well as the
8 scheduling of our other commissioners and the
9 chairman, prevented his attendance in person.
10 However, I do want to mention that all of the
11 commissioners and their staffs, as well as other
12 members of the staff of the Commission are viewing
13 this via internal television, as well as the web
14 presentations. And they are very closely
15 following our proceedings. And so I can assure
16 you that there is a high level of interest among
17 the commissioners and their staffs with the
18 proceedings today.

19 Our second panel will examine the ways
20 in which broadband technology can enhance homeland
21 security. The panel will explore how best to
22 utilize broadband technologies to prepare for,

1 respond to, and recover from major natural
2 disasters, pandemics, acts of terrorism, and cyber
3 attacks. It will focus on how public safety
4 networks and applications can be secure and
5 protected. The panel will also examine current
6 and potential new applications and research that
7 has been conducted in the managed IP arena that
8 could help improve response to the large-scale
9 emergencies.

10 Our second panel consists of the
11 following, and I'll provide just simply a brief
12 introduction of our panelists. First, to my
13 immediate left, Dr. Andrew Afflerbach, chief
14 executive officer, director of engineering for
15 Columbia Telecommunications Corporation and
16 representing the National Association of
17 Telecommunications Officers and Advisors.

18 Next to Mr. Afflerbach -- Dr. Afflerbach
19 -- is Dr. Emmanuel Hooper, senior scholar and
20 researcher, Harvard University, Leadership for
21 Networked World; Harvard-MIT-Yale Cyber Scholar;
22 and founder of Global Information Intelligence.

1 Next to him is Mr. Murad Raheem. Excuse
2 me. Mr. Raheem is branch chief, the Office of the
3 Assistant Secretary for Preparedness and Response;
4 Information Technology, Electronics and
5 Communications for the U.S. Department of Health
6 and Human Services.

7 Adjacent to Mr. Raheem is Mr. Marc
8 Sachs. He is executive director for National
9 Security and Cyber Policy in the Office of Federal
10 Government Relations for Verizon Government
11 Affairs.

12 And our last panelist today is Mr. Steve
13 Souder, director of the Fairfax, Virginia
14 Department of Public Safety Communications.

15 And on a personal note I'd like to at
16 this time also extend another time a public thanks
17 on behalf of the Commission and the people of
18 Virginia to Mr. Sauder. Mr. Sauder was the
19 director of communications for Arlington County on
20 9-11 and directed the communications response to
21 the county across the river at the Pentagon. So
22 once again, Steve, thanks from all of us for your

1 great service.

2 Our government participants today are,
3 first and foremost, Mr. Charles Hoffman. Once
4 again, chief, Disaster Emergency Communications
5 Programs for the Federal Emergency Management
6 Agency; excuse me, Mr. Jeff Cohen, senior legal
7 advisor for the Public Safety Homeland Security
8 Bureau of the Federal Communications Commission;
9 Mr. Jon Peha, chief technology officer for the
10 Federal Communications Commission; and again, Mr.
11 Dan Phythyon, chief, Policy, Planning and Analysis
12 Division for the Office of Emergency
13 Communications, Department of Homeland Security.

14 So please join me in welcoming our
15 panelists and thanking them for coming today.

16 As we did in our previous panel, we'll
17 begin with some prepared remarks from our
18 panelists. Once again, I have command of the hook
19 and will employ it vigorously as needed.

20 And so we'll ask our panelists each to
21 open with five minute comments. We'll begin with
22 Dr. Afflerbach.

1 DR. AFFLERBACH: Thank you. Today I'm
2 speaking on behalf of NATOA and the National
3 Association of Counties. What I'm bringing you
4 today -- if you can bring up the next slide,
5 please -- is models telling you that we have
6 models that are supported by empirical data for
7 how very distinct partnerships between carriers,
8 cable companies, and localities can support fiber
9 optic broadband deployment and public safety
10 networking. So attending to both things at the
11 same time. And we recommend that the FCC look to
12 these models.

13 Franchise infrastructure, also known as
14 I-Nets -- Institutional Networks -- are in our
15 finding one of the most successful local
16 government private-private partnerships in
17 communications history. And what they are is
18 essentially extra fiber optic capacity that were
19 built by the cable operators and paid for by the
20 localities at the incremental cost. It's an
21 extraordinarily efficient way of building, in this
22 case, two networks for the price of one. In this

1 model we realize significant efficiencies all on
2 one platform; the ability to, at the direction and
3 activity of the local government, build an
4 authentic public safety grade platform within a
5 cable company carrier infrastructure. And the
6 Cable Act, as it was written, allows localities to
7 negotiate I-Nets with cable companies. Well over
8 100 of them exist across the United States and
9 these range from small localities to major metros,
10 East Coast, West Coast, Midwest.

11 Next slide, please. Unfortunately, with
12 statewide franchising and renewals of franchise
13 agreements and a generally deteriorated sense of
14 the local governments in negotiations, some of
15 these networks are at risk. And that is something
16 of real concern as we see it.

17 Next slide. The networks as they are
18 built are varied from locality to locality but
19 generally we're hitting every single major
20 building and piece of infrastructure. We're going
21 to locations where cameras and signals are needed.
22 We are hooking up backhaul for public safety

1 communications, whether it's LMR or broadband.
2 We're going to the police stations, going to the
3 fire stations. The applications that operate on
4 the network are everything from the interactive
5 video discussed earlier; dispatching information,
6 which as we know has become more graphics
7 intensive. We've got the need to push building
8 maps and plans to the first responder stations.

9 We've net patient tracking. We've got
10 backup of emergency operation centers in
11 real-time. We have in short many critical high
12 bandwidth applications that are going live on
13 these networks.

14 Next slide. 9-11. New York City had a
15 fiber optic network in place in partnership with
16 the cable operators there. This was the only
17 network in that part of Manhattan that stayed
18 live. It was a SONET ring. It was built to the
19 specification of the local government of New York
20 City and it continued operating and was even used
21 by the carriers to restore communication.

22 Next slide. This was recognized by the

1 Congressional Delegation so that when national
2 franchising, which would have jeopardized this
3 network was on the radar -- next slide --
4 essentially the Congressional Delegation and
5 others went to bat to keep this network and the
6 national franchising did not pass.

7 Next slide. Essentially, what we're
8 addressing here are the drawbacks of traditional
9 off-the-shelf lease-carrier services, the fact
10 that the architecture is not, in most cases,
11 transparent and in many cases proprietary and not
12 visible to the locality, to the fact that
13 maintenance and architecture is driven by broader
14 business considerations, not the survivability
15 that's necessarily needed. We've got shared
16 infrastructure that may jeopardize capacity in
17 critical conditions. And we have issues of power,
18 as well.

19 Next slide. And this you can look at at
20 your leisure later on but there are also issues as
21 far as provisioning and single points of failure
22 that can be addressed.

1 Next slide. Washington, D.C., is an
2 example of a network where ring architecture was
3 used throughout, and right now FEMA is connected
4 through this network. There was activity going on
5 to potentially offer this to federal government to
6 address some of their needs.

7 Next slide. Ten to 20 times is
8 essentially the cost of what it would be to
9 essentially replace these services with comparable
10 market price networks, so we're talking about a
11 few million dollar tax increase essentially for
12 the citizens of a medium-size county to replace
13 these services if they're lost.

14 Next slide. More affluent communities
15 see that in the long term it's beneficial enough to
16 build a network but this is only an option for the
17 more wealthy communities.

18 Next slide. So, essentially we're
19 saying -- we're not calling for building a whole
20 new infrastructure, spending billions of dollars.
21 We're calling for the localities to be able to
22 keep what they have in terms of functionality and

1 in terms of cost structure.

2 And I thank you for allowing me to speak
3 on this subject.

4 MR. LANE: Right down to the second.
5 Congratulations.

6 Our next panelist is Dr. Emmanuel
7 Hooper. Dr. Hooper, please.

8 DR. HOOPER: Thank you. This is a very
9 interesting topic, as a matter of fact. The 21st
10 century intelligence, this country and around the
11 world faces tremendous challenges because
12 broadband security brings us into a new kind of
13 phase of challenge for security.

14 High-speed networks, look at the next
15 slide, please. We have intelligence issues to
16 consider. One of them is basically how do we deal
17 with facing broadband and cyber networks with
18 high-speed acceleration of broadband networks via
19 wireless, WiFi, emerging WiMAX to cyber
20 infrastructures. Some challenges of high-speed
21 transmission requires high bit data transfer --
22 gigabits, and eventually terabytes -- across data

1 networks across the world, including wireless and
2 fiber networks that interconnect the global
3 network and Internet. So, when we come to
4 broadband distribution we have issues of access
5 control, security monitoring, increasing
6 detection, prevention, and forensics evidence, as
7 well as traceability, and also sustainability of
8 use of management.

9 Next slide, please. So we come to the
10 aspect of this broadband plan to address how to
11 protect advanced cyber security. The broadband
12 plan for Congress surely should include strategic
13 ongoing research because on a wider impact, we
14 have to understand what broadband opportunities
15 will give to both hackers as well as those who
16 have a very good understanding of how astute
17 workers can work. That is, to ensure that there
18 is a way to intercept high-speed traffic of
19 various segments of broadband infrastructure that
20 interface with U.S. Cyber and global networks
21 that transmit high-speed data in real-time. We
22 have to identify the difference between legitimate

1 traffic versus traffic at different levels,
2 different traffic providers -- I actually don't
3 understand this -- and look at effective key
4 management in terms of cryptographic key
5 management, ciphers, algorithms, and adaptability
6 to handle astute interceptions, evasions, and
7 insertions.

8 Next slide, please. Strategic ongoing
9 research describes how we understand what is
10 actually happening. When it comes to FCC
11 coordination with other federal agencies and state
12 and local governments, we need to understand how
13 to differentiate between coordinated research,
14 intelligence on broadband, and cyber security for
15 FCC, Cyber Coordination Executive, and National
16 Cyber Study Groups, such as NCSG, and the DNI, as
17 well as FCC regulations, and DHS, et cetera. Or
18 call DOD, and DARPA, and IARPA, et cetera. All of
19 these can actually coordinate together. The
20 intelligence should be gathered together with
21 effective coordination with state and public
22 national security, as well as at local levels for

1 government agencies for large-scale events. This
2 involves the importance of developing effective
3 management standards; research, development of
4 distributive broadband networks; and strategic,
5 what I call intelligent hybrid data mining for
6 broadband networks.

7 This is still ahead. So many times some
8 companies -- one of the companies I work for --
9 other companies such as Open Sky, et cetera, and
10 some of my students often discuss this. How do--

11 The next slide, please. We talk about
12 the 21st century. How do we deal with mining
13 interception, what we call intelligent
14 understanding of our enemies or
15 counterintelligence.

16 A speaker from DNI was talking to us at
17 MIT and Harvard. The question is -- and I taught
18 a lecture and one student asked me what is
19 counterintelligence and who is our enemy? The
20 question is what is the capability of the "man-
21 in-the-middle" to intercept data and traffic at
22 high speeds?

1 When you come from wireless and go out
2 to private networks that actually come to you as
3 data intelligence, because most of the traffic
4 that comes from student hackers comes from the
5 private networks which the intelligence community
6 cannot really have access to monitor that. So we
7 need real-time data traffic and hybrid networks --
8 what we call intelligent -- astute adaptable
9 intelligent algorithms. And then, of course, in
10 real-time you can scale these. I've done some
11 research. You'll see my reports later on.

12 Next slide, please. These algorithms,
13 what we talk about is intelligent hybrid
14 techniques, the friendship between what has
15 happened on the global networks and in the private
16 networks and to the public networks -- they cannot
17 really look at the traffic in real-time. So the
18 local and regional -- we cannot get data; we can
19 intercept data; we can pass the data; and of
20 course, we can analyze the traffic. And in
21 real-time, we recommend that Congress actually
22 puts in not just funding but strategic research

1 measures so that you can actually see the impact
2 of it long term.

3 Next slide, please. So, we come to the
4 analysis here. We look at how do we analyze -- we
5 need to actually look at large-scale events and
6 what we're going to do for the 21st century.
7 Cyber security for the United States, actually,
8 we're behind in terms of the 20th century. If you
9 talk to the White House and the Security Council
10 Group and other groups, as well as DNI, we know
11 that we don't understand really -- we're not
12 really looking at what we call meta data transfer
13 from virtual private networks around the world at
14 different data centers, but terabytes per second
15 -- over 25 terabytes per second at each data
16 center. And then we're looking at stealth attacks
17 and many types of analysis.

18 Next slide, please. I have a paper
19 online, but this is very important to understand
20 how to engage researchers -- the FCC should do
21 this on clear data mining, broadband as well as
22 other strategic measures and look at real attacks.

1 My final slide looks at references, and
2 you can go on the slide and get more data.

3 Thank you.

4 MR. LANE: Dr. Hooper, thank you very
5 much, indeed.

6 Our next panelist is Mr. Murad Raheem
7 from the Health and Human Services perspective.

8 MR. RAHEEM: Good morning, guys, and
9 thank you, Bill and all the folks at the
10 Commission for having us here.

11 Next slide, please. Basically, very
12 generically, why is HHS here and why are we
13 interested in public safety and broadband
14 communications? HHS as a whole is a very large
15 agency. As you can see, a \$707 billion budget,
16 about 65,000 employees, mostly doing public health
17 research: FDA, CDC, things of that nature, NIH.
18 The part that I am involved with is the actual
19 emergency response. So, the Commissioned Corps is
20 a public health service and --

21 Next slide, please. What brings us to
22 bear was the Pandemic and All Hazards Preparedness

1 Act in 2006 bringing the National Disaster Medical
2 System back to HHS. It was originally with HHS
3 when it started, moved to FEMA, and then came back
4 to HHS in 2006.

5 They are actually our first responders
6 -- or we like to say second responders -- who work
7 for a public health emergency to augment state and
8 local folks. When we go out in the field, we do a
9 lot of things that require broadband access very
10 loosely defined. We do a lot of voice
11 communications.

12 Next slide, please. Our mission,
13 obviously, leading the nation, preventing, and
14 responding to emergencies. And the vision
15 obviously is to hope that the nation is prepared.
16 The more the nation is prepared the less we have
17 to do, and that is certainly better for all of us.

18 Next slide, please. NDMS, specifically,
19 is our partnership with the VA, FEMA, and DOD --
20 about 9,000 -- 8,000 to 9,000 intermittent federal
21 employees. But these are folks that are normal,
22 everyday healthcare providers in the normal work

1 life. So they're respiratory therapists, docs,
2 EMTs, et cetera. We bring them together as a
3 35-member team to respond and assist local or
4 national disasters. Right now it's mostly voice
5 communications with some limited data for
6 electronic medical records. More and more we're
7 seeing that's an area where we can use broadband.
8 And if that broadband is in the area we're at or
9 if we can, as someone said brilliantly earlier,
10 drag it to where we are, we can use things like
11 prescription data records to know that the folks
12 that are presenting to us need diabetic
13 medications and what those are.

14 So, we really see us as a customer for
15 broadband networks. And we lean very heavily on
16 FEMA and Charlie's folks, especially in the MERS
17 world, to bring us many of those communications.
18 All of our walkie-talkies are programmed by the
19 MERS guys to ensure interoperability. But more
20 and more we're seeing the need to do this
21 broadband communications.

22 Next slide, please. Our sector's

1 operation center is a 24-by-7 ops center -- that's
2 down the street here--and more and more they want
3 to know what's happening in the field. And things
4 like video, which we hear a lot about, patient
5 data, who is presenting--especially in areas such
6 as pandemic influenza during, say, a hurricane
7 event. Say we're doing a hurricane in -- we saw
8 Hurricane Bill a couple of weeks ago, dealing with
9 the folks that present for hurricane injuries, but
10 may have influenza-like illnesses. And how do we
11 deal with those in a normal scenario where we put
12 a bunch of people in a very small space? Now we
13 have to maybe spread those folks around and
14 obviously, broadband gives us more ability to have
15 more people do more things.

16 Next slide, please. We bring a mobile
17 command post to bear. And this is 2001 technology
18 built after 9-11. There's a satellite dish in the
19 back, but it's got 128K and it doesn't work for
20 most of what we need. So we bring and augment it
21 with Ku satellites. Again, go beg, plead, and
22 steal from MERS to borrow stuff.

1 Next slide, please. So one of the
2 things we want to get out of this panel if at all
3 possible is how do we ensure that sufficient
4 reliability and redundancy of the broadband
5 communications infrastructure is there? And what
6 we really see is the sort of mobile solutions. We
7 roll into a gymnasium or the Superdome, things of
8 that nature, where there may be inherent
9 technology there but we can't use it. It's
10 proprietary. It's the hotel; it's the motel; it's
11 the whomever.

12 Electronic medical records, obviously,
13 is the next big thing for us and we're using them
14 now. Obviously, how can the feds help? Clearly,
15 funding. We've seen that and heard that a
16 thousand times. Our hospital preparedness folks
17 grant about \$300 million a year to that effect.
18 And national standards. Our office of -- the
19 National Coordinator for Health IT is doing things
20 like Project Connect, which has a VPN-like
21 solution for healthcare providers to connect via
22 the Internet, basically, and let the folks that

1 build the networks better than we do build the
2 networks. And if we could do -- if we could sort
3 of jump on them and abuse them, that's what we'd
4 like to see.

5 Thank you.

6 MR. LANE: Excuse me. Mr. Raheem, thank
7 you very much.

8 We now turn to the commercial sector and
9 to Mr. Marc Sachs from Verizon, please.

10 MR. SACHS: Thank you, Bill, and other
11 members of the Commission.

12 I guess it would be if you build it,
13 they will come. That's the way we wrap up.

14 I am of a security mind --

15 MR. LANE: And you are building it.

16 MR. SACHS: And we are building it. I
17 am of a security mindset. Around my office a lot
18 of people don't like it when I come in because
19 it's usually I'm bearing bad news about some new
20 threat or something evil or something that's about
21 to break.

22 What I'd like to spend a few minutes

1 talking about is this world of cyber security and
2 how it intersects with broadband and the growing
3 threats that are out there and the ways that we
4 can counter this. And also offer that while
5 security is not 100 percent -- we can't always do
6 that -- we can make it part of the rollout. It's
7 like a good haircut. We could just make it sit
8 there. We don't see it, we don't know it's there,
9 but it's in place and it does what it's supposed
10 to do.

11 Next slide, please. Just so we're all
12 thinking the same thing, there's lots and lots and
13 lots of cyber problems. They range from Internet
14 fraud, which we're all very familiar with: The
15 fishing sites, credit card theft, identity theft,
16 things like that. We have a lot of malware.
17 Malicious software. This is code; we don't even
18 know we've downloaded it onto our computers. You
19 visit a website; it injects something onto your
20 machine. You don't even have to click "okay"
21 anymore. It just automatically downloads it for
22 you. It's very helpful. Some people call these

1 value-added features.

2 Broadband, of course, makes this a lot
3 faster and a lot easier for the malicious types to
4 inject that type of code into our systems. We've
5 got different payloads from spyware to keystroke
6 loggers that can monitor everything you type in.
7 We've got Russian organized crime and Chinese and
8 others that are taking advantage of this broad
9 connectivity that we have. They conceal
10 themselves quite well. They can hide completely
11 within your computer with no knowledge that
12 they're there.

13 Why do they do it? Well, it's very much
14 like asking a bank robber why they robbed a bank.
15 It's where the money is. That's where the goods
16 are. And they will go after anything that's
17 connected. It doesn't matter how fast or how slow
18 it's connected. And they'll certainly go after
19 those things that are connected faster because
20 then they can download and extract from you more
21 value faster, quicker, cheaper than they could
22 before.

1 Next slide, please. So if we look at
2 the future and where we're going with this,
3 emerging threats -- as we get more, faster,
4 creative-types of applications, we're going to
5 have more, faster, and creative-types of threats
6 -- people that want to do bad things. We've
7 already seen social networking applications as the
8 Facebooks and the Twitters of the world being
9 attacked. We see Smart phones becoming a victim.
10 Voice over IP. Other types of new technologies.
11 We've got countries now that are targeting us.
12 They would like very much to go after our public
13 service networks. They'd like very much to attack
14 our soft underbelly, and they will continue to do
15 that.

16 Next slide, please. So, if you look at
17 how our networks are built -- and there are
18 countless diagrams that show networks. This is
19 one of many oversimplifications but I like to show
20 it because along the left side in the outer rim
21 you see all the different types of users:
22 Critical infrastructures, law enforcement, public

1 safety, and others, all connected to this nice
2 little cloud where we have the word "convergence"
3 in the middle. And you might notice from some of
4 the acronyms there, these are different types of
5 protocols, different types of networks, all coming
6 together. And, in fact, six or eight years ago we
7 thought we would have been converged by now. The
8 rumor was that by 04-05 there would be just one
9 network; we'd all be doing the same thing. But
10 yet now in 2009 we look back and say, well, maybe
11 not so fast. Maybe that convergence didn't work
12 out the way we thought it would.

13 And, in fact, sometimes diversity is, in
14 fact, better so that we have an alternative means
15 if something collapses. If a bad guy gets in and
16 breaks things we have a second way to go.

17 I do want to point out though that the
18 Internet-- the little cloud in the upper right
19 hand corner--is not necessarily directly connected
20 with the public service community, or the first
21 responders, or any others that are working in this
22 community. A lot of times we have a very strong

1 gap between those two. We might even have managed
2 IP networks that run the same protocols as the
3 Internet but they're not connected to the
4 Internet. All these, unfortunately, still are
5 targeted though and many of our threats come
6 through the Internet so we have to be careful
7 about that interconnectivity. And anything that
8 we do build, particularly in public safety, has to
9 be separated from the Internet as best as we can
10 or at least have some kind of strong safeguards
11 there because that's where the bulk of the threats
12 come from.

13 Next slide, please. If we look at the
14 way industry has been responding, we've been
15 trying very hard over the years to stay in front
16 of the threat. Of course, this is a very complex
17 problem so staying in front is hard. We've been
18 able to mitigate a lot of spam. We're identifying
19 viruses. Everybody has anti-virus software. Most
20 of the major carriers have managed services now
21 working directly with customers to help them
22 manage their networks. We offer parents control.

1 We have education for kids. So certainly there is
2 a leaning forward and a recognition of the threat.

3 Next slide, please. There is a big push
4 to have Smart Networks, open networks, open
5 protocols. There's a lot of opportunity to do
6 this right. There's also a lot of opportunity to
7 do it wrong. We can build Smart Networks that can
8 detect malicious activity. They can heal
9 themselves. They can see it coming.

10 They can fix themselves. We could also
11 leverage the competitive nature of the open world
12 and of companies that like to compete to fight
13 this.

14 We need to look forward. Opportunities
15 like the Smart Grid, Health IT, other places give
16 us places where we can counter this growing threat
17 and build new networks that are optimized for
18 that.

19 Last slide, and we'll take this up in
20 discussion. What do we do next? How do we look
21 forward? And I'll offer that up as a Q&A as we
22 move forward. Thank you, Bill.

1 MR. LANE: Very well. Thank you very
2 much. And our last introductory comments this
3 morning are from Mr. Souder. I might add that
4 Mr. Souder not only was with Arlington County in
5 the Pentagon on 9-11, but he subsequently has
6 worked in Montgomery County in Maryland, and now,
7 of course, with Fairfax County in Northern
8 Virginia. So he's an expert in the national
9 Capital Region.

10 Mr. Souder.

11 MR. SOUDER: Thank you, Bill. Good
12 morning, everyone. It's good to be here and I
13 appreciate the opportunity.

14 I guess it's appropriate that I be the
15 last panel member because it's really at the 911
16 centers in the nation that the rubber meets the
17 road. And that's really what we're talking about
18 today.

19 We talked a lot about, in the earlier
20 panel, interoperability and I'm drawn back to the
21 comments of Admiral Barnett at the outset when he
22 said we're on the cusp of the next generation of

1 public safety communications. And he's absolutely
2 right because really if you think about the
3 current generation of public safety
4 communications, it is largely driven by
5 interoperability. That whole effort began with
6 the Federal Communications Commission and it
7 didn't begin post-Katrina and it didn't begin
8 post-9-11. It began 27 years ago, a quarter mile
9 from where we're sitting this morning, on a bridge
10 that many of you may have come across this morning
11 as you came to this building, when an airplane
12 struck the 14th Street Bridge, went into the
13 Potomac River, lost about 90 lives. And it really
14 gave birth to the need for interoperability.

15 In the spring of that year, the FCC
16 convened a session just like this -- and I mean,
17 it's like déjà vu to me; just like this -- to say
18 to the public safety community as they're saying
19 right today, what do you guys need? And if you
20 get it, how are you going to use it? And really
21 that sums up what we're about here today. And the
22 public safety community said back then 27 years

1 ago, we need more spectrum.

2 Surprise, surprise. And they gave us
3 more spectrum. And that allowed us to build
4 interoperability. And today as we come we're
5 being asked again, what do you need? And how much
6 spectrum do you need? And how speedy does that
7 spectrum have to be for you to achieve what you
8 need to achieve? And I think to a large degree we
9 don't know the answer to some of those questions.
10 We kind of have a vague idea about what we need,
11 but how much spectrum we need to make that happen
12 and how fast that spectrum has to be is still to
13 be determined.

14 My mom and dad told me as a kid more is
15 better than less and faster is better than slower.
16 And I learned that lesson well. But it's hard for
17 me to really define it in this arena because I
18 just really don't know the answer to that.

19 For those of you who may have woke up
20 this morning and live and traveled in our area,
21 the first thing you do before you ever get a cup
22 of coffee is you should turn on WTOP. And the

1 lead story throughout three broadcasts that I
2 heard this morning didn't have anything to do with
3 traffic but it had to do with the speed of the
4 Internet. And what the announcer was saying, that
5 in the United States we are four times slower in
6 the speed of the Internet than any other developed
7 nation in the world. So, Marc, you don't have to
8 worry because we're too slow for the bad guys to
9 do any harm.

10 (Laughter)

11 MR. SACHS: That's reassuring, Steve.

12 MR. SOUDER: But really, if you think
13 about public safety communications, -until a
14 relatively few years ago it was a three-legged
15 stool. There was 911, in which we received the
16 calls; there was computer-aided dispatch in which
17 we processed the calls; and then there was radio
18 that we dispatched the calls on. But we've added
19 a fourth leg to that stool and it's called data.
20 And data is really where the need for broadband
21 lies. Admittedly, if we get b broadband -- and we
22 will; we have it -- but if we get it in the amount

1 that we need and the speed that we need, it will
2 impact the other three legs of the stool as well
3 but it will clearly impact the data leg of the
4 stool.

5 In my own county, we just deployed a
6 brand new mobile data system. We do not have our
7 own broadband network to operate that on. We are
8 obligated to go to the private sector and compete
9 and pay. Pay big time, you know. It's an
10 extraordinarily expensive way to do business. But
11 I would also at the same time challenge my
12 colleagues in this room and around the country
13 that when we say we need more, we need to be
14 honest with ourselves in how much more that is.
15 And when we need to say how fast, we need to be
16 honest with ourselves then because we can't take
17 something as finite as spectrum and just say give
18 me all you got and I'll use it some way or
19 another. You know, we have to be fair and honest
20 to ourselves.

21 But having said all of that, my time is
22 almost up. And again, I thank you for your time

1 and I welcome your questions.

2 MR. LANE: Thank you very much, Mr.
3 Souder. And thanks to all of our panelists for
4 your opening comments. At this time we'd like to
5 go ahead and open the panel for questions and
6 discussions as the topics may lead us. We welcome
7 questions, obviously, from the audience here, as
8 well as questions from those who are attending via
9 the webinar. And also we'll look to our expert
10 panelists from the government side of the house
11 for their questions, as well.

12 I would ask that in the process of doing
13 that, if you're in the audience here, please
14 identify yourself with your affiliation prior to
15 your question. And also, I would ask to remind
16 folks to please silence your cell phones so we
17 don't disrupt the answering that any of our
18 panelists may have.

19 Let me begin by asking a question of our
20 panelists and I'll begin with Mr. Souder because
21 it follows on some of your experience, as well as
22 the comments that you just provided. It also

1 hinges on some personal experience that I have
2 from responding to Hurricane Katrina and the
3 large-scale disaster that happened in New Orleans.

4 What are some of the specific planning
5 factors in terms of broadband capabilities that
6 need to be considered in view of a major
7 disaster-type of situation? In other words, what
8 are those broadband-specific things that we need
9 to address for major disasters--the Minneapolis
10 Bridge collapse, as one of our panelists could
11 address earlier today, or a Katrina affair in New
12 Orleans, or any of the other hurricanes that may
13 affect the southeast region of the country? Major
14 disaster-type situations. What kind of
15 broadband-specific planning do we need to do in
16 those circumstances?

17 MR. SOUDER: A very good question and
18 very timely in many ways.

19 Any major disaster, regardless of
20 whether it be natural or unnatural, is going to
21 usually overwhelm the capacity of the local first
22 responder community and they, in turn, are going

1 to have to reach out. They're going to reach out
2 to FEMA and many, many other agencies that have
3 been represented on these panels today and that
4 are not even in this room. And they will respond
5 to that emergency with a variety of tools of the
6 trade. Equipment. You saw the mobile command
7 post pictured earlier, and that's just one of
8 many, many things that can be deployed today.

9 So, it's very important that there be
10 adequate bandwidth to accommodate the multitude of
11 devices and systems and communications
12 technologies that are going to be brought to the
13 scene of the emergency. Just to arrive with a
14 truck, but a truck that can't have access, is to
15 really bring an asset that has no value, if you
16 will.

17 MR. LANE: So from the industry
18 perspective, how would Verizon do that planning?

19 MR. SACHS: The continuity of
20 communications is the key piece. So anytime
21 there's a disaster, something that's unfolding,
22 communications, a lot of times we just assume it's

1 there because it works so well. If you have a
2 physical disaster, natural disaster, hurricanes
3 and storms, a lot of times the communications --
4 because now we're talking wireless towers, things
5 could be cut, fiber optics under bridges --
6 Verizon, AT&T, and many others that are your
7 nation's communications carriers plan for and
8 anticipate these things as best as we can. We try
9 and provide that redundancy. The best thing that
10 can be done is for those communities and
11 localities to plan ahead, to think about what is
12 critical, what does need to be replaced, what
13 order, what sequence, so that as we do roll in
14 communications, as we do bring in extra fiber
15 optic and repair crews, we know exactly what the
16 priority is. Where is the priority of service?
17 What needs to be restored first? Otherwise, if we
18 come on scene and it's chaos, we don't know. And
19 we do the best we can. A best effort. But it
20 helps if that planning is already done in advance.

21 MR. LANE: Moving across the panel then.
22 From the Health and Human Services perspective,

1 how about planning for a major event?

2 MR. RAHEEM: Well, I would say two
3 things. Obviously, planning for us is having
4 access to the systems. But the other issue which
5 we're finding more and more now is the
6 prioritization on those systems. We're used in
7 response -- and I'm putting on my pocket protector
8 for a second -- is things like GETS cards,
9 wireless priority service, TSP -- things that we
10 brought to bear or private LMR that I know my
11 frequency is my frequency and I, you know, go over
12 to Charlie's guys and say give me 409 and they
13 give it to me. Now, we show up at a Superdome
14 event and Johnny and Johnny's mom and everyone
15 else has a personal device that's now taking our
16 bandwidth from the large available pool. So, if
17 we show up to then use that bandwidth, how do we
18 effectively use it where today I'm not sure those
19 systems exist? And then how do we do it,
20 obviously, securely?

21 If we're doing things like HIPAA data
22 and Privacy Act data for medical transport, it's

1 pretty important to us to figure out ways to do
2 that safely and securely. But also to ensure that
3 it's integrity is maintained because the things of
4 what we need to find out there -- the number of
5 people, the fact that a space looks good, but only
6 to find out the sanitation facilities are all not
7 working -- that's critical stuff and that's all
8 stuff that broadband can bring to bear. But how
9 do we do that in this very stressed environment is
10 the question we'd sort of ask industry more than
11 us in a sense. So.

12 MR. LANE: Dr. Hooper?

13 DR. HOOPER: Yes. This is a very good
14 question. I'll actually answer in a couple of
15 ways.

16 Basically, natural disasters of flooding
17 and earthquakes or other things, you could
18 actually oftentimes plan ahead but today basically
19 our disaster recovery is very much dependent on
20 terms of communications. And basically, there's a
21 kind of interdependency, for example, on the
22 private networks versus public networks,

1 infrastructures that are pretty much
2 interdependent. That is if you were going to plan
3 ahead -- for example, one category of attack --
4 there are about 5,000 categories of attacks, you
5 know, generally in terms of security and other
6 attacks. But if you depend upon communications
7 where you depend upon the Internet or let's say on
8 the (inaudible) network or LAN, et cetera, and
9 into the wireless area, the problem is how do you
10 know that actually you've recovered the right type
11 of data.

12 Say you have what we call a distributed
13 denial of attack or sort of a denial of service,
14 which means that you can't get access to
15 communications systems and our data centers are
16 not operating. You have a backup center but how
17 do you check the integrity? Assuming you have
18 what we call a "man-in-the-middle attack", okay, a
19 lot of companies are doing this, recently Cisco,
20 et cetera, and HP and others. But the problem
21 really is that sometimes we're not really dealing
22 with real traffic or real scenarios in real-time.

1 So we're not looking at real data in traffic.

2 What's happening in terms of the
3 capability of somebody interrupting your service
4 so that the challenges for us do what we call
5 multiple backup so that you've got integrity of it
6 and practice real scenarios. And then time it
7 within five, 10 minutes and see whether you can
8 come up to the real normal speed and see if you
9 can test to see--this is actually the integrity
10 we're talking about. You know, has it been
11 intercepted? There are many attacks that have
12 come across the world in different governments
13 from Australia to the United States and Europe.
14 Often we don't really know who is it that caused
15 the problem.

16 So, I'll say that this is a major thing
17 that we really need to do a lot of analysis and
18 testing of our disaster recovery plans and the
19 backup data centers, et cetera, and the
20 communications systems which often are not really
21 tested because you haven't really experienced real
22 scenarios. So we're limited on experience and

1 often it's very costly, like Katrina, et cetera.
2 So we need to do more scenario-type testing of our
3 systems.

4 MR. LANE: Dr. Afflerbach.

5 DR. AFFLERBACH: I would say that all
6 the different layers of networking are important
7 but to start with the physical networking layer
8 where you put in--in the case of fiber optics
9 communication we have multiple physical paths. We
10 have underground, as well as things that are on
11 poles. We have -- and it's important as building
12 things to high standards and so forth. Also,
13 having knowledge of where all the locations of
14 potential failure are. If you control your
15 manholes, if you control the buildings or know of
16 the buildings where you have access, where things
17 can be reached, or where things can potentially go
18 wrong, you're way ahead of the game. The
19 Washington, D.C., network that we demonstrated
20 here has a demonstrated uptime of five nines, and
21 that's not just in the core--that's to the edge
22 and that's the real record of uptime and

1 performance.

2 But in addition to the physical layer --
3 and in addition to the knowledge and control of
4 the physical layer is in helping you in an
5 emergency -- is also what you do when it's not an
6 emergency. And when you have the kind of
7 bandwidth that we're talk that these fiber optic
8 networks provide it's literally as if every
9 building in your network-- whether it's a
10 government building, a police location, fire
11 location, a school or whatever, is if it's all the
12 same building potentially for purposes of
13 bandwidth.

14 What does that get you? That gets you
15 the ability to train much more effectively because
16 you can train first responders in the station
17 without having to take that station off duty and
18 bring the first responders someplace else to train
19 and to downgrade the protection of that particular
20 neighborhood. You're able to do regional
21 coordination across the region so that in this
22 Washington, D.C., area where you have to take the

1 entire day off to come from Virginia to be
2 involved in an exercise of the Council of
3 Governments in Washington, D.C., and go back,
4 where that can happen more frequently and less
5 painfully because you've got the interactive
6 communications network, the NCRnet, which
7 interconnects these regional fiber optics is able
8 to do.

9 You have fiber optic capability which
10 allows you to have regular backups from facility
11 to facility so that even though you've built a
12 very expensive 911 center or data core network --
13 that that location is mirrored in some other
14 location and potentially mirrored way off outside
15 what we're calling the blast zone here in this
16 area in Washington, D.C.

17 And you have the ability that if
18 something has failed -- if you lose that building
19 and it burns down -- you can recover to another
20 location.

21 And finally, you have the ability that
22 if you have connectivity to places like schools

1 and community centers, which you don't necessarily
2 think of as your emergency locations, those are,
3 in fact, your shelter locations. Those are, in
4 fact, the locations where Mr. Raheem and his
5 people are going to be coming in. And that's
6 going to be the way that those folks ubiquitously
7 are able to connect back on net and have that
8 location not be a little isolated outpost but
9 something that's just as much on the highway as a
10 major location.

11 MR. LANE: Very well. Thank you very
12 much. I turn now to either the audience here, our
13 web folks, or to our government panelists.
14 Please, Mr. Phythyon.

15 MR. PHYTHON: Thanks. I know elsewhere
16 in the FCC's work in developing a National
17 Broadband Plan it's grappling with concepts of
18 network neutrality. And there are probably as
19 many definitions of that as there are people who
20 debate it.

21 But is there a potential conflict
22 between at least some concepts of network

1 neutrality and what we're talking about here? And
2 to some degree I think this alludes to the
3 question from the last audience or from the web,
4 sort of how do you get out of the way of that
5 ambulance? How do you make sure that emergency
6 services are prioritized, in particular in a
7 shared network environment. So I'm just wondering
8 your thoughts about sort of how do we deal with
9 the need for the security that you're talking
10 about -- resiliency, priority services for the
11 responders -- in particular in a mobile
12 environment, but with the broader concepts of
13 network neutrality?

14 MR. SACHS: I guess you're looking at
15 me, right?

16 (Laughter)

17 MR. PHYTHON: I'm looking at anyone.

18 MR. SACHS: I'll go ahead and take the
19 first stab and the rest of you can join in after
20 me.

21 A lot of it really does depend on, as
22 you say, how do you define network neutrality? If

1 it's only the Internet -- of which the networks
2 are much bigger than the Internet -- if it's only
3 limited to the Internet, then many of the things
4 you're describing -- priority service and whatnot
5 -- can be done outside of the context of the
6 Internet.

7 If it's the entire network -- everything
8 from fiber optic to satellite, to microwave and
9 all -- it makes it a very good target for
10 adversaries. As soon as we try and make things
11 flat and neutral and unmanaged and we can't do
12 priorities, we're sitting ducks. So what I would
13 hope we would do in this conversation as we move
14 down -- if we consider the Internet, there's
15 probably a lot we can do there -- where we can
16 have that good conversation about what does it
17 mean to be neutral. When we talk about priority
18 services though, particularly managed services,
19 private networks, wireless, things that are not
20 the Internet, that conversation then does need to
21 lean towards managed services, priority, working
22 with the first responders, figuring out what their

1 needs are.

2 So there's room for both and this is the
3 type of conversation -- we need to have a balanced
4 conversation with the needs of both sides being
5 represented. And I think we can achieve what both
6 sides want.

7 DR. AFFLERBACH: I think I'd like to add
8 to that. Our communities in NATOA have been
9 involved with the BTOP application process and
10 have entered the first round and submitted -- and
11 as you're aware, the BTOP has a requirement for
12 neutrality in the infrastructure that's being
13 built. The local governments want to build these
14 networks and have considered how neutrality would
15 work in each situation. And it really isn't a one
16 size fits all. But in some cases the most robust
17 approach was to basically put in enough fiber
18 optic strands so that when Verizon or when other
19 carriers want to have access to the network, that
20 they're able to access through manholes and meet
21 me points and so forth where they're able to have
22 access but it's on separate fiber optic strands

1 from the critical networks that would be put into
2 place for public safety or what the other
3 providers would have.

4 In other situations where for many
5 reasons the extra fiber strands were not in the
6 offering, the engineering called for using
7 electronic separation using MPLS-based
8 technologies and in using provisioning in a way
9 where once again you had public network space, you
10 had untrusted, and you had trusted networks
11 essentially that were kept electronically separate
12 from the design. So there's no one size fits all
13 but approaching it in a careful approach with
14 people who are experts in cyber security and so
15 forth, we can try our best to build separate
16 spaces for neutrality and for public safety.

17 DR. HOOPER: Yes, I read a very
18 important point here. There are a lot of issues
19 here in my consulting in the last 20 years. It
20 has been interesting to see that companies
21 actually work together when it comes to emergency
22 services. There's obviously competition. For

1 example, IBM has different data centers. AT&T
2 work together in Belgium. There are about 20 data
3 centers. And I found that actually when traffic
4 travels, oftentimes you get to what we call
5 bottleneck areas where we can't really travel
6 without cooperation with other networks. So you
7 get what we call kind of a trusted and untrusted
8 area.

9 Companies are not -- for example, Cisco
10 and other companies and major corporations --
11 (inaudible) willing to give a lot of information
12 without cost effectiveness and operating cost
13 effectiveness. One of the challenges is to ask
14 them is it cost effective to us? Can the
15 government actually pay for those additional fiber
16 optic networks? And are we going to trust them?
17 Are we going to secure them? Who is going to pay
18 for that? In terms of the business side,
19 intensive security and real intelligence, I think
20 it comes to a point of whether or not you trust
21 the administrative people on both sides.

22 I'll give you a scenario. For example,

1 in Belgium or other countries in Europe -- other
2 data centers around, say, (inaudible), et cetera
3 -- you have different networks coming to the same
4 data center. Well, who owns that network?

5 If you want to get one of those networks
6 or let's say a track for emergency services, can
7 we take that and trust that administrative
8 personnel to monitor that effectively to really
9 secure it for us? And after it's finished, what
10 are the issues of securing privacy around that?
11 You know, what kind of data has traveled that
12 network? And can we guarantee that it can
13 actually look at real traffic for us and secure
14 that infrastructure for the future?

15 So, we've got issues of, first of all,
16 neutrality as far as in terms for the public good.
17 Okay, we have competition. We have actually
18 disaster recovery, et cetera.

19 But can you really trust companies and
20 private networks to give you their data and share
21 it in real-time? And are they prepared to handle
22 that because already they have their own burdens

1 of traffic handling and they cannot (inaudible)
2 real- time?

3 So I think what it is is that we have to
4 have a strategic approach where there's a hybrid
5 approach. You have both the companies, their own
6 private networks. You've got dedicated lines that
7 can be open exclusively for traffic. We monitor
8 that very securely because that's where it opens
9 it up for hackers and other student hackers to
10 find out about that. And then we also do a shared
11 approach whereby you kind of compensate them when
12 you use their networks for emergency services.
13 And on those traffic -- or let's say three
14 parallels, you have to really train people. Make
15 sure you know their identity, the integrity. Make
16 sure you know the private side is not compromised
17 by hackers -- let's say (inaudible) interest
18 personnel. By the way, about 75 percent of real
19 breaches come from internal -- the person who
20 leaves and goes somewhere else has a grudge or et
21 cetera. So you have to really look at integrity
22 issues, whether or not they're interested in

1 common good as a whole, and study that kind of
2 data and see how you can really adapt it over
3 years. It's kind of something that adapts as you
4 go along overall.

5 MR. LANE: Any other comments on the
6 question? The questions are coming in fast and
7 furious.

8 Keep them coming. Thank you. Let me
9 move to another one from a member of our audience
10 here and I'll go ahead and read it as well as
11 paraphrase a related question.

12 The cyber security issues are real and a
13 serious threat. They would imply that public
14 safety agencies should build their own independent
15 broadband, both wired and wireless networks,
16 rather than use the public networks or commercial
17 networks. This leads to the question that the
18 public safety community and the military share and
19 that is one of assured communications, which is
20 critical, obviously, for our first responder
21 community. But it leads to the question of
22 separate networks or the related question from a

1 cyber security perspective, can our public safety
2 networks be secured or must we rely on independent
3 networks?

4 DR. AFFLERBACH: I would say that
5 nothing can be perfect. I mean, as quickly as we
6 can come up with security mechanisms to protect,
7 the bad guys can essentially come up with
8 something that they would get us. But I think
9 that of necessity, just because of cost
10 effectiveness, there has to be some kind of a
11 balance between what is done by the government and
12 what is done by the private sector. In any case,
13 the government, even if it is a full government
14 implementation, is going to be bringing in
15 contractors to do the work. So that's still a
16 public-private partnership of a sort.

17 So I guess what has to be developed, I
18 would say, are standards of what constitutes
19 acceptable risk. We have to have best practices
20 as far as the physical security of the electronic
21 security. We have to have the best minds and
22 efforts as far as proactively being in front of

1 the threats.

2 But, again, I think it's case by case,
3 network by network -- what is acceptable and what
4 practices we put in place. And that's what
5 determines in each part of the network what
6 balance to strike between the public safety only
7 and the network provided by the private sector.

8 DR. HOOPER: I think this is a very,
9 very good question, perhaps at the center of this
10 whole cyber security infrastructure effort by the
11 White House. Incidentally, it's interesting to
12 see Melissa and others do the research and also
13 have the speech by Obama on the topic.

14 I'll say that historically what's
15 happened so far is that the hackers will get in
16 from around the world, whether China, Russia, et
17 cetera. They're not really coming necessarily
18 through the military networks, et cetera; they
19 come basically from the private networks that the
20 military and the other intelligence agencies
21 cannot really help the private regulations and
22 privacy issues prevent them from monitoring those

1 networks. So those hackers are very astute about
2 this. Much of the traffic I've been capturing
3 across different global networks (inaudible) to
4 the United States in and out really comes through
5 what we call the -- kind of what we call benign in
6 terms of the private industry sector but
7 interfaces with the contractors and agencies that
8 work for the intelligence community, et cetera.

9 So what is happening is that basically
10 you face a couple of challenges. One, should you
11 continue the public- private partnership and bring
12 contractors to do the work? Because it's cost
13 effective and also it's too expensive for the
14 government to manage building their own design and
15 become an industry of itself. However, there are
16 ways to address this. One is basically there
17 might have to be a regulation passed. I'm really
18 challenging this because the hackers can come
19 through private networks into the intelligence
20 networks.

21 Let's look at an interface between those
22 two. How do we really allow contractors of

1 private networks to come in and actually do work
2 for the government and yet be kind of a back door
3 for hackers? Or should we legislate or bring kind
4 of an experimental approach where we have a
5 dedicated network that's completely separate from
6 private networks. And actually, monitor that and
7 look at its cost effectiveness over time because
8 the reality is that, you know, the 21st century
9 for the United States' security is not just local
10 security but actually intelligence gathering of
11 the capability of the United States of
12 counterintelligence--what enemies know about your
13 capability and your limitations.

14 So, the answer is both. You really have
15 to bring in sort of a dedicated analysis and
16 research into what is the capability of the
17 dedicated network when you actually build a
18 separate network? And how can you protect that
19 from private, let's say, loopholes or backdoors?
20 No matter what you do there will be a backdoor.
21 There's no doubt about that. You can't be
22 completely exclusive. There's some kind of

1 interface. However, you can actually secure that
2 interface by monitoring the traffic in real-time.
3 Don't talk about unintelligent (inaudible), you
4 can't look at it with your naked eye. You've got
5 to really look at it in terms of intelligent
6 (inaudible) attributes, what we call intelligent
7 events.

8 And that's what we like today. We don't
9 really have a very good monitoring system. The
10 looks at what's happening in real-time. So most
11 of the things that happen, you don't actually see
12 them. They're not logged at all. There's no log
13 sessions at all so you can't even see them. So we
14 have to really be astute to build intelligent and
15 secure systems that can actually adapt and have
16 algorithms and methods. Many products are
17 actually doing this but unfortunately didn't have
18 intelligent algorithms. And we need to log that
19 and then reevaluate the performance and actually
20 bring about a system that can be resilient for the
21 next 50 years.

22 MR. RAHEEM: I would say to a great

1 extent, at least from what we see, that genie is
2 already out of the bottle. I mean, we think the
3 network is private. We build the repeater site
4 and I call Verizon, for example, and say give me a
5 circuit switch thing. But more and more, the
6 networks -- we're not watching what the black
7 magic is that's happening behind the scenes.
8 That's no longer an actual circuit. That circuit
9 terminates in some sort of gizmo that gives us
10 packets out the back end and it goes in a larger
11 network.

12 And we feel that it's private because we
13 plug into a plug on a wall, but that doesn't apply
14 anymore. And I think for your economies of scale
15 and LTE and all these technology we hear about, it
16 doesn't apply anymore. So how do we ensure our
17 networks are safe and interoperable? Because it's
18 very easy to go into the sort of mode of I want my
19 Op Center to only talk to your Op Center and go
20 across a wire. But it doesn't work anymore and I
21 think it's one of these challenges that how we
22 face that is really the challenge, not keeping it

1 all quiet and private. It doesn't apply.

2 MR. SACHS: The question tees up about
3 six answers, so let me just be brief with them
4 because I can spend an hour talking about each one
5 of them.

6 First off, the networks are not just the
7 physical world; they're not just the virtual
8 world; it's not just applications; it's not just
9 protocols. It's a little bit of everything,
10 including people. The private sector and the
11 public sector depend on other infrastructures
12 together, like highway systems. They are
13 virtually no highways that are only to be used by
14 ambulances and police cars and the people can't
15 use it otherwise. We share that infrastructure.

16 The electric grid is the same way.
17 There's very little of the power grid that's
18 uniquely just for first responders. It's a shared
19 infrastructure.

20 Coms works the same way. The physical
21 side of it -- the fibers, microwaves, wireless and
22 others -- are a shared infrastructure. Could we

1 build a private one completely that's just for
2 government use? Of course. But at what cost?
3 And who maintains it? And who engineers it? And
4 who does those long term things? This is where we
5 get a lot of cost savings by using the
6 private-owned or the commercial networks and then
7 we provision from there. So the private circuit
8 you're talking about--it used to be in the good
9 old days you could order up a T1. You could have
10 an actual--no kidding--you could walk that piece
11 of copper all the way through and it really did
12 connect to the other side.

13 Today it's really the cloud. You order
14 up your T1 and it's a piece of copper up to a
15 demark point, hits a switch, and then it just
16 becomes cloud after that. It still works the same
17 way and the customer on the end doesn't know much
18 different. But our adversaries don't really care
19 whether we separate this out into private
20 networks. They don't care if we bring it together
21 into a cloud. Adversaries work for us. A point
22 was made about the insiders. Adversaries are on

1 the outside. There is a problem the DOD faced a
2 number of years ago with the assumption that their
3 private networks -- since we mentioned DOD a
4 moment ago -- were much more secure and much more
5 resilient because they weren't connected to the
6 open broad internet. They found out the hard way
7 that's not the case. That now where an evil
8 spreads on those private networks actually better
9 than it spreads on the public Internet because
10 there's far less security. The mindset is not
11 there. We don't have all the circuit breakers in
12 place.

13 So don't fall in the trap. This mindset
14 that says if we can just build private separate
15 networks then all will be safe. What you might
16 wind up building is a private separate network
17 that truly becomes a soft underbelly. That
18 becomes the Achilles heel. That's what fails.
19 And then there's no fail over to the commercial
20 side, no quick way we can move over to a network
21 that is more adaptive, is more resilient, better
22 managed.

1 So we've got to work together. This is
2 a conversation we all need to have in terms of
3 costs and in terms of resiliency. But the idea of
4 building physically separate networks, I think we
5 learned that lesson years ago.

6 That's probably not cost effective and
7 certainly would introduce even more security
8 problems than the ones it would solve.

9 MR. SOUDER: Last week I was at a
10 conference of APCO in Las Vegas, and again this
11 year as it had been for the previous two years,
12 both formally and informally, one of the hottest
13 buttons talked about was this very issue. Not so
14 much on the cyber security dimension of it but the
15 more core issue of in today's world do you own
16 your own and maintain your own or basically do you
17 ride on someone else's network.

18 There is no easy answer to it but
19 certain I think the points that Andrew made at the
20 outset are very, very appropriate. If you went
21 the traditional route of building your own, could
22 you really afford to maintain it? Are you going

1 to be able to maintain the personnel base to
2 maintain it in today's world? Are you going to
3 have access to the latest technology the way the
4 private carriers would have? These are questions
5 that really have to be honestly weighed.

6 Certainly, our telephone system is a
7 prime example. Very few communities own their own
8 telephone system. Look at the water supply
9 system, you know. And I could go on and on and
10 on.

11 But clearly I think what public safety
12 has to develop a high level of comfort with is
13 that if they do go the public route -- if they do
14 subscribe, if you will -- that they are absolutely
15 assured that they have the security: Physical
16 security, technological security, latest
17 technology that they would hope to have if they
18 could afford to do it themselves. So the
19 challenge really is to you guys, if you will, and
20 your respective companies to provide to us guys,
21 if you will, scattered around here, what we really
22 need to give us the high level of comfort to look

1 at things differently in the future than we have
2 in the past.

3 DR. HOOPER: I think I would like to add
4 one quick point about this. This is a very
5 excellent point. It's not so much the networks
6 but actually the technology. And I think this is
7 where there's often a challenge between commercial
8 research and academic research. Sometimes
9 academic is way ahead of commercial, and
10 commercial (inaudible) business, ([inaudible]), and
11 the government provides the financial means for
12 the commercial to continue.

13 But I think a very good point is that
14 the government is relying on intelligence -- let's
15 say intellectual and very much improvising --
16 improved performance from the technology that's
17 available currently. Unfortunately, hackers are
18 our arch-enemies (inaudible) vulnerabilities in
19 the technologies themselves. So you have a
20 dependency from the military on the commercial or
21 let's say the industry. And the industry is
22 actually looking at a commercial way of benefit

1 from the research. Is it valuable to them? Can
2 they benefit? Can the companies actually pay for
3 it?

4 So you have to really have a kind of
5 partnership between the research that is funded by
6 the government but also by the industry so that
7 both are actually benefiting from this. Because
8 without those two in parallel, basically industry
9 is very much behind what the challenges of
10 today's, you know, security issues are concerned.
11 So, for example, the United States is actually
12 behind Russia and China in terms of astute
13 manipulation of technology. You can have a
14 standard policy technology but you need a kind of
15 way of looking at how to--the vulnerabilities of
16 those technologies and the capabilities because
17 that's what a government depends upon. And if you
18 do that you can actually look at how to improve
19 products -- applications, security, IPS,
20 intelligence -- let's say emerging systems.
21 Unfortunately, what we have today is not very
22 adaptable to emerging challenges we face with 21st

1 century data traffic and high-speed data and a
2 high obligation of data in real-time.

3 MR. LANE: Very interesting comments.
4 Thank you very much.

5 Prior to coming back to our colleagues
6 from the government side of the house, there is
7 one question from the audience that I'd like to
8 entertain at this time. And it really takes us in
9 a little bit different direction with regard to
10 broadband applications. Please.

11 MS. CLARY: Good afternoon. I'm with
12 the Minority, Media, and Telecom Council. And I'd
13 like to tack on to the conversation earlier about
14 Hurricane Katrina and ask that the panel please
15 advise the Commission that one of our emergency
16 communications needs cannot be met by broadband
17 alone.

18 During Hurricane Katrina, the electric
19 grid and cellular towers were down, and for the
20 many people who were on roofs of their home
21 because of the rising water, terrestrial radio was
22 the most useful technology to them because some of

1 the stations were still in service and most people
2 had access to battery-powered receivers. However,
3 during Katrina the only Spanish language station
4 serving over 100,000 people who had no English
5 fluency was knocked off the air and the English
6 language stations did not provide emergency alerts
7 in Spanish. As a result, MMTC filed a
8 multilingual radio proposal with the Commission,
9 and the next year the Commission's Katrina
10 Advisory Committee unanimously recommended prompt
11 action on our proposal. However, now four
12 hurricane seasons later the Commission has still
13 failed to act.

14 Could the panel please advise the
15 Commission that it should not rely solely on
16 broadband to solve the problem of multilingual
17 emergency communications, and therefore, the
18 Commission ought to focus on other technologies,
19 particularly radio, to ensure that all persons,
20 including those not fluent in English, have access
21 to life-saving information before, during, and
22 after an emergency.

1 MR. LANE: I'd like to, prior to
2 presenting the question to the panel, expand that
3 slightly to go beyond just the multilingual
4 requirements of citizens but also to the disabled
5 community. So how can broadband support those
6 requirements as they may come up in an emergency
7 or a disaster situation?

8 DR. AFFLERBACH: I think actually
9 circling back to the question, I would say that
10 the broadband has a role but we have to remember
11 that we only have what we have when we're running
12 out of a Katrina-type situation and we're in
13 vehicles and we may have power for only so long
14 and we may only have the radio and we may have
15 language issues and disabilities and so forth. So
16 what's happening with a number of communities --
17 Arlington County I'll put up as an example -- is
18 going back to some of the old ways of
19 communication. An AM radio station where there
20 are signs on all the major corridors that in an
21 emergency information will be there in English and
22 in Spanish for how to get out.

1 The other thing that Arlington has done
2 recently is put up air raid sirens and speakers
3 and so forth to get the word out about evacuation;
4 to get the word out about where there's water or
5 ice or things like that available; and then, of
6 course, other techniques that -- broadband enables
7 this to some people. You can have alerts going
8 out -- text messages, e-mails, and so forth to
9 some -- but I think that what I see happening is
10 some of the communities that are doing, I guess,
11 local homeland security -- groups where people are
12 looking out for their neighbors -- those
13 individuals maybe are the higher tech and get that
14 information and look out for others who may not be
15 adept in that area or may need the help and then
16 go and knock on doors and so forth once they get
17 their roam secure text message or whatever
18 broadband is used to help get to them and then
19 they pass the word on using low tech.

20 So, again, I agree. Broadband doesn't
21 bring the full thing to the table but can do an
22 ancillary role.

1 DR. HOOPER: Yes. I think these are
2 very good questions. I will say that actually
3 picking up from disabled, but also different
4 languages as this country is very much
5 multinational. Different languages, translation,
6 et cetera.

7 I think it could actually do a lot of
8 things here in terms of not just the high-speed
9 broadband but looking at it in terms of what is
10 available right now and maybe dedicate actual
11 certain frequencies for specific messages and test
12 those. Different languages. Look at different
13 areas of the United States and find out where the
14 population doesn't have a high representation of
15 local dialects. There could be different
16 languages in different areas such as, for example,
17 in Chicago there are different types of people.
18 If you go to Los Angeles or California you see
19 different than Massachusetts.

20 However, sometimes people learn second
21 languages. You know, adopt. So you can kind of
22 look at what kind of languages--you can actually

1 get a certain feel for second frequencies in radio
2 transmissions. Dedicate that to, let's say,
3 messages in the case of an impending potential
4 disaster.

5 Another one is look at disabled, for
6 example. People cannot get out of the room, for
7 example. What would you do besides broadband?
8 Well, again, radio and perhaps training people,
9 having visitations from different social workers,
10 for example, visit homes and train them how to use
11 other frequencies, radio channels. Or perhaps
12 dedicate that to specific usage in different
13 communities. And in this case you actually
14 provide for them what available frequencies
15 already exist in terms of radio transmission,
16 dedicated messages, et cetera, and train them how
17 to use that. That would make it possible for them
18 to adapt and maybe do some drills and visit the
19 homes and see how they're actually doing. That
20 costs money, by the way so you have to kind of
21 work with local and state and federal agencies and
22 see whether or not you can actually budget

1 something and make it possible for them to really,
2 you know, practice in real-time.

3 DR. AFFLERBACH: One comment I'd like to
4 throw just to add to what I had said before. The
5 way that the FCC can help in this instance is that
6 Arlington County and Howard County, as well, were
7 able to obtain waivers to operate on the Travelers
8 Advisory Radio spectrum that is usually reserved
9 for much lower power. And they were able to go to
10 higher power to cover their service area. So
11 that's an instance of how FCC can help in this
12 instance.

13 MR. LANE: How about from Health and
14 Human Services?

15 MR. RAHEEM: What I would say what we
16 all found from disaster response is don't let
17 perfect be the enemy of the good. I think
18 broadband is something we all want because it
19 brings a lot of very rich things to the table, but
20 the more--and I'm sure hopefully Steve would
21 resonate with this-- but the more tools we have on
22 the belt the merrier. I mean, yes, we need

1 broadband but we need LMR. Maybe leaflets are
2 good. Maybe the cop car with the PA system on
3 driving down the street will work. I mean, the
4 more things we can bring to bear on any of these
5 scenarios, the better the outcomes are. And I
6 think it's no one technology. Obviously, this is
7 broadband. Its discussion is relevant to
8 everything but the more we have to do with stuff
9 the better we can prepare.

10 MR. SACHS: Just a brief policy answer,
11 something to think about is broadband, in time of
12 an emergency, it might actually be more effective
13 for people who are not in the emergency area. In
14 other words, during Katrina you've got millions of
15 people who want to know what's going on. They're
16 dialing their friends. They're flooding the phone
17 lines. And so we have a collapse of inbound
18 calls. Broadband can allow us to put the message
19 out so people that are outside the affected area
20 get real-time, up-to-date. We know what's going
21 on so they're not calling their loved ones to find
22 out what's happening.

1 In an affected area, if broadband is
2 beginning to fail, if we're having cuts and things
3 and towers have collapsed, if broadcast AM/FM is
4 working, as all of you know, over on the FM side
5 we've got digital subcarriers. If you've got a
6 fairly late model car your radio inside tells you
7 what you're listening to. I mean, there's a
8 digital signal coming through there. There's
9 nothing in the world that says that can't be
10 multilingual. There's nothing that says we can't
11 find -- you all see these little first responder
12 radios that you can crank up and you can use
13 during a storm. Put a little LCD display on it so
14 it can also display text in multiple languages
15 that could be broadcast over FM.

16 So that's a very inexpensive low
17 bandwidth kind of solution for people in the
18 affected areas. But maximize broadband outside
19 the affected area to get the word out, to let
20 other people know what's going on so they're not
21 flooding the networks or even trying to physically
22 go there when they're not needed.

1 MR. SOUDER: Building On Murad's comment
2 and the original question, he used the word tool
3 belt. I was going to use the word toolbox but
4 we're both talking the same thing. Public safety
5 communications is a set of tools, ever expanding,
6 if you will. Rarely do we drop anything. We
7 always add to it and that's the way it should be.

8 But at the same time, voice recognition
9 technology today is on the brink of a huge
10 breakthrough. And I've heard that Google is about
11 to do something that is just going to be
12 revolutionary. I'm not quite sure what that is
13 but it's going to provide an opportunity in our
14 increasingly diverse country for ourselves to use
15 the existing technology but to apply it in a set
16 of text and words and languages never before
17 realized. So it's very exciting and it's a very
18 good point that was made from the floor.

19 Thank you.

20 MR. SACHS: Let me add to Steve's
21 comments. There are translators now in Iraq that
22 the military is using -- have you got one?

1 MR. SOUDER: Yeah.

2 MR. SACHS: So those are fascinating
3 devices. You just hold it up, you speak to it in
4 a foreign language, it translates back into
5 English and vice versa. Those are wonderful
6 pieces of equipment. What we need to do now is
7 take that military technology, bring it back home,
8 and deploy it at the local level so you have it
9 ready to go. Obviously, it's not going to speak
10 Iraqi necessarily. It would be probably Spanish
11 to English and French and German, but those
12 technologies exist. And then take the next leap,
13 make them wireless so that you can then
14 communicate at a broader level besides just
15 one-on-one.

16 MR. SOUDER: And not to digress from
17 broadband, but I would estimate that in our
18 lifetime 911 calls today that are received every
19 day across the country from as many as 100
20 different languages and to which we always reach
21 out for an interpretation service to provide that
22 third party interface to give us the

1 interpretation of what we're hearing, there will
2 be a day in our lifetime when voice recognition
3 technology will take that spoken voice, translate
4 it into English, if you will, and then take the
5 English speaking call taker's voice and translate
6 it back to the language that was spoken. It will
7 happen.

8 MR. SACHS: Oh, yeah.

9 DR. HOOPER: Yes. Actually, there is
10 some technologies, technologies that actually
11 developed in the last 10 years where you actually
12 can take approximately about 200 languages and you
13 have adapted language software that actually
14 writes and interprets back to you. This actually
15 began about 20 years ago. I worked on a project
16 like this. But there are more advanced features
17 that you can actually now in a very, very short
18 time take a message and just translate it using
19 software and voice and pattern recognition and
20 very quickly change that into an interpretable
21 language.

22 So much of the messages now are actually

1 machines talking to you.

2 You can also get messages over wireless.
3 Today if you have a wireless network you can just
4 connect. You don't have to actually use a
5 telephone so it's actually much cheaper that way.
6 So there are a lot of ways to use that and change
7 that into a kind of a multi-pattern changes in
8 real- time.

9 MR. LANE: Let me exercise moderator
10 privilege here and turn to my colleagues in the
11 Commission and government if they have any
12 questions.

13 Charlie, please.

14 MR. HOFFMAN: Thank you. Deep in the
15 bowels of FEMA, down in the apocalyptic planning
16 section in the basement, we have to think worst
17 case scenarios on our planning. One issue that
18 we're struggling with now is this little fault
19 that runs from Missouri up to Indiana called the
20 New Madrid. This -- if this should happen -- we
21 can't get the geologists to tell us whether it's a
22 200-year event or a 500- year event. We're hoping

1 it's a 500-year event, but if it's a 200-year
2 event, it happened in 1811.

3 So this, if it happens, could
4 essentially, communications-wise, separate the
5 East and the western portion of the United States
6 -- not to mention disrupt our lines of
7 transportation, shipping, whatever -- because the
8 last one that happened caused the Mississippi
9 River to flow north for three days. Okay?

10 My question is should the National
11 Broadband Plan address something this drastic? Is
12 it something that as far as built in redundancy
13 and reliability on our broadband networks --
14 because should a disaster of this magnitude happen
15 -- I mean, we're having a hard time getting our
16 hands around planning for a disaster this huge.
17 It would totally and very easily could consume our
18 capabilities within FEMA to provide reliable
19 communications across the chasm that could be
20 created by this disaster.

21 So this is something I'd like to present
22 to the Board. Is this something -- the panel, is

1 this something that we need to be looking at very
2 seriously on the commercial side as far as how far
3 does our redundancy go? How far does our
4 reliability need to go? Or is this something that
5 it's probably not going to happen for another 300
6 years?

7 DR. AFFLERBACH: I guess I'd say the
8 good news is that this might be one of the easier
9 problems to solve of the many that would be out
10 there after such an event. One of advantages of
11 the fiber optic communication -- and there are
12 many other technologies that are available -- is
13 that any one cable is going to be able to carry
14 the capacity of everything that you had there
15 before. So, if we have an architecture that's
16 significantly mesh-like with respect to roots,
17 with respect to different carriers, with respect
18 to maybe failover to going the other way around
19 the world or whatever have you -- that's, I think,
20 something that you'd want to put on your list of
21 capabilities for any survivable network that had
22 to absolutely be up, as well as public network

1 being able to somehow make do and make it continue
2 in that situation.

3 And the other things that could happen
4 -- massive cyber attacks, loss of key facilities
5 that would require the same sort of capability
6 (inaudible) -- but like I said, the good news, you
7 know, compared to the multitude of other horrific
8 things that will have to be taken care of is that
9 there are a number of technical solutions that
10 could make us whole in that situation.

11 DR. HOOPER: Yes. I'd like to say
12 actually it's a very good question and I think we
13 can expand that question further. That's the
14 major thing. Oftentimes, we need a major disaster
15 for funding to come in or new policy to be
16 changed, and that's actually what has happened in
17 the past.

18 But this is quite a challenge. I think
19 one thing to consider is historically the United
20 States infrastructure was developed over different
21 rivers, different parts. It was not really
22 designed with a long-term future in mind. It was

1 kind of a short-term need and then it was added on
2 incrementally. You had a huge infrastructure that
3 had inter- connective (inaudible). So if you go
4 to historically the eastern part of the United
5 States you see a lot of that pattern (inaudible).
6 For example, in New England, et cetera.

7 If you go to the West Coast and the
8 Midwest, it's expansion is much more planning
9 ahead.

10 So I think all we need to do is have a
11 strategic planning -- kind of a study, if you like
12 -- of what are the infrastructures that exist
13 today. For example, the one they have in
14 Minnesota, they didn't plan and look at how did
15 these bridges get built. You know, in New England
16 there are many bridges like this. And what would
17 you do if a disaster took place? We need kind of
18 a proposal that Congress will fund this and say,
19 look, let's study the history of United States'
20 infrastructures. Why were they built? What were
21 the purposes of these functions? What are the
22 capabilities of the time? What are the changes

1 over those periods--in the last five or 10 years?
2 What are the geographical layouts and the physical
3 locations? And what are the seismographic
4 analysis? All kinds of satellite imagines are
5 telling us where the weaknesses are, what are the
6 points. And what is the age of these
7 infrastructures? What are their limits? Because,
8 really, frankly, most of these infrastructures
9 actually have not been maintained even every two
10 or three years. They just wait until an inspector
11 goes by and there's a lot of poor inspection, as a
12 matter of fact and data is not gathered in
13 real-time in terms of thresholds and limitations.

14 So we need to do really an historical
15 and incremental study and look at different kinds
16 of risks associated with those vulnerabilities
17 and, let's say, weaknesses and different points
18 there. And actually come up with a budget and say
19 let's begin to plan ahead and actually fix -- for
20 example, disaster recovery and resilience, you
21 know, different kinds of places where you can
22 actually put different backups, et cetera, and

1 recover back in real-time.

2 Those are actually not in place in many
3 places because there's not--there's no funding and
4 also there's a lot of, let's say, overload. So
5 you can't really use that for disaster recovery.
6 Many of them are not working -- actually,
7 operating effectively.

8 So when we get into Smart grade and
9 other kinds of add-ons, we get into a reality that
10 actually we are way behind time. So we have to
11 update our critical infrastructures, prepare for
12 just the kind of functionality that it was
13 prepared for, then look at, let's say, the cyber
14 security issues which is way beyond that.
15 However, the interface between the old and the new
16 technologies are coming up pretty fast. So before
17 we add on to those, we need to go back and
18 actually improve the existing ones. These are new
19 locations. We put infrastructures for really
20 backups and recovery and then we can say, okay,
21 now if this disaster one takes place, this is
22 backup one and it's going to take care of that, et

1 cetera. An increment. All that kind of plan and
2 then say, okay, we're ready to test it. You know,
3 not to plan an emergency; we'll actually test some
4 scenario-type events and then come up with the
5 results analysis and go back and improve on it.
6 Because you can never predict the magnitude of the
7 impact but you can kind of prepare ahead of time
8 for different kinds of scenarios.

9 MR. RAHEEM: I would say that, at least
10 from HHS's point of view, the planning for the
11 truly catastrophic is often useful. I mean, four
12 years ago we were doing pandemic and no one was
13 talking about that and we had to drag people
14 kicking and screaming to the table. Things like
15 New Madrid, which we've certainly been talking
16 with you guys about, sometimes helps us not fight
17 the scenario. If we're talking about a small
18 event, if we're talking about a localized event,
19 it's very easy that folks get very lost in some of
20 the absolute nuances of, well, yes, but this
21 street is working; this is not. Sometimes, like
22 in New Madrid, if we're all speaking about it, at

1 least from our point of view, it allows ideas to
2 be discussed which may not otherwise be discussed.
3 And again, you know, when pan flu was avian flu
4 people said, yeah, that's nice but it's happening
5 over there. Now that's sort of changing so I
6 would say we should keep focusing on it.

7 MR. SACHS: I concur with Andrew's
8 comments. And in fact, I would probably feel at
9 home in your evil basement helping you think of
10 evil things.

11 It is really good -- we have built a
12 very robust mesh-style network, so if you have a
13 shift north-south ala Madrid or you have a shift
14 east-west, we've got connectivity that can route
15 around that. And the point of having gone to
16 fiber and glass is the capacity is just enormous.

17 I think your biggest concern there will
18 be trying to fight those who feel that all the
19 fiber optic cables must cross underneath the very
20 same bridge. The only bridge that fell down
21 across the Mississippi River shouldn't have even
22 fell down. That used to be the case, that there

1 were limited crossings. The same thing through
2 the Rocky Mountains. We had definite choke
3 points. That's long been engineered out.

4 So now if we do have even something as
5 severe as a multiple state earthquake -- and even
6 in California, we haven't seen ones that go
7 across, you know, multiple state lines -- but
8 should that happen, the Coms infrastructure is
9 built where we can route around that pretty
10 quickly. You will certainly have local outages.
11 That's to be dealt with on a different scale. But
12 separating East and West United States, I don't
13 think that's an issue the way we are currently
14 engineered.

15 And we do get to test this routinely in
16 the Pacific when we have undersea slides. There
17 are cable cuts that are routinely happening.
18 There are local outages but we don't separate the
19 planet into two halves. And so fortunately, we
20 can learn from those episodes and that gets us
21 more resilient towards the type of things you're
22 planning for.

1 And God bless you for planning for it.
2 That's one heck of a scenario.

3 MR. SOUDER: And I'll wrap it up by
4 saying in the 9-11 world there's something called
5 vicarious liability. And what that translates to
6 is if you know something is going to happen you
7 better prepare for it. Well, you've introduced us
8 to something that could happen that I didn't know
9 about until this morning. But I like Andrew's
10 solution to it. So consider it a done deal.

11 MR. LANE: We're rapidly approaching our
12 closure time, so I'd like to pose -- and I have a
13 number of questions still on my table and perhaps
14 in others' minds as well -- so in the next few
15 minutes if we could just do a short blast of
16 single questions and maybe to a single responder
17 we can approach a couple of questions.

18 First, any from my government
19 colleagues?

20 MR. PEHA: We've heard a number of scary
21 things in terms of cyber security threats. I'd
22 like to ask a little about responses. Particular

1 -- Dr. Hooper, for example, has many calls for
2 more research funding as a way to deal with this.
3 I mean, we do have research funding. Are you
4 suggesting different levels of funding? Different
5 topics of funding? Different forms?

6 And quickly to Mr. Sachs, who talked
7 about industry responsibility is to analyze and
8 help mitigate security breaches, I'm sure, you
9 know, Verizon is doing its best within its
10 network, as are the others, but this is a network
11 of networks. Are there other things we can be
12 doing across networks that maybe we ought to be
13 worrying about?

14 MR. SACHS: Yeah. I'll just briefly
15 answer you since I know we are running out of
16 time.

17 We've got a pretty robust response team
18 that works not just inside our networks with our
19 customers but even with other customers. Law
20 enforcement will come and ask us because they're
21 fairly well known. What they've developed is a
22 very good body of knowledge about what causes

1 attacks, why people get broken into. They're
2 building a framework of analysis so that when
3 others do investigations they can follow that same
4 type of framework.

5 This is a new mindset now that says that
6 security is something we deal with. People will
7 break in. One hundred percent is not there. So
8 can we at least set up some type of framework
9 where we continue to learn, we continue to
10 improve? And it doesn't matter where the event
11 happens. We're all doing it the same way in terms
12 of providing rich information back for future
13 improvements. And I think we're making a lot of
14 headway there. And this is good. This is
15 something not just Verizon but others are doing as
16 well, and a lot of crosstalk in terms of lessons
17 learned because we recognize the seriousness of
18 this and the criticality of our nation's future on
19 making these digital infrastructures work and work
20 securely.

21 MR. LANE: Dr. Hooper?

22 DR. HOOPER: Yes. Actually, what we

1 need to do actually is not just pour more money
2 into research but actually do the right kind of
3 research. Twenty-first century intelligence is
4 way beyond the 20th century because actually we're
5 adding to problems we have never solved in the
6 20th century. And there are new technologies
7 coming up in the 21st century we haven't actually
8 studied enough about.

9 So what we need to do is actually study
10 about what the high-speed environment capability
11 is going to provide for us in terms of both
12 functionality and also in terms of the challenges
13 of, let's say, intelligence and
14 counterintelligence. What I mean by that is
15 basically what do our adversaries actually know
16 about the products that we're developing right now
17 and what is the capability in the future? Because
18 frankly speaking, the 21st century, if you look at
19 what has happened right now, there's not much
20 study at all. Much of the products we have -- I'm
21 talking about networks and other kinds of systems
22 -- the log-in systems that we have today cannot

1 look at traffic, high-speed traffic in real-time.

2 Most of the IPS, IDS, and intrusion
3 prevention response systems, there's so much
4 metadata transfer across data centers all over the
5 world and to the United States in an hour.
6 Nobody's looking at them. Okay? They're looking
7 at it and looking at the wrong data, or in fact,
8 the student hackers are really happy about a lot
9 of data. Why? Because they can hide there and
10 there's no trace. There's no traceability.
11 There's no log. A lot of algorithms and data
12 projects at MIT and other schools, they're not
13 looking at real-time traffic that is happening
14 (inaudible). I've been logging this, in fact, for
15 24 hours in the last several weeks. And I've seen
16 traffic that is incredible. The people -- I say,
17 look, here's one right here. It's gone in five
18 seconds. You know, so we need to study what is
19 really happening in real-time that has actually
20 been silent in the last few years.

21 So, the right kind of research is what
22 we need to fund. And we need to really look

1 forward to the 21st century intelligence gathering
2 and what is called adaptable algorithms in
3 real-time so you can actually capture student
4 hackers and respond to them in real-time. And
5 that's what we need to fund so we can be ready
6 for, you know, the 21st century 50 years from now,
7 God willing, or 100 years from now. You know, not
8 try to be behind all the time as we've been in the
9 last 20th century.

10 MR. LANE: Are there any very quick
11 questions from the audience?

12 MR. GOJANOVICH: My name is Bob
13 Gojanovich. I'm with RCC Consultants.

14 Just to bring the focus back to the
15 consumer public side of broadband for a moment and
16 leave the major earthquakes and disasters aside
17 for a second, we heard this morning about -- and
18 Steve Souder can back this up, too -- on average,
19 about half the calls showing up in 9-11 centers
20 today are wireless. Some of those calls show up
21 with a pretty good location; some of them show up
22 with a pretty bad location; some of them show up

1 with no location. It's not a perfect science yet.
2 Add to that text messaging that is so popular
3 among young people; that people want to use for
4 dialing 9-11; it's not real-time; there's no
5 location with it.

6 Twitter, WiFi networks, WiMAX. There
7 are no requirements from the FCC for location
8 capabilities on WiFi and WiMAX networks. And as
9 these things proliferate and people have more and
10 more access to broadband, there's more and more
11 devices and more new methods that pop up every day
12 of how, you know, that give you the capability to
13 report an emergency, get into the public safety
14 information system. And more needs to be done.
15 What more can the FCC do to require location? And
16 how can we get better location information to the
17 growing percentage of calls going into 9-11
18 centers that come in without it?

19 MR. LANE: Very quickly, Steve, would
20 you address that one?

21 MR. SOUDER: It is a problem. And
22 focusing on texting, if you will, in today's

1 generation -- and probably that includes many of
2 you -- I mean, it is the preferred way of
3 communicating. And the expectation is by those
4 texters that they're going to be able to
5 communicate with 9-11. Well, I mean, aside from
6 the location issue which is a huge issue, you
7 know, it's the ability to kind of interrogate and
8 hear the background sound and all of that stuff
9 that makes for an effective way of processing a
10 9-11 call. So it is a very, very large issue.

11 In our urban area, I don't think we have
12 as much of a location issue, regardless of the
13 device used, that might prevail elsewhere in the
14 nation, but we have our pockets. And many times
15 it does pose a real challenge for us because we
16 have a lot of transients. And if they're in one
17 of those pockets and they don't know where they
18 are, we don't know where they are. It's kind of a
19 throwback to where we began with wireless 27 years
20 ago. So very good point, Bob.

21 MR. LANE: Unfortunately, we've come up
22 to the time that we had planned to stop this

1 particular panel. First and foremost, I'd like to
2 apologize for the number of questions from our
3 webinar participants that unfortunately we didn't
4 get to. I apologize to those of you here that we
5 didn't get to any of your questions. I'm sure our
6 panelists will be happy to entertain your
7 questions afterwards.

8 But at this time I'd like to extend my
9 personal-- and please join me in thanking our
10 panelists for their participation today.

11 At this point in our program I'll return
12 the master of ceremonies charge back to Jennifer
13 Manner.

14 MS. MANNER: Thank you so much. And I
15 just wanted to say in closing, thank you very much
16 to all of our panelists and our government
17 participants today. And, of course, to the
18 audience, both here in D.C. and on the web. The
19 presentations and the transcript from today's
20 session will be posted on the website if you're
21 interested.

22 And so with that I'm going to close this

1 session.

2 (Whereupon, the PROCEEDINGS were
3 adjourned.)

4 * * * * *

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

1 CERTIFICATE OF NOTARY PUBLIC

2 I, Carleton J. Anderson, III do hereby
3 certify that the forgoing electronic file when
4 originally transmitted was reduced to text at my
5 direction; that said transcript is a true record
6 of the proceedings therein referenced; that I am
7 neither counsel for, related to, nor employed by
8 any of the parties to the action in which these
9 proceedings were taken; and, furthermore, that I
10 am neither a relative or employee of any attorney
11 or counsel employed by the parties hereto, nor
12 financially or otherwise interested in the outcome
13 of this action.

14 /s/Carleton J. Anderson, III

15

16

17 Notary Public in and for the

18 Commonwealth of Virginia

19 Commission No. 351998

20 Expires: November 30, 2012

21

22

ANDERSON COURT REPORTING
706 Duke Street, Suite 100
Alexandria, VA 22314
Phone (703) 519-7180 Fax (703) 519-7190